

IN THE CIRCUIT COURT
TWENTIETH JUDICIAL CIRCUIT
ST. CLAIR COUNTY, ILLINOIS

DIANA HOFFMANN, individually and as
Independent Administrator of the
Estate of THOMAS R. HOFFMANN,
Deceased, et al.,
Plaintiffs,

v.

SYNGENTA CROP PROTECTION, LLC, et al.,
Defendants.

)
)
)
)
)
)
) Case No.:
) 17-L-517
)
)

February 25, 2020
8:59 a.m.

VIDEO DEPOSITION of DR. PHILIP
BOTHAM, held at the offices of Kirkland &
Ellis LLP, located at 30 St. Mary Axe, London
EC3A 8AF, United Kingdom, before
Chanelle Malliff, Accredited Court Reporter
of the United Kingdom and Europe.

C O N F I D E N T I A L

CONFIDENTIAL

| Page 2 | Page 4 |
|---|---|
| <p>1 APPEARANCES</p> <p>2</p> <p>3 Attorneys for the Plaintiff:</p> <p>4 KOREIN TILLERY, LLC</p> <p>5 One U.S. Bank Plaza</p> <p>6 505 N. 7th Street, Suite 3600</p> <p>7 St. Louis, MO 63101</p> <p>8 (314) 241-4844</p> <p>9 By: Stephen M. Tillery</p> <p>10 stillery@koreintillery.com</p> <p>11 John Craig</p> <p>12 jrcraig@koreintillery.com</p> <p>13 Rosemarie Fiorillo</p> <p>14 rfiorillo@koreintillery.com</p> <p>15</p> <p>16 WALKUP, MELODIA, KELLY & SCHOENBERGER</p> <p>17 650 California Street, 26th Floor</p> <p>18 San Francisco, CA 94108</p> <p>19 (415) 981-7210</p> <p>20 By: Michael A. Kelly</p> <p>21 mkelly@walkuplawoffice.com</p> <p>22</p> <p>23 Attorneys for the Defendants and Witness:</p> <p>24 KIRKLAND & ELLIS LLP</p> <p>25 1301 Pennsylvania Avenue, N.W.</p> <p>Washington, D.C. 20004</p> <p>(202) 389-5267</p> <p>By: Ragan Naresh</p> <p>ragan.naresh@kirkland.com</p> <p>Attorneys for the Chevron Defendant:</p> <p>HUSCH BLACKWELL LLP</p> <p>190 Carondelet Plaza, Suite 600</p> <p>St. Louis, MO 63105</p> <p>(314) 480-1927</p> <p>By: Joseph C. Orlet</p> <p>joseph.orlet@huschblackwell.com</p> <p>Also Present:</p> <p>Nicole Graham, paralegal, Korein Tillery</p> <p>Mark Smith, in-house, Syngenta</p> <p>Joseph Viner, Videographer, Veritext</p> | <p>1 Exhibit 8 Document "Paraquat and related bipyridyls", D.M. Conning, K. Fletcher, A.A.B. Swan [Bates SYNG-PQ-00531061 to 65]</p> <p>2</p> <p>3 Exhibit 9 Publication in the journal Toxicology entitled "The tissue distribution of the bipyridylum herbicides diquat and paraquat in rats and mice [Bates SYNG-PQ-01980124 to 135]</p> <p>4</p> <p>5 Exhibit 10 1974 report of a study by M. Rose and Lewis Smith titled "Evidence for energy-dependent accumulation of paraquat into rat lung" [Bates SYNG-PQ-02122207 to 2122208]</p> <p>6</p> <p>7 Exhibit 11 Document file ending with letter from A Calderbank, 19 December 1975 [Bates CUSA-00088724 to 89086]</p> <p>8</p> <p>9 Exhibit 12 Notes on discussions with Chevron, March 28, 29, 1974 [SYNG-PQ-13119252 TO 13119255]</p> <p>10</p> <p>11 Exhibit 13 Notes of a Meeting held at CTL 6-9 October 75 [Bates SYNG-PQ-02491648 to 2491652]</p> <p>12</p> <p>13 Exhibit 14 Document file with top document correspondence from J Kent Riegel, Regulatory Affairs Dept. [Bates CUSA-00189736 to 190043]</p> <p>14</p> <p>15 Exhibit 15 Paraquat poisoning incident report, dated 5 October 1973 [Bates CUSA-00205396; CUSA-002067--cut off]</p> <p>16</p> |
| <p>1 WITNESS INDEX</p> <p>2 PAGE</p> <p>3</p> <p>4 PHILIP BOTHAM (sworn)6</p> <p>5 Examination by Mr. Tillery7</p> <p>6</p> <p>7 EXHIBIT INDEX</p> <p>8 BOTHAM# DESCRIPTION PAGE</p> <p>9</p> <p>10 Exhibit 1 Notice of Video Evidence16</p> <p>11 Deposition of Syngenta AG, 33 pages</p> <p>12</p> <p>13 Exhibit 2 Notice of Video Evidence16</p> <p>14 Deposition of Syngenta Crop Protection LLC, 33 pages</p> <p>15</p> <p>16 Exhibit 3 Syngenta Defendants'39</p> <p>17 Disclosure related to February 25, 2020 and March 2, 2020 depositions</p> <p>18</p> <p>19 Exhibit 4 US Patent dated Feb 21, 1961 number 2,972,52849</p> <p>20</p> <p>21 Exhibit 5 Paper titled "The Viologen Indicators", L Michaelis and Edgar Hill, April 7, 193363</p> <p>22</p> <p>23 Exhibit 6 File of documents139</p> <p>24 complication, top document</p> <p>25 Summons, with date stamp Feb 2, 1984 [Bates CUSA-00139117 to 139539]</p> <p>Exhibit 7 "The Toxicity of Paraquat", D. G. Clark, T. F. McElligott, and E. Weston Hurst, British Med Journal, 1966 [Bates SYNG-PQ-00548877 to 883]</p> | <p>1 Exhibit 16 Letter from M. Fletcher202</p> <p>2 21 March [Bates SYNG-PQ-04263689]</p> <p>3</p> <p>4 Exhibit 17 Report headed "Fatal case of poisoning by paraquat" by F.B. Bronkhorst, J.M. van Daal, H.D. Tan, 17 February 1968 [Bates SYNG-PQ-02434742 to 49]</p> <p>5</p> <p>6 Exhibit 18 Category B Report "Paraquat: Lifetime Feeding Study in the Mouse" date stamp in square box front 22 June 1981 [Bates CUSA-00020406 to 20552]</p> <p>7</p> <p>8 Exhibit 19 File, "Study Title: Chronic Toxicity Study Result 104 Week Dosing Study in Rat", March 10, 1982 [No Bates]</p> <p>9</p> <p>10 Exhibit 20 "Paraquat: Combined Toxicity and Carcinogenicity Study in Rats Volume 1", handwritten date 10/27/83 [Bates CUSA-00241880 through 242193]</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p> |

CONFIDENTIAL

| | |
|---|---|
| <p align="right">Page 6</p> <p>1 P R O C E E D I N G S</p> <p>2 THE VIDEOGRAPHER: Good morning. We are</p> <p>3 going on the record at 8:59 a.m. on February 25, 2020.</p> <p>4 This is media unit one of the video recorded deposition</p> <p>5 of Philip Botham in the matter of Diana Hoffmann et al</p> <p>6 versus Syngenta LLC et al filed in the Circuit Court,</p> <p>7 Twentieth Judicial Circuit, St. Claire County,</p> <p>8 Illinois. This deposition is being held at Kirkland &</p> <p>9 Ellis, 30 St Mary Axe, London, United Kingdom.</p> <p>10 My name is Joseph Viner from Veritext. I'm</p> <p>11 the videographer. The court reporter today is</p> <p>12 Chanelle Malliff from the firm Veritext.</p> <p>13 Will the court reporter please swear in the</p> <p>14 witness.</p> <p>15 PHILIP BOTHAM</p> <p>16 having been sworn testified as follows:</p> <p>17 MR. TILLERY: And before we begin, this is</p> <p>18 Steve Tillery on behalf of the plaintiffs, announcing</p> <p>19 that the parties have reached an agreement stipulation</p> <p>20 that there is no requirement for strict compliance with</p> <p>21 Illinois Supreme Court Rule 206 and the reading by the</p> <p>22 videographer for that compliance, is that correct, for</p> <p>23 Syngenta entities?</p> <p>24 MR. NARESH: Ragan Naresh, on behalf of</p> <p>25 Syngenta. That is fine, with the understanding that</p> | <p align="right">Page 8</p> <p>1 in the United Kingdom.</p> <p>2 Q. What is your business address?</p> <p>3 A. Jealott's Hill, International Research Centre</p> <p>4 of Syngenta, Bracknell in Berkshire.</p> <p>5 Q. What is Jealott's Hill?</p> <p>6 A. Jealott's Hill is a research park, and</p> <p>7 Syngenta is the sole occupant of that research park.</p> <p>8 Q. How long has that facility been in existence?</p> <p>9 A. 90 years.</p> <p>10 Q. What is your employment history before you</p> <p>11 were first employed by a Syngenta entity?</p> <p>12 A. I joined what is a Syngenta entity back in</p> <p>13 1980. Prior to that I had two years post-doctoral</p> <p>14 research at the University of Hull, which followed my</p> <p>15 PhD which I obtained at the University of Hull.</p> <p>16 Q. And what was the name of the entity, the</p> <p>17 predecessor entity of Syngenta that you first joined?</p> <p>18 A. It was ICI.</p> <p>19 Q. Was there a specific entity of ICI or just</p> <p>20 ICI Limited?</p> <p>21 A. It was ICI Limited in the United Kingdom, and</p> <p>22 the specific part of ICI that I joined was called the</p> <p>23 central toxicology laboratory.</p> <p>24 Q. Have you worked for an ICI/Syngenta related</p> <p>25 entities your entire career?</p> |
| <p align="right">Page 7</p> <p>1 this deposition complies with both the Illinois rules</p> <p>2 as well as the California rules of civil procedure.</p> <p>3 MR. TILLERY: And we do stipulate to that as</p> <p>4 well.</p> <p>5 MR. ORLET: Yes, that's fine on behalf of</p> <p>6 Chevron with the same stipulation.</p> <p>7 MR. TILLERY: For the record, I'll note that</p> <p>8 this is a deposition of an adverse party or agent taken</p> <p>9 in a representative capacity, so I'll be conducting in</p> <p>10 accordance with section 2-1102 of the Illinois Code of</p> <p>11 Civil Procedure, 735 ILCS 5/2-1102.</p> <p>12 EXAMINATION BY MR. TILLERY:</p> <p>13 Q. Good morning, sir.</p> <p>14 A. Good morning.</p> <p>15 Q. My name is Steve Tillery. I'll be asking you</p> <p>16 questions on behalf of Plaintiffs. If you don't</p> <p>17 have -- sorry, excuse me. If you have questions or</p> <p>18 problems with any of my inquiries of you, if you'd stop</p> <p>19 me and clarify, so I can make sure that you understand</p> <p>20 my question.</p> <p>21 A. Okay.</p> <p>22 Q. For the record, can you state your name?</p> <p>23 A. Dr. Philip Botham.</p> <p>24 Q. And what is your home address?</p> <p>25 A. 255 St. Leonard's Road, Windsor in Berkshire</p> | <p align="right">Page 9</p> <p>1 A. Apart from those two years of post-doctoral</p> <p>2 research, yes.</p> <p>3 Q. Did you have any connection with paraquat</p> <p>4 prior to joining a Syngenta entity?</p> <p>5 A. No, I did not.</p> <p>6 Q. And when you joined Syngenta what was the</p> <p>7 first year that you had a connection with paraquat?</p> <p>8 A. I would imagine that would be in the early</p> <p>9 '90s. I mean, I can't give you an exact date but when</p> <p>10 I became familiar with some of the research work that</p> <p>11 some of my colleagues were doing at that time.</p> <p>12 Q. Can you take me through your career in terms</p> <p>13 of jobs and responsibilities at Syngenta? And when</p> <p>14 I say "Syngenta", I mean any of the predecessor</p> <p>15 entities like ICI.</p> <p>16 A. So starting with in 1980 when I joined?</p> <p>17 Q. Correct.</p> <p>18 A. So in 1980 I was employed at the central</p> <p>19 toxicology laboratory as an expert in actually in</p> <p>20 allergy because I had some expertise in that kind of</p> <p>21 disease and at that point in time ICI had a number of</p> <p>22 issues associated with people becoming allergic to what</p> <p>23 they were using or producing in manufacturing plants.</p> <p>24 But over the next three to four years I started to</p> <p>25 broaden my expertise into other areas of toxicology.</p> |

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 10</p> <p>1 And in the 1990s, in 1991 to be specific, I was 2 appointed to be what we called a section head, a leader 3 of a significant number of people in the organization, 4 in the central toxicology laboratory who were looking 5 again across a broad range of toxicology issues, mainly 6 doing regulatory toxicology. So I'd moved away from 7 the research toxicology I was originally doing. 8 Q. What is regulatory toxicology? 9 A. Regulatory toxicology is -- are the studies 10 and the assessments that we need to conduct in order to 11 register or re-register our products with regulatory 12 authorities around the world. 13 Q. Before you go further -- and pardon me for 14 interrupting -- can you describe the CTL facility? 15 A. Of course, yes. CTL is, or was, a laboratory 16 where toxicology studies were conducted. So it 17 comprised a large number of laboratories and also 18 animal facilities, animal -- laboratory animal 19 facilities, because laboratory animals are required for 20 many of those regulatory toxicology studies I was 21 describing. 22 It had approximately 300 employees, and their 23 experience was across the whole range of scientific 24 disciplines that are needed in toxicology. 25 And it started on that site in the late 1950s</p> | <p style="text-align: right;">Page 12</p> <p>1 animals. They were brought in from in some cases from 2 another facility at the same site. In other cases they 3 were brought on to site from animal suppliers. We 4 housed those animals whilst we were conducting the 5 regulatory toxicology tests in specific animal 6 environments. And we're talking here about rats, mice, 7 guinea pigs and dogs. 8 Q. And how many different laboratory or 9 scientific facilities has Syngenta or its predecessors 10 had besides CTL? 11 A. The other main facility is the one where I'm 12 working now, which is at Jealott's Hill. That had a 13 laboratories to conduct another branch of regulatory 14 safety studies that need to be conducted which is 15 environmental safety. Again around about 2007 as part 16 of that same decision those laboratories were closed 17 and so much of what we now have in Jealott's Hill is 18 not laboratory accommodation. 19 Q. You indicated that the way Syngenta did 20 toxicology changed, and that led to CTL closing? 21 A. That's correct. 22 Q. What was the way that CTL -- strike that. 23 What was the way that Syngenta did business that 24 altered -- that caused CTL to close; explain that to 25 me?</p> |
| <p style="text-align: right;">Page 11</p> <p>1 but eventually closed when a decision was taken to 2 change the way in which we did our toxicology, starting 3 in 2007. That was when we announced the closure and 4 that's when I moved actually from that laboratory down 5 to where I currently am in Jealott's Hill. 6 Q. Now when you were at CTL how many scientists 7 were there? 8 A. If you want to describe scientists as people 9 who had, for example, higher education qualifications, 10 PhDs and so on, at the time of those 300 or so 11 employees that I talked about around about 75 to 80 of 12 those people had PhDs. A significant number more, 13 I can't give you an exact number, would have first 14 degree, BSc Bachelor of Science degrees. Others would 15 have technical qualifications, laboratory animal and 16 husbandry qualifications, for example. 17 Q. And how many different laboratories? You 18 said there were multiple laboratories. 19 A. Mm-hmm. 20 Q. How many? 21 A. I couldn't give you an exact number but we 22 would be talking about 20 to 30 I would estimate. 23 Q. And you had an entire laboratory animal 24 production facility there, right? 25 A. We didn't produce, i.e. we didn't breed our</p> | <p style="text-align: right;">Page 13</p> <p>1 A. The decision was that we wanted to enable us 2 to actually meet with the increasing challenges that 3 regulatory toxicology and also the toxicology that we 4 wanted to do as part of our research invention of 5 finding new chemicals, new pesticides, that that was 6 changing and required us to get access to an even wider 7 area of -- to wider areas of science. Science was 8 progressing and moving on and we realized that the best 9 way we could do that was to outsource our practical 10 safety studies, our toxicology studies and our 11 environmental safety studies to a number of different 12 research organizations outside the company. So they 13 became partners and suppliers of our toxicology 14 environmental safety. 15 Q. You basically out-sourced a lot of the 16 science? 17 A. It was basically an outsourcing strategy; 18 correct. 19 Q. Would you continue on with your jobs, 20 assignments and responsibilities? 21 A. Okay, so I've reached the point of the early 22 1990s where I'd taken on leadership responsibilities 23 within toxicology, specifically regulatory toxicology. 24 During the 1990s up until the formation of Syngenta in 25 the year 2000 I had several different leadership roles</p> |

4 (Pages 10 - 13)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 14</p> <p>1 at CTL at the Central Toxicology Laboratory. They were 2 mostly in regulatory toxicology. I also did a spell 3 back in research toxicology, so leading the 4 investigative toxicology team, for example. Then in 5 the year 2000 when we formed Syngenta, between then and 6 2007 when I moved down to Jealott's Hill, it was a 7 similar pattern of having two or three different 8 leadership roles. Then of a product safety 9 organization as we were then describing it, which 10 included staff not just at CTL but also elsewhere in 11 this new Syngenta organization. That included people 12 in Switzerland and also North America. 13 And then in 2007 moved down to Jealott's 14 Hill. I was initially appointed to be the European 15 head of human safety, specifically toxicology in human 16 safety. Then product safety European head, which meant 17 both the human safety and the environmental safety. 18 And then in 2013 I was appointed to be global head of 19 product safety for Syngenta, which meant that I had 20 responsibility for teams around the world as it were 21 involved in that discipline. 22 Q. Moving back to CTL for a moment. Was there 23 any concern over finances, that is saving money by 24 outsourcing as opposed to keeping that laboratory open? 25 MR. NARESH: I'll object to scope. Go ahead.</p> | <p style="text-align: right;">Page 16</p> <p>1 Q. Do you understand that you're testifying 2 today as a corporate designee of Syngenta AG and 3 Syngenta Crop Protection LLC? 4 A. I do. 5 Q. Can we agree to refer to both Syngenta AG and 6 Syngenta Crop Protection LLC as "Syngenta" for purposes 7 of this deposition? 8 A. I'm fine with that. 9 Q. Okay. What do you understand your role to be 10 here as a corporate designee for Syngenta? 11 A. To help -- 12 MR. NARESH: To the extent you can answer 13 without divulging privileged communications, 14 communications you and I have had about legal issues, 15 please feel free to answer. 16 A. I believe that I'm here to answer a number of 17 specific questions regarding the company's work on the 18 safety of paraquat. 19 BY MR. TILLERY: 20 Q. You understand Syngenta's designated you to 21 testify for them on certain topics? 22 A. I do. 23 Q. Now if we can mark these. 24 (Exhibit 1 marked for identification.) 25 (Exhibit 2 marked for identification.)</p> |
| <p style="text-align: right;">Page 15</p> <p>1 A. The cost of doing our work was clearly one 2 factor. It was not actually the most important factor. 3 BY MR. TILLERY: 4 Q. But it was a factor? 5 A. But it was one factor. 6 Q. Thank you. When did you start working with 7 paraquat? 8 A. I first had, if you like, a clear and formal 9 role with paraquat in 2008 when I joined the paraquat 10 health scientist team. 11 Q. But you knew about it before then, didn't 12 you? 13 A. Obviously, yes, I'd been not only aware but 14 I was responsible for in my leadership role for other 15 scientists who were directly involved with paraquat but 16 I was still -- I had indirect involvement. My direct 17 involvement started in 2008. 18 Q. Including supervision of some of the 19 scientists who had direct involvement? 20 A. That is correct. 21 Q. When would that have started? 22 A. So not in 1991, because the team I was 23 leading then was not involved in that activity, but 24 later in the 1990s/early 2000s that would have included 25 such people.</p> | <p style="text-align: right;">Page 17</p> <p>1 Have you seen Exhibits 1 and 2 before, sir? 2 A. I'm not sure that I've seen specifically this 3 document. 4 Q. But if you look at the Topics sections in the 5 back. Have you looked at those topics before? 6 A. Yes as you get towards the back, so I agree, 7 so under Appendix 2 I can see some familiarity of the 8 document I've seen before. 9 Q. So you were given the topics, right? 10 A. Yes. Yes. 11 Q. So can we refer to those as the designated 12 topics throughout this deposition? 13 A. Yes. Now that I've seen that this is the 14 topics that I've seen previously, that is fine. 15 MR. NARESH: So to be clear, Dr. Botham is 16 not testifying with respect to all the topics. 17 MR. TILLERY: Correct. And if you want to 18 announce on the record the ones, but otherwise we can 19 I think by agreement from your notice and answer to us 20 we have an understanding as to which topics. 21 MR. NARESH: That's fine. Just so we're on 22 the same page that it's not every topic in here. 23 BY MR. TILLERY: 24 Q. Right. Do you understand that in testifying 25 for Syngenta on the designated topics, you're required</p> |

5 (Pages 14 - 17)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 18</p> <p>1 to answer not based on the information known or 2 available to you personally but also based on 3 information known or reasonably available to Syngenta? 4 A. Yes. 5 Q. And did you take that into account when you 6 were preparing to testify on the designated topics? 7 A. Yes. 8 Q. Are you prepared today to testify for 9 Syngenta on the designated topics based on information 10 known or reasonably available to Syngenta? 11 A. I am. 12 Q. Do you believe your preparation has given you 13 sufficient information to testify for Syngenta on each 14 of the designated topics that you've been designated to 15 speak to? 16 A. As far as is practical. There is clearly a 17 lot of information and it may be that I don't have 18 absolutely all of that at my immediate fingertips. 19 Q. Other than in conversations with your 20 attorneys representing you here, can you describe for 21 me what you did to prepare for this deposition? 22 A. So to clarify, you're saying other than 23 conversations I've had with attorneys? 24 Q. You can tell me you had conversations with 25 attorneys but not the specific content of those</p> | <p style="text-align: right;">Page 20</p> <p>1 information, some of the documents were -- I don't 2 recall having seen previously. But the majority I did, 3 I had seen before. 4 Q. And these documents are stored at Syngenta as 5 well, aren't they? 6 A. They are. 7 Q. And how is that that they're stored? 8 A. Do you mean in what manner are they stored? 9 Q. Yes. In other words, for a person at your 10 level in the company, you have access to most of those 11 documents? 12 A. I do have access. They are in some cases 13 stored electronically, and in other cases there are 14 paper copies which are stored in various archives. 15 Q. And as you move in and out of different 16 projects to educate yourself of projects, you might 17 look at those for historical reference as well; is that 18 correct? 19 A. That is correct, yes. 20 Q. So would you agree that none of the topics 21 that you understand you are to address today provide a 22 problem for you to speak to? You're able to answer all 23 of them? 24 A. I'm able to answer all of them and I think 25 I would be able to answer some better than others</p> |
| <p style="text-align: right;">Page 19</p> <p>1 conversations. 2 A. Indeed. 3 Q. So what did you do to prepare? 4 A. So I have reminded myself of many of the 5 activities that have taken place over the period in 6 which we have been conducting our research on paraquat, 7 and specifically on the alleged association with 8 Parkinson's disease. So I've refreshed my memory of 9 that, reading appropriate documents and papers which in 10 some cases were brought back to me by my attorneys, in 11 other cases documents which I already had in my 12 possession in our company files. 13 Q. And how many hours have you spent reminding 14 yourself of these events? 15 A. Well, this has been an activity that has 16 really been mostly in the last two to three weeks and 17 I wouldn't have an exact count of the number of hours 18 but certainly most work days during the last two to 19 three weeks I've been spending a significant amount of 20 time. 21 Q. Was there anything new to you? 22 A. There were certainly some aspects because the 23 areas that you wish to explore today were so broad 24 there were some areas where I never had any direct 25 involvement in the past and therefore some of the</p> | <p style="text-align: right;">Page 21</p> <p>1 because that familiarity. So clearly there's a range 2 of in-built knowledge across all of those topics. 3 Q. How did you familiarize yourself with matters 4 concerning ICI preceding your employment there? 5 A. Most of the pre-1980 work was -- in fact all 6 of the pre-1980 work on paraquat was not related to 7 Parkinson's disease, so it was related to other 8 toxicology issues regarding paraquat so that I was not, 9 I felt, relevant to what we were discussing today. 10 Q. When was the first time that you understood 11 that there was a claim being made of a connection 12 between paraquat and Parkinson's disease? 13 A. I don't recall exactly the year but at some 14 point after I joined the paraquat health science team 15 in 2008 then that potential was certainly made evident 16 to us. 17 Q. So it would have been 2008 do you think or 18 after that period? 19 A. At some point after 2008. 20 Q. After 2008? 21 A. Yes. Yes. 22 Q. How did you familiarize yourself with ICI 23 work on neurotoxicity? 24 A. Through my own knowledge of what had been 25 done during the time when I had that responsibility</p> |

6 (Pages 18 - 21)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 22</p> <p>1 from 2008 together with reading the appropriate 2 documentation that we've been talking about which was a 3 mixture of internal reports, publications and other 4 correspondence and information that was shared. 5 Q. How did you familiarize yourself with ICI's 6 work in terms of neurotoxicity of paraquat prior to 7 1980? 8 A. I don't believe that there was any work of 9 significance prior to 1980 on neurotoxicity. There 10 were certainly one or two early research studies but 11 they were really -- this was not a main part of our 12 activity. That came later on. 13 Q. But whether there was or there wasn't, you're 14 able to answer those questions today? 15 A. Yes. 16 Q. Correct? 17 A. Yes. 18 Q. You have to say "yes" or "no" on the record. 19 A. Yes. 20 Q. Have you given a deposition before? 21 A. I have not. 22 Q. Have you testified at a trial or hearing 23 before? 24 A. I have not. 25 Q. Do you understand that in testifying for</p> | <p style="text-align: right;">Page 24</p> <p>1 preparing? 2 A. Yes, I did, yes. 3 Q. Were you aware that the matters on which you 4 would be required to testify for Syngenta on the 5 designated topics would include the knowledge and 6 actions with respect to paraquat of Syngenta AG's 7 predecessors in the paraquat business including 8 AstraZeneca Plc, Zeneca Group Plc, Imperial Chemical 9 Industries Plc, Imperial Chemical Industries Limited, 10 and their subsidiaries? 11 A. Yes. 12 Q. And you're prepared to testify on the 13 designated topics about the knowledge and actions with 14 respect to paraquat of all of those entities? 15 A. Yes. 16 Q. If I refer to Syngenta AG's -- strike that. 17 If I refer to Syngenta AG's predecessors will you 18 understand that to mean with respect to the paraquat 19 business AstraZeneca Plc, Zeneca group Plc, Imperial 20 Chemical Industries Plc, Imperial Chemical Industries 21 Limited and their subsidiaries? 22 A. Yes. 23 Q. Now in preparing to testify for Syngenta AG 24 and Syngenta Crop Protection LLC, were you aware that 25 the matters on which you would be required to testify</p> |
| <p style="text-align: right;">Page 23</p> <p>1 Syngenta on the designated topics the matters on which 2 you're required to testify are not limited to the 3 period since the formation of Syngenta but cover the 4 entire period of time from the discovery of the 5 herbicidal effect of paraquat in the 1950s through the 6 present time? 7 A. Yes. 8 Q. Did you take that into account when you were 9 preparing? 10 A. As I said, I didn't focus as much on the 11 period really prior to the late 1980s, early 1990s. 12 Q. I will assure you you're going to get a lot 13 of questions for me -- strike that. You're going to 14 get a lot of questions today from me that precede 1980. 15 So are you prepared to answer those? 16 A. Well I'm prepared to see if I am able to 17 answer them. 18 Q. Do you understand that in testifying for 19 Syngenta on the designated topics, the matters on which 20 you're required to testify are not limited to the 21 knowledge and actions of Syngenta but also include the 22 knowledge and actions with respect to paraquat of their 23 corporate predecessors? 24 A. Yes. 25 Q. You took that into account when you were</p> | <p style="text-align: right;">Page 25</p> <p>1 would would include the knowledge and actions with 2 respect to Zeneca AG Products Inc., Zeneca Inc., ICI 3 Americas Inc., ICI United States Inc. and ICI America 4 Inc.? 5 A. Yes. 6 Q. And are you prepared to testify with respect 7 to that understanding? 8 A. Yes. 9 Q. And again, if I later refer to Syngenta AG's 10 predecessors, will you understand that to mean with 11 respect to their paraquat business Zeneca AG Products 12 Inc., Zeneca Inc., ICI Americas Inc., ICI United States 13 Inc. and ICI America Inc.? 14 MR. NARESH: Stephen, I think you misspoke. 15 I think you asked about Syngenta AG and meant to ask -- 16 BY MR. TILLERY: 17 Q. Yes, I'm Sorry, I did. Thank you very much, 18 I'm going to correct it. I'll withdraw that question, 19 sir. 20 If I later refer to Syngenta Crop Protection 21 LLC's predecessors, will you understand that to mean 22 with respect to their paraquat business Zeneca AG 23 Products Inc., Zeneca Inc., ICI Americas Inc., 24 ICI United States Inc., and ICI America Inc.? 25 A. Yes.</p> |

7 (Pages 22 - 25)

CONFIDENTIAL

| Page 26 | Page 28 |
|--|--|
| <p>1 Q. And you're ready to do that as well?</p> <p>2 A. Yes.</p> <p>3 Q. What documents or data was available to you</p> <p>4 personally to help you prepare for the deposition?</p> <p>5 A. A wide range of documents of the sort that</p> <p>6 I mentioned earlier. So the publications, reports,</p> <p>7 correspondence, and other related matters.</p> <p>8 Q. And was that included within what you refer</p> <p>9 to as your reliance group of documents?</p> <p>10 A. Could you expand on what you mean by the</p> <p>11 reliance --</p> <p>12 Q. Yes. What that means is that your counsel</p> <p>13 gave to us, roughly one week ago today, a group of</p> <p>14 documents that were listed as responsive to our request</p> <p>15 for information on which you were to rely in answering</p> <p>16 my questions.</p> <p>17 A. Yes. Yes, I believe that those were the</p> <p>18 documents that --</p> <p>19 Q. You picked those out?</p> <p>20 A. Yes. Yes.</p> <p>21 Q. And you did that by looking at those</p> <p>22 documents and making decisions that those were relevant</p> <p>23 to your information, education to answer questions</p> <p>24 about the designated topics?</p> <p>25 A. I did, but also with discussions with my</p> | <p>1 A. To engage with him in some of the areas where</p> <p>2 I had not had that direct interaction myself. So there</p> <p>3 were certain aspects of the work that had been</p> <p>4 conducted on for example understanding exposure to</p> <p>5 paraquat where Andy Cook had more background, if you</p> <p>6 wish, in that area.</p> <p>7 Q. And where did those conversations take place?</p> <p>8 A. In a room in Jealott's Hill.</p> <p>9 Q. And for how long did you discuss these topics</p> <p>10 with Mr. Cook?</p> <p>11 A. Just a few hours.</p> <p>12 Q. Did you speak to anyone else besides lawyers</p> <p>13 and Mr. Cook?</p> <p>14 A. Not about the process that we are talking</p> <p>15 about now. Just, as I said, about normal business</p> <p>16 regarding me leading the paraquat health science team.</p> <p>17 Q. Did you speak to anybody in America for</p> <p>18 example, any of the scientists from Syngenta Crop</p> <p>19 Protection employees in America?</p> <p>20 A. So I've certainly had a -- have been on a</p> <p>21 phone call where a Syngenta U.S.A. employee has again</p> <p>22 been providing some input to the process, not directly</p> <p>23 to me, and this would be Monty Dixon.</p> <p>24 Q. Did you speak with any, let's refer to them</p> <p>25 as outside scientists? Do you know what I mean when</p> |
| Page 27 | Page 29 |
| <p>1 attorneys to also guide me on particularly what might</p> <p>2 be appropriate to answer the specific questions that</p> <p>3 may arise.</p> <p>4 Q. So they may have added some as well is what</p> <p>5 you're saying?</p> <p>6 A. They certainly directed me to some of the</p> <p>7 documents which may have been more useful.</p> <p>8 Q. Understood. Did you talk to anybody else</p> <p>9 besides lawyers in preparing for the deposition?</p> <p>10 A. I talked to one particular colleague,</p> <p>11 Andy Cook who is the regulatory manager for paraquat</p> <p>12 with whom I've worked closely for many years.</p> <p>13 Q. And what is his employment as Syngenta?</p> <p>14 A. He is also at Jealott's Hill. He is the</p> <p>15 global regulatory manager for paraquat.</p> <p>16 Q. Did you speak to anyone else?</p> <p>17 A. Not specifically about this topic, these</p> <p>18 topics and the process that we're undergoing today.</p> <p>19 I talk to other colleagues regularly as part of my</p> <p>20 normal duties of leading the paraquat health science</p> <p>21 team. That process has continued, so I have spoken to</p> <p>22 other colleagues about paraquat, but that was part of</p> <p>23 my normal business.</p> <p>24 Q. What did you speak specifically to Mr. Cook</p> <p>25 about?</p> | <p>1 I use that word "outside"? What does it mean for you,</p> <p>2 just to make sure we're on the same page.</p> <p>3 A. I assume you mean collaborators or suppliers?</p> <p>4 Q. A person who collaborates with you who isn't</p> <p>5 an employee of Syngenta?</p> <p>6 A. During this process of preparation I have not</p> <p>7 spoken as far as I remember to any external</p> <p>8 collaborators or similar people.</p> <p>9 Q. How about retired Syngenta employees or ICI</p> <p>10 employees?</p> <p>11 A. I have spoken to some external retired --</p> <p>12 sorry, some retired Syngenta employees but not in the</p> <p>13 last few weeks.</p> <p>14 Q. Okay. Well about these topics?</p> <p>15 A. Again, this would be a part of our normal</p> <p>16 business where we sometimes confer with ex-employees</p> <p>17 who have had experience and expertise working with</p> <p>18 paraquat.</p> <p>19 Q. Who are those people?</p> <p>20 A. Professor Lewis Smith for example. Dr. Nick</p> <p>21 Sturgess. But I've not had discussions with either of</p> <p>22 those two individuals for several months.</p> <p>23 Q. Did you talk about any of the related topics,</p> <p>24 whether or not they came to you in the form of a</p> <p>25 deposition notice but at least the subject matter with</p> |

8 (Pages 26 - 29)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 30</p> <p>1 either Dr. Smith or Dr. Sturgess in the last two years?</p> <p>2 A. Yes, indeed, I've had conversations with them</p> <p>3 in the last two years about paraquat and its safety</p> <p>4 studies.</p> <p>5 Q. And are they affiliated with the company now?</p> <p>6 A. No. Dr. Sturgess is not affiliated.</p> <p>7 Professor Smith does have, though, a consultancy</p> <p>8 contract.</p> <p>9 Q. With Syngenta?</p> <p>10 A. With Syngenta.</p> <p>11 Q. So he's still connected to the company?</p> <p>12 A. In that sense, yes.</p> <p>13 Q. And can you explain that consultancy contract</p> <p>14 that he has?</p> <p>15 A. It is a contract that where we -- it asks</p> <p>16 that we are able to consult with Dr. Smith on aspects</p> <p>17 related to paraquat toxicity. It's a fairly broad</p> <p>18 remit. But the number of consultations that we've had</p> <p>19 with Dr. Smith over the last year or two has been</p> <p>20 relatively small.</p> <p>21 Q. What does that mean, "relatively small"?</p> <p>22 A. Perhaps it may be only two or three times in</p> <p>23 the last year or two from my recollection.</p> <p>24 Q. What about Dr. Sturgess, how many times have</p> <p>25 you talked to him?</p> | <p style="text-align: right;">Page 32</p> <p>1 discussing that aspect with Dr. Smith.</p> <p>2 Q. What about Dr. Sturgess?</p> <p>3 A. With Dr. Sturgess it was, and this is not as</p> <p>4 clear in my mind, that was mostly to do with ensuring</p> <p>5 that we knew where some of his historic information was</p> <p>6 so that we had got that properly archived. So it was</p> <p>7 simply to make sure that we had recovered and had</p> <p>8 available the information that he specifically had in</p> <p>9 his files. We didn't discuss any specific science</p> <p>10 matter if you wish.</p> <p>11 Q. Have you talked to Dr. Louise Marks?</p> <p>12 A. I have.</p> <p>13 Q. When did you talk to her recently?</p> <p>14 A. I talked to her in the last two to three</p> <p>15 months when she let us know that she had been contacted</p> <p>16 by yourself or by your colleagues and that was -- there</p> <p>17 were two or three phone calls with her when I was</p> <p>18 trying to make sure that she had a little background as</p> <p>19 to how those contacts, where they came from, what the</p> <p>20 purpose of them was.</p> <p>21 Q. How many conversations have you had with</p> <p>22 Dr. Louise Marks? And let's say in the last year.</p> <p>23 A. I would say no more than four.</p> <p>24 Q. Okay, how long did these conversations last?</p> <p>25 A. No more than 15 minutes.</p> |
| <p style="text-align: right;">Page 31</p> <p>1 A. I have not spoken to Dr. Sturgess from memory</p> <p>2 for at least a year. But in the previous year I would</p> <p>3 have spoken to him, in the period shortly after he</p> <p>4 left.</p> <p>5 Q. When did he leave?</p> <p>6 A. I believe that that was 2018.</p> <p>7 Q. And what were the conversations about</p> <p>8 paraquat that you had with Dr. Sturgess or Dr. Smith?</p> <p>9 A. They were largely conversations of clarifying</p> <p>10 on some of the issues and work that they were, if you</p> <p>11 like, more familiar with than either Andy Cook or</p> <p>12 myself were. So it was to check some details of the</p> <p>13 work.</p> <p>14 Q. What details were those? There has to be</p> <p>15 something you were thinking about? You went to a</p> <p>16 phone, picked it up, called them, you had a question in</p> <p>17 mind. What was it?</p> <p>18 A. In the case of Dr. Smith the conversations</p> <p>19 have actually been in recent times more around other</p> <p>20 issues related to paraquat safety and some of the</p> <p>21 history of how we developed paraquat as a product, as a</p> <p>22 formulated product, and how we were making sure that we</p> <p>23 make best efforts to what we call safen that product</p> <p>24 because it's one of the other issues with paraquat of</p> <p>25 course is that it is acutely toxic, and so we were</p> | <p style="text-align: right;">Page 33</p> <p>1 Q. And did she have specific questions?</p> <p>2 A. She was asking some -- for some clarification</p> <p>3 of what the process was that she was being asked to</p> <p>4 consider taking part in in terms of deposition.</p> <p>5 Q. When was the last time Louise Marks was</p> <p>6 employed by Syngenta under any capacity, either a</p> <p>7 direct employment or by contract?</p> <p>8 MR. NARESH: I object on foundation. If you</p> <p>9 know, please go ahead and answer.</p> <p>10 A. I believe that she left Syngenta around 2006.</p> <p>11 BY MR. TILLERY:</p> <p>12 Q. Was that the last time she had a financial</p> <p>13 connection to Syngenta either as an employee or as an</p> <p>14 independent contractor?</p> <p>15 MR. NARESH: Same objection.</p> <p>16 A. She did act for us at a time after that in</p> <p>17 helping us with our understanding of a particular</p> <p>18 technique, the technique of stereology because of the</p> <p>19 experience that she'd had when she was doing other</p> <p>20 research. I don't recall if we did that under a</p> <p>21 consultancy contract but we certainly had that one</p> <p>22 occasion when we reconnected with her specifically on</p> <p>23 the technology of stereology.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. Did you consider her to be the leading</p> |

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 34</p> <p>1 scientist in your group on stereology?</p> <p>2 A. At the time of her work in the period of 2002</p> <p>3 to 2006 she certainly became the most knowledgeable</p> <p>4 person about stereology within the company but there</p> <p>5 were certainly other experts outside of the company who</p> <p>6 had greater knowledge than Louise.</p> <p>7 Q. And did you avail yourselves of the expertise</p> <p>8 of those outside scientists in stereology?</p> <p>9 A. We did.</p> <p>10 Q. And who are they?</p> <p>11 A. They would include -- this is not an</p> <p>12 exclusive list -- Professor Neingaard from Scandinavia</p> <p>13 University. And Dr. Mark Butt who was from ToxPath,</p> <p>14 one of our contract research organizations who provided</p> <p>15 his knowledge of stereology. And Dr. Jeff Wolff from</p> <p>16 another contract research organization who also had</p> <p>17 experience in that area. And we also consulted with</p> <p>18 people at the Parkinson's Institute in North America.</p> <p>19 Q. Which institute?</p> <p>20 A. The Parkinson's Institute.</p> <p>21 Q. And where is that?</p> <p>22 A. That is in California.</p> <p>23 Q. Sunnyvale?</p> <p>24 A. Yes.</p> <p>25 Q. And that would be Dr. Dino DiMonte?</p> | <p style="text-align: right;">Page 36</p> <p>1 studies, didn't you?</p> <p>2 A. Yes, I did. Yes.</p> <p>3 Q. Actually you were probably a head of that at</p> <p>4 that point, head of that division?</p> <p>5 A. I was one of the senior leaders in that</p> <p>6 organization. I didn't directly at that time manage</p> <p>7 the team that Dr. Marks was in but obviously I had</p> <p>8 indirect understanding of the team.</p> <p>9 Q. Who do you report to in the company today?</p> <p>10 A. To Mr. David French.</p> <p>11 Q. And what is his role at the company?</p> <p>12 A. He is the head of global regulatory and</p> <p>13 product safety.</p> <p>14 Q. And how many people report to you?</p> <p>15 A. None.</p> <p>16 Q. I thought you said you supervised sections?</p> <p>17 A. I did until -- I didn't probably finish my CV</p> <p>18 correctly. So in 2017 I decided to step down from</p> <p>19 being global head of product safety, and from 2017</p> <p>20 until today I'm actually principal science adviser</p> <p>21 which is a stand-alone role.</p> <p>22 Q. And what is the job or duty responsibility of</p> <p>23 principal science adviser to Syngenta?</p> <p>24 A. It is specifically providing advice on</p> <p>25 science matters, whatever -- wherever I can to the</p> |
| <p style="text-align: right;">Page 35</p> <p>1 A. That's correct.</p> <p>2 Q. When you had these conversations with</p> <p>3 Dr. Marks, were you discussing her work at Syngenta?</p> <p>4 A. In the conversations recently?</p> <p>5 Q. Yes.</p> <p>6 A. We did have a brief conversations about the</p> <p>7 work that she did on one of the calls that I referred</p> <p>8 to, yes.</p> <p>9 Q. Which call, when?</p> <p>10 A. Well I think I said that there were probably</p> <p>11 four calls and it would be either on the first or the</p> <p>12 second call that we had a conversation about the work</p> <p>13 that she did when she was with us.</p> <p>14 Q. And you've said repeatedly that it was "we".</p> <p>15 Who else was on the call besides you and Dr. Marks?</p> <p>16 A. When I say "we" it was myself and Dr. Marks.</p> <p>17 Q. Was there anybody else on the call?</p> <p>18 A. There was nobody else on those calls.</p> <p>19 Q. And she told you about her work at Syngenta?</p> <p>20 A. She certainly spent a little bit of the time</p> <p>21 on one call talking to me about her work at --</p> <p>22 Q. You were familiar with it though anyway,</p> <p>23 weren't you?</p> <p>24 A. I had familiarity with it, yes.</p> <p>25 Q. And you had familiarity with all of her</p> | <p style="text-align: right;">Page 37</p> <p>1 product safety organization.</p> <p>2 Q. And what specific entity are you employed by?</p> <p>3 A. I'm employed by Syngenta Limited in the U.K.</p> <p>4 Q. And who employs David French?</p> <p>5 MR. NARESH: Objection: foundation. If you</p> <p>6 know.</p> <p>7 A. He reports to Syngenta AG.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. Is he on the Syngenta executive committee?</p> <p>10 A. He is not.</p> <p>11 Q. Are there any other people you talked to in</p> <p>12 preparation for this deposition other than lawyers that</p> <p>13 you haven't told me about?</p> <p>14 A. I did have a brief conversation this morning</p> <p>15 with Dr. Clive Campbell who was here in the offices</p> <p>16 today. That's the only other person I can recall.</p> <p>17 Q. You spoke to him just today?</p> <p>18 A. Yes.</p> <p>19 Q. When you told me about your conversations</p> <p>20 with Louise Marks you indicated that she asked you</p> <p>21 about the process. What did you tell her?</p> <p>22 A. I told her as much as I knew, which is what</p> <p>23 I had been informed of by my lawyers, by my attorneys,</p> <p>24 and that there was not necessarily an obligation for</p> <p>25 her to take part in the process. So as I understood it</p> |

10 (Pages 34 - 37)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 38</p> <p>1 at the time of me having those conversations she had, 2 if you wish, a choice as to whether she would take part 3 in the process. That was my understanding at the time. 4 Q. And you told her what in response to that? 5 A. I told her that she should give that some 6 thought and decide what she would like to do. 7 Q. And what did she tell you she was going to 8 do? 9 A. Initially she didn't decide what she wanted 10 to do. So this is why -- one reason why there was more 11 than one phone call. So in the last phone call by that 12 time she had decided that she did wish to come forward 13 and to describe her research work. 14 Q. Did anyone ask you to contact her? 15 A. I was asked to certainly on one occasion to 16 contact her, yes. 17 Q. Who asked you to contact her? 18 A. That was something that one of my attorneys, 19 my internal -- 20 Q. One of the lawyers asked you to reach out to 21 her? 22 A. Yes. 23 Q. Who was it? 24 A. I think that was Mark Smith. 25 Q. When was it that you contacted her the first</p> | <p style="text-align: right;">Page 40</p> <p>1 them, they just have Syngenta Bates range numbers. Do 2 these include the documents that you selected for 3 purposes of reliance for these deposition topics? 4 A. So you're referring to that list of -- 5 Q. Yes. 6 A. I don't obviously, just with having numbers, 7 I can't relate to what they are specifically. 8 Q. But it's your understanding that you gave 9 these documents to counsel as documents that you relied 10 on, they gave some as well and provided them, and this 11 list would include the documents you relied upon, as 12 far as you understand? 13 A. Well I guess I have to accept what you say. 14 I mean, I don't understand the designation of these 15 documents of the numbers. I take it that you're 16 telling me that they refer to the documents that I have 17 been looking at with my attorneys over recent weeks. 18 Q. The Syngenta numbers, the SYN numbers, are 19 Bates range numbers for documents that were produced to 20 us in discovery. 21 A. Okay. 22 Q. I'll represent that to you. 23 A. Okay. 24 Q. Okay. And these refer to those specific 25 Bates range numbers. That's all we were given.</p> |
| <p style="text-align: right;">Page 39</p> <p>1 time? 2 A. I don't have a date in my head I'm afraid. 3 Q. Have we now covered everybody that you spoke 4 to in preparation for this deposition? 5 A. As far as my memory will allow, yes. 6 (Exhibit 3 marked for identification.) 7 Q. Please take a look at Exhibit 3, sir. Tell 8 me if you can identify it? 9 A. Okay. 10 Q. This is the disclosure that was given to us 11 last week, I'll represent that to you, by your counsel, 12 okay. Take a look at the first page and you see the 13 designated topics? 14 A. Yes. 15 Q. "For the February 25, 2020 deposition, 16 Philip Botham will cover topics 31(a)-(c), (e)-(g), 17 (k), (n)-(o); 32-35; 36(a), (c)-(i), (k)-(n); 37-39; 18 53-58; 61-62; and 63 (except for EPA) ..." 19 Do you see that? 20 A. Yes, I do. 21 Q. And you're prepared to testify on those 22 topics? 23 A. Yes. 24 Q. The document contains a number of designated 25 documents. Unfortunately for you they don't describe</p> | <p style="text-align: right;">Page 41</p> <p>1 A. Yes. 2 Q. We then go back to a database, so for 3 documents supplied to us in discovery, and pull those 4 documents out. Now I know you don't have a specific 5 reference to documents here at this point but as far as 6 you know it was your intention that the documents you 7 relied upon be included along with this compliance? 8 A. That certainly is my understanding, yes. 9 Q. All right. Thank you. Has Syngenta ever 10 intentionally withheld information about the 11 neurotoxicity of paraquat? 12 A. I don't believe that it has. 13 Q. Okay. And you certainly researched that 14 question, didn't you? 15 A. Yes. 16 Q. And you've never found evidence of that, 17 right? 18 A. I have not found evidence that suggests that 19 we have deliberately withheld information, certainly 20 not. We have always made sure that any information 21 that we provide has been based on the best scientific 22 opinion of the information. 23 Q. And have you strived to be transparent in 24 your scientific endeavors about paraquat? 25 A. We have certainly done so and I think that</p> |

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 42</p> <p>1 our publication record and our interaction with</p> <p>2 regulatory authorities illustrates that.</p> <p>3 Q. Does that include, that specific answer to my</p> <p>4 question, the corporate predecessors of Syngenta as</p> <p>5 well?</p> <p>6 A. Yes.</p> <p>7 Q. Is paraquat the active ingredient not a</p> <p>8 formulated product a chemical compound?</p> <p>9 A. Paraquat is as the active ingredient is a</p> <p>10 chemical compound.</p> <p>11 Q. Paraquat is a synthetic chemical compound,</p> <p>12 meaning it's man-made; correct?</p> <p>13 A. That is correct.</p> <p>14 Q. It doesn't exist in nature but has to be made</p> <p>15 in a laboratory or a chemical manufacturing plant;</p> <p>16 correct?</p> <p>17 A. That is correct.</p> <p>18 Q. Is paraquat also known as paraquat</p> <p>19 dichloride?</p> <p>20 A. That is the salt of paraquat, yes.</p> <p>21 Q. Are methyl viologen dichloride and methyl</p> <p>22 viologen also names that are used to refer to paraquat?</p> <p>23 A. I don't remember if that's the case.</p> <p>24 Q. Do you know what viologen means, do you know</p> <p>25 what that is?</p> | <p style="text-align: right;">Page 44</p> <p>1 MR. TILLERY: You know counsel, it was taken</p> <p>2 under 1102.</p> <p>3 MR. NARESH: I understand.</p> <p>4 MR. TILLERY: So you're aware of that.</p> <p>5 BY MR. TILLERY:</p> <p>6 Q. In a salt do the charges contributed by the</p> <p>7 cations and anions balance out such that the salt has a</p> <p>8 net charge of zero, or no net charge?</p> <p>9 MR. NARESH: Same objection. Can I just --</p> <p>10 I don't mean to interrupt your flow. Can I have a</p> <p>11 standing objection to this line?</p> <p>12 MR. TILLERY: Absolutely. Just for the</p> <p>13 record, though, it is taken under 2-1102.</p> <p>14 MR. NARESH: I'm just cognizant of the fact</p> <p>15 that we're taking it under two jurisdiction rules at</p> <p>16 the same time so I don't want to make --</p> <p>17 MR. TILLERY: Then I'll agree to a continuing</p> <p>18 objection on that ground.</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. Would you read back the question, please, or</p> <p>21 do you want me to restate it? Let me start over. Is a</p> <p>22 cation an atom or a group of atoms -- sorry. And an</p> <p>23 anion is an atom or molecule with a net negative</p> <p>24 charge?</p> <p>25 A. That's right.</p> |
| <p style="text-align: right;">Page 43</p> <p>1 A. No.</p> <p>2 Q. Is your background in chemistry?</p> <p>3 A. It is not.</p> <p>4 Q. What is it?</p> <p>5 A. My PhD was in biochemistry and then my</p> <p>6 post-doctoral research took me into immunology.</p> <p>7 Q. So you have a PhD in biochemistry?</p> <p>8 A. Yes.</p> <p>9 Q. Okay. Is paraquat a type of compound that</p> <p>10 chemists call a salt?</p> <p>11 A. Paraquat dichloride is a salt.</p> <p>12 Q. A salt is a chemical compound composed of one</p> <p>13 or more cations and one or more anions; correct?</p> <p>14 A. Correct.</p> <p>15 Q. Is a cation an atom or a group of atoms</p> <p>16 called a molecule with a net positive electric charge?</p> <p>17 MR. NARESH: Object to the form.</p> <p>18 A. It is, yes.</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. And an anion is an atom or molecule with a</p> <p>21 net negative electric charge; correct?</p> <p>22 MR. NARESH: Same objection.</p> <p>23 A. It is.</p> <p>24 MR. TILLERY: What was your --</p> <p>25 MR. NARESH: Form.</p> | <p style="text-align: right;">Page 45</p> <p>1 Q. In a salt do the charges contributed by the</p> <p>2 cations and anions balance out such that the salt has a</p> <p>3 net charge of zero, or no net charge?</p> <p>4 A. If you have got in the case of paraquat the</p> <p>5 dichloride then that would be the case, yes.</p> <p>6 Q. A table salt is a chemical salt composed of</p> <p>7 one sodium cation with a charge of positive 1 or plus</p> <p>8 1, and one chloride anion with a charge of negative</p> <p>9 1 or minus 1, which together net out to zero or no net</p> <p>10 charge on the molecule as a whole. Is that a correct</p> <p>11 statement?</p> <p>12 A. That's correct.</p> <p>13 Q. When we talk about an atom or molecule having</p> <p>14 a charge of 1 or 2, whether positive or negative, we're</p> <p>15 talking about the strength of the charge; correct?</p> <p>16 A. Yes. Yes.</p> <p>17 Q. And the charge of negative 1 isn't less than</p> <p>18 but it's the opposite of a charge of positive 1 like</p> <p>19 the negative and positive into a magnet; correct?</p> <p>20 A. That's right.</p> <p>21 Q. A paraquat molecule has 1 cation and two</p> <p>22 anions, doesn't it?</p> <p>23 A. Yes.</p> <p>24 Q. Does the cation in a paraquat molecule, the</p> <p>25 paraquat in paraquat dichloride have a charge of plus</p> |

12 (Pages 42 - 45)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 46</p> <p>1 2?</p> <p>2 A. Yes.</p> <p>3 Q. Is the paraquat in paraquat dichloride</p> <p>4 sometimes referred to as paraquat cation or paraquat</p> <p>5 di-cation?</p> <p>6 A. Yes.</p> <p>7 Q. Does the "di" in di-cation refer to the</p> <p>8 charge of 2?</p> <p>9 A. Yes.</p> <p>10 Q. For simplicity sake, can we agree when we use</p> <p>11 the term "paraquat cation" today, we're referring to</p> <p>12 the paraquat di-cation that has a charge of plus 2?</p> <p>13 A. Yes.</p> <p>14 Q. In addition to 1 paraquat cation does</p> <p>15 paraquat have 2 chloride anions?</p> <p>16 A. That's right.</p> <p>17 Q. The 2 chloride anions are the dichloride part</p> <p>18 of paraquat dichloride; correct?</p> <p>19 A. Correct.</p> <p>20 Q. Does each of the chloride ions have a charge</p> <p>21 of minus 1?</p> <p>22 A. Yes.</p> <p>23 Q. So paraquat dichloride, or paraquat as we're</p> <p>24 referring to it, is composed of 1 paraquat cation with</p> <p>25 a charge of plus 2 and 2 chloride ions each with a</p> | <p style="text-align: right;">Page 48</p> <p>1 no.</p> <p>2 Q. Were paraquat's herbicidal properties first</p> <p>3 discovered in 1955?</p> <p>4 MR. NARESH: Steve, are you changing topics?</p> <p>5 MR. TILLERY: We're going through it</p> <p>6 chronologically. There will be back and forth on the</p> <p>7 topics.</p> <p>8 MR. NARESH: Can we take a quick break?</p> <p>9 MR. TILLERY: Sure.</p> <p>10 MR. NARESH: 5 minutes?</p> <p>11 THE VIDEOGRAPHER: Going off the record. The</p> <p>12 time is 10:04.</p> <p>13 (Break taken.)</p> <p>14 THE VIDEOGRAPHER: Back on the record. The</p> <p>15 time is 10:15 a.m.</p> <p>16 BY MR. TILLERY:</p> <p>17 Q. Are you ready, sir?</p> <p>18 A. I am.</p> <p>19 Q. Were paraquat's herbicidal properties</p> <p>20 discovered in 1955 as far as you know?</p> <p>21 A. That's what I remember, yes.</p> <p>22 Q. That discovery was made by scientists in</p> <p>23 England at Jealott's Hill laboratories of Imperial</p> <p>24 Chemical Industries Limited; correct?</p> <p>25 A. That is correct.</p> |
| <p style="text-align: right;">Page 47</p> <p>1 charge of minus 1 which together net out to zero or no</p> <p>2 net charge on the molecule as a whole?</p> <p>3 A. That's correct.</p> <p>4 Q. Have I got that right?</p> <p>5 A. You have.</p> <p>6 Q. Is paraquat a solid at room temperature?</p> <p>7 A. You know I don't know the answer to that</p> <p>8 question.</p> <p>9 Q. I'll give you the answer. It's yes.</p> <p>10 A. I thought it was.</p> <p>11 Q. You agree with that?</p> <p>12 A. Yes.</p> <p>13 Q. Is paraquat, like table salt, a crystalline</p> <p>14 solid?</p> <p>15 A. I don't know.</p> <p>16 Q. Is paraquat, like table salt, highly soluble</p> <p>17 in water?</p> <p>18 A. Yes.</p> <p>19 Q. Water dissolves paraquat by breaking the</p> <p>20 ionic bonds between the paraquat cation and the two</p> <p>21 chloride anions; correct?</p> <p>22 A. I think that's correct.</p> <p>23 Q. You would agree -- you don't have any reason</p> <p>24 to disagree?</p> <p>25 A. I don't have any reason to say no to that,</p> | <p style="text-align: right;">Page 49</p> <p>1 Q. Imperial Chemical Industries Limited is a</p> <p>2 mouthful. Can I refer to it as "ICI" which we've been</p> <p>3 using up until this time of the dep?</p> <p>4 A. I'm fine with that.</p> <p>5 Q. You'll know what I mean which I say "ICI"?</p> <p>6 A. I will.</p> <p>7 Q. Is saying that paraquat has herbicidal</p> <p>8 properties just a fancy way of saying that paraquat can</p> <p>9 kill plants?</p> <p>10 A. Yes, that's right, yes.</p> <p>11 Q. In April 1956 did ICI apply in Great Britain</p> <p>12 for a patent on the use of paraquat as a herbicide?</p> <p>13 A. I have no knowledge of that.</p> <p>14 Q. That's something you didn't research?</p> <p>15 A. No.</p> <p>16 Q. Didn't talk to anybody?</p> <p>17 A. No.</p> <p>18 Q. Let me show you Exhibit 4.</p> <p>19 (Exhibit 4 marked for identification.)</p> <p>20 And in 1961 ICI was awarded a United States</p> <p>21 patent of the use of paraquat as a herbicide, wasn't</p> <p>22 it, by reference to Exhibit 4?</p> <p>23 A. This looks to be what that says.</p> <p>24 Q. Okay. Exhibit 4 is U.S. patent reference</p> <p>25 number US 2,972,528. And it has a patent number of</p> |

13 (Pages 46 - 49)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 50</p> <p>1 2,972,528; correct?</p> <p>2 A. I'm not familiar with patent numbers but</p> <p>3 I see that number here.</p> <p>4 Q. Is that dated February 21, 1961?</p> <p>5 A. It is.</p> <p>6 Q. And the patent is titled "Dipyridyl</p> <p>7 Derivatives and Herbicidal Methods in Compositions</p> <p>8 Containing the Same"; correct?</p> <p>9 A. Correct.</p> <p>10 Q. And the inventors assigned that patent to</p> <p>11 Imperial Chemical Industries Limited --</p> <p>12 A. Correct.</p> <p>13 Q. -- London, right?</p> <p>14 A. (Deponent nods).</p> <p>15 Q. That's the company that you started your job</p> <p>16 with?</p> <p>17 A. Yes.</p> <p>18 Q. Did you know any of these inventors?</p> <p>19 A. No.</p> <p>20 Q. Had you ever heard of any of them?</p> <p>21 A. If they are the people in dark type at the</p> <p>22 beginning of this document --</p> <p>23 Q. Yes, correct.</p> <p>24 A. -- then no, I don't, no.</p> <p>25 Q. And this U.S. patent, claimed priority to the</p> | <p style="text-align: right;">Page 52</p> <p>1 Q. You're the principal scientific adviser in</p> <p>2 your job, you're the former head of the paraquat</p> <p>3 division and you can't tell me if you can answer that</p> <p>4 question?</p> <p>5 A. I'm a principal science adviser in product</p> <p>6 safety, which is not the same as being a principal</p> <p>7 science adviser on all aspects regarding paraquat,</p> <p>8 including its chemistry.</p> <p>9 Q. Well let me ask you something. Do you think</p> <p>10 as the head of the scientific division on product</p> <p>11 safety for a product you'd want to know everything</p> <p>12 about the chemical aspects of that product?</p> <p>13 A. First of all you used the term "division" and</p> <p>14 there is no such thing as a division as you describe</p> <p>15 it. So I was heading the health science research team,</p> <p>16 which is specifically about the safety of paraquat.</p> <p>17 And I did not at any time get into the history or the</p> <p>18 detailed chemistry of paraquat and its invention.</p> <p>19 Q. In that job or responsibility did you think</p> <p>20 it might be necessary to understand the detailed</p> <p>21 chemistry of the product to know how it works?</p> <p>22 MR. NARESH: Object to the scope.</p> <p>23 A. The important thing was for me to understand</p> <p>24 what it's mechanism of action is.</p> <p>25 BY MR. TILLERY:</p> |
| <p style="text-align: right;">Page 51</p> <p>1 date of a patent application in Great Britain dated</p> <p>2 April 4, 1956, didn't it?</p> <p>3 A. Yes.</p> <p>4 Q. I'd like to direct your attention to the</p> <p>5 first page, left column, third paragraph, where it says</p> <p>6 "We are aware". Do you see that?</p> <p>7 A. Yes.</p> <p>8 Q. "We are aware that certain of the compounds</p> <p>9 of the above stated formula are known compounds for</p> <p>10 example 4,4'-dipyridyl dimethiodide,</p> <p>11 dimethochloride ..."</p> <p>12 Do you see that one?</p> <p>13 A. Yes.</p> <p>14 Q. Okay. Is 4,4'-dipyridyl dimethochloride</p> <p>15 another name for paraquat dichloride?</p> <p>16 A. I don't know. I'm not such a deep technical</p> <p>17 expert in the chemistry to be able to answer that</p> <p>18 question.</p> <p>19 Q. Do you have any reason to dispute the</p> <p>20 statement I made?</p> <p>21 A. I have no reason to dispute it.</p> <p>22 Q. Just so we're clear, you've been designated</p> <p>23 to talk about these topics about a chemical, primarily</p> <p>24 paraquat; right?</p> <p>25 A. Hm-hmm.</p> | <p style="text-align: right;">Page 53</p> <p>1 Q. You mean redox cycling?</p> <p>2 A. I do.</p> <p>3 Q. And you'd consider yourself an expert in that</p> <p>4 area?</p> <p>5 A. I'm not an expert of redox cycling. I talk</p> <p>6 to people who are more expert and understand that it is</p> <p>7 that redox cycling that leads to its effect.</p> <p>8 Q. Let's say it this way. Can you answer my</p> <p>9 questions on redox cycling?</p> <p>10 A. It depends how detail they become.</p> <p>11 Q. So in other words when it gets to a point</p> <p>12 where you don't want to answer my question, you're</p> <p>13 going to tell me you don't know the answer; right?</p> <p>14 MR. NARESH: Objection: argumentative.</p> <p>15 A. No, I'm not going to do that. I will not</p> <p>16 necessarily know the answer to the questions.</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. All right, let's put it this way. Will you</p> <p>19 do your best in this deposition to try to answer all my</p> <p>20 questions if they're in the realm of areas that you</p> <p>21 prepared for and you should have knowledge of?</p> <p>22 A. Well I think you're suggesting that I should</p> <p>23 have perhaps more knowledge of the chemistry than</p> <p>24 I actually do.</p> <p>25 Q. Do you have a PhD in biochemistry?</p> |

14 (Pages 50 - 53)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 54</p> <p>1 A. Yes. That is not the same as chemistry, so.</p> <p>2 Q. It has the word "chemistry" in there though,</p> <p>3 biochemistry, so you studied some chemistry, didn't you</p> <p>4 to get your PhD?</p> <p>5 A. Really I think in terms of the kind of</p> <p>6 chemistry that we're talking about here, the answer is:</p> <p>7 no.</p> <p>8 Q. The statement in that, if you go back to that</p> <p>9 patent -- sorry, strike that. If you go back to that</p> <p>10 patent on Exhibit 4, the statement in the patent that</p> <p>11 paraquat was a "known compound". Do you see that?</p> <p>12 A. Could you just clarify where this is, please?</p> <p>13 Q. Where I directed you. Okay?</p> <p>14 A. Yes. Yes okay, I'm on that paragraph.</p> <p>15 Q. Means it wasn't a new compound created from</p> <p>16 scratch; is that correct?</p> <p>17 A. That's my understanding of what's written</p> <p>18 there.</p> <p>19 Q. Doesn't the patent describe separately the</p> <p>20 known compounds mentioned in the left column, and some</p> <p>21 new compounds that are mentioned in the right column,</p> <p>22 second paragraph from the bottom, if you look at that?</p> <p>23 MR. NARESH: Objection: scope.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. See the thing where it says:</p> | <p style="text-align: right;">Page 56</p> <p>1 question?</p> <p>2 A. No.</p> <p>3 Q. Are you aware of any documents at Syngenta</p> <p>4 that would help you answer that question?</p> <p>5 A. Not off the top of my head, no.</p> <p>6 Q. Let me ask you, are you aware of any other</p> <p>7 person at Syngenta who could tell us when it was first</p> <p>8 synthesized?</p> <p>9 A. I would have to give that some thought as to</p> <p>10 whether there were people in our chemistry department</p> <p>11 who may be able to do that.</p> <p>12 Q. Does Syngenta have a library?</p> <p>13 A. Not a physical library, no.</p> <p>14 Q. It has one electronically, right?</p> <p>15 A. Yes.</p> <p>16 Q. And it's massive, isn't it?</p> <p>17 A. I would -- yes it is, yes.</p> <p>18 Q. And you have access to it?</p> <p>19 A. I have access to some aspects of it, yes, not</p> <p>20 all.</p> <p>21 Q. The science part of it?</p> <p>22 A. Sure.</p> <p>23 Q. Did you consult that library to answer these</p> <p>24 questions?</p> <p>25 A. I did not consult with regard to the specific</p> |
| <p style="text-align: right;">Page 55</p> <p>1 "Thus according to a further feature of the</p> <p>2 invention we provide new compounds" of the formula?</p> <p>3 A. Yes, I see that.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. That reads:</p> <p>6 "Thus according to a further feature of the</p> <p>7 invention we provide new compounds ..."</p> <p>8 Followed by a formula.</p> <p>9 A. Yes.</p> <p>10 Q. Paraquat was first synthesized, meaning made,</p> <p>11 in a laboratory in the 19 -- sorry, in the 1880s,</p> <p>12 wasn't it?</p> <p>13 A. I don't know.</p> <p>14 MR. NARESH: Objection to scope.</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. In preparing to testify for Syngenta on the</p> <p>17 designated topics, did you make any attempt to obtain</p> <p>18 information that would answer that question?</p> <p>19 A. No.</p> <p>20 Q. Did you search any documents or data to --</p> <p>21 that was available to you for information that might</p> <p>22 answer that question?</p> <p>23 A. No.</p> <p>24 Q. Did you ask anybody for information,</p> <p>25 documents or data that might help you answer that</p> | <p style="text-align: right;">Page 57</p> <p>1 questions that you're now addressing.</p> <p>2 Q. Paraquat in its chemical properties had been</p> <p>3 known to the scientific community for decades before</p> <p>4 ICI began investigating its potential for use as a</p> <p>5 herbicide; is that correct?</p> <p>6 MR. NARESH: Objection to scope.</p> <p>7 A. I'm not able to answer that question</p> <p>8 accurately.</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. You just don't know the answer?</p> <p>11 A. I just don't know, no.</p> <p>12 Q. Do you know of anybody at Syngenta who could</p> <p>13 answer it?</p> <p>14 A. Not off the top of my head. I would have to</p> <p>15 give that some thought.</p> <p>16 Q. Well, would you be able to answer this</p> <p>17 question. Chemists knew of paraquat and its chemical</p> <p>18 properties long before the 1950s?</p> <p>19 MR. NARESH: Objection to scope.</p> <p>20 A. Could you -- what was the specific question</p> <p>21 for me there?</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. Chemists knew about paraquat and its chemical</p> <p>24 properties long before the mid-1950s, didn't they?</p> <p>25 A. Well that's evident, yes, yes.</p> |

15 (Pages 54 - 57)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 58</p> <p>1 Q. Biological chemists knew it too?</p> <p>2 A. I don't know.</p> <p>3 Q. Did plant biologists know that?</p> <p>4 A. I don't know.</p> <p>5 Q. Did animal biologists know that?</p> <p>6 A. I don't know.</p> <p>7 Q. Plant physiologists?</p> <p>8 A. I don't know.</p> <p>9 Q. For what purposes did scientists use paraquat</p> <p>10 before ICI began investigating its potential as a</p> <p>11 herbicide?</p> <p>12 MR. NARESH: Objection to scope.</p> <p>13 A. I don't know.</p> <p>14 BY MR. TILLERY:</p> <p>15 Q. Were you aware that scientists had used</p> <p>16 paraquat to catalyze the formation of reactive oxygen</p> <p>17 species before ICI began investigating its potential</p> <p>18 for use as a herbicide?</p> <p>19 MR. NARESH: Objection to scope.</p> <p>20 A. I only have some very broad and vague</p> <p>21 recollections of people telling me about that kind of</p> <p>22 history.</p> <p>23 BY MR. TILLERY:</p> <p>24 Q. Go ahead and try to give us that answer?</p> <p>25 A. I'm not able to. It is so vague that I don't</p> | <p style="text-align: right;">Page 60</p> <p>1 here, then it would be probably 1,000 or 2,000.</p> <p>2 Q. 2,000 chemists and you were the person they</p> <p>3 selected to answer my questions about chemistry. So</p> <p>4 you have 2,000 of you, and how many PhDs?</p> <p>5 A. I couldn't answer that question.</p> <p>6 Q. And you're telling me that you can't answer</p> <p>7 these questions, right?</p> <p>8 A. I was given no preparation or indication that</p> <p>9 I needed to get into the detail of the chemistry of</p> <p>10 paraquat.</p> <p>11 Q. So you know the lawsuit has been going on two</p> <p>12 years or so, two and a half years. And have you read</p> <p>13 the complaint?</p> <p>14 A. Yes.</p> <p>15 Q. Okay. You know that we went through in great</p> <p>16 detail the chemical properties of paraquat in the</p> <p>17 complaint, didn't we?</p> <p>18 A. Yes.</p> <p>19 Q. You read about the redox cycling, you read</p> <p>20 about all the chemical principles, you understood that</p> <p>21 this case involved those issues, didn't you?</p> <p>22 A. I certainly did, yeah.</p> <p>23 Q. Before you got here today, didn't you?</p> <p>24 A. Yes.</p> <p>25 Q. And yet you tell me you as the head of the</p> |
| <p style="text-align: right;">Page 59</p> <p>1 think any answer would be useful.</p> <p>2 Q. What does the term "reactive oxygen species"</p> <p>3 mean?</p> <p>4 A. It means a generation of oxygen radicals</p> <p>5 which have the potential to create biological damage.</p> <p>6 Q. Do you know of the features of paraquat in</p> <p>7 terms of reactive oxygen species?</p> <p>8 A. Paraquat because of its redox cycling</p> <p>9 capability can in turn generate reactive oxygen</p> <p>10 species.</p> <p>11 Q. In what kinds of experiments did scientists</p> <p>12 use paraquat to catalyze the formation of reactive</p> <p>13 oxygen species?</p> <p>14 A. I don't know.</p> <p>15 Q. Do you know anybody at Syngenta -- the whole</p> <p>16 operation, how many people are there: 28,000?</p> <p>17 A. Today there are 28,000 in Syngenta.</p> <p>18 Q. And how many scientists?</p> <p>19 A. I couldn't give you a number.</p> <p>20 Q. Do you have an estimate?</p> <p>21 A. It depends how you define scientist.</p> <p>22 Q. Well you make the definition and then answer</p> <p>23 it?</p> <p>24 A. If you're talking about people who are in</p> <p>25 chemistry for example, which may be most appropriate</p> | <p style="text-align: right;">Page 61</p> <p>1 science team globally cannot answer these preliminary</p> <p>2 questions about chemistry?</p> <p>3 MR. NARESH: Objection: argumentative.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. Is that correct?</p> <p>6 A. Well that's correct because I don't have --</p> <p>7 I was not involved in that history and a knowledge of</p> <p>8 that history was not in its entirety relevant to the</p> <p>9 product safety issues that I was responsible for.</p> <p>10 Q. So you think because you don't know the</p> <p>11 answer it's not relevant to product safety?</p> <p>12 A. No, I said that not all aspects that you're</p> <p>13 exploring with me, other than its mode of toxicity if</p> <p>14 you like, its mode of action which includes what we</p> <p>15 were exploring a moment ago about reactive oxygen</p> <p>16 species.</p> <p>17 Q. Let me ask you. When you're in the business</p> <p>18 of manufacturing a chemical like paraquat do you think</p> <p>19 it's the duty or obligation of the manufacturer and its</p> <p>20 global head of science research to understand every</p> <p>21 facet of the chemical properties of that product?</p> <p>22 MR. NARESH: Objection to scope.</p> <p>23 A. The company and its research group as a whole</p> <p>24 did that. But individual scientists have pockets of</p> <p>25 knowledge within that greater scope.</p> |

16 (Pages 58 - 61)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 62</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Do you even know if the answers to these</p> <p>3 questions I'm asking you right now relate to the health</p> <p>4 science aspects of paraquat?</p> <p>5 A. I know that its ability for example to cause</p> <p>6 toxicity through the generation of reactive oxygen</p> <p>7 species is pertinent to what we're talking about today.</p> <p>8 Q. Do you understand that what ICI knew about</p> <p>9 the mode of action of the chemical -- strike that.</p> <p>10 Do you understand when ICI knew about the</p> <p>11 mode of action of the chemical?</p> <p>12 A. I don't know when, no.</p> <p>13 Q. Do you know the kinds of experiments</p> <p>14 scientists used -- strike that. In what kind of</p> <p>15 experiments did scientists use paraquat as an oxidation</p> <p>16 reduction indicator before ICI began investigating its</p> <p>17 use as a herbicide?</p> <p>18 MR. NARESH: Objection to scope.</p> <p>19 A. I don't know that.</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. Is oxidation reduction a type of chemical</p> <p>22 reaction?</p> <p>23 A. It is.</p> <p>24 Q. Is redox commonly used as a shorthand for</p> <p>25 oxidation reduction?</p> | <p style="text-align: right;">Page 64</p> <p>1 first recognized the redox cycling properties of</p> <p>2 paraquat would be useful and important to you to</p> <p>3 understand its safety?</p> <p>4 MR. NARESH: Objection to form and scope.</p> <p>5 A. It was never evident to me that it was</p> <p>6 important to go back into the history of how that was</p> <p>7 first understood. We were using the knowledge of that</p> <p>8 as part of our research, not the history of how that</p> <p>9 was discovered.</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. Let me just ask the questions a different</p> <p>12 way. Because you're going to tell me you have no idea</p> <p>13 about the content of the study or this publication,</p> <p>14 right?</p> <p>15 A. Correct.</p> <p>16 Q. The first publication ever of paraquat in a</p> <p>17 scientific journal or article in 1933 you have no idea</p> <p>18 about it, right?</p> <p>19 A. No, I have not.</p> <p>20 MR. NARESH: Objection to form.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. And it's not in their library, or at least if</p> <p>23 it is in the Syngenta library you've never looked for</p> <p>24 it?</p> <p>25 A. I absolutely have not looked for it.</p> |
| <p style="text-align: right;">Page 63</p> <p>1 A. That's one definition, yes.</p> <p>2 (Exhibit 5 marked for identification.)</p> <p>3 Q. I've handed you Exhibit 5. Can you identify</p> <p>4 it?</p> <p>5 A. This is a publication entitled "The Viologen</p> <p>6 Indicators".</p> <p>7 Q. Have you ever read this before?</p> <p>8 A. I have not.</p> <p>9 Q. Do you know what it even is?</p> <p>10 A. I do not and I'm afraid I've not ever read it</p> <p>11 before.</p> <p>12 Q. Did you just tell me less than 5 minutes</p> <p>13 before that you read and researched the mode of action</p> <p>14 of paraquat?</p> <p>15 A. No, I said that I was aware of the relevance</p> <p>16 of its properties to redox cycle and generate reactive</p> <p>17 oxygen species to its potential toxicity.</p> <p>18 Q. And were you aware that this scientific</p> <p>19 article published in 1933?</p> <p>20 A. No, I was not aware of that.</p> <p>21 Q. Did you know that this dealt directly with</p> <p>22 redox cycling?</p> <p>23 A. No, I did not.</p> <p>24 Q. Would you think that going back and</p> <p>25 researching and analyzing how the scientific community</p> | <p style="text-align: right;">Page 65</p> <p>1 Q. And you've never had a discussion with any</p> <p>2 other of the 2,000 chemists about this article, this</p> <p>3 paper, right?</p> <p>4 A. No.</p> <p>5 Q. Let me represent to you that the -- we refer</p> <p>6 to as the Michaelis, because that's the author, by</p> <p>7 L Michaelis and Edgar S. Hill, do you see that?</p> <p>8 A. I do.</p> <p>9 Q. Accepted for publication April 7, 1933?</p> <p>10 A. (Deponent nods).</p> <p>11 Q. And that was in the Journal of General</p> <p>12 Physiology. Let me just represent to you, sir, that</p> <p>13 the Michaelis paper reported the results of studies</p> <p>14 measuring the redox potential and determining the</p> <p>15 optical properties of the compounds it referred to as</p> <p>16 viologen indicators?</p> <p>17 A. Mm-hmm.</p> <p>18 Q. What is a viologen indicator?</p> <p>19 A. It's a term that I'm very vaguely familiar</p> <p>20 with. I couldn't define it.</p> <p>21 Q. Do you know whether an understanding what a</p> <p>22 viologen indicator is would be important to</p> <p>23 understanding the health science components of</p> <p>24 paraquat?</p> <p>25 A. I would not really believe that understanding</p> |

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 66</p> <p>1 as specific of what viologen indicators relevance was, 2 as I've said, understanding that the property in terms 3 of redox cycling is important. 4 Q. What's an indicator in chemistry? 5 A. It's a re-agent that is able to demonstrate 6 that a chemical reaction has occurred. 7 Q. And what's a viologen? 8 A. I'm not sure what a viologen is actually. 9 Q. Can you take a look at the paper for a 10 second, sir, and see at least the scope of what the 11 study was. Have you glanced through it at least? 12 A. Yeah. 13 Q. I know this hasn't given you a time to study 14 it, but you've glanced through it enough to understand 15 what it's about? 16 A. In very, very broad terms. But this a 17 detailed chemistry publication and I repeat I'm not 18 a chemist. 19 Q. Was ICI aware of this paper when it was 20 investigating paraquat for use as a herbicide? 21 MR. NARESH: Objection to scope. 22 A. I don't know. 23 BY MR. TILLERY: 24 Q. Should it have been? 25 MR. NARESH: Objection to scope.</p> | <p style="text-align: right;">Page 68</p> <p>1 measure the redox potential of other chemical 2 compounds, didn't he? 3 MR. NARESH: Same objections. 4 A. That's again my understanding from that 5 brief. 6 BY MR. TILLERY: 7 Q. And he determined and reported on its optical 8 properties so scientists could use it as an indicator 9 of redox reactions, didn't he? 10 MR. NARESH: Same objections. 11 A. Again that's my understanding, yes. 12 BY MR. TILLERY: 13 Q. And if you would look at Exhibit 5, the same 14 exhibit, at 859, the very first sentence. Could you 15 read that into the record? 16 A. "The quaternary bases derived from 17 y,y'-dipyridyl have proven to be useful as 18 oxidation-reduction indicators of properties very 19 desirable for biological purposes, especially because 20 their potential range is very negative, under certain 21 conditions more negative than that of any member of the 22 series of indicators worked out by W.M. Clark and his 23 associates (1) and supplemented by various other 24 authors." 25 Q. Okay, what does that mean to you?</p> |
| <p style="text-align: right;">Page 67</p> <p>1 A. It certainly should have been and I imagine 2 it was, but I have no direct evidence that that was the 3 case. 4 BY MR. TILLERY: 5 Q. Would you agree with me before you started 6 selling this product as a herbicide you would want to 7 know about that paper? 8 A. I think that that is very likely to have been 9 the case. 10 Q. You would agree with me then that that would 11 be important, right? 12 A. I think it's obviously part of the -- an 13 important part of the history of the molecule, 14 certainly. 15 Q. Right. So paraquat, or methyl viologen as 16 Michaelis refers to it in this paper, was one of the 17 viologen indicators whose redox potential and optical 18 properties he studied and reported on in this 1933 19 paper; correct? 20 MR. NARESH: Objection: foundation; scope. 21 A. That's what I've quickly ascertained, yes. 22 BY MR. TILLERY: 23 Q. And Michaelis measured and reported the 24 results of studies measuring paraquat's redox potential 25 so scientists could use it as a yardstick in studies to</p> | <p style="text-align: right;">Page 69</p> <p>1 MR. NARESH: Objection: scope; foundation. 2 A. That these bases have interesting properties 3 that would be of value in the way in which you 4 described a few moments ago. 5 BY MR. TILLERY: 6 Q. "For biological purposes", do you see that? 7 A. Yes. 8 Q. What does that mean? 9 MR. NARESH: Objection: foundation; scope. 10 A. I don't know specifically what they had in 11 mind by saying that at this point in the paper. 12 BY MR. TILLERY: 13 Q. What does it generally mean biological 14 purposes? "Very desirable for biological purposes", 15 what does that mean? 16 A. Well that would normally mean that it would 17 have utility in some way in understanding biological 18 processes or helping to understand biological 19 mechanism. 20 Q. The viologen indicators Michaelis studied are 21 the same as "The quaternary basis derived in 22 y,y'-dipyridyl" that this sentence refers to, aren't 23 they? 24 MR. NARESH: Objection: foundation; scope. 25 A. Yes.</p> |

18 (Pages 66 - 69)

CONFIDENTIAL

| | |
|---|--|
| <p align="right">Page 70</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. And one of them is paraquat?</p> <p>3 A. Yes.</p> <p>4 Q. And "their potential range is very negative",</p> <p>5 that quote in the sentence means the viologen</p> <p>6 indicators Michaelis studied, including paraquat, have</p> <p>7 a very high potential to undergo, doesn't it?</p> <p>8 MR. NARESH: Can I have a standing objection</p> <p>9 to this line of questioning on scope and foundation?</p> <p>10 MR. TILLERY: Of course.</p> <p>11 BY MR. TILLERY:</p> <p>12 Q. I can restate it because of the question?</p> <p>13 A. Please do. Please restate.</p> <p>14 Q. I will. I'll restate it. The quote is:</p> <p>15 "Their potential range is very negative" in</p> <p>16 this sentence means the viologen indicators Michaelis</p> <p>17 studied, including paraquat, have a very high potential</p> <p>18 to undergo redox cycling, doesn't it?</p> <p>19 A. That's my understanding, correct.</p> <p>20 Q. Paraquat has a very high potential to</p> <p>21 participate in redox reactions, doesn't it?</p> <p>22 A. It does.</p> <p>23 Q. What color is paraquat?</p> <p>24 A. I don't know. Again I'm sure it depends on</p> <p>25 whether it's salt or the cation. I actually can't give</p> | <p align="right">Page 72</p> <p>1 A. It does.</p> <p>2 Q. Is paraquat monocation also known as paraquat</p> <p>3 radical?</p> <p>4 A. That's correct, yes.</p> <p>5 Q. For simplicity and consistency sake, can we</p> <p>6 agree to refer to paraquat monocation as paraquat</p> <p>7 radical for purposes of this deposition?</p> <p>8 A. Yes.</p> <p>9 Q. What happens to the color of paraquat when</p> <p>10 paraquat cation is reduced to paraquat radical?</p> <p>11 MR. NARESH: Objection: foundation.</p> <p>12 A. I don't know.</p> <p>13 BY MR. TILLERY:</p> <p>14 Q. If I told you it changed to a violet or deep</p> <p>15 blue color would you have any reason to dispute my</p> <p>16 basic chemistry understanding?</p> <p>17 MR. NARESH: Objection: scope.</p> <p>18 A. No reason to dispute that.</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. So far have I been okay, as far as you know</p> <p>21 about your chemistry background and my chemistry</p> <p>22 questions?</p> <p>23 A. As I said before, I'm not an expert in</p> <p>24 chemistry or even in the specifics of this chemistry,</p> <p>25 so I'm not able to dispute what you've said so far.</p> |
| <p align="right">Page 71</p> <p>1 you an answer off the top of my head.</p> <p>2 Q. You think there's anybody in the company, the</p> <p>3 28,000-member company that could tell me what color the</p> <p>4 product of paraquat is? And by the way, how long have</p> <p>5 you been working with this? Since 1955, the company</p> <p>6 has?</p> <p>7 A. Yes.</p> <p>8 Q. Is there anybody there you could think of</p> <p>9 that could answer that question?</p> <p>10 A. There would be. Again, I wouldn't be able to</p> <p>11 give you a name off the top of my head.</p> <p>12 Q. Okay. And paraquat can undergo a redox</p> <p>13 reaction in which the charge on the paraquat cation is</p> <p>14 reduced from plus 2 to plus 1, can't it?</p> <p>15 A. Yes.</p> <p>16 Q. Paraquat's very high redox potential means</p> <p>17 that this reaction occurs very readily and very quickly</p> <p>18 if a suitable re-agent is present, doesn't it.</p> <p>19 A. That's correct, yes.</p> <p>20 Q. The result of reducing the charge on the</p> <p>21 paraquat cation from plus 2 to plus 1 is the paraquat</p> <p>22 monocation; correct?</p> <p>23 A. Yes.</p> <p>24 Q. And does the "mono" in monocation refer to</p> <p>25 the charge of 1?</p> | <p align="right">Page 73</p> <p>1 Q. Is this change in color how paraquat got the</p> <p>2 name methyl viologen?</p> <p>3 A. I don't know but from what I describe it, it</p> <p>4 would suggest that may be the case.</p> <p>5 Q. Is paraquat radical what is sometimes</p> <p>6 referred to as a free radical?</p> <p>7 A. Yes.</p> <p>8 Q. Free radicals are unstable highly reactive</p> <p>9 molecules, aren't they?</p> <p>10 A. They are.</p> <p>11 Q. Can paraquat react -- strike that. Can</p> <p>12 paraquat radical undergo a redox reaction in which it's</p> <p>13 oxidized back to paraquat cation?</p> <p>14 A. Yes.</p> <p>15 Q. What happens to the color of paraquat when</p> <p>16 paraquat radical is oxidized back to paraquat cation?</p> <p>17 MR. NARESH: Objection: foundation; scope.</p> <p>18 A. Again, I don't know. I'm not an expert in</p> <p>19 that.</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. If I told you it went back to its original</p> <p>22 color, colorless, white or offwhite, would you have</p> <p>23 any reason to dispute any statement?</p> <p>24 MR. NARESH: Objection: same objections.</p> <p>25 A. No reason to dispute that.</p> |

19 (Pages 70 - 73)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 74</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. All right. Can paraquat radical undergo a</p> <p>3 redox reaction in which it's further reduced to an</p> <p>4 uncharged state?</p> <p>5 A. Yes.</p> <p>6 Q. What color is paraquat that's been reduced to</p> <p>7 an uncharged state?</p> <p>8 MR. NARESH: Same objections.</p> <p>9 A. Again, I don't know.</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. If I told you it was yellow would you have</p> <p>12 any reason to dispute that?</p> <p>13 A. No.</p> <p>14 Q. That paraquat changes color depending on</p> <p>15 whether it has a charge of 2, 1 or zero is one of the</p> <p>16 properties that makes it useful as a redox indicator,</p> <p>17 isn't it?</p> <p>18 A. That's right, yes.</p> <p>19 Q. When paraquat undergoes a redox reaction in</p> <p>20 which paraquat cation is reduced to paraquat radical,</p> <p>21 the reduction refers to the decrease in charge from</p> <p>22 plus 2 to plus 1; correct?</p> <p>23 A. Correct.</p> <p>24 Q. That decrease in charge from plus 2 to plus 1</p> <p>25 occurs because paraquat gains an electron in that redox</p> | <p style="text-align: right;">Page 76</p> <p>1 Q. In the presence of molecular oxygen, or O₂,</p> <p>2 does paraquat radical undergo a redox reaction in which</p> <p>3 its oxidized back to paraquat cation?</p> <p>4 A. Yes.</p> <p>5 Q. In that reaction O₂ is the oxidant that takes</p> <p>6 an electron and paraquat radical is the reductant that</p> <p>7 loses one?</p> <p>8 A. Yes.</p> <p>9 Q. So one of the products of a redox reaction</p> <p>10 between paraquat radical and O₂ is paraquat cation?</p> <p>11 A. Yes.</p> <p>12 Q. And the other product of that reaction, the</p> <p>13 result of the reduction of O₂ is O₂ minus; correct?</p> <p>14 A. Yes.</p> <p>15 Q. Is O₂ minus also known as superoxide and</p> <p>16 superoxide radical?</p> <p>17 A. It is.</p> <p>18 Q. Is superoxide a free radical?</p> <p>19 A. Yes.</p> <p>20 Q. Is superoxide a reactive oxygen species?</p> <p>21 A. That's my understanding that they're</p> <p>22 synonymous.</p> <p>23 Q. And you described what a reactive oxygen</p> <p>24 species is. Can you give me the definition that you</p> <p>25 would use for that?</p> |
| <p style="text-align: right;">Page 75</p> <p>1 reaction, doesn't it?</p> <p>2 A. Yes.</p> <p>3 Q. And because an electron has a charge of minus</p> <p>4 1, gaining an electron reduces the charge on the</p> <p>5 paraquat ion from plus 2 to plus 1; correct?</p> <p>6 A. Yes.</p> <p>7 Q. The electron paraquat gains when it's reduced</p> <p>8 in a redox reaction is taken from another molecule;</p> <p>9 correct?</p> <p>10 A. Yes.</p> <p>11 Q. In taking an electron from another molecule</p> <p>12 in a redox reaction, is paraquat acting as what</p> <p>13 chemists and biologists refer to as an oxidant?</p> <p>14 A. Yes.</p> <p>15 Q. And the other molecule, the one losing an</p> <p>16 electron to paraquat, is acting as a reductant?</p> <p>17 A. That's right.</p> <p>18 Q. So an oxidation reduction, or redox reaction,</p> <p>19 is a reaction where an electron is transferred from a</p> <p>20 reductant to an oxidant?</p> <p>21 A. Yes.</p> <p>22 Q. And we say that an oxidant is reduced and the</p> <p>23 reductant -- strike that. And we say that the oxidant</p> <p>24 is reduced and the reductant is oxidized?</p> <p>25 A. Yes.</p> | <p style="text-align: right;">Page 77</p> <p>1 A. It's reactive oxygen species are not radical.</p> <p>2 That sort is an entity which has the capability of</p> <p>3 interacting with other chemicals or with biological</p> <p>4 systems in a very active manner.</p> <p>5 Q. What other reactive oxygen species are you</p> <p>6 aware of that are important in biological systems?</p> <p>7 A. I couldn't give you an answer to that.</p> <p>8 That's not my area of expertise.</p> <p>9 Q. The difference between the redox potentials</p> <p>10 of paraquat radical and O₂ means that the redox</p> <p>11 reaction between paraquat radical and O₂ that produces</p> <p>12 paraquat cation and O₂ minus occurs very readily and</p> <p>13 very quickly, doesn't it?</p> <p>14 A. It does.</p> <p>15 Q. Is OH minus a reactive species?</p> <p>16 MR. NARESH: Objection: foundation; scope.</p> <p>17 A. I'm not able to answer that.</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. I should have said a reactive oxygen species.</p> <p>20 Would your answer be the same?</p> <p>21 A. It would be the same, yes.</p> <p>22 Q. And would H₂O₂ be a reactive oxygen specie?</p> <p>23 MR. NARESH: Same objection.</p> <p>24 A. Well H₂O₂ is hydrogen peroxide, and that in</p> <p>25 itself is not a reactive oxygen entity.</p> |

20 (Pages 74 - 77)

| | |
|---|---|
| <p style="text-align: right;">Page 78</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Can the paraquat cation the results from a</p> <p>3 redox reaction between paraquat radical and O2 undergo</p> <p>4 again the first redox reaction we discussed in which</p> <p>5 its reduced to paraquat radical?</p> <p>6 A. Yes.</p> <p>7 Q. The cycle of the reduction of paraquat cation</p> <p>8 to paraquat radical in one redox reaction and the</p> <p>9 oxidation of paraquat radical back to paraquat cation</p> <p>10 in a second redox reaction will continue forever if</p> <p>11 both a reductant to participate in the first reaction</p> <p>12 and O2 -- withdraw the question. [Asked to repeat.]</p> <p>13 Let me start over. We'll withdraw the</p> <p>14 question. The cycle of reduction of paraquat cation to</p> <p>15 paraquat radical in one redox reaction and the</p> <p>16 oxidation of paraquat radical back to paraquat cation</p> <p>17 in a second redox reaction will continue forever if</p> <p>18 both a reductant to participate in the first reaction</p> <p>19 and O2 to participate in the second reaction are</p> <p>20 present, one?</p> <p>21 A. I believe that's correct, yes.</p> <p>22 Q. Is the sequence of redox reactions that</p> <p>23 transforms paraquat cation to paraquat radical and</p> <p>24 paraquat radical back to paraquat cation an example of</p> <p>25 what's called redox cycling?</p> | <p style="text-align: right;">Page 80</p> <p>1 MR. NARESH: Same objections.</p> <p>2 A. I imagine that the case.</p> <p>3 BY MR. TILLERY:</p> <p>4 Q. Biological chemists as well?</p> <p>5 A. I'm sure that would be the case.</p> <p>6 Q. Plant biologists as well?</p> <p>7 A. Clearly it became something of importance to</p> <p>8 plant, yes, to plant biologists.</p> <p>9 Q. And animal biologists?</p> <p>10 A. I don't know whether at the time you're</p> <p>11 describing that that was something that was brought to</p> <p>12 the attention of animal biologists.</p> <p>13 Q. Would it be important to plant physiologists?</p> <p>14 A. It would be important to, if one were trying</p> <p>15 to invent herbicides to interfere with the normal</p> <p>16 functioning of plants.</p> <p>17 Q. What kinds of studies did scientists do</p> <p>18 between the 1930s and the 1950s for which paraquat was</p> <p>19 useful as a redox indicator?</p> <p>20 MR. NARESH: Objection: scope and foundation.</p> <p>21 A. I don't.</p> <p>22 MR. TILLERY: I'll agree to a continued</p> <p>23 objection.</p> <p>24 MR. NARESH: Okay, that's fine.</p> <p>25 MR. TILLERY: I'm just concerned about the</p> |
| <p style="text-align: right;">Page 79</p> <p>1 A. It is.</p> <p>2 Q. Paraquat has a very high potential to undergo</p> <p>3 redox cycling in the presence of a suitable reductant</p> <p>4 and oxygen, doesn't it?</p> <p>5 A. It does.</p> <p>6 Q. Going back to the beginning of the</p> <p>7 introduction of Michaelis paper. Does viologen</p> <p>8 indicators being useful as redox indicators, quote -</p> <p>9 referring to that article -- "of properties very</p> <p>10 desirable for biological purposes" mean they were</p> <p>11 useful as indicators in the kinds of studies scientists</p> <p>12 did in the early to mid-20th century?</p> <p>13 MR. NARESH: Objection: scope; foundation.</p> <p>14 A. Can you define by what you mean by scientists</p> <p>15 in the early 20th century for me?</p> <p>16 BY MR. TILLERY:</p> <p>17 Q. Scientists who were working in the early to</p> <p>18 mid-20th centuries who were chemists, biochemists, was</p> <p>19 it important to them?</p> <p>20 A. Well, I assume it was, yes.</p> <p>21 MR. NARESH: Just for the record, same</p> <p>22 objection to the question.</p> <p>23 BY MR. TILLERY:</p> <p>24 Q. Were these same features useful as indicators</p> <p>25 in studies chemists did?</p> | <p style="text-align: right;">Page 81</p> <p>1 overlap.</p> <p>2 MR. NARESH: I don't want to talk over you.</p> <p>3 So maybe if you just give me a moment to chime in with</p> <p>4 an objection if necessary. But I'll accept your offer</p> <p>5 of a standing objection and I'll only object if I have</p> <p>6 a different ground for this line of questioning.</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. Did ICI scientists do studies between the</p> <p>9 1930s and the 1950s in which paraquat was useful as a</p> <p>10 redox indicator?</p> <p>11 A. I don't know.</p> <p>12 Q. Do you know if paraquat was used before the</p> <p>13 1950s to catalyze the formation of reactive oxygen</p> <p>14 species including superoxide radical?</p> <p>15 A. I don't have a knowledge of that history.</p> <p>16 Q. Do you know if it was useful for that purpose</p> <p>17 because of its high potential to undergo redox cycling?</p> <p>18 A. As I don't know the history, it would be an</p> <p>19 assumption in answering that question.</p> <p>20 Q. Paraquat is highly toxic to plants, isn't it?</p> <p>21 A. It is.</p> <p>22 Q. Did ICI screen chemical compounds at</p> <p>23 Jealott's Hill to identify compounds that were</p> <p>24 potentially useful as herbicides?</p> <p>25 A. They did and they still do.</p> |

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 82</p> <p>1 Q. How many years have they been doing that at 2 Jealott's Hill, CTL or any other place? 3 A. At Jealott's Hill. Not at CTL. And I don't 4 know -- I can't give you a date when that herbicide 5 discovery process started. I don't have that date in 6 my head. 7 Q. How long has Jealott's Hill been in 8 existence? 9 A. 90 years. 10 Q. Weren't there tens of thousands of chemical 11 compounds known to the scientific community by the 12 1950s? 13 A. Yes. 14 Q. Screening chemical compounds to investigate 15 their potential usefulness as herbicides took time and 16 cost a lot of money, didn't it? 17 A. Yes. 18 Q. Given the time and expense that must have 19 been involved, how did ICI decide which chemical 20 compounds to screen for in terms of identifying 21 compounds that might be useful to kill plants? 22 A. Again, I don't have a history of that so 23 I couldn't give you a definitive list of criterion. 24 Q. What characteristics or properties did 25 paraquat have that led ICI to investigate whether it</p> | <p style="text-align: right;">Page 84</p> <p>1 A. Yes. 2 Q. You understood that was included in this mode 3 of action? 4 A. Yes. 5 Q. And you studied that? 6 A. I was aware of this mode of action in order 7 to understand its potential relevance to human biology. 8 Q. ICI knew before it decided to investigate 9 paraquat's potential use as a herbicide that oxygen is 10 plentiful in plant cells, didn't it? 11 A. Yes. 12 Q. ICI knew before it decided to investigate 13 paraquat's potential use as a herbicide that green 14 plant cells take energy from sunlight and store it by 15 transforming carbon dioxide and water into food; didn't 16 it? 17 MR. NARESH: Objection: scope; foundation. 18 A. Yes. 19 BY MR. TILLERY: 20 Q. Is this process called photosynthesis? 21 A. It is. 22 Q. Oxygen is a byproduct of photosynthesis, 23 isn't it? 24 A. It is. 25 Q. Does photosynthesis occur in a part of green</p> |
| <p style="text-align: right;">Page 83</p> <p>1 might kill plants effectively and otherwise be useful 2 as a herbicide? 3 MR. NARESH: I'll object on scope to this 4 line of questioning as well. A standing objection 5 okay? 6 MR. TILLERY: Yes. 7 A. Well again I would speculate only on that. 8 So its properties clearly would have some relevance in 9 terms of the way in which plants rely on oxygen and so 10 on and rely on that mechanism, and therefore there was 11 the potential it could interfere with normal physiology 12 of plants. 13 BY MR. TILLERY: 14 Q. Any more? 15 A. I don't have any more detail on that. 16 Q. Did ICI investigate paraquat's potential use 17 as a herbicide because of its very high potential to 18 repeatedly undergo redox cycling and produce large 19 amounts of superoxide radical? 20 A. I don't know whether that was a specific 21 issue. 22 Q. Are you aware of whether or not they knew 23 that? 24 A. I'm sure they were aware of it, yes. 25 Q. They knew that that's how it worked?</p> | <p style="text-align: right;">Page 85</p> <p>1 plant cells called chloroplast? 2 A. It does. 3 Q. Is photosynthesis a series of electron 4 transfer reactions? 5 A. It is. 6 Q. Is a series of electron transfer reactions in 7 photosynthesis referred to as the electron transport 8 system? 9 A. That's right. 10 Q. ICI knew how photosynthesis worked before it 11 decided to investigate paraquat's potential use as a 12 herbicide, didn't it? 13 A. I'm sure it did. 14 Q. Does paraquat inhibit photosynthesis in plant 15 cells? 16 A. It does. 17 Q. Paraquat inhibits photosynthesis in plant 18 cells through redox cycling, doesn't it? 19 A. It does. 20 Q. The redox cycling of paraquat in plant cells 21 produces superoxide radical, doesn't it? 22 A. Yes. 23 Q. ICI knew paraquat was likely to undergo redox 24 cycling and produce superoxide radical in plant cells 25 before it decided to investigate paraquat's potential</p> |

22 (Pages 82 - 85)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 86</p> <p>1 use as a herbicide, didn't it?</p> <p>2 MR. NARESH: Objection: scope; foundation.</p> <p>3 A. Again I would assume that it did, but no</p> <p>4 direct evidence of that.</p> <p>5 BY MR. TILLERY:</p> <p>6 Q. But that's perfectly logical that they would</p> <p>7 have known it?</p> <p>8 A. It's very logical, I agree.</p> <p>9 Q. It knew, that is ICI knew, that paraquat was</p> <p>10 likely to undergo redox cycling and produce superoxide</p> <p>11 radical in plant cells because: 1) it knew about</p> <p>12 paraquat's very high potential to undergo redox cycling</p> <p>13 in the presence of molecular oxygen and a suitable</p> <p>14 reductant; and 2) because it knew that photosynthesis</p> <p>15 works through an electron transport chain that involves</p> <p>16 a reductant and generates oxygen, right?</p> <p>17 MR. NARESH: Same objections.</p> <p>18 A. Yes, correct.</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. If you wouldn't mind. He spoke -- he made</p> <p>21 the objection. And the answer is "yes"?</p> <p>22 A. Right. Yes it is, yes.</p> <p>23 MR. NARESH: And I don't want to speak over</p> <p>24 either of you, so if you would just give me one second</p> <p>25 so that if I have an objection I can make it.</p> | <p style="text-align: right;">Page 88</p> <p>1 Q. Paraquat cation taking an electron from</p> <p>2 ferredoxin is the first type of redox reaction we</p> <p>3 discussed earlier with paraquat cation as the oxidant</p> <p>4 and ferredoxin as the reductant; correct?</p> <p>5 A. Right, yes, that's correct.</p> <p>6 Q. That reaction, the transfer of an electron</p> <p>7 from ferredoxin to paraquat cation reduces paraquat</p> <p>8 cation to paraquat radical?</p> <p>9 A. Right.</p> <p>10 Q. And this happens in a chloroplast where</p> <p>11 oxygen is present, doesn't it?</p> <p>12 A. Right.</p> <p>13 Q. So paraquat radical then losses an electron</p> <p>14 to oxygen doesn't it?</p> <p>15 A. Yes.</p> <p>16 Q. Paraquat radical losing an electron to oxygen</p> <p>17 is the second type of redox reaction we discussed</p> <p>18 earlier where oxygen is the oxidant and paraquat</p> <p>19 radical is the reductant; correct?</p> <p>20 A. Yes.</p> <p>21 Q. And as we discussed earlier, the products of</p> <p>22 that reaction are paraquat cation and superoxide</p> <p>23 radical, aren't they?</p> <p>24 A. Yes.</p> <p>25 Q. The regenerated paraquat cation this reaction</p> |
| <p style="text-align: right;">Page 87</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. In summary, ICI knew in the mid-1950s before</p> <p>3 it decided to investigate paraquat's potential use as a</p> <p>4 herbicide that all of the conditions for paraquat to</p> <p>5 undergo redox cycling and produce superoxide radical</p> <p>6 were present in green plant cells; correct?</p> <p>7 MR. NARESH: Same objections.</p> <p>8 A. Yes.</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. Is part of the electron transport chain in</p> <p>11 photosynthesis the transfer of an electron from</p> <p>12 something called Photosystem I to a compound called</p> <p>13 ferredoxin?</p> <p>14 A. I think that is correct, yes.</p> <p>15 Q. The way the electron transport chain in</p> <p>16 photosynthesis normally works, ferredoxin would then</p> <p>17 pass that electron on to the next link in the chain</p> <p>18 NADP+; correct?</p> <p>19 A. Yes.</p> <p>20 Q. What is NADP+?</p> <p>21 A. It's a biological molecule, nicotinamide</p> <p>22 adenine phosphate.</p> <p>23 Q. But paraquat cation intercepts that electron</p> <p>24 by taking it from ferredoxin; doesn't it?</p> <p>25 A. I think that's correct, yes.</p> | <p style="text-align: right;">Page 89</p> <p>1 produces is then able to react with ferredoxin again in</p> <p>2 the same way it did before; correct?</p> <p>3 A. Right.</p> <p>4 Q. So in plant cells paraquat can cycle from</p> <p>5 cation to radical and back to cation indefinitely as</p> <p>6 long as photosynthesis is occurring; correct?</p> <p>7 A. Yes.</p> <p>8 Q. And every time paraquat cycles from cation to</p> <p>9 radical, and back to cation, it both interferes with</p> <p>10 photosynthesis by taking an electron from Photosystem I</p> <p>11 and produces the reactive oxygen species superoxide</p> <p>12 radical, doesn't it?</p> <p>13 A. Yes.</p> <p>14 Q. The production of superoxide radical begins a</p> <p>15 cascade of reactions that create other reactive</p> <p>16 species, like hydrogen peroxide and hydroxyl radical;</p> <p>17 correct?</p> <p>18 A. I believe so, yes. But, again, this -- we're</p> <p>19 now getting into fine detail, which I'm not an expert</p> <p>20 in.</p> <p>21 Q. That makes sense though, doesn't it, from</p> <p>22 your understanding?</p> <p>23 A. It makes sense, I agree, yes.</p> <p>24 Q. Superoxide and other reactive species damage</p> <p>25 parts of the plant cells including the cell membrane,</p> |

23 (Pages 86 - 89)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 90</p> <p>1 don't they?</p> <p>2 A. Yes.</p> <p>3 Q. And they destroy biological membranes?</p> <p>4 A. Yes.</p> <p>5 Q. Including DNA, the plant DNA?</p> <p>6 A. Yes. Yes.</p> <p>7 Q. Interferes with enzymes in the biological</p> <p>8 system?</p> <p>9 A. Yes.</p> <p>10 Q. So paraquat kills plant cells by interfering</p> <p>11 with photosynthesis, and producing reactive oxygen</p> <p>12 species, oxygen-free radicals that damage cell</p> <p>13 membranes and other parts of the cells; correct?</p> <p>14 A. Yes.</p> <p>15 Q. Result is that paraquat is a very effective</p> <p>16 killer of plants; correct?</p> <p>17 A. It's a very effective herbicide, yes.</p> <p>18 Q. And that's a molecule designed to kill</p> <p>19 plants, right?</p> <p>20 A. It is.</p> <p>21 Q. How long have these principles of paraquat</p> <p>22 redox cycling been known to Syngenta or its corporate</p> <p>23 predecessors?</p> <p>24 MR. NARESH: Objection: scope.</p> <p>25 A. Well in terms of the -- its effectiveness as</p> | <p style="text-align: right;">Page 92</p> <p>1 small doses, isn't it?</p> <p>2 A. It is an acutely toxic compound, yes.</p> <p>3 Q. An estimated 1.5 teaspoons can be lethal if</p> <p>4 ingested; correct?</p> <p>5 A. I think it's -- I'm not sure whether that</p> <p>6 particular figure is accurate, but certainly I can</p> <p>7 express it in different ways, but it is -- yes, it is</p> <p>8 acutely toxic certainly.</p> <p>9 Q. Is there an antidote once it's taken?</p> <p>10 A. There is no antidote as such but there is</p> <p>11 effective treatment, yes.</p> <p>12 Q. You said that you could express it a</p> <p>13 different way. How would you express it?</p> <p>14 A. Well we usually express, in toxicology, as an</p> <p>15 LD50, a lethal dose, would in an experimental animal</p> <p>16 result in 50 percent of the animals dying. So we note</p> <p>17 that it has an LD50 value, which is quite low.</p> <p>18 Q. Paraquat is involved in a disproportionately</p> <p>19 high number of adverse incidents, including accidental</p> <p>20 ingestions, as well as skin and eye contact due to</p> <p>21 occupational spills, splashes and leaks which can</p> <p>22 result in damaging and often severe acute injuries;</p> <p>23 would you agree with that?</p> <p>24 MR. NARESH: Objection to form and scope.</p> <p>25 A. It has the potential to do that, yes.</p> |
| <p style="text-align: right;">Page 91</p> <p>1 a herbicide, again I can't give you an accurate date</p> <p>2 but clearly it is in some time in the 1950s I believe.</p> <p>3 But I'm not -- I couldn't give you a precise date.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. As a result of redox cycling paraquat is also</p> <p>6 highly toxic to animals, isn't it?</p> <p>7 A. It is.</p> <p>8 Q. Paraquat will undergo redox cycling in vivo</p> <p>9 being reduced by an electron donor, such as NADPH,</p> <p>10 before being oxidized by an electron receptor such as</p> <p>11 di-oxygen to produce superoxide, a major reactive --</p> <p>12 A. Yes.</p> <p>13 MR. NARESH: Objection to form.</p> <p>14 BY MR. TILLERY:</p> <p>15 Q. Let me restate the question. Paraquat will</p> <p>16 undergo redox cycling in vivo being reduced by an</p> <p>17 electron donor, such as NADPH, before being oxidized by</p> <p>18 an electron receptor, such as di-oxygen, to produce</p> <p>19 superoxide, a major reactive oxygen species?</p> <p>20 A. Yes.</p> <p>21 Q. In vivo means what, sir?</p> <p>22 A. Literally within a body, within an organism.</p> <p>23 Q. Living --</p> <p>24 A. A living organism, yes.</p> <p>25 Q. Paraquat is highly poisonous in even very</p> | <p style="text-align: right;">Page 93</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. In biological systems, metabolism inside</p> <p>3 cells, both synthesis and breakdown, use energy;</p> <p>4 correct?</p> <p>5 A. Yes.</p> <p>6 Q. NAD -- and you said it better than me because</p> <p>7 I had a heck of a time pronouncing it?</p> <p>8 A. Let's stay with "NAD".</p> <p>9 Q. Okay. Do we know what we're talking about?</p> <p>10 A. Yes.</p> <p>11 Q. Let me take a shot at it, okay.</p> <p>12 A. Right.</p> <p>13 Q. Nicotinamide adenine dinucleotide.</p> <p>14 A. Yes. Yes.</p> <p>15 Q. So we'll both just stick with "NAD"</p> <p>16 A. Yeah.</p> <p>17 Q. All right. Is found in all living cells,</p> <p>18 right?</p> <p>19 A. Yes.</p> <p>20 Q. This energy required for cellular life is</p> <p>21 partially provided by NAD, NADPH, differing by a single</p> <p>22 phosphate group which can donate and accept electrons</p> <p>23 as an energy source, right?</p> <p>24 A. Yes.</p> <p>25 Q. Examples are electron transport chain in</p> |

24 (Pages 90 - 93)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 94</p> <p>1 photosynthesis, right?</p> <p>2 A. Yes.</p> <p>3 Q. Electro -- strike that. Electron transport</p> <p>4 chain occurs in cellular respiration?</p> <p>5 A. Yes.</p> <p>6 Q. Those are two fundamental biological</p> <p>7 processes upon which all life is supported; is that</p> <p>8 correct?</p> <p>9 A. It is.</p> <p>10 Q. So NADPH can donate an electron to an</p> <p>11 acceptor, correct?</p> <p>12 A. Correct.</p> <p>13 Q. This acceptor, a diaphorase, then becomes the</p> <p>14 donor and can pass the electron to another acceptor;</p> <p>15 correct?</p> <p>16 A. Correct.</p> <p>17 Q. Paraquat is an efficient electron acceptor in</p> <p>18 animals?</p> <p>19 A. It does, yes.</p> <p>20 Q. Paraquat is particularly potent because as a</p> <p>21 redox cyclers one molecule of paraquat can undergo</p> <p>22 hundreds, maybe thousands of rounds of taking electrons</p> <p>23 from NADPH and transferring them to oxygen and create</p> <p>24 superoxide; correct?</p> <p>25 A. It certainly can engage in redox cycling.</p> | <p style="text-align: right;">Page 96</p> <p>1 Q. Glutathione -- we'll refer to it as "GSH"</p> <p>2 okay -- is an antioxidant found in all life forms;</p> <p>3 correct?</p> <p>4 A. Yeah, it is.</p> <p>5 Q. Those electrons would have been used to</p> <p>6 replenish the supply of glutathione antioxidant;</p> <p>7 correct?</p> <p>8 A. Yes.</p> <p>9 Q. GSH would help mitigate the damages from</p> <p>10 superoxide; correct?</p> <p>11 A. Correct.</p> <p>12 Q. In stealing electrons, paraquat is</p> <p>13 diminishing the cell's ability to perform basic</p> <p>14 survival functions, metabolism, and protect itself from</p> <p>15 damages depleting antioxidants while continuously</p> <p>16 producing more and more superoxide; is that correct?</p> <p>17 A. That's correct, yeah.</p> <p>18 Q. This process of stealing electrons also</p> <p>19 diminishes the supply of NADPH which is crucial for</p> <p>20 the normal system functions described here.</p> <p>21 A. Yes.</p> <p>22 Q. So by the time paraquat was sold in the U.K.</p> <p>23 in 1962, is that the first year it was sold?</p> <p>24 A. I believe that's right, yes.</p> <p>25 Q. And subsequently in the United States in</p> |
| <p style="text-align: right;">Page 95</p> <p>1 I wouldn't be able to quantify how many cycles there,</p> <p>2 so.</p> <p>3 Q. So the hundreds or thousands is what caused</p> <p>4 you to "you don't know"?</p> <p>5 A. I don't know whether it's hundreds.</p> <p>6 Q. But if I said it did hundreds of thousands</p> <p>7 you wouldn't have any basis to dispute that?</p> <p>8 A. I have no basis to dispute that, no.</p> <p>9 Q. The same is true for NADPH oxidase in</p> <p>10 microglia in the human midbrain to molecular oxygen</p> <p>11 creating hundreds, maybe thousands of molecules of</p> <p>12 damaging reactive oxygen species; correct?</p> <p>13 A. That's got potential certainly, yes.</p> <p>14 Q. You don't disagree with that?</p> <p>15 A. I don't disagree.</p> <p>16 Q. Aside from passing electrons to oxygen and</p> <p>17 creating superoxide, paraquat is stealing these</p> <p>18 electrons from NADPH?</p> <p>19 MR. NARESH: Objection to form.</p> <p>20 A. That's another way of describing it, yes.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. And that's correct as well?</p> <p>23 A. Yes.</p> <p>24 Q. It's fundamental?</p> <p>25 A. Yes.</p> | <p style="text-align: right;">Page 97</p> <p>1 1965?</p> <p>2 A. I believe that's correct.</p> <p>3 Q. ICI should have known that paraquat would</p> <p>4 redox cycle upon entry into oxygen-rich human lungs;</p> <p>5 correct?</p> <p>6 MR. NARESH: Objection: scope; foundation.</p> <p>7 A. I don't know whether at that time in 1962,</p> <p>8 1965 that it was specifically known that it would</p> <p>9 damage the lung.</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. Let me ask you. Is there anything about the</p> <p>12 process that you and I have discussed leading to an</p> <p>13 understanding of it being -- because we know now,</p> <p>14 scientists know, that certainly at this stage that that</p> <p>15 happens, correct?</p> <p>16 A. Yes.</p> <p>17 Q. Is there anything that would preclude them</p> <p>18 from knowing that? Was there any advancement in</p> <p>19 science or understanding or change in the chemical or</p> <p>20 anything else that would have precluded them from</p> <p>21 understanding those scientific concepts by the time</p> <p>22 they introduced the product in 1962 and '65?</p> <p>23 A. In 1962 and 1965 I imagine that what we now</p> <p>24 call the regulatory toxicology studies -- well I know</p> <p>25 that they had not been conducted because these were</p> |

25 (Pages 94 - 97)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 98</p> <p>1 studies that were done much later, and so that's why 2 I say I don't know at that time if the potential to 3 cause lung toxicity was recognized. 4 Q. I guess what I'm saying or asking you is 5 this. Is there anything about the scientific concept 6 that had they done the studies they couldn't have 7 learned? 8 A. Had they done the studies they could have 9 discovered that. 10 Q. Easily would have known this because the 11 studies would have generated the results, whether in 12 2020, 1962 would have been the same results? 13 A. Yes. 14 Q. Did ICI test paraquat's toxicity and mode of 15 action in animals before it sold it? 16 A. I don't know what precisely the panoply of 17 studies was done in the 1960s because at that time the 18 regulatory requirements were different to those that 19 were in place in the 1970s and beyond. 20 Q. But they could have, couldn't they. The 21 technology was there? 22 A. Some technology was there but don't forget 23 many of the animal models used in toxicology were not 24 developed at that time. 25 Q. But -- strike that. Did they at that time</p> | <p style="text-align: right;">Page 100</p> <p>1 Q. When was CTL first established? 2 A. It was I think around about 1957. 3 Q. How many employees did it have during those 4 periods? 5 A. I don't know. Less than 100 I think but 6 I can't give you a figure. 7 Q. So by the time paraquat was sold in the U.K. 8 in 1962 and subsequently in the United States in 1965, 9 ICI should have known that paraquat would redox cycle 10 with human tissue as well; correct? 11 MR. NARESH: Objection to form. 12 A. Yes. 13 BY MR. TILLERY: 14 Q. And that would include the brain; correct? 15 A. It potentially could include any tissue. It 16 could involve any tissue. 17 Q. Especially one or a section of the brain or a 18 section of the body that was oxygen-rich; correct? 19 A. In theory, yes. 20 Q. How did ICI decide what studies to do about 21 paraquat toxicology and exposure? 22 A. I can't give you the detail. If we're 23 talking about the 1960s I was not there at the time and 24 I've not investigated the historical record of that 25 period.</p> |
| <p style="text-align: right;">Page 99</p> <p>1 ICI and then later Syngenta only study what the 2 regulators required? 3 A. I can't comment on that. I don't know. 4 Q. Are you aware, from your experience of 5 working at both entities, whether that they did studies 6 only generated by a request from a regulatory body? 7 A. As a general answer to that ICI would conduct 8 studies not just for regulatory requirements, it would 9 also do so in order for it to understand itself the 10 toxicological properties of a molecule, any molecule. 11 Q. And that includes the safety of the product? 12 A. It does. 13 Q. And to the extent that the product has the 14 potential because of its known characteristics of redox 15 cycling to cause a cycle in an oxygen-rich environment, 16 and they knew that certainly in plants didn't they? 17 A. Yes. 18 Q. They could have surmised from that 19 information that oxygen-rich environments in animals 20 should be investigated? 21 MR. NARESH: Objection to form; foundation; 22 scope. 23 A. They could have but I don't know what 24 questions were being asked at that point in time. 25 BY MR. TILLERY:</p> | <p style="text-align: right;">Page 101</p> <p>1 Q. How did ICI decide what methodologies to use? 2 A. Again, I can only answer in very general 3 terms. They would've used the methodologies that were 4 developed at that time, which I said earlier would be 5 quite different to the ones that were used today. 6 Q. Before paraquat was released on to the U.S. 7 market in 1965 what testing did ICI do with regard to 8 animal and human toxicity? 9 MR. NARESH: Objection to scope. 10 A. Again, that history is not something that 11 I've actually reminded or looked at as part of my 12 preparation. 13 BY MR. TILLERY: 14 Q. Do you know if any tests were done on mice, 15 rats, sheep, cows, humans to measure potential for 16 animal or human toxicity before the product was sold in 17 1965? 18 A. I can't answer that question because I don't 19 know. 20 Q. What methodologies were available at the time 21 paraquat was introduced into the market in 1965? 22 A. Well there were some basic tests that could 23 be done at that time, like I mentioned LD50 testing. 24 That was certainly a test that was introduced well 25 before that time so it was possible to understand how</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 102</p> <p>1 acutely toxic the compound was, as one example. 2 Q. Any other tests? 3 A. Again, in terms of exactly which tests were 4 utilized at that time, I can't answer that. 5 Q. Could they have done lifetime feeding tests? 6 A. I think it's very unlikely that they would 7 have done that in the 1960s. 8 Q. What standards did ICI use for establishing 9 the testing protocol for paraquat? 10 MR. NARESH: Objection to the scope. 11 A. Again, I can't comment on that because 12 I don't know. 13 BY MR. TILLERY: 14 Q. Do you know what a neurotoxicity study is? 15 A. I do. 16 Q. What is that for the record, please? 17 A. Well today a neurotoxicity study is, in my 18 world of regulatory toxicology, one in which usually 19 rodents are given the chemical and an investigation is 20 done of the nervous system, pathology and function. 21 Q. Was there any reason why neurotoxicity 22 studies couldn't be done at the time of the release of 23 paraquat into the American market? 24 A. Again I can't be definitive but I would think 25 it unlikely that neurotoxicity was seen at that time to</p> | <p style="text-align: right;">Page 104</p> <p>1 Q. And what is the other? 2 A. It's investigative toxicology. So once 3 you've done regulatory studies there may be findings 4 that you need to understand better, go into more 5 detail, so you'd actually do research studies. And you 6 also do toxicology studies as part of discovery, to 7 predict whether a potential new molecule might have 8 certain properties. 9 Q. And what about during the time period of the 10 release of the chemicals in 1965? 11 A. Again I can't tell you the detail of what 12 might have been thought about at that time. But it 13 would've been less than we're -- we do today. 14 Q. Were neurotoxicity studies feasible to do? 15 In other words, was the scientific knowledge, know-how, 16 laboratory capability available in the '60s? 17 A. Not entirely. 18 Q. What -- I'm sorry, I interrupted your answer. 19 Go ahead. 20 A. Not entirely because some of the measurements 21 that we now do in neurotoxicology studies, the 22 methodologies were not available at that time. 23 Q. What was missing at that time that would be 24 available today? 25 A. One example would be the detailed</p> |
| <p style="text-align: right;">Page 103</p> <p>1 be part of the specific tests that would be done. 2 Q. Is there a difference between regulatory 3 toxicity -- strike that. Is there a difference between 4 regulatory toxicology and any other form of toxicology? 5 A. Well fundamentally there isn't. It's about 6 the investigation of potential adverse effects on at 7 the end of the day the human body using -- usually 8 normally using animal models. Regular toxicology is 9 defined as what regulatory authorities require. That 10 doesn't mean that's all that is done. 11 Q. Okay. And who makes the decision about doing 12 other types of toxicology studies besides those 13 required by regulators? 14 A. Scientists in the company in our case. 15 Q. And what percentage of the studies would fall 16 under the category of regulatory toxicology studies? 17 MR. NARESH: Just for clarification, can you 18 clarify the time period? You're talking then or now? 19 MR. TILLERY: He can answer it any way he 20 wants, then and now if you wish. 21 A. I was going to ask the same question. If 22 you're talking about now then regulatory toxicology is 23 probably slightly more than half of the work that we 24 do. 25 BY MR. TILLERY:</p> | <p style="text-align: right;">Page 105</p> <p>1 neuropathology that we conduct. 2 Q. Explain that, sir? 3 A. Looking at the aspects of the nervous system, 4 the neurons under a microscope to see if there's any 5 evidence of damage. 6 Q. Is a regulatory neurotoxicity study a 7 screening study as opposed to say a method of action 8 study? 9 A. A neurotoxicology study can include a 10 regulatory study, i.e. it's required by a regulatory 11 authority. It can also mean an investigative study, as 12 I have indicated. 13 Q. Were any studies conducted with regard to 14 dermal exposure to paraquat and what systemic impact 15 they may have at the time of the release of the product 16 in 1965? 17 MR. NARESH: Objection to scope; foundation. 18 A. Again, I don't know the answer to that 19 question. 20 BY MR. TILLERY: 21 Q. Were any studies conducted with regard to 22 inhalation exposure to paraquat and what impact it may 23 have on the respiratory system at the time the product 24 was first sold in 1965? 25 MR. NARESH: Same objections.</p> |

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 106</p> <p>1 A. I don't know.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. Did any studies at that time indicate that</p> <p>4 exposure to paraquat spray mist might result in</p> <p>5 pulmonary fibrosis?</p> <p>6 MR. NARESH: Same objections.</p> <p>7 A. I don't believe so at that early time period.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. Were any studies at that time period, and the</p> <p>10 time period again we're referring to is the mid-1960s</p> <p>11 when the product was first sold in America. Were any</p> <p>12 studies conducted with regard to how paraquat can enter</p> <p>13 the circulatory system and be transmitted to internal</p> <p>14 organs, including the human brain?</p> <p>15 A. I'm not aware of whether such studies were</p> <p>16 done in the mid-1960s.</p> <p>17 Q. Did any human injuries or deaths, either ICI</p> <p>18 employees or third-party consultants or test subjects,</p> <p>19 occur in any testing or exposure to paraquat in that</p> <p>20 period of time?</p> <p>21 A. I don't believe that there was any deliberate</p> <p>22 testing in human beings. But whether -- and I'm not</p> <p>23 able to comment on whether any adverse effects were</p> <p>24 seen in people who might have been using paraquat at</p> <p>25 that time.</p> | <p style="text-align: right;">Page 108</p> <p>1 Q. Do you know what agency of the United States</p> <p>2 Government was responsible for initially authorizing</p> <p>3 the sale and use of paraquat in the United States in</p> <p>4 1965?</p> <p>5 A. I don't know because I don't have that</p> <p>6 history either.</p> <p>7 Q. Was Chevron Chemical Company the initial</p> <p>8 registrant of paraquat in the United States?</p> <p>9 A. I don't know if it was the initial</p> <p>10 registrant.</p> <p>11 Q. You think ICI may have been?</p> <p>12 A. It could have been. Again, I don't have that</p> <p>13 detail.</p> <p>14 Q. Did ICI work with Chevron on the initial U.S.</p> <p>15 registration?</p> <p>16 A. Again I don't know precisely the answer to</p> <p>17 that question. I know that there was some</p> <p>18 collaborative work, but I don't have the detail.</p> <p>19 MR. NARESH: And I'll just object and make</p> <p>20 the point that there is a different designee on the</p> <p>21 U.S. regulatory issues.</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. Do you know what information ICI provided</p> <p>24 about the toxicity of paraquat in support of its</p> <p>25 initial application registration in the United States?</p> |
| <p style="text-align: right;">Page 107</p> <p>1 Q. Was ICI aware of any adverse effect of</p> <p>2 paraquat before deciding to market it in the</p> <p>3 United States?</p> <p>4 MR. NARESH: Objection to the form.</p> <p>5 A. I don't know exactly what it was aware of at</p> <p>6 that time in 1965.</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. Is there anybody in the organization or</p> <p>9 anybody who used to be in the organization who is still</p> <p>10 around who could answer those questions?</p> <p>11 A. Yes. There will certainly be at least one</p> <p>12 person that I can think of.</p> <p>13 Q. Lewis Smith?</p> <p>14 A. Lewis Smith.</p> <p>15 Q. What agency in the United Kingdom was</p> <p>16 responsible for initially authorising the sale and use</p> <p>17 of paraquat in the U.K.?</p> <p>18 A. Well that's going back again in history where</p> <p>19 I don't know exactly the names of the government</p> <p>20 departments that were in place at that time. I mean</p> <p>21 I know what the departments are called today but that</p> <p>22 may not be helpful to your question.</p> <p>23 Q. Do you know what ICI told that agency about</p> <p>24 the toxicity of paraquat?</p> <p>25 A. Not at that point in history, no.</p> | <p style="text-align: right;">Page 109</p> <p>1 A. No, I don't.</p> <p>2 Q. When did ICI first learn that paraquat enters</p> <p>3 the brains of mammals?</p> <p>4 A. Well, from my understanding of the work that</p> <p>5 was done there was some understanding of that emerging</p> <p>6 certainly in the 1980s and '90s, so we were beginning</p> <p>7 to explore that issue there.</p> <p>8 Q. Do all mammals have something called a</p> <p>9 blood-brain barrier?</p> <p>10 A. I believe all mammals do. Again, I couldn't</p> <p>11 say absolutely all mammals, but certainly the human</p> <p>12 being does.</p> <p>13 Q. Would it be accurate to describe the</p> <p>14 blood-brain barrier as a highly selective</p> <p>15 semi-permeable border separating the contents of the</p> <p>16 capillaries, the bloodstream from the brain and extra</p> <p>17 cellular fluid in the central nervous system?</p> <p>18 A. Yes.</p> <p>19 Q. So the brain side of the blood-brain barrier</p> <p>20 are the brain and other parts of the central nervous</p> <p>21 system and fluid that isn't contained within the cells</p> <p>22 of the central nervous system?</p> <p>23 A. That's correct.</p> <p>24 Q. And the blood side of the blood-brain barrier</p> <p>25 are the blood and everything else that is circulating</p> |

28 (Pages 106 - 109)

| | |
|---|---|
| <p style="text-align: right;">Page 110</p> <p>1 in the bloodstream?</p> <p>2 A. That's correct.</p> <p>3 Q. Does the blood-brain barrier protect the</p> <p>4 brain by preventing certain molecules and toxins from</p> <p>5 moving from the blood side of the brain to the brain</p> <p>6 side -- sorry, I misspoke. Start over. I'll withdraw</p> <p>7 the question and strike that.</p> <p>8 Does the blood-brain barrier protect the</p> <p>9 brain by preventing certain molecules and toxins from</p> <p>10 moving from the blood side to the brain side of the</p> <p>11 blood-brain barrier?</p> <p>12 A. Yes.</p> <p>13 Q. Do molecules pass through the blood-brain</p> <p>14 barrier by a process called passive diffusion?</p> <p>15 A. That's one way in which they can pass.</p> <p>16 Q. Is passive diffusion a process where</p> <p>17 molecules in solution move from areas where they're</p> <p>18 more concentrated to areas where they're less</p> <p>19 concentrated?</p> <p>20 A. Yes.</p> <p>21 Q. Generally speaking do hydrophobic molecules,</p> <p>22 meaning molecules that tend to repel or not mix with</p> <p>23 water like O2, CO2, hormones and small polar molecules</p> <p>24 tend to pass through the blood-brain barrier by passive</p> <p>25 diffusion?</p> | <p style="text-align: right;">Page 112</p> <p>1 to move molecules through the blood-brain barrier;</p> <p>2 correct?</p> <p>3 A. That's correct.</p> <p>4 Q. Is the permeability of the blood-brain</p> <p>5 barrier constant, or does it vary with conditions and</p> <p>6 over time?</p> <p>7 A. Again, I'm not an expert in that field.</p> <p>8 I believe that there is some variability but I couldn't</p> <p>9 quantify that.</p> <p>10 Q. I believe you were listed as the person who</p> <p>11 responds to our blood-brain barrier questions?</p> <p>12 A. Well again in broad terms I understand the --</p> <p>13 what this --</p> <p>14 Q. And you've done some research, haven't you,</p> <p>15 to answer my questions on blood-brain barrier. This is</p> <p>16 very important to understanding this. You remember in</p> <p>17 the complaint we set out this very clearly two and a</p> <p>18 half years ago about the blood-brain barrier because it</p> <p>19 was very important?</p> <p>20 A. Yes.</p> <p>21 Q. And you know that on your website you</p> <p>22 indicate and have indicated for many years that the</p> <p>23 reason paraquat is not really a concern for farmers is</p> <p>24 because of the blood-brain barrier. Did you know that?</p> <p>25 MR. NARESH: Objection to form.</p> |
| <p style="text-align: right;">Page 111</p> <p>1 A. Again, I'm not a deep expert in that area.</p> <p>2 So certainly I think that's true as a generalization,</p> <p>3 yes.</p> <p>4 Q. It makes sense; you wouldn't have a reason to</p> <p>5 dispute that?</p> <p>6 A. No. No.</p> <p>7 Q. And molecules like blood-born pathogens and</p> <p>8 large -- let me start over.</p> <p>9 And molecules like blood-born pathogens and</p> <p>10 large or hydrophilic meaning water soluble molecules</p> <p>11 tend not to pass through the blood-brain barrier by</p> <p>12 passive diffusion?</p> <p>13 A. That's correct.</p> <p>14 Q. Can some molecules, such as glucose and</p> <p>15 certain amino acids pass through the blood-brain</p> <p>16 barrier by a process called active transport?</p> <p>17 A. Yes.</p> <p>18 Q. Is active -- strike that. In active</p> <p>19 transport the cells of the blood-brain transport --</p> <p>20 strike that.</p> <p>21 In active transport, the cells of the</p> <p>22 blood-brain barrier transport -- use specialized --</p> <p>23 I'm sorry. Let me start over.</p> <p>24 In active transport the cells of the</p> <p>25 blood-brain barrier used specialized transport proteins</p> | <p style="text-align: right;">Page 113</p> <p>1 A. I was aware of that, yes.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. And did you have any part in putting that on</p> <p>4 the website?</p> <p>5 A. Not at all. No, I have no personal</p> <p>6 involvement in that. And we do not now say that</p> <p>7 paraquat is unable to cross the blood-brain barrier.</p> <p>8 Q. Okay, you've changed that statement?</p> <p>9 A. That statement has been modified in the light</p> <p>10 of new information as it has arisen.</p> <p>11 Q. So let's talk about the blood-brain barrier,</p> <p>12 okay?</p> <p>13 A. Mm-hmm.</p> <p>14 Q. I'm asking you this generally. Are there</p> <p>15 matters or issues or conditions of a human being which</p> <p>16 alter the permeability of the blood brain barrier?</p> <p>17 A. Again, I'm not an expert in blood-brain</p> <p>18 barrier physiology but I certainly believe that there</p> <p>19 are such conditions, yes.</p> <p>20 Q. When the statement that I referred to about</p> <p>21 the blood-brain barrier on the paraquat -- strike that.</p> <p>22 When the statement on the website relating to the</p> <p>23 blood-brain barrier and paraquat was first put on the</p> <p>24 website, what grounds did you have for that statement</p> <p>25 when you made it?</p> |

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 114</p> <p>1 MR. NARESH: Objection to the form. 2 Foundation. 3 A. When I look at the history of what we knew at 4 what time, I think that we were aware in the first 5 instance that the blood-brain barrier was certainly a 6 genuine barrier to paraquat getting into the brain. 7 Not an entire barrier but one which certainly reduced 8 the likelihood of paraquat getting into the brain. But 9 as we've produced more evidence then we have understood 10 that it is possible that paraquat can get into the 11 brain. 12 BY MR. TILLERY: 13 Q. You know now that the statements that were 14 made earlier on the website were not totally accurate; 15 correct? 16 A. I would say that with new knowledge then one 17 should redefine what one means when you're talking 18 about the effect of the blood-brain barrier. 19 Q. Is that another way of saying that I'm right 20 in my question? 21 MR. NARESH: Objection to form. 22 A. Yes. New scientific information has allowed 23 us to change our view. 24 BY MR. TILLERY: 25 Q. Are you saying that you don't know whether</p> | <p style="text-align: right;">Page 116</p> <p>1 Q. And it creates a situation where the 2 blood-brain barrier is not as effective at protecting 3 the components of the brain. Would you agree with 4 that? 5 A. That is my understanding, yes. 6 Q. Does the tendency to increase permeability 7 occur whether the inflammation is on the blood side or 8 the brain side, or the blood-brain barrier itself? 9 A. I don't know the answer to that question. 10 Q. Do you know what other factors tend to 11 increase the permeability of the blood-brain barrier? 12 A. No again, as I say, I'm not an expert in 13 the -- a deep technical expert in this. 14 Q. Do you know if there are illnesses that 15 increase the permeability of the blood-brain barrier? 16 A. I don't -- I couldn't specify diseases. 17 Q. So the official position through this 18 corporate deposition, you understand for all intents 19 and purposes today you're speaking on behalf of the two 20 Syngenta entities I indicated? 21 A. Right. 22 Q. Syngenta AG and Syngenta Crop Protection LLC. 23 The official position today is that you don't know if 24 there's any specific illness that creates increased 25 permeability of the blood-brain barrier; is that</p> |
| <p style="text-align: right;">Page 115</p> <p>1 Syngenta or any of its predecessors knew before the 2 1980s or 1990s that paraquat could enter the brains of 3 mammals? 4 A. I'm not aware of any experimental work that 5 was done prior to that period. 6 Q. That would indicate that paraquat could enter 7 the brains of mammals? 8 A. Correct. 9 Q. Do you know whether the blood-brain barrier 10 tends to become more permeable as a person or other 11 mammal ages? 12 A. I actually don't know the answer to that 13 question. 14 Q. Okay. Has that ever been investigated to 15 your knowledge at Syngenta or by any of its corporate 16 predecessors? 17 A. I don't have any recollection of that kind of 18 a study being done, no. 19 Q. Does the blood-brain barrier tend to become 20 more permeable in the presence of inflammation? 21 A. That I believe is one of the conditions that 22 can cause a change in that, yes. 23 Q. And how does it cause a change? What change? 24 A. It can change the effectiveness of, for 25 example, those active transporters that you described.</p> | <p style="text-align: right;">Page 117</p> <p>1 correct? 2 MR. NARESH: Object to the form -- 3 A. I can't answer that question, no. 4 MR. NARESH: Do you want to re-ask? I spoke 5 over the witness. I didn't mean to. I'll object to 6 form. 7 BY MR. TILLERY: 8 Q. Do you know whether working in heat and 9 humidity increases the permeability of the blood-brain 10 barrier? 11 A. No. 12 Q. Do you know if head injury increases the 13 permeability of the blood-brain barrier? 14 A. No. 15 Q. Does infection increase the permeability of 16 the blood-brain barrier? 17 A. I understand that that is one factor, yes. 18 Q. Does stress cause increased permeability of 19 the blood-brain barrier? 20 A. I don't know. 21 Q. Does the blood-brain barrier protect the 22 entire brain? 23 A. No, there are parts of the brain that are not 24 covered by the blood-brain barrier. 25 Q. What parts?</p> |

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 118</p> <p>1 A. For example the upper part of the nasal 2 cavity is not protected. So there is an entry -- a 3 direct entry into the brain through here. 4 Q. The old factory bulb? 5 A. The old factory bulb, correct. 6 Q. What else? 7 A. There is another part of the brain which 8 I again -- 9 Q. The pineal gland? 10 A. Yeah, that's true. Yes, there are. 11 Q. Pituitary gland? 12 A. Yes, you're right, yeah. 13 Q. Can molecules that can't or tend not to pass 14 through the blood-brain barrier enter the brain through 15 the posterior pituitary gland? 16 A. I don't know if that -- again that would be a 17 potential question. I don't know whether that's 18 actually happened. 19 Q. You understand the posterior pituitary gland 20 is not protected by the blood-brain barrier? 21 A. That is true, yes. 22 Q. So if it gets into the cerebral -- you 23 understand that these parts that are not protected by 24 the blood-brain barrier are bathed by cerebral spinal 25 fluid?</p> | <p style="text-align: right;">Page 119</p> <p>1 Q. The organ system -- let me just suggest to 2 you that there's a part of the brain called the 3 postrema that's not protected by the blood-brain 4 barrier. Assuming that you believe what I'm saying, 5 okay, if it's not protected by the blood-brain barrier 6 and it gets into the portion of the brain not protected 7 it can pass right straight through that area, can't it? 8 MR. NARESH: Objection to form. 9 A. Theoretically, yes. 10 BY MR. TILLERY: 11 Q. And practically yes. Do you have any reason 12 to understand, any practical reason why that's not 13 possible? 14 MR. NARESH: Objection to form. 15 BY MR. TILLERY: 16 Q. In terms of Syngenta's understanding of human 17 physiology? 18 A. If in general terms, no. If you're asking 19 specifically about paraquat that's another question. 20 Q. Well, we will. You've indicated that you 21 understand that the blood-brain barrier does not 22 protect the olfactory bulb, right? 23 A. Yes. 24 Q. Now let's talk about the olfactory bulb for a 25 minute. Where is it located?</p> |
| <p style="text-align: right;">Page 119</p> <p>1 A. Yes. 2 Q. And if it gets into the cerebral spinal 3 fluid, it gets into those parts of the brain, you 4 understood that? 5 A. That's -- yeah, yeah. 6 Q. So the blood-brain barrier is not protecting 7 the pineal gland either? 8 A. No. 9 Q. Molecules that can't or tend not to pass 10 through the blood-brain barrier enter the brain through 11 the pineal gland; they might? 12 A. They could. 13 Q. The blood-brain barrier does not protect the 14 hypothalamus; correct? 15 A. I'm not sure about that. 16 Q. Let me ask you this. Do you have any reason 17 to dispute what I'm saying? 18 A. No, I don't. 19 Q. So molecules that can't or tend not to pass 20 through the blood-brain barrier could enter the brain 21 through the hypothalamus, couldn't they? 22 A. Theoretically, yes. 23 Q. Do you know what the postrema is in the 24 brain? 25 A. No.</p> | <p style="text-align: right;">Page 121</p> <p>1 A. Above the nasal cavity here. 2 Q. Right here, right between your eyes? 3 A. Correct. 4 Q. And what's the method by which when you 5 breath things in the transport occurs to the olfactory 6 bulb? Can you walk me through that? 7 A. No, I'm not an expert in that mechanism. 8 Q. Okay. If I told you that when you breath 9 things in through your nose, that that or some of those 10 materials can go straight to the olfactory bulb would 11 you disagree with that? 12 A. I wouldn't dispute it. 13 Q. And would you dispute the fact that from the 14 olfactory bulb and straight to the substantia nigra 15 portion of the midbrain there is a direct pathway, 16 would you dispute that? 17 MR. NARESH: Objection to the form. 18 A. I can't dispute that. 19 BY MR. TILLERY: 20 Q. Do you know what the substantia nigra portion 21 of the brain is? 22 A. Yes. 23 Q. What is it? 24 A. It's an area which is rich in neurons which 25 we called dopaminergic neurons which is believed to be,</p> |

n to be the principal site of damage in disease.
dopaminergic neurons produce what? amine. [Asked to repeat.]
dopaminergic. I apologize. Let's start over
re this is clear on the record, okay. The
dopaminergic neurons in the substantia nigra portion of
the brain produce what?
A. Dopamine.
Q. What is dopamine used for by the human body?
A. It's called a neurotransmitter, so it's
actually chemical, which helps the transmission of
signals through the nervous system.
MR. TILLERY: Off the record for a second.
THE VIDEOGRAPHER: Going off the record. The
time is 11:47 a.m.
(Break taken.)
THE VIDEOGRAPHER: Back on the record. The
time is 12:03 p.m.
BY MR. TILLERY:
Q. Sir, has the olfactory bulb been identified
as an initial site of Parkinson's disease pathology?
A. It is believed to be at the site of one of
what's called the prodromal symptoms of Parkinson's
disease.

1 explored that hypothesis.
2 Q. And what is your understanding of that
3 transport system that you've become aware of?
4 A. Well the hypothesis that has been generated
5 is that molecules can be transported through the nerves
6 that are present around the gut, the enteric nervous
7 system you describe it, and can go through the nervous
8 system towards the brain.
9 Q. And go to the base of the brain?
10 A. Yes.
11 Q. And then freely enter into the substantia
12 nigra portion of the brain?
13 A. That is still hypothesis.
14 Q. Who is it that has done that research you are
15 referring to?
16 A. Yeah, and apologies, it's a research group
17 which we have actually got some familiarity with.
18 Right off the top of my head I've forgotten the name,
19 but they're in the north east of the U.S.
20 Q. Does paraquat cross the blood-brain barrier?
21 A. To a small degree we now know it does, yes.
22 Q. And what does "a small degree" mean?
23 A. The majority of paraquat that may get into
24 the circulation actually does not get across the
25 blood-brain barrier, but it is possible for small

1 Q. And has it been identified as an avenue by
2 which toxins can find their way to the substantia nigra
3 pars compacta?
4 A. It is certainly in theory one way in which
5 that could happen.
6 Q. Does the blood-brain barrier protect the
7 ventricles of the brain?
8 A. Again, I'm not an expert, a deep expert.
9 I believe it does but I'm not sure -- I'm not secure
10 about that.
11 Q. Would you agree that any toxin that enters
12 the cerebral spinal fluid can freely enter the brain?
13 A. I'm not sure that I can say that it would be
14 able to freely enter the brain because again I'm sure
15 there are circumstances where there could be
16 mitigation, but I'm not an expert in that field.
17 Q. Do you know what the enteric nervous system
18 is?
19 A. The enteric nervous system is that which is
20 associated with the gut.
21 Q. And have you become aware, as Syngenta, that
22 the enteric nervous system has been implicated as a
23 transport system by which paraquat enters the gut can
24 find its way to the brain?
25 A. We're aware of the research papers that have

1 concentrations of paraquat to move across.
2 Q. And when you use words like "small" or
3 whatever, do you have any other way of quantifying
4 those amounts?
5 A. Well for example in the studies that we have
6 done we are talking about less than 1 percent.
7 Q. Is that your understanding of the amount that
8 gets through?
9 A. That's our understanding from experimental
10 work, yes.
11 Q. Is that Syngenta's position today that
12 roughly 1 percent gets in?
13 A. Less than 1 percent.
14 Q. Less than 1 percent?
15 A. (Nods).
16 Q. Whether it's by passive diffusion or whatever
17 it's however you think -- or active transport, it
18 doesn't matter, less than 1 percent of the paraquat in
19 a person's system gets into the brain?
20 A. That's what our experimental evidence
21 suggests, yes.
22 Q. Is that your official position of the
23 company?
24 A. It's based on the science that we have done.
25 So it is the best scientific view the company can

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 126</p> <p>1 provide --</p> <p>2 Q. Today.</p> <p>3 A. -- today.</p> <p>4 Q. Okay. And earlier, perhaps 10 years ago or</p> <p>5 so, you took the position on your website that it</p> <p>6 didn't readily pass through the blood-brain barrier.</p> <p>7 What did that term "readily" mean?</p> <p>8 A. Well I wasn't involved in coming up with that</p> <p>9 wording so I can't precisely answer that question.</p> <p>10 Q. Do you know from a scientific standpoint what</p> <p>11 the word "readily cross" the blood-brain barrier means</p> <p>12 in that context?</p> <p>13 A. Well the term "readily" doesn't necessarily</p> <p>14 have an immediate scientific interpretation. It's open</p> <p>15 to a number of interpretations I would suggest.</p> <p>16 Q. That actually is what I was going to ask you.</p> <p>17 What is the scientific determination of the word</p> <p>18 "readily"?</p> <p>19 A. Well the closest I could give would be:</p> <p>20 something that readily crosses would be something to</p> <p>21 which there's no significant barrier.</p> <p>22 Q. Okay. But the word "readily" doesn't lend</p> <p>23 itself towards any kind of percentage quantification,</p> <p>24 does it?</p> <p>25 A. It doesn't -- in itself it doesn't provide</p> | <p style="text-align: right;">Page 128</p> <p>1 Q. That is one, and what else?</p> <p>2 A. There's another study in the Minnema paper</p> <p>3 that we published, which is the one where we gave</p> <p>4 paraquat through the diet. We also did a kinetic study</p> <p>5 in that as part of that program.</p> <p>6 Q. Okay. Are those the two studies upon which</p> <p>7 you base that official statement of Syngenta?</p> <p>8 A. Those are the published studies and those</p> <p>9 form the basis, one of the bases of my statement, yes.</p> <p>10 Q. That less than 1 percent?</p> <p>11 A. Correct.</p> <p>12 Q. Are there any other studies besides those</p> <p>13 that you can think of?</p> <p>14 A. Well we've also conducted a study in the</p> <p>15 non-human primate, which is a Macaque. Again it was a</p> <p>16 study which is trying to -- which is to understand how</p> <p>17 much paraquat gets into that particular animal model.</p> <p>18 Q. When did you do that study?</p> <p>19 A. That study was started around about three or</p> <p>20 four years ago.</p> <p>21 Q. And has it been published?</p> <p>22 A. It has not yet been published.</p> <p>23 Q. Okay, who's conducting that study?</p> <p>24 A. That study was conducted by a contract</p> <p>25 research organization in the United States.</p> |
| <p style="text-align: right;">Page 127</p> <p>1 that quantification.</p> <p>2 Q. So what is the difference in scientific terms</p> <p>3 between "not crossing the blood-brain barrier" and "not</p> <p>4 readily crossing the blood-brain barrier"?</p> <p>5 A. One is an absolute term. So "not crossing"</p> <p>6 the blood-brain barrier would indicate nothing gets</p> <p>7 across. "Not readily" would indicate some gets across.</p> <p>8 Q. Less than 1 percent?</p> <p>9 A. Well now we know that that is less than</p> <p>10 1 percent.</p> <p>11 Q. And what studies are you relying on to</p> <p>12 support the position that less than 1 percent of</p> <p>13 paraquat in the body gets into the brain?</p> <p>14 A. Well we've done studies in the rodent, in the</p> <p>15 mouse, for example, and also the rat. We call these</p> <p>16 pharmacokinetic studies. So we've actually measured</p> <p>17 this in animal studies.</p> <p>18 Q. Can you tell us the names of those studies</p> <p>19 and when they were conducted?</p> <p>20 A. Well one of the studies, as an example, would</p> <p>21 be the one that's included in the Breckenridge 2013</p> <p>22 paper. So that would be the kinetic study that's</p> <p>23 described in that paper.</p> <p>24 Q. There's one study there, right?</p> <p>25 A. There's one study in that paper, yes.</p> | <p style="text-align: right;">Page 129</p> <p>1 Q. Who are the people?</p> <p>2 A. Battelle.</p> <p>3 Q. Who?</p> <p>4 A. Battelle.</p> <p>5 Q. Could you spell that for the reporter?</p> <p>6 A. B-A-T-T-E-L-L-E.</p> <p>7 Q. And could you give me the methodology used in</p> <p>8 the study, please?</p> <p>9 A. So this is a study where we were</p> <p>10 administering paraquat to the Macaque and looking for</p> <p>11 where that -- how much paraquat gets into the animal,</p> <p>12 and also measuring how much gets out and how quickly,</p> <p>13 so measuring paraquat in the urine for example, and</p> <p>14 therefore understanding its kinetic behavior.</p> <p>15 Q. How many animals in the study?</p> <p>16 A. Less than 10. I can't remember exactly how</p> <p>17 many.</p> <p>18 Q. Was it -- strike that. Was the paraquat</p> <p>19 administered to the animals in their food?</p> <p>20 A. No.</p> <p>21 Q. It was -- how was it administered to them?</p> <p>22 A. This was given intravenously.</p> <p>23 Q. Where is the study being conducted?</p> <p>24 A. In the location I described.</p> <p>25 Q. Where is that?</p> |

33 (Pages 126 - 129)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 130</p> <p>1 A. At Battelle in the United States.</p> <p>2 Q. Who is the principal researcher?</p> <p>3 A. I can't remember the name of the person in</p> <p>4 that organization off the top of my head.</p> <p>5 Q. Has a final report been generated?</p> <p>6 A. That is still being written up.</p> <p>7 Q. So who is writing it up?</p> <p>8 A. It's the contract research organization</p> <p>9 supported by some of my Syngenta colleagues.</p> <p>10 Q. Who is the contract support organization?</p> <p>11 A. It's Battelle, as we were describing.</p> <p>12 Q. Do you know what city this was being done in?</p> <p>13 A. Off the top of my head I can't remember that</p> <p>14 detail.</p> <p>15 Q. And is the study itself concluded now?</p> <p>16 A. The study itself is concluded in it, yes.</p> <p>17 We're now in the write up phase.</p> <p>18 Q. And when was it initiated?</p> <p>19 A. Around about three or four years ago.</p> <p>20 Q. When you say three or four, was it in 2016 or</p> <p>21 2017?</p> <p>22 A. I don't remember exactly that as to which</p> <p>23 year it was.</p> <p>24 Q. Was it in response to a threat of litigation?</p> <p>25 A. No.</p> | <p style="text-align: right;">Page 132</p> <p>1 Q. Is that the first non-human primate</p> <p>2 pharmacokinetic study conducted by Syngenta?</p> <p>3 A. Conducted by Syngenta I believe it is, yes.</p> <p>4 Q. Was it required by a regulator?</p> <p>5 A. No.</p> <p>6 Q. When is it going to be published?</p> <p>7 A. We are close to finalizing not only the</p> <p>8 report but also a paper for publication, and it's</p> <p>9 difficult to predict exactly when it will go through</p> <p>10 the process. Perhaps later this year.</p> <p>11 Q. And what -- strike that. What scientists at</p> <p>12 Syngenta are collaborators in the study?</p> <p>13 A. The main collaborators on that study are</p> <p>14 Dr. Alex Stevens, who is still with the company, and</p> <p>15 also Dr. Kim Travis who left the company just over a</p> <p>16 year ago.</p> <p>17 Q. And he has been with the study from the</p> <p>18 beginning?</p> <p>19 A. Both of those individuals have been with the</p> <p>20 study since the beginning.</p> <p>21 Q. You're not planning to retire soon, are you?</p> <p>22 A. I am.</p> <p>23 Q. When are you retiring?</p> <p>24 A. In a few months time.</p> <p>25 Q. Are there any other unpublished studies that</p> |
| <p style="text-align: right;">Page 131</p> <p>1 Q. You had planned this before?</p> <p>2 A. Yes.</p> <p>3 Q. Who -- when was it planned to be done?</p> <p>4 A. We had been talking about doing that study</p> <p>5 for a number of years prior to its conduct.</p> <p>6 Q. And what is the study called?</p> <p>7 A. It's a pharmacokinetic study in the non-human</p> <p>8 primate.</p> <p>9 Q. And you have preliminary results?</p> <p>10 A. Yes.</p> <p>11 Q. But the study hasn't been published?</p> <p>12 A. It has not.</p> <p>13 Q. What are the results of the study?</p> <p>14 A. What the results principally showed is that</p> <p>15 unlike a published study, which suggested that only</p> <p>16 around 60 percent of paraquat administered came out in</p> <p>17 the urine in a certain period of time, in other words</p> <p>18 was quickly excreted. We were able to show that more</p> <p>19 than 90 percent was excreted, which was a very</p> <p>20 important finding, so less paraquat remained in the</p> <p>21 body than was suggested in that publication.</p> <p>22 Q. Is that the only finding?</p> <p>23 A. No, we also were able to look at how much</p> <p>24 paraquat was present in the circulation so we measured</p> <p>25 how much paraquat was in the blood in the serum.</p> | <p style="text-align: right;">Page 133</p> <p>1 you rely upon for the conclusion that less than</p> <p>2 1 percent enters the brain?</p> <p>3 A. Yes, we have done also further studies in the</p> <p>4 rodent which again with the same purpose of</p> <p>5 understanding exactly what the kinetics are in order</p> <p>6 for us to understand how paraquat behaves across a</p> <p>7 range of species.</p> <p>8 Q. And who did these unpublished studies?</p> <p>9 A. They were done by other again external</p> <p>10 contract organizations, and I can't give you details</p> <p>11 off the top of my head.</p> <p>12 Q. How many studies are you referring to?</p> <p>13 A. Just another couple of studies in the rodent,</p> <p>14 mouse and rat phase for example.</p> <p>15 Q. When were those done?</p> <p>16 A. Over the same timescale we've been talking</p> <p>17 about.</p> <p>18 Q. So were these done in anticipation of</p> <p>19 litigation?</p> <p>20 A. No.</p> <p>21 Q. Were they done for regulatory purposes?</p> <p>22 A. No.</p> <p>23 Q. So you have no intention of giving these</p> <p>24 results to regulators?</p> <p>25 A. We may well give these to regulators. We</p> |

100% says in 1!

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 134</p> <p>1 have no reason not to.</p> <p>2 Q. Will these studies be published?</p> <p>3 A. Yes.</p> <p>4 Q. And have they already been submitted or are</p> <p>5 they waiting for finalization of the reports?</p> <p>6 A. As with the non-human primate study, they --</p> <p>7 we are finalizing the report and the external</p> <p>8 publication as we speak.</p> <p>9 Q. For the mouse studies as well?</p> <p>10 A. Yes. Yes.</p> <p>11 Q. Who is involved from Syngenta in the mouse</p> <p>12 studies?</p> <p>13 A. The same people I referred to previously.</p> <p>14 Q. Any other studies that you've done on this</p> <p>15 subject?</p> <p>16 A. No, I believe those are all the studies that</p> <p>17 are pertinent.</p> <p>18 Q. And those are all the studies you're relying</p> <p>19 upon for your statement that less than 1 percent of</p> <p>20 paraquat enters the brain?</p> <p>21 A. Not just the studies I've just referred to,</p> <p>22 but the ones that we've previously published where</p> <p>23 we've quantified that figure.</p> <p>24 Q. When did Syngenta or any of its predecessors</p> <p>25 first undertake studies to determine if paraquat could</p> | <p style="text-align: right;">Page 136</p> <p>1 the '90s?</p> <p>2 A. Maybe if I could just correct the record.</p> <p>3 The Widdowsen paper, I'm not sure whether that was in</p> <p>4 the '80s or '90s, I may be confusing the decades, but</p> <p>5 it was certainly done prior to 2000.</p> <p>6 Q. So it could have been in the '90s was the</p> <p>7 first one?</p> <p>8 A. Yes I -- yes.</p> <p>9 Q. And then in the ones you're talking about</p> <p>10 which were done around 2017?</p> <p>11 A. The ones that I indicated are still in -- not</p> <p>12 yet been published were done in that period.</p> <p>13 Q. Are the next ones. But the one you also</p> <p>14 mentioned they were referenced in a</p> <p>15 Charles Breckenridge 2013 study?</p> <p>16 A. Yes.</p> <p>17 Q. Or review. It was a review of studies?</p> <p>18 A. Well, no, it was the neurotoxicology</p> <p>19 publication, the Breckenridge et al publication in</p> <p>20 2013. The study that I'm talking about in there would</p> <p>21 have been conducted obviously in 2011, 2012.</p> <p>22 Q. When did Syngenta or any of its predecessors</p> <p>23 first undertake studies to determine if paraquat can</p> <p>24 enter the brain through any route? Does that question</p> <p>25 change any of your prior answers?</p> |
| <p style="text-align: right;">Page 135</p> <p>1 pass the blood-brain barrier?</p> <p>2 A. Well there was an initial research in that</p> <p>3 area in the 1980s by colleagues at CTL.</p> <p>4 Q. Who was that?</p> <p>5 A. Peter Widdowsen and his colleagues.</p> <p>6 Q. And what was the study?</p> <p>7 A. Well that was specifically looking at this</p> <p>8 question and where in that initial research there was</p> <p>9 a suggestion that the amount of paraquat that got into</p> <p>10 the brain was very low indeed.</p> <p>11 Q. And then following that what was the next</p> <p>12 study?</p> <p>13 A. Well the next studies really were those that</p> <p>14 I've been describing. So they were at a later -- a</p> <p>15 significantly later point in time in our research --</p> <p>16 Q. The ones you're talking about now?</p> <p>17 A. Yes, that's correct.</p> <p>18 Q. So let's talk about the gap then. What would</p> <p>19 that be? You said the early '80s or late --</p> <p>20 A. The late '80s.</p> <p>21 Q. The late '80s was the first blood-brain</p> <p>22 barrier study?</p> <p>23 A. I believe. Yes, I don't have the date to</p> <p>24 hand, but yeah.</p> <p>25 Q. Okay and then after that there were none in</p> | <p style="text-align: right;">Page 137</p> <p>1 A. Not really, no, if the question is meant to</p> <p>2 not be specific to neurotoxicity or Parkinson's</p> <p>3 disease. So perhaps you could clarify?</p> <p>4 Q. Yeah, what I'm saying is were there other</p> <p>5 routes explored through other tests, other than through</p> <p>6 transport by passive diffusion or active transport</p> <p>7 through the blood-brain barrier?</p> <p>8 A. I'm not aware of any studies of that sort,</p> <p>9 no.</p> <p>10 Q. Can you tell me all the ways an environmental</p> <p>11 toxicant like paraquat can enter the brain, gain access</p> <p>12 to the substantia nigra?</p> <p>13 MR. NARESH: Object to the form.</p> <p>14 A. I think we've probably already mentioned</p> <p>15 that.</p> <p>16 BY MR. TILLERY:</p> <p>17 Q. Mentioned them all?</p> <p>18 A. I think if it could cross the blood-brain</p> <p>19 barrier then potentially there are other routes where</p> <p>20 theoretically it could gain entry, like olfactory bulb,</p> <p>21 as we mentioned before.</p> <p>22 Q. Olfactory bulb, cerebral spinal fluid, the</p> <p>23 enteric system, the nervous system, the blood-brain</p> <p>24 barrier. Any others?</p> <p>25 A. No, I'm not aware of any others that would be</p> |

35 (Pages 134 - 137)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 138</p> <p>1 considered here, no.</p> <p>2 MR. TILLERY: I'm starting, counsel, a whole</p> <p>3 new section right now. Just beginning it, a completely</p> <p>4 different section, getting off of this. Would this be</p> <p>5 a little earlier?</p> <p>6 MR. NARESH: That's fine.</p> <p>7 MR. TILLERY: We'll take our lunch break.</p> <p>8 THE VIDEOGRAPHER: Going off the record. The</p> <p>9 time is 12:23.</p> <p>10 MR. NARESH: Oh, I need to -- do you want me</p> <p>11 to say on the record that we'll read and sign and mark</p> <p>12 it confidential, or can we agree that --</p> <p>13 MR. TILLERY: Absolutely, that's standard</p> <p>14 practice.</p> <p>15 (Break taken.)</p> <p>16 THE VIDEOGRAPHER: Back on the record. The</p> <p>17 time is 1:25.</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. Sir, by the mid-1960s ICI knew or had reason</p> <p>20 to believe from toxicity studies that paraquat got into</p> <p>21 the brains of laboratory animals, didn't it?</p> <p>22 A. I don't think I said it by the mid-1960s.</p> <p>23 I said that that was something that certainly became</p> <p>24 more evident into the 1990s.</p> <p>25 Q. 1990s. So you have no understanding about</p> | <p style="text-align: right;">Page 140</p> <p>1 MR. NARESH: I think the version you gave to</p> <p>2 the witness is different than the version you have.</p> <p>3 BY MR. TILLERY:</p> <p>4 Q. Oh, I'm sorry, would you look at the Bates</p> <p>5 number in the lower right-hand corner and look at</p> <p>6 139477 of that document. That's a Chevron document,</p> <p>7 counsel. 139477.</p> <p>8 A. Okay.</p> <p>9 Q. Does this start at the top of the page that</p> <p>10 you're looking at with the statement "PARAQUAT</p> <p>11 DICHLORIDE"?</p> <p>12 A. It does.</p> <p>13 Q. And then it starts with "Dermal Toxicity"?</p> <p>14 A. That's correct.</p> <p>15 Q. Would you take a look at that study, please?</p> <p>16 A. Would you like me to just look at the acute</p> <p>17 toxicity one or the full --</p> <p>18 Q. Just familiarize yourself with the study. It</p> <p>19 speaks for itself. I'll ask you some questions, and</p> <p>20 you can of course look at it any time you need to to</p> <p>21 answer.</p> <p>22 A. Okay.</p> <p>23 Q. Exhibit 6 is a study from 1964 in which</p> <p>24 albino rabbits were given sub-acute dermal doses of</p> <p>25 paraquat on their shaved skin; correct?</p> |
| <p style="text-align: right;">Page 139</p> <p>1 this from the 1960s?</p> <p>2 A. No.</p> <p>3 Q. And by the mid-1960s ICI knew or had reason</p> <p>4 to believe from toxicity studies that paraquat caused</p> <p>5 neurological effects on the central nervous system of</p> <p>6 laboratory animals?</p> <p>7 A. Not in the 1960s. Again, that is a line of</p> <p>8 evidence that was being explored much later than that.</p> <p>9 Q. Like 30 years later?</p> <p>10 A. Yes.</p> <p>11 Q. Over 30 years later?</p> <p>12 A. Yes.</p> <p>13 Q. Could you please take a look at Exhibit 6.</p> <p>14 (Exhibit 6 marked for identification.)</p> <p>15 And for reference on the record this is a</p> <p>16 Chevron document which starts at Bates number 139117.</p> <p>17 Do you see that at the top, the front page in the lower</p> <p>18 right-hand corner?</p> <p>19 A. Yes, I can.</p> <p>20 Q. Now this is a study, if you look at the</p> <p>21 second page of this, it gives a description of the</p> <p>22 study?</p> <p>23 MR. NARESH: Steve, I don't think we're all</p> <p>24 aligned on this.</p> <p>25 A. No, this doesn't look like a study.</p> | <p style="text-align: right;">Page 141</p> <p>1 A. Correct.</p> <p>2 Q. And that means that paraquat was applied to</p> <p>3 the rabbit's skin?</p> <p>4 A. Yes.</p> <p>5 Q. And that's what's referred to as dermal</p> <p>6 exposure?</p> <p>7 A. That's right.</p> <p>8 Q. And if you could verify that the statement</p> <p>9 I'm making from your reference to this study is</p> <p>10 correct: they were given a daily dose of 3.5 milligrams</p> <p>11 per kilogram for up to 21 days; is that correct?</p> <p>12 A. I'm just checking that dose level. Yes,</p> <p>13 I can see that now. Yes. Well actually, excuse me.</p> <p>14 So in the sub-acute toxicity, now that I can orientate</p> <p>15 myself, they were given doses up to 50 milligrams per</p> <p>16 kilogram.</p> <p>17 Q. For sub-acute?</p> <p>18 A. For sub-acute, yes. For the sub-acute.</p> <p>19 Q. And at a daily dose of 3.15 milligram per</p> <p>20 kilogram the dermal exposure was followed by weakness</p> <p>21 and unsteadiness, wasn't it. Do you see that?</p> <p>22 A. I'm just looking at that information now.</p> <p>23 Yes, I can see that in the female animals.</p> <p>24 Q. Weakness and unsteadiness are clinical signs</p> <p>25 of central nervous system effects, aren't they?</p> |

36 (Pages 138 - 141)

| | |
|--|---|
| <p style="text-align: right;">Page 142</p> <p>1 A. Not necessarily. When you have significant 2 systemic toxicity, general toxicity, that can express 3 itself in a number of ways, including apparent weakness 4 and unsteadiness. 5 Q. Well let me phrase it this way. Can weakness 6 and unsteadiness be clinical signs of central nervous 7 system effects? 8 A. They could be but I certainly would not say 9 that was a clear conclusion from this study. 10 Q. But you're agreeing with me that they could 11 be signs of neurotoxicity, couldn't they? 12 A. Well they could be, but I suspect that in 13 this study that's less likely. 14 Q. And would you look at, continue and see 15 whether or not at 12.5 milligrams per kilogram for up 16 to 21 days this dermal exposure was followed by 17 nervousness, increased salivation and tremors. Do you 18 see that as well? 19 A. So we're now at which dose level? 20 Q. 12.5 milligrams per kilogram. 21 A. Right. Okay. 22 Q. Inco-ordination, weakness, and nervousness, 23 increased salivation and tremors. Are those also or 24 can those also be clinical signs of central nervous 25 system effects?</p> | <p style="text-align: right;">Page 144</p> <p>1 rabbits' brains paraquat would have to be absorbed 2 through the skin into the bloodstream and then cross 3 the blood-brain barrier; correct? 4 A. Correct. 5 Q. As part of the study did ICI make any effort 6 to measure the concentration of paraquat in the 7 rabbits' blood? 8 MR. NARESH: Objection to form. 9 A. Well I cannot see any evidence in what I've 10 got in front of me that that was done. 11 BY MR. TILLERY: 12 Q. So ICI, at least from the study, made no 13 effort in that study to rule in or rule out systemic 14 toxicity as a cause; is that correct? 15 MR. NARESH: Objection to the form. 16 A. I don't believe that the purpose of the study 17 was to investigate that specifically, no. 18 BY MR. TILLERY: 19 Q. Is that something they would normally report 20 if they measured it? 21 A. We're talking about 1964 here and this was 22 what I would call a general toxicity study, how toxic 23 is paraquat to the rabbit, so it would not have been a 24 requirement of this study to look in any specific 25 tissue or system.</p> |
| <p style="text-align: right;">Page 143</p> <p>1 A. They could be. But again, I would restate 2 I wouldn't necessarily say that that was clear evidence 3 that it was the case in this study. 4 Q. Can you tell by looking at this study whether 5 those are signs of central nervous system effects or 6 from something else? 7 A. No, you can't be definitive about it. 8 I would say, as I said, there are a number of 9 explanations. 10 Q. And one of them is that it's a clinical sign 11 of central nervous system effects; right? 12 A. It's one possible interpretation. 13 Q. And then there's another. At 6.25 milligrams 14 per kilogram for 21 days this dermal exposure was 15 followed by incoordination and decreased motor 16 activity. Do you see that? 17 A. Yes. 18 Q. Incoordination and decreased motor activity 19 can also be signs of central nervous system effects, 20 can't they? 21 A. Again, that's possible. 22 Q. They can be. In this study in order to -- 23 strike that. 24 In this study, if there were manifestations 25 of central nervous system effects, to reach the</p> | <p style="text-align: right;">Page 145</p> <p>1 Q. So you're saying if you're studying general 2 toxicity and that's the purpose of it you finish this 3 entire study and don't report the findings of general 4 toxicity, is that what you're telling me? 5 MR. NARESH: Objection to form. 6 A. No, I'm saying that the purpose of the study 7 as I read it was to investigate general toxicity. 8 BY MR. TILLERY: 9 Q. As part of the study did ICI make any effort 10 to detect or measure the concentration of paraquat in 11 the rabbits' brains? 12 A. I can see no evidence for that. 13 Q. And why not? 14 A. Because that would have not -- that was not 15 part of the study, I assume. But I was not involved in 16 the design of the study so I'm -- I can only read 17 what's in front of me. 18 Q. You agree with me though that the clinical 19 observations in this study could have results from 20 effects on the nervous system, the central nervous 21 system, caused by dermal exposure to paraquat; correct? 22 A. They could but there are alternative 23 explanations. 24 Q. Okay, on what scientific grounds can Syngenta 25 rule out the possibility that the clinical observations</p> |

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 146</p> <p>1 in this study could have resulted from effects on the 2 central nervous system caused by the dermal exposure to 3 paraquat? 4 MR. NARESH: Objection: foundation and scope. 5 A. You can't rule out but you can estimate that 6 at the kind of dose levels that were used here, which 7 were approaching lethality, that you are likely to see 8 non-specific effects. 9 BY MR. TILLERY: 10 Q. If it's a kind of dose level -- and that's 11 what you're basing your opinion on -- that they didn't 12 measure? 13 A. Internal dose level. So, yes, to clarify I'm 14 talking about external dose. Dose actually 15 administered. 16 Q. So you're relying upon a result from the 17 administration of an amount, which you didn't measure, 18 as a basis for explaining the clinical observations; 19 correct? 20 A. I'm describing what ext -- in other words 21 what administered dose was given to the animals which 22 is normal practice and certainly at that time it would 23 not have been a normal practice to measure internal 24 exposure. 25 Q. What was a lethal dose by dermal exposure?</p> | <p style="text-align: right;">Page 148</p> <p>1 applied to the skin. 2 Q. Well if you look at Syngenta number 0548879? 3 A. Yes. And in addition, intraperitoneal 4 dosing. Yes, I can see that now. 5 Q. All right. Doesn't it say there signs of 6 poisoning after intraperitoneal dosing? 7 A. Yes. 8 Q. And it says: 9 "After a single large intraperitoneal dose 10 (30 to 75 mg. ion/kg.) in rats, the signs of poisoning 11 varied somewhat from animal to animal." 12 Do you see that? 13 A. Yes. 14 Q. Read the next say two sentences? 15 A. "... most pointed to an action of the 16 substance on the central nervous system. In the 17 earlier stages, hyper-excitability, violent forced 18 movements flinging the animal about the cage, or a 19 stiff and incoordinate gait might be present. Spasms 20 might recur, or the limbs might be widely splayed. A 21 rolling gait might continue up to the time of death 22 which, at the levels of dosage employed, usually 23 occurred on or before the fifth day." 24 Q. What is an intraperitoneal injection? 25 A. It's an injection into the abdominal cavity.</p> |
| <p style="text-align: right;">Page 147</p> <p>1 A. Well I think that it indicates here. The 2 conclusion was that -- I said earlier, I used the term 3 LD50, so again I see that this is used here. And it 4 said calculation of a sub-acute LD50 gives a figure of 5 around 6.24 milligrams per kilogram per day. 6 (Exhibit 7 marked for identification.) 7 Q. We've handed you what's been marked 8 Plaintiff's Exhibit 7. Can you read into the record 9 the title of the document and the authors? 10 A. "The Toxicity of Paraquat", D. G. Clark, 11 T. F. McElligott, and E. Weston Hurst. 12 Q. And it's from Imperial Chemical Industries 13 Limited, Industrial Hygiene Research Laboratories, 14 Alderley Park; right? 15 A. Yes. 16 Q. And what year was this published? 17 A. 1966. 18 Q. Who was D. G. Clark? 19 A. Well he is/was a toxicologist, a senior 20 toxicologist in the laboratory at that time. 21 Q. In this study were rats and mice injected 22 intraperitoneally with acute 30 to 75 milligram per 23 kilogram doses of paraquat? 24 A. I'm reading this in the "Methods" to say 25 paraquat was administered in the food and it was also</p> | <p style="text-align: right;">Page 149</p> <p>1 In other words, the space around the stomach and the 2 intestines. 3 Q. And Clark and his colleagues, all people from 4 Syngenta's predecessor, ICI, right? 5 A. Yes. 6 Q. Stated in most of the animals the injection 7 "pointed to an effect on the central nervous system." 8 Didn't they? 9 A. That's what it says here. 10 Q. In this study the paraquat is systemically 11 absorbed and needs only to cross the blood-brain 12 barrier; correct? 13 A. If these effects are -- originate in the 14 central nervous system. 15 Q. Okay. Do you have any reason today to 16 dispute the findings of these scientists in 1966? 17 A. No, I do not. 18 Q. Okay, so if the scientists from your own 19 laboratories were correct saying there were central 20 nervous system effects from paraquat in 1966, you have 21 no way today to dispute their findings, do you? 22 A. No, I don't. 23 Q. All right. So that would tell you that your 24 labs didn't wait until the late '90s to do and find 25 effects on the central nervous system, as you just told</p> |

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 150</p> <p>1 me 10, 15 minutes ago. In fact, they'd done studies 2 one year after the release of this chemical into the 3 U.S. market showing it had central nervous system 4 effects; correct? 5 A. That's correct. And I have not seen this 6 study previously. 7 (Exhibit 8 marked for identification.) 8 Q. We've handed you what's been marked as 9 Plaintiff's Exhibit 8. As you did with number 7, would 10 you mind reading the title of the document and the 11 names of the people involved in the production of it? 12 A. "Paraquat and related bipyridyls", D.M. 13 Conning, K. Fletcher, A.A.B. Swan. 14 Q. And who are these people? 15 A. Again, they were scientists in the laboratory 16 which at that time was called the Industrial Hygiene 17 Research Laboratory. 18 Q. And this is Syngenta's predecessor isn't it, 19 ICI? 20 A. It is. 21 Q. And these people were all employed by the 22 same company you started working for when you graduated 23 from school; correct? 24 A. Yes. 25 Q. And they published this where?</p> | <p style="text-align: right;">Page 152</p> <p>1 Q. Do you want to read that part, the sentence 2 that says "The delayed toxic effects"? 3 A. "The delayed toxic effects of paraquat 4 occurring after the excretion of virtually all of the 5 material have caused it to be classed as a 6 'hit-and-run' compound ... that is a compound causing 7 immediate damage, the consequences of which are not 8 apparent until later." 9 Q. Okay. That statement means paraquat has both 10 immediate effects and effects that develop over time; 11 correct? 12 A. Yes. 13 Q. The more time that passes after exposure, the 14 more effects will be seen, according to these? 15 A. Yes. 16 Q. What steps did ICI undertake after the study 17 to investigate the effects of paraquat that would 18 develop over time? 19 A. Well they were largely based, as I understand 20 it but again I stress that I was not involved, on 21 particularly on the effects on the lung and kidney, as 22 what we call principal target organs. 23 Q. Well look further down there in that same 24 paragraph, where it says: 25 "Whilst each bipyridylum ..."</p> |
| <p style="text-align: right;">Page 151</p> <p>1 A. I'm not sure I can see a journal for this off 2 the top of my first reading. I can't see a journal on 3 the paper. 4 Q. What year? 5 A. Again, I'm struggling to find a date. 6 Q. 1969 I think if you look closely. It appears 7 in that journal at page 245 doesn't it, and goes 8 through page 249. 9 A. Yes I can now just see. It is obscured at 10 the bottom of one of the pages. So British Medical 11 Bulletin 1969, I can just read that. 12 Q. 1969. Okay. At page 248 of that, if you'd 13 go to that page, the authors state: 14 "The delayed toxic effects of paraquat 15 occurring after the excretion of virtually all of the 16 material have caused it to be classed as a 17 'hit-and-run' compound ... that is a compound causing 18 immediate damage, the consequences of which are not 19 apparent until later." 20 Have I read that correctly? 21 MR. NARESH: Where are you? 22 BY MR. TILLERY: 23 Q. On page 248 under "Discussion" in the second 24 or right-hand column, middle of the page? 25 A. Yes, okay, I've found that.</p> | <p style="text-align: right;">Page 153</p> <p>1 Would you read that aloud in the record, 2 please? 3 A. "Whilst each bipyridylum ion appears to be 4 relatively organ-specific, there are indications that 5 in all cases epithelial tissue is the major site of 6 damage: for example, intestinal mucosa and lens 7 (diquat), lung (paraquat) and the kidney tubular system 8 (morfamquat)." 9 Q. And the next sentence, please? 10 A. "It must be admitted that non-epithelial 11 tissues, such as liver, cardiac muscle and, with very 12 large doses, brain, can show signs of damage, but in 13 general these tend to be transitory." 14 "On the other hand, reported local effects of 15 paraquat on lungs, corneal, epithelium, nasal mucosa, 16 ... skin and fingernails ... reinforce the idea that 17 epithelial tissue is the most affected." 18 Q. Is blood-brain barrier an epithelial tissue? 19 A. I am not sure. I would need to check that 20 point. 21 Q. You don't know the answer? 22 A. No, I don't know the answer to that. 23 Q. If I told you it was, you wouldn't be able to 24 dispute it, would you? 25 A. I wouldn't be able to dispute it as we sit</p> |

39 (Pages 150 - 153)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 154</p> <p>1 here, no.</p> <p>2 Q. Does the choroid plexus consist of cells in</p> <p>3 the ventricle of the brain that produce cerebral spinal</p> <p>4 fluid?</p> <p>5 A. Again, that's an area of expertise that</p> <p>6 I don't -- doesn't enable me to answer that question.</p> <p>7 Q. Well let's ask you to assume that the choroid</p> <p>8 plexus is a layer of epithelial cells. Okay? So</p> <p>9 paraquat could damage the choroid plexus if it were to</p> <p>10 reach that location couldn't it, if you assume that it</p> <p>11 is?</p> <p>12 MR. NARESH: Object to the form.</p> <p>13 A. Potentially, yes. Potentially, because of</p> <p>14 what we've read here, that is possible.</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. The author of this ICI study also wrote:</p> <p>17 "It must be admitted ..."</p> <p>18 I'm sorry, strike that question. You read it</p> <p>19 already.</p> <p>20 So ICI knew by this study no later than 1969</p> <p>21 that paraquat could get into the brain, didn't it?</p> <p>22 A. Well, I think it said here that with very</p> <p>23 large doses.</p> <p>24 Q. Okay, but it still gets in the brain?</p> <p>25 A. It has the potential to get into the brain,</p> | <p style="text-align: right;">Page 156</p> <p>1 Has Syngenta or any of its predecessors ever</p> <p>2 warned paraquat users that very high doses of paraquat</p> <p>3 could cause brain damage?</p> <p>4 A. I'm not aware of that.</p> <p>5 Q. Did ICI do any studies to investigate how</p> <p>6 much smaller than a very large dose would cause brain</p> <p>7 damage?</p> <p>8 A. I'm not aware of that.</p> <p>9 Q. ICI knew in 1969 that people who mixed,</p> <p>10 loaded or applied paraquat or who were nearby when it</p> <p>11 was applied would be exposed to it, didn't they?</p> <p>12 A. That is true, yes.</p> <p>13 Q. And in fact ICI knew that before it ever put</p> <p>14 paraquat on the market that these people would be</p> <p>15 exposed to it if they sold it?</p> <p>16 A. That is true.</p> <p>17 Q. Did ICI do any studies in or after 1969 to</p> <p>18 investigate how much brain damage could occur from</p> <p>19 exposure to paraquat when it was used as directed?</p> <p>20 A. I don't know for sure but I don't believe</p> <p>21 that any such study was done.</p> <p>22 Q. Did ICI do any studies in or after 1969 to</p> <p>23 investigate how much brain damage could occur from</p> <p>24 exposure to paraquat when it was used in ways other</p> <p>25 than as directed but that ICI would be aware would</p> |
| <p style="text-align: right;">Page 155</p> <p>1 yes.</p> <p>2 Q. In 1969 what did ICI consider to be a very</p> <p>3 large dose of paraquat?</p> <p>4 A. Well, this, the studies that we've been</p> <p>5 talking about, as I said it about the previous paper,</p> <p>6 were doses which in some cases were approaching the</p> <p>7 LD50 so they would be doses that were very toxic.</p> <p>8 Q. Is that what you thought the dose was here?</p> <p>9 A. Well, I've not yet had time to read all of</p> <p>10 the detail of this paper, so I would need to check this</p> <p>11 one. I was certainly referring to the previous one.</p> <p>12 Q. Do you know what ICI based its determination</p> <p>13 of what a very large dose of paraquat was?</p> <p>14 A. No.</p> <p>15 Q. Has Syngenta or any of its predecessors ever</p> <p>16 warned paraquat applicators or users that a very high</p> <p>17 dose of paraquat could cause brain damage?</p> <p>18 MR. NARESH: Objection to the scope.</p> <p>19 A. We have not specifically warned of that</p> <p>20 effect, of that potential, because we don't believe</p> <p>21 that at the kind of exposure concentrations that people</p> <p>22 would see that that was a likely outcome.</p> <p>23 BY MR. TILLERY:</p> <p>24 Q. I move to strike the answer as unresponsive.</p> <p>25 I'm going to ask your question again.</p> | <p style="text-align: right;">Page 157</p> <p>1 occur in the real world? In other words, were</p> <p>2 reasonably foreseeable?</p> <p>3 MR. NARESH: Object to form.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. Do you want me to restate the question?</p> <p>6 A. If you wouldn't mind.</p> <p>7 Q. Did ICI do any studies in or after 1969 to</p> <p>8 investigate how much brain damage could occur from</p> <p>9 exposure to paraquat when it was used in ways that they</p> <p>10 reasonably anticipated farmers and applicators could</p> <p>11 apply it?</p> <p>12 A. Well we were, in our much later research</p> <p>13 program in our mouse model were investigating potential</p> <p>14 effects on the brain. Here we're talking about in the</p> <p>15 years after 2003 so --</p> <p>16 Q. Much later.</p> <p>17 A. -- much later.</p> <p>18 Q. So you're saying that at least 35 years after</p> <p>19 this study in 1969?</p> <p>20 A. That's when the majority of our research work</p> <p>21 on this -- in this area was being done.</p> <p>22 Q. Okay.</p> <p>23 (Exhibit 9 marked for identification.)</p> <p>24 Would you take a look at Plaintiff's</p> <p>25 Exhibit 9, sir.</p> |

40 (Pages 154 - 157)

| | |
|---|---|
| <p style="text-align: right;">Page 158</p> <p>1 A. Yes.</p> <p>2 Q. Could you tell me what this is?</p> <p>3 A. It's a publication in the journal Toxicology</p> <p>4 entitled "The tissue distribution of the bipyridylum</p> <p>5 herbicides diquat and paraquat in rats and mice."</p> <p>6 Q. And what year was this study?</p> <p>7 A. 1973.</p> <p>8 Q. And who was it undertaken by?</p> <p>9 A. M. H. Litchfield, J. W. Daniel and Susan</p> <p>10 Longshaw.</p> <p>11 Q. And by whom are they employed?</p> <p>12 A. By ICI.</p> <p>13 Q. Same laboratory?</p> <p>14 A. Same laboratory, yes.</p> <p>15 Q. Now in this 1973 report of a study by</p> <p>16 Litchfield, Daniel and Longshaw, they gave paraquat to</p> <p>17 mice by intravenous injection of 20 milligrams per</p> <p>18 kilogram of radio-labeled paraquat; correct?</p> <p>19 A. Yes.</p> <p>20 Q. What's radio-labeling mean?</p> <p>21 A. It means that you attach a radioactive marker</p> <p>22 to the molecule such that you can then see where it</p> <p>23 gets to when it's for example when it's injected into</p> <p>24 animals.</p> <p>25 Q. How does that happen? How do you do that in</p> | <p style="text-align: right;">Page 160</p> <p>1 feature in the 24-hour autoradiograms from</p> <p>2 paraquat-treated mice was the presence of radioactivity</p> <p>3 in the lung. This was in addition evidence of the</p> <p>4 presence of radioactivity in both the brain and spinal</p> <p>5 cord."</p> <p>6 Q. So it was reaching the brain and the spinal</p> <p>7 cord and the lungs, right?</p> <p>8 A. That's what that says.</p> <p>9 Q. And what's common in terms of physiology, of</p> <p>10 a mammalian physiology, common to both rats, mice and</p> <p>11 humans about lungs and brain on this topic?</p> <p>12 A. Are you referring to how well perfused they</p> <p>13 are?</p> <p>14 Q. Or how about that it's a very highly</p> <p>15 oxygen-rich environment?</p> <p>16 A. Which is really saying what I said in a</p> <p>17 different way.</p> <p>18 Q. Exactly the same thing you said.</p> <p>19 A. Yes.</p> <p>20 Q. What does that mean? For people who are</p> <p>21 watching you later on this deposition, what does that</p> <p>22 mean?</p> <p>23 A. It means they've got -- there's a very active</p> <p>24 blood supply feeding those tissues.</p> <p>25 Q. And oxygen-rich means what?</p> |
| <p style="text-align: right;">Page 159</p> <p>1 a laboratory?</p> <p>2 A. You use normally, and I see that this was</p> <p>3 done here, a technique called autoradiography so when</p> <p>4 the animal is killed at the end of a study you can</p> <p>5 essentially detect that radioactivity in sections of</p> <p>6 the animal, you can see where it's located.</p> <p>7 Q. Like taking an x-ray of the animal, isn't it?</p> <p>8 A. It's --</p> <p>9 Q. By following that chemical and tracing the</p> <p>10 chemical in the body?</p> <p>11 A. That's correct.</p> <p>12 Q. Did that radio-labeling allow them to detect</p> <p>13 paraquat in various tissues of the mice by taking</p> <p>14 x-rays and inspecting the x-ray film images?</p> <p>15 A. Yes, that's clearly what's been done here.</p> <p>16 Q. At various points in time after the paraquat</p> <p>17 was injected, they then killed the mice and took the</p> <p>18 films; right?</p> <p>19 A. Yes, correct.</p> <p>20 Q. In mice killed after 24 hours they detected</p> <p>21 paraquat in the brain and spinal cord, didn't they?</p> <p>22 A. So --</p> <p>23 Q. If you go to page 159 in the "RESULTS"</p> <p>24 section, middle of the page.</p> <p>25 A. Okay. So I'm reading: "One significant</p> | <p style="text-align: right;">Page 161</p> <p>1 A. It means that there is literally the presence</p> <p>2 of oxygen as transported around with red blood cells.</p> <p>3 Q. So if redox cycling were involved this would</p> <p>4 be a place to wind up, wouldn't it?</p> <p>5 A. It obviously means that redox cycling could</p> <p>6 occur in the presence of oxygen, of course.</p> <p>7 Q. And where would you look in terms of</p> <p>8 investigating oxygen-rich environments, wouldn't you?</p> <p>9 MR. NARESH: Objection to form.</p> <p>10 A. And that's why particularly the lung was</p> <p>11 investigated where there was a persistence of paraquat.</p> <p>12 BY MR. TILLERY:</p> <p>13 Q. That's home base for presence of oxygen,</p> <p>14 right, the lung. But the brain also generates an</p> <p>15 enormous amount, doesn't it?</p> <p>16 A. Yes.</p> <p>17 Q. And the production of dopamine in terms of</p> <p>18 human physiology generates a lot of oxygen, doesn't it?</p> <p>19 A. I'm not sure that I'd necessarily say that</p> <p>20 dopamine itself produces --</p> <p>21 Q. Not the dopamine, the process, the</p> <p>22 physiological process involved in that pot, taking</p> <p>23 place in that substantia nigra? Were you aware of</p> <p>24 that?</p> <p>25 A. I'm not quite sure that I know precisely</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 162</p> <p>1 which biological process you're referring to?</p> <p>2 Q. Well are you aware of the fact that the brain</p> <p>3 in terms of the substantia nigra is an oxygen-rich</p> <p>4 environment?</p> <p>5 A. I didn't know that it was more oxygen-rich</p> <p>6 than other parts --</p> <p>7 Q. Than any other part of the body, okay.</p> <p>8 A. -- of parts of the brain even, no.</p> <p>9 Q. What about dopamine metabolism?</p> <p>10 A. Well dopamine metabolism certainly occurs in</p> <p>11 areas of the brain which are rich in dopamine clearly,</p> <p>12 of which substantia nigra is one but not the only one.</p> <p>13 Q. What about dopamine metabolism in terms of</p> <p>14 generating oxygen? Do you know anything about that?</p> <p>15 A. No I don't.</p> <p>16 Q. So if I told you that what created -- the</p> <p>17 metabolism of dopamine created an oxygen-rich</p> <p>18 environment, you wouldn't be able to dispute that,</p> <p>19 would you?</p> <p>20 A. I can't dispute that, no.</p> <p>21 Q. And if it did create an oxygen-rich</p> <p>22 environment, that would really be a very good location</p> <p>23 for paraquat to undergo redox cycling, wouldn't it?</p> <p>24 MR. NARESH: Objection to the form.</p> <p>25 A. I can't dispute what you're saying.</p> | <p style="text-align: right;">Page 164</p> <p>1 Q. Paraquat was not detected in the bloodstream,</p> <p>2 was it?</p> <p>3 A. No, it was not determined, which is</p> <p>4 different.</p> <p>5 Q. What's the difference between "determined"</p> <p>6 and "detected"?</p> <p>7 A. My understanding of that would be that</p> <p>8 measurements were not made, when we say "not</p> <p>9 determined", but that would be my interpretation.</p> <p>10 Q. But you don't know why they didn't make</p> <p>11 measurements in the blood?</p> <p>12 A. No, I don't know.</p> <p>13 Q. This study tells us that paraquat can be</p> <p>14 accumulated in the brain when none is detectable in the</p> <p>15 blood, can it? Are you saying that because they didn't</p> <p>16 detect it --</p> <p>17 A. No, I'm saying they didn't actually look for</p> <p>18 it is my interpretation of ND.</p> <p>19 Q. Would you mind taking a look at the study and</p> <p>20 telling me where it says they didn't look at it, just</p> <p>21 to clarify the record?</p> <p>22 A. Well --</p> <p>23 Q. And are you saying "ND" means -- are you</p> <p>24 relying on the abbreviation "ND"?</p> <p>25 A. No, I'm saying that in table 1 it says ND</p> |
| <p style="text-align: right;">Page 163</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Now let's go on. In that study we see one</p> <p>3 significant feature in the 24-hour test. Here it says</p> <p>4 "there was an additional evidence of the presence of</p> <p>5 radioactivity in both the brain and spinal cord". So</p> <p>6 it entered in the spinal cord, right?</p> <p>7 A. I don't know whether it entered through the</p> <p>8 spinal cord.</p> <p>9 Q. But it was there?</p> <p>10 A. It was there.</p> <p>11 Q. So it got into the brain, didn't it?</p> <p>12 A. At that time period they could detect it in</p> <p>13 the brain.</p> <p>14 Q. And if you go to the table, and that would be</p> <p>15 on page Syngenta 1980132, do you see that table?</p> <p>16 A. Yes.</p> <p>17 Q. And the table is entitled "The concentration</p> <p>18 of paraquat or diquat in tissue of male rats fed diets</p> <p>19 containing paraquat or diquat for 2, 4, and 8 weeks";</p> <p>20 right?</p> <p>21 A. Yes.</p> <p>22 Q. And what do they see for the brain at 8</p> <p>23 weeks? Concentrations in the brain, right? Do you see</p> <p>24 that?</p> <p>25 A. Yes.</p> | <p style="text-align: right;">Page 165</p> <p>1 means not determined. I then look in the materials and</p> <p>2 methods.</p> <p>3 Q. Point me the page, please?</p> <p>4 A. Which is page 157, and it does say -- journal</p> <p>5 page 157. It says there:</p> <p>6 "At 2, 4 and 8 weeks ten rats from each group</p> <p>7 and five controls were killed, and brain, lungs, liver,</p> <p>8 kidney, hind leg muscle, stomach, small and large</p> <p>9 intestines were analysed for paraquat and diquat". So</p> <p>10 that does not include blood.</p> <p>11 Q. These rats, none of them died from the doses,</p> <p>12 did they?</p> <p>13 A. The paper doesn't indicate that that was the</p> <p>14 case. It gives no indication that that happened.</p> <p>15 Q. So they were dosed at 120 parts per million</p> <p>16 for 8 weeks and never showed signs of general toxicity,</p> <p>17 did they?</p> <p>18 A. Well I would have to go away and calculate</p> <p>19 that in a way which I was able to relate it. But</p> <p>20 normally for this kind of experiment, where you're</p> <p>21 trying to detect where in this case paraquat goes, you</p> <p>22 would not use a toxic concentration of paraquat, you</p> <p>23 would use a lower concentration.</p> <p>24 Q. And the paper says that at 250 parts per</p> <p>25 million no clear pattern likely because rats stopped</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 166</p> <p>1 eating the food?</p> <p>2 A. Which suggests that they -- that that was</p> <p>3 heading towards being toxic.</p> <p>4 Q. So they quit eating it when it would have</p> <p>5 made them sicker or probably killed them, they quit</p> <p>6 eating the food?</p> <p>7 A. Yes.</p> <p>8 Q. So this would tell you, the inference would</p> <p>9 be, that none of the rats died?</p> <p>10 A. I don't have direct evidence from this paper</p> <p>11 but that's one inference.</p> <p>12 Q. So this 1973 study is consistent with ICI's</p> <p>13 previous 1969 study in that it found paraquat in the</p> <p>14 brains of mice and rats; correct?</p> <p>15 A. It did, but there is commentary elsewhere in</p> <p>16 this paper that that paraquat in the brain did not</p> <p>17 persist.</p> <p>18 Q. I move to strike the answer as unresponsive.</p> <p>19 Let me start over.</p> <p>20 So this 1973 study is consistent with ICI's</p> <p>21 previous 1969 study in that it found paraquat in the</p> <p>22 brains of mice and rats?</p> <p>23 MR. NARESH: Object to the form.</p> <p>24 A. Yes.</p> <p>25 BY MR. TILLERY:</p> | <p style="text-align: right;">Page 168</p> <p>1 at your beck and call as worldwide director of science</p> <p>2 you didn't know about them, did you?</p> <p>3 MR. NARESH: Objection to form.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. Is that a fair statement?</p> <p>6 A. Well let me clarify I'm not worldwide</p> <p>7 director of science. I'm an adviser to product safety</p> <p>8 specifically.</p> <p>9 Q. All right.</p> <p>10 A. And the scope of my research was around the</p> <p>11 more recent studies that we've done.</p> <p>12 Q. And the statements you made about the late</p> <p>13 '90s being -- studying neurotoxic effects or finding</p> <p>14 neurotoxic effects earlier in this deposition were just</p> <p>15 flat wrong, weren't they?</p> <p>16 MR. NARESH: Objection to form.</p> <p>17 A. I'm not sure that I would agree with that.</p> <p>18 Would you like to be more specific?</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. Well when you told me that, no, none of these</p> <p>21 studies were undertaken until the late '90s. These</p> <p>22 studies were clearly undertaken by ICI in the '60s,</p> <p>23 weren't they?</p> <p>24 A. But they did not have as their purpose to</p> <p>25 specifically address neurotoxicity or Parkinson's</p> |
| <p style="text-align: right;">Page 167</p> <p>1 Q. How much of our DNA do we share with mice and</p> <p>2 rats?</p> <p>3 MR. NARESH: Objection: scope.</p> <p>4 A. A significant proportion. More than</p> <p>5 90 percent I understand.</p> <p>6 BY MR. TILLERY:</p> <p>7 Q. ICI knew about paraquat's very high redox</p> <p>8 cycling potential when it did these studies, didn't it?</p> <p>9 A. It would have done, yes.</p> <p>10 Q. ICI knew paraquat would undergo redox cycling</p> <p>11 in human tissues when it did these studies that it</p> <p>12 reported in 1969 and 1973, right?</p> <p>13 A. It would have done.</p> <p>14 Q. You didn't know about these studies until you</p> <p>15 walked in here, did you?</p> <p>16 A. I certainly have not ever read these studies</p> <p>17 before.</p> <p>18 Q. Did you even know that they existed?</p> <p>19 A. I don't know that I did actually, no.</p> <p>20 Q. So someone put you up to answer questions and</p> <p>21 didn't show you these studies?</p> <p>22 A. I certainly was not shown these studies as</p> <p>23 part of the preparation.</p> <p>24 Q. And in your preparation and your research at</p> <p>25 all the facilities and libraries and everything you had</p> | <p style="text-align: right;">Page 169</p> <p>1 disease specifically.</p> <p>2 Q. So that's what you meant when you answered my</p> <p>3 questions?</p> <p>4 A. That's correct.</p> <p>5 Q. So you were telling me if they didn't have,</p> <p>6 despite their findings of neurotoxicity, if they didn't</p> <p>7 have that as a purpose then you could freely answer my</p> <p>8 question that they didn't undertake them until the late</p> <p>9 '90s, is that what you're telling me?</p> <p>10 MR. NARESH: Objection to form.</p> <p>11 A. I'm telling you that studies which were</p> <p>12 specifically directed to the hypothesis that paraquat</p> <p>13 might affect the region of the brain implicated in</p> <p>14 Parkinson's disease did not start until the late 1990s.</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. ICI knew that paraquat could get into the</p> <p>17 human brain when it did these studies that it reported</p> <p>18 in 1969 and 1973 didn't it?</p> <p>19 A. Well, I don't know that I would say into the</p> <p>20 human brain because there was no direct evidence for</p> <p>21 that.</p> <p>22 Q. These mouse studies then are not studies that</p> <p>23 would allow you to draw conclusions about whether it</p> <p>24 can or cannot get into the human brain?</p> <p>25 A. That is one reason why we increasingly wanted</p> |

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 170</p> <p>1 to do the kind of research that we did later to see 2 whether there were -- was evidence for species 3 differences. 4 Q. So you think the mouse studies are not 5 predictive? 6 A. At the time that these were done it would be 7 not possible to be sure that they were predictive of 8 what happened in humans. 9 Q. And if you're not sure, what's the correct 10 scientific approach to take? 11 A. As I've described this morning, we took the 12 course of studying the pharmacokinetics of paraquat in 13 a non-human primate model. 14 Q. But if you're not sure of neurotoxicity, you 15 don't know and it's 1965, 1966, and you're about to 16 launch a product into a market where farmers are not 17 being told about neurotoxicity, what's the responsible, 18 ethical course to take by a company? 19 MR. NARESH: Objection to scope and form. 20 A. I think it's worth saying at this point that 21 toxicology, the discipline of toxicology frequently 22 involves giving animals high doses of chemicals where 23 you see effects on any tissues, not just the nervous 24 system, and which you do not believe are of relevance 25 to what humans will be exposed to. And that would be</p> | <p style="text-align: right;">Page 172</p> <p>1 that basis that you then conduct a risk assessment to 2 humans. 3 Q. When did you do that first? When did you do 4 your no effect level studies? 5 A. Many of those came when we started to do the 6 regulatory toxicology studies. 7 Q. So could you give me a year when you did your 8 no effect studies? 9 A. Well one of the key studies that we used were 10 studies in the rat where we were able to confirm the 11 lung as the principal target organ. That had the 12 lowest no effect level. 13 Q. Excuse me, sir, I move to strike your answer 14 as un-responsive. Specifically I asked you in what 15 year did you first do the study? What was it? 16 A. I was about to say I can't recall what year 17 the test was done. 18 Q. Was it after 2005? 19 A. No, it was before then. 20 Q. Was it in the '90s? 21 A. It may even have been before that. It 22 certainly was one of the earliest regulatory toxicology 23 studies that we were doing, on regulatory toxicology -- 24 Q. It was at least 20 or 30 years after these 25 studies were done, right?</p> |
| <p style="text-align: right;">Page 171</p> <p>1 certainly true of some of the studies we've looked at 2 just now. 3 BY MR. TILLERY: 4 Q. So irrespective of the findings, you don't 5 have to do anything further? Because if it came back 6 negative, you wouldn't do any more. If it came back 7 positive, like it did here, you didn't do any more 8 until the late '90s; right? 9 MR. NARESH: Same objections. 10 BY MR. TILLERY: 11 Q. Is that true? 12 A. These studies were, as I said earlier, were 13 not specifically to address neurotoxicity. The finding 14 of possible effects on the nervous system was one 15 diagnosis of what went on in these high dose studies. 16 Q. But don't you do additional testing? Once 17 you see evidence of this, isn't it a red flag that 18 additional testing needs to be done because you know 19 that real live human beings are being exposed to the 20 potential neurotoxic effects of your product? 21 A. As I said, we see frequently in today's world 22 of toxicology where we do a lot more studies we 23 frequently see effects at high doses. Where proper 24 toxicological practice is that you then look at where 25 you see what's called the no effect level. So it's on</p> | <p style="text-align: right;">Page 173</p> <p>1 A. I can't give you a precise date. 2 Q. You're not willing to give me a precise date? 3 MR. NARESH: Objection: argumentative. 4 A. No, I honestly can't remember when that 5 key -- the study I referred to was conducted. 6 BY MR. TILLERY: 7 Q. Did Syngenta or any of its predecessors ever 8 take the position that neurotoxicity studies were 9 unnecessary because of the human blood-brain barrier? 10 A. I don't believe that that was the case. 11 Q. You're not aware that they took that position 12 one way or another? 13 A. No. 14 Q. Do you think that these study results that 15 I just showed you, that you said you had never seen 16 before, would be inconsistent with the position that 17 the blood-brain barrier was so protected that no 18 neurotoxic studies should be undertaken? 19 MR. NARESH: Objection to form. 20 A. This was -- these studies were done at a time 21 when doing specialized neurotoxicity studies was not 22 conducted. No laboratory would have normally done such 23 a thing. 24 BY MR. TILLERY: 25 Q. Would it have been reasonable for ICI to have</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 174</p> <p>1 inferred by no later than 1969 that paraquat undergoing 2 redox cycling in the brain would produce superoxide 3 radical and other reactive oxygen species? 4 A. It would be reasonable to assume that 5 paraquat was having that effect in a number of tissues, 6 including the brain. 7 Q. Specifically would it include the brain, sir? 8 A. Yes. 9 Q. And would it have been reasonable for ICI to 10 have inferred by no later than 1969 that paraquat 11 undergoing redox cycling in the brain and producing 12 superoxide radical and other reactive oxygen species 13 would damage or kill brain cells? 14 A. It would be reasonable to assume that was a 15 possibility, yes. 16 Q. When were neurotoxicity studies, the specific 17 neurotoxicity studies first done in toxicological 18 laboratories? 19 A. In my recollection the first requirement for 20 such studies, which came from actually from the U.S. 21 environmental protection agency, was in the 1990s. 22 Q. I'm talking about generally. Whether or not 23 it's for your products, whether or not it's for any -- 24 I'm saying when were neurotox studies first undertaken? 25 A. In the 1990s.</p> | <p style="text-align: right;">Page 176</p> <p>1 Q. And Lewis Smith is the same Lewis Smith 2 you've mentioned before in this deposition? 3 A. He is. 4 Q. What was his job at that time at ICI? 5 A. In 1974 he would have been a research worker 6 in Mike Rose's department. 7 Q. In this study paraquat accumulation was found 8 to be energy-dependent and to follow saturation 9 kinetics, right? 10 A. Yes. 11 Q. Did Rose and Smith say this implies that 12 uptake of paraquat involves active transport across 13 cell membranes? 14 A. In the lung, yes. 15 Q. What relationship if any is there between 16 paraquat accumulation being energy-dependent and uptake 17 of paraquat involving active transport across cell 18 membranes? 19 A. A level of detail which I'm not able to 20 answer, I'm afraid. 21 Q. Does paraquat accumulation being 22 energy-dependent and uptake of paraquat involving 23 active transport across cellular membranes mean energy 24 is used to transport paraquat across cellular 25 membranes?</p> |
| <p style="text-align: right;">Page 175</p> <p>1 Q. So there were no studies worldwide in any 2 laboratory studying neurotoxicity before the '90s? 3 A. Not using specialized techniques that are 4 required for such studies. 5 Q. Well were there tests of any kind studying 6 neurotoxicity before the '90s? 7 A. There may have been but I can't speak for 8 every compound and every situation. 9 Q. Has Syngenta or any of its successors -- I'm 10 sorry. Has Syngenta or any of its corporate 11 predecessors ever warned paraquat users that paraquat 12 could get in their brains? 13 A. I'm not aware of that being given. 14 (Exhibit 10 marked for identification.) 15 Q. Is Exhibit 10 a 1974 report of a study by 16 M. Rose and Lewis Smith titled "Evidence for 17 energy-dependent accumulation of paraquat into rat 18 lung"? 19 A. It is. 20 Q. Did M. Rose work at ICI? 21 A. He did. 22 Q. What was his job at ICI in 1974? 23 A. In 1974 he would have been the section head 24 of what was then called the Biochemical Mechanisms 25 Unit.</p> | <p style="text-align: right;">Page 177</p> <p>1 A. That's what that would mean, yes. 2 Q. This study shows that paraquat can be 3 transported across cell membranes even though it's a 4 charged molecule, right? 5 A. It does. 6 Q. Do the findings in this study show that 7 paraquat doesn't pass through cell membranes by passive 8 diffusion? 9 A. Again, I would have to read this paper again 10 in more detail. 11 Q. What are saturation kinetics? 12 A. They are the kinetics of the behavior of 13 transport of a substance across -- in this case across 14 a membrane barrier. So it puts some mathematical 15 numbers on to that, the rate of transport for example. 16 Q. If paraquat passes through cellular members 17 through active transport, as this study found, isn't it 18 reasonable to infer that it could also pass through the 19 blood-brain barrier through active transport? 20 A. Well each membrane that we're talking about 21 has different properties and has different transporter 22 molecules for example, so you can't always say that 23 what happens in one will necessarily happen in another. 24 Q. So you're saying that the answer to my 25 question is, no, it can't happen that way?</p> |

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 178</p> <p>1 A. No, I'm saying --</p> <p>2 Q. Or are you saying you don't know?</p> <p>3 A. I'm saying don't assume it would happen in</p> <p>4 the same way.</p> <p>5 Q. And conversely you can't assume that it</p> <p>6 wouldn't, right?</p> <p>7 A. No. No.</p> <p>8 (Exhibit 11 marked for identification.)</p> <p>9 Q. To make this easier we've given you the whole</p> <p>10 document, but also the specific page referenced</p> <p>11 documents.</p> <p>12 A. Okay.</p> <p>13 Q. Would you mind familiarizing yourself a bit</p> <p>14 with that document, please. This is Plaintiff's</p> <p>15 Exhibit 11.</p> <p>16 A. Okay.</p> <p>17 Q. Is Exhibit 11 a 1976 report of a study by</p> <p>18 M. Rose of ICI entitled "Paraquat Accumulation: Tissue</p> <p>19 and Species Specificity"?</p> <p>20 A. Yes, I believe you said 1976. It says 1975.</p> <p>21 Q. I'm sorry, July 1975. So is this such a</p> <p>22 study?</p> <p>23 A. Yes.</p> <p>24 Q. And again this was done by Mr. Rose, Lock,</p> <p>25 Smith and Wyatt?</p> | <p style="text-align: right;">Page 180</p> <p>1 Q. And it's Table 3, page 31, paraquat</p> <p>2 concentration in rat tissues after oral administration</p> <p>3 of 680 micromoles per kilogram body weight. Do you see</p> <p>4 that?</p> <p>5 A. Yes.</p> <p>6 Q. Does that table show that at 2 hours after</p> <p>7 dosing the concentration of the brain was 6.8 is</p> <p>8 that -- what is the measure there?</p> <p>9 A. This is nanomoles, so that is three orders of</p> <p>10 magnitude lower than a micromole.</p> <p>11 Q. So the concentration of the brain was 6.8</p> <p>12 nanomoles per gram of wet weight tissue?</p> <p>13 A. That's correct.</p> <p>14 Q. At 4 hours the concentration in the brain was</p> <p>15 what?</p> <p>16 A. 0.81 nanomoles per gram.</p> <p>17 Q. Okay. At 18 hours it was what?</p> <p>18 A. 1.5.</p> <p>19 Q. At 30 hours it was what?</p> <p>20 A. 3.1.</p> <p>21 Q. So paraquat's concentration in the brain was</p> <p>22 increasing over time, wasn't it?</p> <p>23 A. Yes, but you have to be careful about how to</p> <p>24 interpret these studies.</p> <p>25 Q. Would you agree with me, sir, that the</p> |
| <p style="text-align: right;">Page 179</p> <p>1 A. Yes.</p> <p>2 Q. And these are all people from ICI?</p> <p>3 A. They are.</p> <p>4 Q. So this was an ICI-produced document?</p> <p>5 A. Yes.</p> <p>6 Q. And in this study Wistar rats were dosed</p> <p>7 orally with 680 micrograms -- what is that measure?</p> <p>8 A. No, it's micromoles.</p> <p>9 Q. Micromoles per kilogram?</p> <p>10 A. Yes.</p> <p>11 Q. Of paraquat; correct?</p> <p>12 A. That's correct.</p> <p>13 Q. The study found paraquat was markedly</p> <p>14 accumulated by lung slices and significantly</p> <p>15 accumulated by brain slices. Do you see that?</p> <p>16 A. Yes.</p> <p>17 Q. And you can look at page 25, if you wish, to</p> <p>18 verify that statement?</p> <p>19 A. Just before we go on. To clarify, if I may.</p> <p>20 This was a study which involved both, as you described,</p> <p>21 giving an oral dose of paraquat to rats but also the</p> <p>22 use of tissues slices, which is different.</p> <p>23 Q. Right. Exactly right. Now, if you would</p> <p>24 look at Table 3 on page 31?</p> <p>25 A. Yes.</p> | <p style="text-align: right;">Page 181</p> <p>1 paraquat's concentration in the brain was increasing</p> <p>2 over time by this study?</p> <p>3 A. The data here suggests that's a possibility,</p> <p>4 yes.</p> <p>5 Q. When ICI did this 1976 study it knew from its</p> <p>6 own 1973 study by Litchfield and others that it found</p> <p>7 paraquat in the brain after 8 weeks, didn't it?</p> <p>8 A. Yes.</p> <p>9 Q. Knowing that, why did ICI run the study for</p> <p>10 only 30 hours?</p> <p>11 A. I really am not able to answer that.</p> <p>12 Q. Okay. So if you knew that it was in the</p> <p>13 brain from a study in 1973 for 8 weeks, it would be</p> <p>14 reasonable to conclude it would continue and stay in</p> <p>15 the brain, right?</p> <p>16 MR. NARESH: Objection to form. Scope.</p> <p>17 A. The study wasn't continued beyond 30 hours so</p> <p>18 it's not possible to predict what might have happened.</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. You're not going to say one way or another?</p> <p>21 A. Because we don't know what might have</p> <p>22 happened.</p> <p>23 MR. TILLERY: Let's go off the record for</p> <p>24 just a moment, please.</p> <p>25 THE VIDEOGRAPHER: Going off the record. Th</p> |

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 182</p> <p>1 time is 2:30.</p> <p>2 (Break taken.)</p> <p>3 THE VIDEOGRAPHER: Back on the record. The</p> <p>4 time is 2:43.</p> <p>5 BY MR. TILLERY:</p> <p>6 Q. In June of -- sorry, strike that. In 1974</p> <p>7 Chevron was distributing paraquat in the United States</p> <p>8 under an agreement with ICI, wasn't it?</p> <p>9 MR. NARESH: Objection to scope. If you</p> <p>10 know.</p> <p>11 A. I can't confirm that. I don't know exact</p> <p>12 dates of commercialization.</p> <p>13 BY MR. TILLERY:</p> <p>14 Q. Do you know whether or not Chevron ever</p> <p>15 distributed paraquat in the United States?</p> <p>16 A. Oh yes I know that, yes. Yes.</p> <p>17 Q. Do you know when that started?</p> <p>18 A. I don't know the start date, no.</p> <p>19 Q. Did you understand that they had an agreement</p> <p>20 between Chevron and ICI where Chevron was a sole</p> <p>21 distributor of paraquat in the United States?</p> <p>22 A. I was aware that there was a period of time</p> <p>23 where Chevron were the sole distributor.</p> <p>24 Q. Do you know when it ended?</p> <p>25 A. I do not.</p> | <p style="text-align: right;">Page 184</p> <p>1 A. It was.</p> <p>2 Q. And then below that it has PPL, and that's</p> <p>3 Mr. Jenkins and Mr. Schumacher. Do you know what PPL</p> <p>4 was?</p> <p>5 A. I'm not absolutely sure. I suspect it's</p> <p>6 prime protection, but I don't know what the "L" stands</p> <p>7 for.</p> <p>8 Q. Okay. And would that be another corporate</p> <p>9 predecessor of Syngenta's?</p> <p>10 A. I can't accurately answer that question, not</p> <p>11 knowing exactly what PPL is.</p> <p>12 Q. Do you know who these people Jenkins and</p> <p>13 Schumacher?</p> <p>14 A. They're not names that I remember seeing</p> <p>15 before.</p> <p>16 Q. Do these notes recount what was discussed at</p> <p>17 a meeting about Ortho Paraquat?</p> <p>18 A. Well I haven't read the document so I</p> <p>19 can't --</p> <p>20 Q. Why don't you take a minute and look at it.</p> <p>21 MR. TILLERY: So if he's not prepared to</p> <p>22 discuss anything about the relationship between Chevron</p> <p>23 and ICI, is that a topic that will be discussed when we</p> <p>24 resume on April 9th?</p> <p>25 MR. NARESH: I don't believe that he is</p> |
| <p style="text-align: right;">Page 183</p> <p>1 Q. Let me hand you what's been marked as</p> <p>2 Exhibit 12.</p> <p>3 (Exhibit 12 marked for identification.)</p> <p>4 You would please read Plaintiff's Exhibit 12</p> <p>5 which is entitled "Notes on Discussions with Chevron</p> <p>6 San Francisco March 28 and 29, 1974". Do you see that</p> <p>7 at the top?</p> <p>8 A. I do.</p> <p>9 Q. And it says:</p> <p>10 "Present for formal discussions on the Ortho</p> <p>11 paraquat label were:- "</p> <p>12 And from Chevron it lists Mr. Ospenson. Do</p> <p>13 you see that?</p> <p>14 A. I do.</p> <p>15 Q. Mr. Cavalli, Tanner, Lewis, Hopkins, Doppelt,</p> <p>16 Stelzer, Searle, Kamienski. Do you see that?</p> <p>17 A. I do.</p> <p>18 Q. And then from IHRL, Mr. Fletcher?</p> <p>19 A. Yes.</p> <p>20 Q. What is IHRL?</p> <p>21 A. Industrial Hygiene Research Laboratory.</p> <p>22 Q. Do you know what they were?</p> <p>23 A. It was the name of the laboratory that</p> <p>24 subsequently became the Central Toxicology Laboratory.</p> <p>25 Q. Was this part of ICI at that time?</p> | <p style="text-align: right;">Page 185</p> <p>1 designated for that topic, and off the top of my head</p> <p>2 I don't remember that being a topic.</p> <p>3 MR. TILLERY: Yeah, it is. It's in the first</p> <p>4 series of topics.</p> <p>5 MR. CRAIG: Communications about studies --</p> <p>6 MR. TILLERY: Yeah and one of his topics is</p> <p>7 internal and external communications about the</p> <p>8 paraquat.</p> <p>9 MR. NARESH: So I think you're saying two</p> <p>10 different things. One is the relationship between</p> <p>11 Chevron and ICI, the contractual relationship?</p> <p>12 MR. TILLERY: Well not really contractual,</p> <p>13 I'm not going to ask that because that's a foregone</p> <p>14 conclusion, they've got a contract. What I'm asking</p> <p>15 is, is he going to be the one that discusses the</p> <p>16 discussions they had and the sharing of scientific</p> <p>17 information?</p> <p>18 MR. NARESH: So I think we ought to see where</p> <p>19 this goes and then we can talk about it afterwards.</p> <p>20 MR. TILLERY: Okay.</p> <p>21 A. Okay.</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. Now, do these notes say Chevron intended to</p> <p>24 submit a revised paraquat label to the EPA and then the</p> <p>25 quote is:</p> |

47 (Pages 182 - 185)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 186</p> <p>1 "... containing, among other things, the</p> <p>2 phrase 'when spraying, wear goggles and a respirator to</p> <p>3 avoid eye contact and nasal, throat and respiratory</p> <p>4 tract irritation'."</p> <p>5 It does say that, yes.</p> <p>6 Q. And would you read the third paragraph for</p> <p>7 the record, please?</p> <p>8 A. The one beginning:</p> <p>9 "Dr. Lemac Hopkins's job ..."</p> <p>10 Q. Yes, and if you could go to the fourth line</p> <p>11 down, "Extreme"?</p> <p>12 A. "Extreme examples quoted were the proposal in</p> <p>13 California to require workers to undergo a</p> <p>14 cardio-pulmonary evaluation before spraying paraquat,</p> <p>15 and a proposal from a Mr. Stephenson of Georgia for a</p> <p>16 paraquat ban. In both these instances the persons</p> <p>17 concerned were persuaded not to proceed with their</p> <p>18 proposals."</p> <p>19 Do you want me to go on?</p> <p>20 Q. Yes, please.</p> <p>21 A. "Other comments from State officials indicate</p> <p>22 concern about possible long term chronic effects of</p> <p>23 workers licking small quantities of paraquat daily from</p> <p>24 their lips and/or breathing in low doses via small</p> <p>25 droplets from spray mist. Some agricultural</p> | <p style="text-align: right;">Page 188</p> <p>1 that IHRL had no experimental evidence to support the</p> <p>2 contention that there is no chronic effect from</p> <p>3 continual exposure to spray mist at sub acute effect</p> <p>4 levels."</p> <p>5 Q. Dr. Fletcher was again from ICI?</p> <p>6 A. Yes.</p> <p>7 Q. And he confirmed they had no evidence of</p> <p>8 that, right?</p> <p>9 A. That no evidence that there is no effect.</p> <p>10 It's a double negative.</p> <p>11 Q. And there's a Post-it note on the right. Do</p> <p>12 you see that? It says:</p> <p>13 " i.e. we have done no long term inhalation</p> <p>14 studies."</p> <p>15 Do you see that?</p> <p>16 A. I see that, yes.</p> <p>17 Q. So as of 1974 when Chevron had been selling</p> <p>18 products that were formulated from paraquat</p> <p>19 manufactured by ICI for nearly 10 years, ICI knew it</p> <p>20 hadn't done no long-term studies on the effects of</p> <p>21 inhaling paraquat mist; correct?</p> <p>22 A. That's what that suggests.</p> <p>23 Q. If they had done those studies they would</p> <p>24 have presumably given those to Chevron at that time?</p> <p>25 A. I would have presumed so.</p> |
| <p style="text-align: right;">Page 187</p> <p>1 commissioners have criticised the label for not being</p> <p>2 clear."</p> <p>3 Q. So Chevron and ICI knew at the time of this</p> <p>4 meeting, and what was the date of it? It was in March</p> <p>5 of 1974?</p> <p>6 A. Correct.</p> <p>7 Q. That California state officials were</p> <p>8 concerned about the possible long-term chronic effects</p> <p>9 of workers licking small quantities of paraquat daily</p> <p>10 on their lips and/or breathing in low doses via small</p> <p>11 droplets from the spray mist; correct?</p> <p>12 A. Yes.</p> <p>13 Q. If you would go to the second page. Oh</p> <p>14 actually -- yes, go to the second page, please. The</p> <p>15 last sentence of the third paragraph?</p> <p>16 A. The paragraph which starts "Mr. Lewis"?</p> <p>17 Q. I'm looking for the one that said</p> <p>18 Dr. Fletcher confirmed in answer to a direct</p> <p>19 question --</p> <p>20 A. That's the fourth paragraph.</p> <p>21 Q. The fourth paragraph, I'm sorry. Yes, the</p> <p>22 last sentence of the fourth paragraph, would you read</p> <p>23 that into the record?</p> <p>24 A. "Before the point was conceded by PPL</p> <p>25 Dr Fletcher confirmed in answer to a direct question</p> | <p style="text-align: right;">Page 189</p> <p>1 Q. So by the time of this meeting Chevron hadn't</p> <p>2 done long-term inhalation studies itself and ICI hadn't</p> <p>3 provided Chevron any long-term inhalation studies,</p> <p>4 Chevron knew even before this meeting that no long-term</p> <p>5 studies had been done to investigate the effect of</p> <p>6 inhaling paraquat spray mist, didn't it?</p> <p>7 A. This is what that suggests.</p> <p>8 (Exhibit 13 marked for identification.)</p> <p>9 Q. Now if you'd look at number 13. This is</p> <p>10 another meeting between Chevron and ICI and this is</p> <p>11 October 6th through to the 9th, 1975, isn't it?</p> <p>12 A. Yes.</p> <p>13 Q. And it's an international paraquat meeting</p> <p>14 reporting October 7?</p> <p>15 A. Yes.</p> <p>16 Q. Do you see that?</p> <p>17 A. Yes.</p> <p>18 Q. CTL is the same place we've been talking</p> <p>19 about; correct?</p> <p>20 A. CTL was what IHRL had become known by then.</p> <p>21 Q. It became?</p> <p>22 A. Yes.</p> <p>23 Q. If you would turn to the last page and read</p> <p>24 the paragraphs numbered 5 and 6 to yourself.</p> <p>25 A. Okay.</p> |

48 (Pages 186 - 189)

| | |
|---|---|
| <p style="text-align: right;">Page 190</p> <p>1 Q. Near the end of the paragraph numbered 5 with 2 the heading "CHRONIC TOXICITY", do you see that? 3 A. I do. 4 Q. It says: 5 "It is suggested that a critical epidemiology 6 study is carried out and a long term toxicity study 7 using sprays on animals." 8 Correct? 9 A. Yes. 10 Q. So an epidemiology study was referred to as 11 critical but it hadn't been done, had it? 12 A. That's what this would be -- the assumption 13 would be that that was the case, yes. 14 Q. And a year had passed since the previous 15 meeting, when it was noted that no long-term study had 16 been done to investigate the effects of inhaling 17 paraquat? 18 A. Yes. 19 Q. Still no study; correct? 20 A. That's what I'm assuming. 21 Q. And you earlier said that non-human primates 22 are the best to use for these studies; correct? 23 A. No, I didn't say that. I said non-human 24 primates are often useful to check whether for example 25 the kinetics of paraquat in humans could be different</p> | <p style="text-align: right;">Page 192</p> <p>1 A. I don't believe that it did. I'm not aware 2 that ICI itself had a non-human primate facility. 3 There may have been at least -- let me just build on 4 that, if I may. It's pharmaceutical division may have 5 had such a facility, but not the laboratories we're 6 talking about here. 7 Q. And had they wanted to do it, they could have 8 hired somebody to do it? 9 A. Of course, yes. 10 Q. Or they could have done it in their 11 pharmaceutical division? 12 A. That is undoubtedly feasible, yes. 13 Q. So it wasn't a question of having the ability 14 to do it, or the staff to do it, or the facilities to 15 do it, they for reasons which apparently you don't 16 know, they just chose not to do it; correct? 17 A. But this doesn't suggest, if I may say -- 18 Q. I want you to answer my question. They had 19 the ability to do these things? 20 A. I believe that the pharmaceuticals division 21 did have. 22 Q. All right. And as far as you know could 23 Chevron have also done that study if they wanted to? 24 A. I don't know whether they could have done it 25 themselves in their own laboratory or whether they</p> |
| <p style="text-align: right;">Page 191</p> <p>1 from what we see in rodents. 2 Q. So what other species would you suggest would 3 give the best predictive results for health effects on 4 humans other than non-human primates? 5 A. Well, it is obviously possible that the 6 rodent studies or dog studies could equally identify 7 the potential target organ toxicity that you might see 8 with paraquat in humans. 9 Q. So you're suggesting that rodent studies are 10 equally predictive to non-human primate studies, 11 correct, is that what you're saying? 12 A. I'm not saying that. I was saying that, as 13 is always the case in toxicology it is always possible, 14 and indeed often is true, that lower species, rodents 15 and dogs, are capable of predicting human toxicity. 16 It's not always the case. 17 Q. In the ten years that paraquat had been on 18 the market in the United States at the time of this 19 meeting -- I'm going to suggest to you that paraquat 20 was first marketed in the United States in 1965, from 21 the information that your attorneys have provided us. 22 Assuming that's correct, in that ten years 23 that paraquat had been on the market, did ICI have the 24 facilities to conduct a long-term, low dose, non-human 25 primate study?</p> | <p style="text-align: right;">Page 193</p> <p>1 would have been able to contract it to somebody else. 2 Q. A long-term low-dose non-human primate study 3 could be used to investigate the effect of an 4 applicator's exposure to paraquat, couldn't it? 5 A. It could. 6 Q. But ICI didn't do that study at that time, 7 did it? 8 A. No. 9 Q. And Chevron, to your knowledge, didn't do 10 that study either? 11 A. I've no evidence to suggest that they did. 12 Q. Now look at the first sentence in paragraph 13 6. It says: 14 "ACTIVITY OF PARAQUAT ON [CENTRAL NERVOUS 15 SYSTEM]." 16 That's the heading, right? 17 A. Yes. 18 Q. It says: 19 "In a recent autopsy on a paraquat poisoning 20 the pathologist discovered lesions on the motor 21 neurons." 22 Did I read that right? 23 A. Yes. 24 Q. This is referring to lesions on motor neurons 25 in the brain, right?</p> |

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 194</p> <p>1 A. No, that's not necessarily the case. I don't 2 know where those motor neurons were. 3 Q. What studies, if any, did ICI undertake to 4 investigate whether paraquat may have been responsible 5 for the lesions on the motor neurons in the brain? 6 MR. NARESH: Objection to the form. 7 A. I'm not aware whether any specific study was 8 done in response to this. 9 BY MR. TILLERY: 10 Q. It also says in paragraph 6: 11 "Fisher has also reported ataxia from 12 paraquat-administered by any route ..." 13 Do you see that? 14 A. Yes. 15 Q. Who is Fisher? 16 A. That's a good question. I don't know -- 17 there's a reference to Dr. Fisher in this document and 18 I don't know who Fisher is. 19 Q. Is ataxia a lack of voluntary movements? 20 A. Ataxia is certainly an effect on the muscles 21 which can result from an effect on the nerves, supply 22 of muscles. 23 Q. On the central nervous system? 24 A. Yes. 25 Q. Ataxia is a clinical finding consistent with</p> | <p style="text-align: right;">Page 196</p> <p>1 BY MR. TILLERY: 2 Q. Page 189777. 3 A. So 189777 is the second page of the shorter 4 document you've given me. 5 Q. Yes. 6 MR. NARESH: And where is July? 7 BY MR. TILLERY: 8 Q. It looks like it's "PARAQUAT TOXICOLOGY 9 MEETING FEBRUARY, 1976". Do you know who Mr. Fletcher 10 is? 11 A. Yes. He was a senior toxicologist at CTL. 12 Q. And Mr. Cavalli? 13 A. No, I don't know Mr. Cavalli. 14 Q. I will represent to you that he was an 15 individual who worked for Chevron Chemical Company, 16 sir. 17 A. Okay. 18 MR. TILLERY: Let's go off the record, 19 please. 20 THE VIDEOGRAPHER: Off the record. The time 21 is 3:07. 22 (Break taken.) 23 THE VIDEOGRAPHER: Back on the record. The 24 time is 3:09. 25 BY MR. TILLERY:</p> |
| <p style="text-align: right;">Page 195</p> <p>1 neurological injury, isn't it? 2 A. It can be, yes. 3 Q. As a matter of fact, it's most often that 4 way, isn't it? 5 A. I'm not a neurologist so I wouldn't be able 6 to say. 7 Q. It's a finding consistent with neurological 8 injury to the brain, isn't it? 9 A. Well, that's possible but I would imagine it 10 can also be a direct injury to the peripheral nervous 11 system. But, again, I'm not a neurologist so I don't 12 know. 13 Q. What studies if any did ICI undertake in 14 light of Fisher having reported ataxia from paraquat 15 administered by any route? 16 A. I'm not aware of any specific studies in 17 response to that. 18 (Exhibit 14 marked for identification.) 19 Q. We've handed you Plaintiff's Exhibit 14. 20 This is a letter from R. Cavalli to Ken Fletcher, dated 21 July 9, 1975. Who is Ken Fletcher? And this is Bates 22 number -- I want to direct your attention from that 23 overall document to Bates number 189777. 24 MR. NARESH: I'm not following the dates 25 here.</p> | <p style="text-align: right;">Page 197</p> <p>1 Q. Have you had a chance to read this document, 2 sir? 3 A. Well I'm still only halfway through the 4 entire short version. 5 Q. Okay, go ahead and do the short version, 6 please. 7 A. Okay. 8 Q. Can you go to the Bates number that says 9 189736? 10 A. So 189736 is the title page. 11 Q. And can you find 189777? 12 A. Yes, that's the page which follows in my 13 version. 14 Q. Is that the first page that follows? 15 A. It's the page after the title, yes. 16 Q. And there's a section that says "We discussed 17 at some length", do you see that? 18 A. I do. 19 Q. Would you read that into the record, please? 20 A. "We discussed at some length, the gaps in our 21 knowledge of the chronic effects of paraquat exposure. 22 The animal studies available are old and do not meet 23 current standards." 24 Q. I couldn't hear you: 25 "... do not meet current standards."</p> |

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 198</p> <p>1 May I read this on the record, please, so we 2 can all hear: 3 "We discussed at some length, the gaps in our 4 knowledge of the chronic effects of paraquat exposure." 5 Is that correct, did I say that right? 6 A. Yes. 7 Q. "The animal studies available are old and do 8 not meet current standards. Some are poorly done. In 9 fact, the cause of death from chronic exposure to 10 paraquat could not be determined from these studies. 11 Dr. Fletcher agreed to review these and to consider 12 repeating certain of the studies. I have recently 13 received a letter from him (enclosed) in which he 14 states that he has reviewed this area with Allen 15 Calderbank and Arthur Waitt, and they do not believe it 16 warranted to repeat any of this work. I agree with 17 this only if we can do the proposed epidemiology study. 18 If not, our only recourse will be to have good animal 19 studies in this area." 20 Do you understand that? Did you read it? 21 A. Yes. 22 Q. Now, the poorly done chronic animal studies 23 had been done on rabbits, rats and mice; correct? 24 A. I'm not sure exactly what studies they're 25 referring to here.</p> | <p style="text-align: right;">Page 200</p> <p>1 study there, and I'm not sure that that was necessarily 2 what was intended when they were thinking about 3 long-term studies. It could have been a rodent study 4 or a dog study, so I wouldn't say you would specify the 5 species. But I can't comment beyond that. 6 Q. So you just don't know? 7 A. Because I don't know. 8 Q. Okay. Do you think it had anything to do 9 with potential effects or results from the study? 10 A. I can't speculate on that. 11 Q. When did ICI first learn that a person had 12 died from an oral dose of paraquat? 13 A. I don't have that date to hand. 14 Q. When did ICI first learn that a person had 15 died from a dermal dose of paraquat? 16 A. Again, I don't have a precise date to hand. 17 Q. Do autopsies in known or suspected poisonings 18 typically involve analysis of tissues and measurements 19 of the concentration of a suspected poison in tissues 20 suspected to be involved in the process? 21 A. I can't speak about tissues. I know that 22 normally blood samples would be analyzed. 23 Q. ICI and Chevron kept each other apprized of 24 adverse incidents occurring with respect to paraquat 25 products, didn't they?</p> |
| <p style="text-align: right;">Page 199</p> <p>1 Q. And the date of this is 1976? 2 A. That's correct. 3 Q. And how long had the product been on the 4 market in the U.S. at this time? 5 A. From what you told me earlier that would 6 suggest about 11 years. 7 Q. And this was two years after Chevron and ICI 8 knew the State of California was concerned about tiny 9 amounts of paraquat having long-term effects, right? 10 A. Yes. 11 Q. And still no testing done or at least no 12 adequate testing being done? 13 A. At this point this is what this suggests. 14 Q. In the two years after you were put on actual 15 notice by the State of California of its concerns about 16 chronic effects from paraquat, you could have done a 17 non-human primate study if you'd chosen to do it, 18 couldn't you? 19 MR. NARESH: Objection to the form. 20 A. Potentially, yes. 21 BY MR. TILLERY: 22 Q. But you didn't do any such study? 23 A. No, I don't believe we did. 24 Q. Can you tell me why ICI did not do the study? 25 A. Well, you are specifying a non-human primate</p> | <p style="text-align: right;">Page 201</p> <p>1 A. It would seem so, yes. 2 Q. And Chevron Environmental Health Center did 3 analysis of tissues after poisonings, didn't it? 4 A. I don't know. 5 (Exhibit 15 marked for identification.) 6 Q. I'll show you what's been marked 15 and 7 number 16, just to acquaint you with the fact that 8 Chevron and ICI were aware of these studies. Number 9 15, if you look at that document, indicates a death in 10 1970, a person aged 33 years drank Gramoxone from a 11 beer bottle, died within 10 days. Do you see that? 12 A. I do. 13 Q. The next one is in 1971, a four year old 14 child ingested an unknown quantity of Gramoxone, given 15 immediate attention for paraquat poisoning, lavage, 16 exchange blood diffusion, forced diuresis, the child 17 survived. 18 1972, aged 46 year old died as a result of 19 drinking Gramoxone, died within hours. Do you see 20 those? 21 A. I do. 22 Q. And then you see that the materials that -- 23 the other parts of the body that they receive reports 24 on? 25 A. Yes.</p> |

51 (Pages 198 - 201)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 202</p> <p>1 (Exhibit 16 marked for identification.)</p> <p>2 Q. Likewise, sir, if you take a look at number</p> <p>3 16. This is an indication that they were aware of</p> <p>4 these being reported and then sharing the information</p> <p>5 about toxicological studies and analysis of autopsies</p> <p>6 of people who ingested paraquat products and died?</p> <p>7 A. Okay.</p> <p>8 (Exhibit 17 marked for identification.)</p> <p>9 Q. 17 is headed "Fatal case of poisoning by</p> <p>10 paraquat" by F.B. Bronkhorst, J.M. van Daal, H.D. Tan.</p> <p>11 Do you see that?</p> <p>12 A. I do.</p> <p>13 Q. It's a report of a poisoning in a scientific</p> <p>14 literature in February 1968?</p> <p>15 A. That's correct.</p> <p>16 Q. If you go to page 8 of that document, you see</p> <p>17 observations of a man poisoned with paraquat. It's in</p> <p>18 the first paragraph I'll direct your attention to. The</p> <p>19 observations included perivascular oedema in the white</p> <p>20 matter of the brain and areas in which ganglion cells</p> <p>21 from the cortex showed "pycnosis of the nuclei". Do</p> <p>22 you see that?</p> <p>23 A. Yes, that's in the -- written with a pen</p> <p>24 writing, yes. I see that.</p> <p>25 Q. So this autopsy was reported to have found</p> | <p style="text-align: right;">Page 204</p> <p>1 be exposed to paraquat as a result of spray drift and</p> <p>2 as a result of contact with sprayed plants?</p> <p>3 A. That is possible, yes.</p> <p>4 Q. You would agree with that?</p> <p>5 A. Yes.</p> <p>6 Q. Would you agree that Syngenta knew that users</p> <p>7 of paraquat and persons nearby could be exposed to</p> <p>8 paraquat as a result of spills, splashes and leaks</p> <p>9 while equipment used to spray paraquat was being</p> <p>10 emptied or cleaned or clogged spray nozzles, lines or</p> <p>11 valves were being cleared?</p> <p>12 A. Yes.</p> <p>13 Q. Syngenta also knew that paraquat could enter</p> <p>14 the human body via absorption through or penetration of</p> <p>15 the skin, mucus membranes and other epithelial tissues</p> <p>16 including tissues of the mouth, nose and nasal</p> <p>17 passages, trachea and conducting airways, particularly</p> <p>18 where cuts, abrasions, rashes, sores and other tissue</p> <p>19 damage was present?</p> <p>20 MR. NARESH: Objection to form.</p> <p>21 A. That is possible, yes, of course.</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. Syngenta knew that paraquat could enter the</p> <p>24 human body via respiration into the lungs, including</p> <p>25 the deep parts of the lungs where respiration or gas</p> |
| <p style="text-align: right;">Page 203</p> <p>1 histopathological changes in the brain including active</p> <p>2 cell death in the ganglion of cells in the cortex;</p> <p>3 didn't it?</p> <p>4 A. That's what that says.</p> <p>5 Q. What are histopathological changes?</p> <p>6 A. They are changes that you can see in tissues</p> <p>7 by using a microscope. So you're looking at the</p> <p>8 cellular detail.</p> <p>9 Q. Sir, I'm going to break a little bit here and</p> <p>10 move on to a different topic and then come back and</p> <p>11 finish this because we have a couple of missing</p> <p>12 exhibits that we're going to add to this discussion</p> <p>13 we'll finish either today or tomorrow when we finish</p> <p>14 your deposition. But I do want to ask you some more</p> <p>15 questions now, if I can.</p> <p>16 A. Okay.</p> <p>17 Q. Would you agree that Syngenta knew that users</p> <p>18 of paraquat and persons nearby could be exposed to</p> <p>19 paraquat while it was being mixed and loaded into the</p> <p>20 tanks of sprayers as a result of spills, splashes and</p> <p>21 leaks?</p> <p>22 A. Yes, we were aware of that.</p> <p>23 Q. Would you agree that Syngenta knew that</p> <p>24 persons who sprayed paraquat or were in or near areas</p> <p>25 where it was being or recently had been sprayed would</p> | <p style="text-align: right;">Page 205</p> <p>1 exchange were to occur?</p> <p>2 A. Well we know that the amount of paraquat that</p> <p>3 is respirable, that is small enough to get into those</p> <p>4 deep parts of the lungs, is actually very, very small.</p> <p>5 Most of the paraquat when it's sprayed is larger</p> <p>6 particles which can't get into the deep lung.</p> <p>7 Q. But it does. But you knew that it could get</p> <p>8 into those lung parts?</p> <p>9 A. Potentially it could if the particles were</p> <p>10 small enough, but that is not normally the case.</p> <p>11 Q. But are you saying it can't get into the</p> <p>12 lungs?</p> <p>13 A. No, I'm not saying it can't get into the</p> <p>14 lungs, no.</p> <p>15 Q. And did ICI know all of these things, the</p> <p>16 same as Syngenta?</p> <p>17 A. ICI could have known that kind of</p> <p>18 information. But, again, that information became more</p> <p>19 information about that was received as time went on so</p> <p>20 we understood more about it.</p> <p>21 Q. But in terms of how farmers were to apply</p> <p>22 chemicals, in terms of how they handled chemicals, in</p> <p>23 terms of their exposure to chemicals, all of these same</p> <p>24 things were known to ICI the same as Syngenta?</p> <p>25 A. Yes.</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 206</p> <p>1 Q. Did Syngenta or ICI ever learn paraquat could</p> <p>2 enter the human body via injection into the digestive</p> <p>3 track of small droplets swallowed after entering the</p> <p>4 mouth or nose?</p> <p>5 A. Again that was something that was known to be</p> <p>6 possible, yes.</p> <p>7 Q. Did ICI or Syngenta also know that paraquat</p> <p>8 that entered the human body via ingestion into the</p> <p>9 digestive track could enter the enteric nervous system?</p> <p>10 A. No.</p> <p>11 Q. That wasn't until later?</p> <p>12 A. That was -- and again, that is still a</p> <p>13 hypothesis that that is one way in which paraquat could</p> <p>14 be transported?</p> <p>15 Q. Does Syngenta flatly dispute that as an</p> <p>16 avenue, or does it say that it's still an open</p> <p>17 question?</p> <p>18 A. I think I've said it's a hypothesis, which is</p> <p>19 absolutely another way of saying it's an open question.</p> <p>20 Q. All right. Syngenta or ICI knew that</p> <p>21 paraquat that entered the human body whether via</p> <p>22 absorption, respiration of ingestion could enter the</p> <p>23 bloodstream?</p> <p>24 A. Yes.</p> <p>25 Q. Syngenta also knew that paraquat that entered</p> | <p style="text-align: right;">Page 208</p> <p>1 learned through studies in the public domain that</p> <p>2 paraquat that entered the nose and nasal passages could</p> <p>3 enter the brain through the olfactory bulb?</p> <p>4 A. Of course, yes. Could.</p> <p>5 Q. Would you agree that Parkinson's disease is a</p> <p>6 progressive neuro-degenerative disorder of the brain</p> <p>7 that affects primarily the motor system, the part of</p> <p>8 the nervous system that controls movement?</p> <p>9 A. Yes.</p> <p>10 Q. The characteristic symptoms of Parkinson's</p> <p>11 disease are its primary motor systems, resting tremor,</p> <p>12 shaking of muscles, Bradykinesia, slowness of movement,</p> <p>13 rigidity, stiffness, and postural instability. Would</p> <p>14 you agree with that?</p> <p>15 A. I agree.</p> <p>16 Q. Would you agree that Parkinson's disease</p> <p>17 primary motor symptoms often result in secondary motor</p> <p>18 systems such as freezing of gait, shrinking of</p> <p>19 handwriting, a mask-like expression, a flat face</p> <p>20 expression, slurred, monotonous, quiet voice, stooped</p> <p>21 posture, muscles spasms, impaired coordination,</p> <p>22 difficulty swallowing, excess saliva and drooling</p> <p>23 caused by reduced swallowing movements. Would you</p> <p>24 agree with that?</p> <p>25 A. I would agree with that, yes.</p> |
| <p style="text-align: right;">Page 207</p> <p>1 the bloodstream could enter the brain, whether through</p> <p>2 the blood-brain barrier or parts of the brain not</p> <p>3 protected by the blood-brain barrier?</p> <p>4 A. We obviously know that there was evidence</p> <p>5 that that could occur.</p> <p>6 Q. Syngenta or ICI learned through studies in</p> <p>7 the public domain that paraquat that entered the nose</p> <p>8 and nasal passages could enter the brain through the</p> <p>9 olfactory bulb which is not protected by the</p> <p>10 blood-brain barrier?</p> <p>11 A. That was conceivable, yes.</p> <p>12 Q. And you don't dispute that?</p> <p>13 A. I don't dispute that that is possible, yes.</p> <p>14 Q. So you used the word "possible". It makes me</p> <p>15 a little queasy, let me say. I'm a little concerned.</p> <p>16 I want to explore that a little bit more. Are you</p> <p>17 saying that it's just possible? Are you saying that it</p> <p>18 can't happen? It can? What is the probability?</p> <p>19 A. Well I think you're making an assumption that</p> <p>20 any -- that paraquat that we know may get into the</p> <p>21 brain in low concentration will necessarily have come</p> <p>22 via those routes, including the olfactory bulb, and we</p> <p>23 don't necessarily know that for sure.</p> <p>24 Q. Well then let me rephrase that statement and</p> <p>25 ask if you would agree with it. Syngenta or ICI</p> | <p style="text-align: right;">Page 209</p> <p>1 Q. Would you agree that the non-motor symptoms,</p> <p>2 such as the loss or altered sense of smell,</p> <p>3 constipation, low blood pressure on rising to stand,</p> <p>4 sleep disturbances and depression are present in most</p> <p>5 cases of Parkinson's disease, often for years before</p> <p>6 any of the primary motor symptoms appear?</p> <p>7 A. I would not be able to comment about most.</p> <p>8 I am certainly aware that those symptoms have been</p> <p>9 detected in some Parkinson's patients.</p> <p>10 Q. And you know that from your research at</p> <p>11 Syngenta in terms of that particular group that studies</p> <p>12 Parkinson's disease in paraquat?</p> <p>13 A. Yes.</p> <p>14 Q. What is the name of that group?</p> <p>15 A. In terms of let me just go back. So when you</p> <p>16 say we knew it, all those symptoms we knew from that</p> <p>17 particular group?</p> <p>18 Q. Well you know from all sources, I presume?</p> <p>19 A. I was going to say, not exclusively from that</p> <p>20 source.</p> <p>21 Q. Of course. Of course. From your own private</p> <p>22 research or the group or presentations or whatever,</p> <p>23 this would be known generally across the board by the</p> <p>24 scientists who were involved in that study area?</p> <p>25 A. That's right, yes.</p> |

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 210</p> <p>1 Q. Is that correct?</p> <p>2 A. Yes. Yes.</p> <p>3 Q. There's currently no cure for Parkinson's</p> <p>4 disease. No treatment will slow, stop or reverse its</p> <p>5 progression and the treatments most commonly prescribed</p> <p>6 for its motor symptoms tend to become progressively</p> <p>7 less effective and to cause side effects the longer</p> <p>8 they're used. Would you agree with that?</p> <p>9 A. I would, yes.</p> <p>10 Q. The selective degeneration and death of</p> <p>11 dopaminergic neurons, that is the dopamine-producing</p> <p>12 nerve cells, in part of the brain called the substantia</p> <p>13 nigra pars compacta is one of the primary patho</p> <p>14 physiological hallmarks of Parkinson's disease?</p> <p>15 A. It is.</p> <p>16 MR. NARESH: Objection to form.</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. Dopamine is a neurotransmitter, a chemical</p> <p>19 messenger that transmits signals from one neuron to</p> <p>20 another neuron, muscle cell or gland cell that is</p> <p>21 critical to the brain's control of motor functioning.</p> <p>22 Would you agree with that?</p> <p>23 A. I would agree with that.</p> <p>24 Q. The death of dopaminergic neurons in the</p> <p>25 substantia nigra decreases the production of dopamine?</p> | <p style="text-align: right;">Page 212</p> <p>1 bodies in the remaining dopaminergic neurons that are</p> <p>2 the primary pathophysiological hallmarks of Parkinson's</p> <p>3 disease?</p> <p>4 MR. NARESH: Objection to scope; form.</p> <p>5 BY MR. TILLERY:</p> <p>6 Q. Would you agree with that statement?</p> <p>7 A. If the inference from that is that most cases</p> <p>8 of Parkinson's disease are caused by oxidative stress,</p> <p>9 I'm not sure that that is necessarily right, because</p> <p>10 I think a lot of cases of Parkinson's disease are</p> <p>11 idiopathic, in other words it's not clear exactly how</p> <p>12 they have occurred.</p> <p>13 Q. Could you tell me your source for that</p> <p>14 statement?</p> <p>15 A. Again by what I've heard from neurologists</p> <p>16 speaking at conferences for example.</p> <p>17 Q. Would you agree that paraquat is highly toxic</p> <p>18 to both plants and animals?</p> <p>19 A. Yes.</p> <p>20 Q. Would you agree that paraquat injures and</p> <p>21 kills plants by creating oxidative stress that causes</p> <p>22 or contributes to cause the degeneration and death of</p> <p>23 plant cells?</p> <p>24 A. Yes.</p> <p>25 Q. Paraquat injures and kills humans and other</p> |
| <p style="text-align: right;">Page 211</p> <p>1 A. Yes.</p> <p>2 Q. Once dopaminergic neurons die they're not</p> <p>3 replaced. When enough dopaminergic neurons have died,</p> <p>4 dopamine production falls below the level the brain</p> <p>5 requires for proper control of motor function,</p> <p>6 resulting in motor symptoms of Parkinson's disease. Do</p> <p>7 you agree with that?</p> <p>8 MR. NARESH: Objection to form.</p> <p>9 A. That's my understanding of it, yes.</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. The presence of Lewy bodies, the aggregates</p> <p>12 of protein called Alpha-synuclein, in many of the</p> <p>13 remaining dopaminergic neurons in the substantia nigra</p> <p>14 is another of the primary patho physiological hallmarks</p> <p>15 of Parkinson's disease. Would you agree with that?</p> <p>16 A. I would agree.</p> <p>17 Q. Dopaminergic neurons are particularly</p> <p>18 susceptible to oxidative stress, a disturbance in the</p> <p>19 normal balance between oxidants present in cells and</p> <p>20 cells antioxidant defenses. Would you agree?</p> <p>21 A. Yes. Yes.</p> <p>22 Q. Scientists who study Parkinson's disease</p> <p>23 generally agree that oxidative stress is a major factor</p> <p>24 in the degeneration and death of dopaminergic neurons</p> <p>25 in the substantia nigra and the accumulation of Lewy</p> | <p style="text-align: right;">Page 213</p> <p>1 animals by creating oxidative stress that causes or</p> <p>2 contributes to cause the degeneration and death of</p> <p>3 animal cells?</p> <p>4 A. Yes.</p> <p>5 Q. Paraquat creates oxidative stress in the</p> <p>6 cells of plants and animals because of redox properties</p> <p>7 that are inherent in its chemical composition and</p> <p>8 structure?</p> <p>9 A. Yes.</p> <p>10 Q. Paraquat is a strong oxidant and it readily</p> <p>11 undergoes redox cycling in the presence of molecular</p> <p>12 oxygen which is plentiful in living cells?</p> <p>13 A. Yes.</p> <p>14 Q. The redox cycling of paraquat in living cells</p> <p>15 interferes with cellular functions that are necessary</p> <p>16 to sustain life with photosynthesis in plant cells and</p> <p>17 with cellular respiration in animal cells?</p> <p>18 MR. NARESH: Objection to form.</p> <p>19 A. Yes.</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. The redox cycling of paraquat in living cells</p> <p>22 creates a reactive oxygen species known as superoxide</p> <p>23 radical, an extremely reactive molecule that can</p> <p>24 initiate a cascading series of chemical reactions that</p> <p>25 creates other reactive oxygen species that damage</p> |

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 214</p> <p>1 lipids, proteins and nucleic acids, molecules that are 2 essential components of the structures and functions of 3 living cells? 4 MR. NARESH: Objection: form. 5 A. Yes. 6 BY MR. TILLERY: 7 Q. Because the redox cycling of paraquat can 8 repeat indefinitely in the conditions typically present 9 in living cells, a single molecule of paraquat can 10 trigger the production of countless molecules of 11 destructive superoxide radical? 12 MR. NARESH: Objection: form. 13 A. In theory that's true one molecule could. 14 Whether one molecule would, I wouldn't like to be able 15 to speculate. 16 BY MR. TILLERY: 17 Q. But it's certainly consistent with what we 18 know of a mode of action of the molecule, isn't it? 19 A. Of course, yes. 20 Q. Paraquat's redox properties have been known 21 to science since at least the 1930s, '33; correct? 22 A. Yes, correct. 23 Q. From the study we reviewed this morning? 24 A. That's correct. 25 Q. That paraquat is toxic to the cells of plants</p> | <p style="text-align: right;">Page 216</p> <p>1 BY MR. TILLERY: 2 Q. Can you point to a single scientific study or 3 any analysis that Syngenta has ever done or is aware of 4 that would dispute that statement? 5 A. I can't today point to such a study. 6 Q. The same redox properties that make paraquat 7 toxic to plant cells and some types of animal cells 8 make it toxic to dopaminergic neurons; that is, 9 paraquat is a strong oxidant that interferes with the 10 function of, damages and ultimately kills dopaminergic 11 neurons by creating oxidative stress through redox 12 cycling? 13 MR. NARESH: Objection: form; foundation. 14 A. It has that potential. 15 BY MR. TILLERY: 16 Q. So you agree with that statement? 17 A. I can't dispute that statement. 18 Q. I'm sorry? 19 A. I can't dispute that statement, yes. 20 Q. Paraquat is used by scientists in laboratory 21 studies to produce animal models of Parkinson's 22 disease? 23 A. That is true. 24 Q. In animal models of Parkinson's disease 25 hundreds of studies involving various routes of</p> |
| <p style="text-align: right;">Page 215</p> <p>1 and animals because it creates oxidative stress through 2 redox cycling has been known to science since at least 3 the 1960s; correct? 4 A. Yes. 5 Q. The surfactants with which paraquat was 6 typically formulated were likely to increase paraquat's 7 toxicity to humans by increasing its ability to stay in 8 contact with or penetrate the skin, mucus membranes or 9 other epithelial tissues, including issues of the 10 mouth, nose and nasal passages, trachea and conducting 11 airways, the lungs and the gastrointestinal tract? 12 MR. NARESH: Objection: scope; foundation; 13 form. 14 A. I don't know that I have direct evidence that 15 surfactants would necessarily have those effects in all 16 the tissues that you're describing. 17 BY MR. TILLERY: 18 Q. Can you point me to any single study that 19 Syngenta has ever done which would dispute that 20 statement? 21 MR. NARESH: So I'll object. And, Steve, 22 I thought we had an agreement that 31(j) is not the 23 subject of today's deposition? 24 MR. TILLERY: I won't ask him any more. 25 MR. NARESH: If you know.</p> | <p style="text-align: right;">Page 217</p> <p>1 exposure have found that paraquat creates oxidative 2 stress that results in the degeneration and death of 3 dopaminergic neurons in the substantia nigra, other 4 pathophysiology consistent with that seen in human 5 Parkinson's disease and motor deficits and behavioral 6 changes consistent with those commonly seen in human 7 Parkinson's disease? 8 MR. NARESH: Objection to form. 9 A. I don't know that "hundreds" is a correct 10 description. There are certainly studies that have 11 shown those effects in the brain that you describe, and 12 it is assumed that that may occur through the oxidative 13 stress mechanism. 14 BY MR. TILLERY: 15 Q. Studies of in vitro -- strike that. In vitro 16 studies have found that paraquat creates oxidative 17 stress that results in the degeneration and death of 18 dopaminergic neurons? 19 A. In vitro that is true, yes. 20 Q. Many epidemiological studies have found an 21 association between paraquat exposure and Parkinson's 22 disease, including multiple studies finding a 2 to 23 5-fold or greater increase in the risk of Parkinson's 24 disease in populations with occupational exposure to 25 paraquat, compared to populations without such</p> |

55 (Pages 214 - 217)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 218</p> <p>1 exposure?</p> <p>2 MR. NARESH: Objection to the form.</p> <p>3 A. Some studies have seen that effect. Other</p> <p>4 studies have seen no effect.</p> <p>5 BY MR. TILLERY:</p> <p>6 Q. Right but as that's phrased you would agree</p> <p>7 with the statement?</p> <p>8 A. Yes.</p> <p>9 MR. TILLERY: Want to take a few minutes</p> <p>10 break?</p> <p>11 THE VIDEOGRAPHER: Going off the record. The</p> <p>12 time is 3:42.</p> <p>13 (Break taken.)</p> <p>14 THE VIDEOGRAPHER: Back on the record. The</p> <p>15 time is 4:09.</p> <p>16 (Exhibit 18 marked for identification.)</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. Before you start looking at Plaintiff's</p> <p>19 Exhibit 18 I'd like to clear up one thing from the</p> <p>20 discussion prior to the break. Was ICI aware by 1970</p> <p>21 of all of the plausible ways for paraquat to get into</p> <p>22 the brain that Syngenta is aware of now?</p> <p>23 A. I doubt that but I couldn't be definitive</p> <p>24 about it.</p> <p>25 Q. You know we went through and I asked you all</p> | <p style="text-align: right;">Page 220</p> <p>1 MR. NARESH: Just for the record, you gave</p> <p>2 the witness both the excerpted version you gave me and</p> <p>3 the complete version?</p> <p>4 MR. TILLERY: I gave him both a complete and</p> <p>5 a short. And if you need the complete one --</p> <p>6 MR. NARESH: I can get it.</p> <p>7 MR. TILLERY: Thank you. Mr. Orlet, that's</p> <p>8 20406.</p> <p>9 (Pause. Witness reviews document.)</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. You ready?</p> <p>12 A. I'm ready.</p> <p>13 Q. Thank you very much. Exhibit 18 is a 1981</p> <p>14 report of a "Lifetime Feeding Study in the Mouse" that</p> <p>15 ICI performed; correct?</p> <p>16 A. Correct.</p> <p>17 Q. And this starts at Bates number CUSA-0020408.</p> <p>18 A. The title page is 406.</p> <p>19 Q. Title page is 406. And the -- if you go to</p> <p>20 page 408 in the Bates range it says:</p> <p>21 "Study Title: PARAQUAT: LIFETIME FEEDING</p> <p>22 STUDY IN THE MOUSE"</p> <p>23 Do you see that?</p> <p>24 A. I do.</p> <p>25 Q. The study director was M. H. Litchfield?</p> |
| <p style="text-align: right;">Page 219</p> <p>1 those questions about what Syngenta knew and you gave</p> <p>2 me answers. What I'm trying to understand now, would</p> <p>3 any of them differ with respect to ICI's knowledge of</p> <p>4 the means by which paraquat could enter the human</p> <p>5 brain?</p> <p>6 A. Well I think that science has moved on and</p> <p>7 other hypotheses have emerged. We talked about the</p> <p>8 enteric one, for example.</p> <p>9 Q. Right, other than that, and I knew you would</p> <p>10 say that and I think that's fair, but you said that</p> <p>11 that's more common in terms of recent days. But other</p> <p>12 than those, in terms of knowledge about the cerebral</p> <p>13 spinal fluid or knowledge about the olfactory bulb, or</p> <p>14 knowledge about transdermal into the bloodstream, were</p> <p>15 those known in terms of human physiology, in terms of</p> <p>16 potential routes of access to the brain by ICI in</p> <p>17 general terms as much as Syngenta now?</p> <p>18 A. Yes, I think that's fair.</p> <p>19 Q. Thank you. If you wouldn't mind taking a</p> <p>20 look at number 18, please. And we've given you a</p> <p>21 shortened version just to make sure that you have</p> <p>22 easier access to the pages that I'm going to ask you</p> <p>23 questions about. But if you take a few minutes and</p> <p>24 familiarize yourself with the study. Take the time</p> <p>25 that you need, sir.</p> | <p style="text-align: right;">Page 221</p> <p>1 A. Yes.</p> <p>2 Q. Who was he?</p> <p>3 A. He was again a senior toxicologist at CTL.</p> <p>4 Q. As far as you know this was the type of</p> <p>5 information -- this came from production from Chevron</p> <p>6 U.S.A. so it's another document. As far as you know</p> <p>7 this was information that would have been shared with</p> <p>8 Chevron as the work was done?</p> <p>9 A. Well I can't comment whether it was shared,</p> <p>10 but I couldn't dispute it either.</p> <p>11 Q. Now what did Mr. Litchfield do at ICI?</p> <p>12 A. He was what we would have called in those</p> <p>13 days a consultant toxicologist largely. So he had --</p> <p>14 he provided input in a number of different ways to</p> <p>15 science issues. But at that time it was customary for</p> <p>16 such senior people to act as study directors of major</p> <p>17 studies.</p> <p>18 Q. Was he a senior person at that time?</p> <p>19 A. Yes, he was.</p> <p>20 Q. Okay, if you would please turn to 20411 and</p> <p>21 the top of that page says "INTRODUCTION". Do you see</p> <p>22 that?</p> <p>23 A. Yes.</p> <p>24 Q. And it starts, on the first full paragraph</p> <p>25 "on assessment" if you'd read that to yourself, please.</p> |

56 (Pages 218 - 221)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 222</p> <p>1 I think you've already probably read that paragraph?</p> <p>2 A. So I beg your pardon, yes.</p> <p>3 Q. And the study was designed as a cancer study,</p> <p>4 right?</p> <p>5 A. That's right.</p> <p>6 Q. It wasn't designed as a chronic neurotoxicity</p> <p>7 study?</p> <p>8 A. No, it was not.</p> <p>9 Q. So if you would please turn to the next page,</p> <p>10 under 2.2 "Animals and Accommodation", do you see that</p> <p>11 and if you read that section I think you probably</p> <p>12 already have?</p> <p>13 A. Yes.</p> <p>14 Q. It says:</p> <p>15 "The Alderley Park strain was used since this</p> <p>16 was the strain used in the previous study and</p> <p>17 background tumour incidences are available from other</p> <p>18 mouse carcinogenic studies ..."</p> <p>19 A. Yes.</p> <p>20 Q. If you look at the top of the page in the</p> <p>21 first paragraph -- I'm sorry, if you go back a page.</p> <p>22 It talks about, in the assessment stage, that the study</p> <p>23 was restarted. Did you see that?</p> <p>24 A. Can you just tell me where you're reading</p> <p>25 that now?</p> | <p style="text-align: right;">Page 224</p> <p>1 Q. No, the one that is the subject of this</p> <p>2 report.</p> <p>3 A. Right. Okay, that I agree, yes.</p> <p>4 Q. And it says here on 14 -- if you go to 14.</p> <p>5 Do you see a reference to using "expanded portion rat</p> <p>6 diet with a vitamin E supplement"? Did you notice that</p> <p>7 they did that?</p> <p>8 A. I don't see that on page 14.</p> <p>9 Q. I'm sorry. Go to the next page, number 15.</p> <p>10 I misspoke. "2.4 Specification of Diets, Diet</p> <p>11 Preparation and Diet Analysis"?</p> <p>12 A. Yes.</p> <p>13 Q. "... Expanded Portion Rat Diet with a Vitamin</p> <p>14 E Supplement (PRDE) was used."</p> <p>15 Do you know why that was used?</p> <p>16 A. I actually do not know why that was done at</p> <p>17 that time.</p> <p>18 Q. Is vitamin E an antioxidant?</p> <p>19 A. I can't remember. I would need to check</p> <p>20 that.</p> <p>21 Q. Well let me ask you to assume that it is a --</p> <p>22 A. I thought it was but I -- thank you for --</p> <p>23 Q. Yes. An antioxidant, okay. And does vitamin</p> <p>24 E bioaccumulate?</p> <p>25 A. Again, I don't know without checking.</p> |
| <p style="text-align: right;">Page 223</p> <p>1 Q. The second sentence it says:</p> <p>2 "However in this study there was a high</p> <p>3 mortality rate in all groups and some evidence of</p> <p>4 respiratory infection. In addition, although the</p> <p>5 original study design was acceptable at that time</p> <p>6 (1969), it fell short of current standards particularly</p> <p>7 regarding the duration of the study. As a consequence</p> <p>8 of this, a new study was commissioned starting 25</p> <p>9 October 1977."</p> <p>10 A. Yes.</p> <p>11 Q. So the first one was scrapped; correct?</p> <p>12 A. The first one was -- yes, it was terminated</p> <p>13 because of -- at a certain period of time because many</p> <p>14 of the mice had died I think because they'd reached a</p> <p>15 certain age where that's what happens to mice.</p> <p>16 Q. Well if you go to the next paragraph, the</p> <p>17 second sentence:</p> <p>18 "The duration of the study was set to last</p> <p>19 two years or until approximately 80% mortality occurred</p> <p>20 in a control group or the study overall ..."</p> <p>21 A. That's correct.</p> <p>22 Q. If we go now back, this involved feeding</p> <p>23 Swiss albino mice food laced with paraquat, right? Is</p> <p>24 that what your understanding was?</p> <p>25 A. You're now talking about the earlier study?</p> | <p style="text-align: right;">Page 225</p> <p>1 Q. Let me ask you to assume that it does.</p> <p>2 A. Why not.</p> <p>3 Q. So it is an antioxidant which bio-accumulates</p> <p>4 okay. And what does bio-accumulation mean?</p> <p>5 A. It means the -- a substance or any biological</p> <p>6 substance which builds up over a period of time in the</p> <p>7 body.</p> <p>8 Q. And because it's an antioxidant that</p> <p>9 bio-accumulates, increased levels of vitamin E in the</p> <p>10 body would reasonably be expected to reduce whatever</p> <p>11 effects the redox cycling of paraquat would otherwise</p> <p>12 have; correct?</p> <p>13 MR. NARESH: Objection to the form.</p> <p>14 A. I think it's speculation as to whether that</p> <p>15 would have happened in the context of a study like</p> <p>16 this.</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. Can you rule it out?</p> <p>19 A. I can't rule it out.</p> <p>20 Q. Giving an antioxidant is a means currently</p> <p>21 used by clinicians to help people with Parkinson's</p> <p>22 disease to protect them, protect dopaminergic neurons;</p> <p>23 were you aware of that?</p> <p>24 MR. NARESH: Objection: foundation.</p> <p>25 A. I had heard about that. But yes, I can't</p> |

57 (Pages 222 - 225)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 226</p> <p>1 dispute that.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. So to provide a neuro-protective device for</p> <p>4 the substantia nigra?</p> <p>5 A. That's the clinical meaning of that</p> <p>6 absolutely, yes.</p> <p>7 Q. And so here these rats were laced with a</p> <p>8 vitamin E supplement; correct?</p> <p>9 A. That's what this says.</p> <p>10 Q. A higher level of vitamin E in the body would</p> <p>11 be expected to provide more protection from the</p> <p>12 destructive effects of a reactive oxygen species;</p> <p>13 wouldn't it?</p> <p>14 A. Yes, but my understanding of this is that the</p> <p>15 main part of this study did not involve a diet with</p> <p>16 vitamin E supplement. That's my reading of this.</p> <p>17 Q. I move to strike the answer as</p> <p>18 non-responsive. Let me ask you again, sir. A higher</p> <p>19 level of vitamin E in the body would reasonable be</p> <p>20 expected to provide more protection from the</p> <p>21 destructive effects of reactive oxygen species,</p> <p>22 wouldn't it?</p> <p>23 MR. NARESH: Objection: form.</p> <p>24 A. Again, to me that would be speculative as to</p> <p>25 whether that would occur if it was given in this way to</p> | <p style="text-align: right;">Page 228</p> <p>1 the bottom of the cage?</p> <p>2 A. Yes. To clarify that's the mice where urine</p> <p>3 was collected were transferred from where they would</p> <p>4 normally reside and eating the diet into what are</p> <p>5 called metabolism cages. So a different device.</p> <p>6 Q. And on 17, that 020417, the urine was then</p> <p>7 analyzed for paraquat, wasn't it?</p> <p>8 A. That's right.</p> <p>9 Q. If you can now turn to 20549.</p> <p>10 A. Okay.</p> <p>11 Q. The control mice were the mice that weren't</p> <p>12 given food laced with paraquat, right?</p> <p>13 A. Yes.</p> <p>14 Q. No paraquat was detected -- strike that. If</p> <p>15 you look at 20549, do you see that?</p> <p>16 A. Yes.</p> <p>17 Q. Where it says "Metabolism cages"?</p> <p>18 A. Mm-hmm.</p> <p>19 Q. "Metabolism cages were used to collect urine</p> <p>20 with added paraquat equivalent to that excreted by high</p> <p>21 dose animals; the cages were washed and autoclaved in</p> <p>22 the normal manner. They were then returned to the</p> <p>23 animal cell and used to collect urine from control</p> <p>24 animals. This urine was found to contain low but</p> <p>25 detectable levels of paraquat ..."</p> |
| <p style="text-align: right;">Page 227</p> <p>1 an animal.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. Well let's say this. From a purely</p> <p>4 scientific perspective of what you know about reactive</p> <p>5 oxygen species and about an antioxidant, is that</p> <p>6 consistent what I said?</p> <p>7 A. Yes, there's nothing scientifically wrong</p> <p>8 with what you said. Whether it applies in this</p> <p>9 circumstance I couldn't comment.</p> <p>10 Q. Okay. Could you look at number 13 and see</p> <p>11 what the housing of these animals was, if it was</p> <p>12 stainless steel cages?</p> <p>13 A. Yes.</p> <p>14 Q. It was, wasn't it?</p> <p>15 A. Yes.</p> <p>16 Q. So from a purely scientific standpoint would</p> <p>17 you agree with me, sir, that effectively the mice were</p> <p>18 being given an antidote for the redox cycling effects</p> <p>19 of paraquat?</p> <p>20 A. I would find it very difficult to imagine</p> <p>21 that that was what was intended by what it says here is</p> <p>22 in the pre-experimental phase feeding the animals that</p> <p>23 type of diet.</p> <p>24 Q. Can you go to 17, on that Bates number, and</p> <p>25 confirm for me that the urine was collected in a pan at</p> | <p style="text-align: right;">Page 229</p> <p>1 And this was the control group?</p> <p>2 A. That's right, and that's why they were trying</p> <p>3 to understand where that had come from.</p> <p>4 Q. And they found that it was from cages that</p> <p>5 hadn't been properly cleaned?</p> <p>6 A. Yes because paraquat is known to stick to a</p> <p>7 number of different surfaces.</p> <p>8 Q. And stainless steel is one of them?</p> <p>9 A. Including stainless steel.</p> <p>10 Q. And that's why I asked you that question. So</p> <p>11 they were using stainless steel cells for the animals</p> <p>12 and they found that in the control group that had not</p> <p>13 been fed the paraquat that they tested positive from</p> <p>14 the urine collected; right?</p> <p>15 A. Yes.</p> <p>16 Q. 10 mice that weren't even in the study were</p> <p>17 tested and found to have paraquat in their urine?</p> <p>18 A. Due to the contamination, yes.</p> <p>19 Q. Due to the contamination. Okay. So based</p> <p>20 upon the urine samples that tested, ICI couldn't</p> <p>21 distinguish between mice that were in the treatment</p> <p>22 group that got paraquat in their food as part of the</p> <p>23 study, mice that were in the control group so they</p> <p>24 couldn't get paraquat on their food, and mice that</p> <p>25 weren't in the study at all, could they?</p> |

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 230</p> <p>1 A. And that's why, as I understand it, they 2 subsequently made sure that a revised washing procedure 3 was put in place to reduce the possibility of 4 cross-contamination. 5 Q. Okay. Would you go to 20511 and read that 6 section to yourself. Conclusion page. Do you see 7 this? 8 A. I do. 9 Q. ICI gave two possible reasons for the 10 controlled urine having paraquat in it, didn't it? 11 A. Yes. 12 Q. One was a bad laboratory practice in washing 13 the cages, right? And the other one was a bad 14 analytical method, meaning a bad measurement. Correct? 15 A. I think "bad" is not quite the word I would 16 use here, because I think this was at a time when we 17 were only beginning to really understand how paraquat 18 can stick to surfaces like stainless steel. So in that 19 particular case I think this was a discovery if you 20 like rather than bad practice. 21 Q. And look at the one rat or one mouse that's 22 referred to here, and it says: there was one female 23 control with paraquat in her urine that neither of 24 these explanations could account for. Do you see that? 25 A. Mm-hmm.</p> | <p style="text-align: right;">Page 232</p> <p>1 Q. So you think that even though the controls 2 showed they were contaminated and that they found out 3 was due to improper methods of collection because they 4 were using contaminated pens for the animals, that it 5 was still okay to use those test results? 6 A. It did not, in my view, invalidate the study 7 as a whole, no. 8 Q. Has ICI relied on those results in that 9 study? 10 A. It relied in the sense that by the time the 11 study that we're now looking at was conducted, we are 12 talking about the regulatory toxicology requirements 13 that we were discussing earlier today and so it became 14 necessary to include that in some of our registrations 15 or re-registrations. Part of the dossier. 16 Q. Have you informed the regulatory authorities 17 of the problems with contamination of the pens for the 18 animals? 19 A. These studies will be made available to 20 regulatory authorities. 21 Q. But have you told them about that, 22 affirmatively? 23 A. I don't know whether my colleagues may have 24 specifically pointed that out. 25 (Exhibit 19 marked for identification.)</p> |
| <p style="text-align: right;">Page 231</p> <p>1 Q. So ICI just speculated about the cause and 2 used the results in the study anyway, didn't it? 3 A. Yes. 4 Q. Did they discard the data that occurred and 5 was collected before the new washing procedure? 6 A. I don't know. I've not -- I need to do a 7 more thorough -- 8 Q. Would you have if you were conducting the 9 study? 10 MR. NARESH: Objection to the form. 11 A. I think if you don't have an explanation then 12 one option is to take that animal out of the study. 13 That is one possibility. 14 BY MR. TILLERY: 15 Q. Would you say that a responsible laboratory 16 method would be to discard all of the results achieved 17 from using contaminated pens or containers for the 18 mice? 19 MR. NARESH: Same objection. 20 A. Not necessarily if you're able to actually 21 understand how that happened and that it actually tells 22 you that in reality those control animals did not 23 receive paraquat in their diet, they were not actually 24 exposed to it, then it's okay to include those animals. 25 BY MR. TILLERY:</p> | <p style="text-align: right;">Page 233</p> <p>1 MR. NARESH: Do you have a Bates number for 2 this document? 3 MR. TILLERY: We do not. If you look in the 4 upper left-hand corner, sir, of the document in number 5 19 it says "ICI Americas Inc. Agricultural Products, 6 Wilmington, Delaware". Do you see that? 7 A. Yes, I do. 8 Q. "Submission for Draft Paraquat Registration 9 Standard"? 10 A. I see that. 11 Q. And I'll submit to you that this was obtained 12 from the State of California in a FOIA request and 13 that's why it doesn't show a production Bates number on 14 it. What is this document, sir? 15 A. Well you haven't yet given me much time to 16 read it. 17 Q. Sorry. Take your time. 18 MR. NARESH: Steve, I can't pull this one up 19 on my system. 20 A. I could spend a lot longer getting into the 21 detail of this that may not be necessary. Maybe we can 22 try where your questions are? 23 BY MR. TILLERY: 24 Q. I'm just going to ask a couple of questions 25 about the study, sir. What is the study -- what is the</p> |

59 (Pages 230 - 233)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 234</p> <p>1 purpose of the study, as far as you know?</p> <p>2 A. So this is a study in the rat. The previous</p> <p>3 one we were talking about was the mouse. So it's a</p> <p>4 two-year, 104-week study which is normal for what is</p> <p>5 described here as a chronic toxicity study. So it's</p> <p>6 looking at long-term effects, in this case of paraquat,</p> <p>7 in the rat.</p> <p>8 Q. And when was that done?</p> <p>9 A. So the study was completed in 1982.</p> <p>10 Q. And it wasn't designed to investigate</p> <p>11 neurotoxicity, was it?</p> <p>12 A. It was not.</p> <p>13 Q. It was designed to investigate paraquat's</p> <p>14 effects on the central nervous system?</p> <p>15 A. Not specifically.</p> <p>16 Q. Paraquat was mixed into a rat's food in</p> <p>17 concentrations of 60, 30, 100 and 300 parts per million</p> <p>18 correct?</p> <p>19 A. That's correct, yes.</p> <p>20 Q. Would you look at the summary, please, and</p> <p>21 read that to yourself. And I think that's on pages 6</p> <p>22 and 7?</p> <p>23 A. Yes, I was looking at that earlier.</p> <p>24 Q. Do you see the reference to the brain?</p> <p>25 A. I'll just go down that again, please. So in</p> | <p style="text-align: right;">Page 236</p> <p>1 Industries PLC?</p> <p>2 A. Yes.</p> <p>3 Q. What's the date of the document? Upper</p> <p>4 right-hand corner.</p> <p>5 A. 27 October 1983.</p> <p>6 Q. And this is a Chevron document 241880 it</p> <p>7 starts in the Bates range; correct?</p> <p>8 A. Okay.</p> <p>9 Q. If you'd take a minute and look at that,</p> <p>10 please?</p> <p>11 A. Again, I could spend a long time going into</p> <p>12 the detail of this if you wish to proceed?</p> <p>13 Q. What was the purpose of the study, sir?</p> <p>14 A. So this --</p> <p>15 Q. Go to 241899 if you want that will tell you</p> <p>16 exactly what it says?</p> <p>17 A. Repeat that number, please?</p> <p>18 Q. 899, purpose of the study?</p> <p>19 A. Yes, to investigate the chronic toxicity --</p> <p>20 chronic effects of paraquat including whether it may</p> <p>21 cause neoplasms, in other words whether it could cause</p> <p>22 carcinogenicity, rats in this case, using dietary</p> <p>23 exposure over what would normally be the treatment</p> <p>24 period of 104 weeks but a treatment was continued in</p> <p>25 this particular study until I believe 50 percent of the</p> |
| <p style="text-align: right;">Page 235</p> <p>1 paragraph 9.</p> <p>2 Q. Okay. Read that in the record?</p> <p>3 A. "At week 52 in the 300 ppm group, males</p> <p>4 showed elevation of thyroid and kidney weights and</p> <p>5 females displayed a rise in ovary (left) weight and</p> <p>6 drops in the relative weights of the brain, heart and</p> <p>7 liver."</p> <p>8 Q. Is a drop in the relative weight of the brain</p> <p>9 in these female rats a finding that suggests</p> <p>10 neurotoxicity?</p> <p>11 A. No, it probably does not indicate that.</p> <p>12 Q. And you can tell that in what way?</p> <p>13 A. Because it's an organ which is subject to</p> <p>14 changes in relative weight due to changes in body</p> <p>15 weight.</p> <p>16 Q. And was that the conclusion of the study</p> <p>17 group?</p> <p>18 A. I'm not sure whether I've seen a particular</p> <p>19 comment about that. That's my assessment of it.</p> <p>20 (Exhibit 20 marked for identification.)</p> <p>21 Q. Number 20, another study "Paraquat: Combined</p> <p>22 Toxicity and Carcinogenicity Study in Rats". Do you</p> <p>23 see that?</p> <p>24 A. Yes.</p> <p>25 Q. At the bottom it's Imperial Chemical</p> | <p style="text-align: right;">Page 237</p> <p>1 animals were dead.</p> <p>2 Q. And this study was reported 10/27/83?</p> <p>3 A. That's right.</p> <p>4 Q. And it was performed by ICI Americas, or for</p> <p>5 them?</p> <p>6 A. For them certainly, yes.</p> <p>7 Q. By Life Science Research?</p> <p>8 A. Correct.</p> <p>9 Q. And it was not designed to investigate</p> <p>10 neurotoxicity of paraquat, correct?</p> <p>11 A. It was not, no.</p> <p>12 Q. Imperial Chemical Industries Plc, what is</p> <p>13 that?</p> <p>14 A. That is what the company name had become by</p> <p>15 that time. The term Plc is a U.K. -- it's a limited</p> <p>16 company basically so ICI that was the name of the</p> <p>17 company.</p> <p>18 Q. It's the same entity?</p> <p>19 A. Same as "Limited". It used to be called</p> <p>20 "Limited" and then became "Plc".</p> <p>21 Q. That's the same toxicology laboratory</p> <p>22 Alderley Park, exactly the same entity?</p> <p>23 A. That's correct.</p> <p>24 Q. Now, does Syngenta believe the results of</p> <p>25 this study show paraquat is not neurotoxic? Do you</p> |

60 (Pages 234 - 237)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 238</p> <p>1 know one way or another?</p> <p>2 A. I don't know whether a conclusion was made on</p> <p>3 that specific point.</p> <p>4 Q. The treatment in the study was initiated on</p> <p>5 April 6, 1978. Do you see that?</p> <p>6 A. Yes, I did see that.</p> <p>7 Q. ICI knew by 1978 that paraquat entered the</p> <p>8 brains of experimental animals, didn't it?</p> <p>9 A. We've established that earlier, yes.</p> <p>10 Q. All right. Now, please turn to 241914,</p> <p>11 "Paraquat tissue levels"?</p> <p>12 A. Yes.</p> <p>13 Q. Was brain tissue tested?</p> <p>14 A. This suggest not.</p> <p>15 Q. They didn't test brain tissue, did they?</p> <p>16 A. This is talking about paraquat tissue level</p> <p>17 so that's how much paraquat may have been in these</p> <p>18 tissues.</p> <p>19 Q. Look through the study and see after they</p> <p>20 dosed these animals for two years and had them and</p> <p>21 sacrificed them, and then studied liver, lungs, kidneys</p> <p>22 whether or not you see any evidence of them testing the</p> <p>23 brains?</p> <p>24 A. By "testing", if you're indicating --</p> <p>25 Q. Analyzing them.</p> | <p style="text-align: right;">Page 240</p> <p>1 A. The sciatic nerve is not necessarily on the</p> <p>2 central nervous system.</p> <p>3 Q. But the spinal cord is, isn't it?</p> <p>4 A. Yes.</p> <p>5 MR. TILLERY: Let's go off the record for a</p> <p>6 moment.</p> <p>7 THE VIDEOGRAPHER: Going off the record. The</p> <p>8 time is 4:55.</p> <p>9 (Whereupon, the deposition continued the</p> <p>10 following day).</p> <p>11 MR. TILLERY: We'll stipulate the exhibits</p> <p>12 will be left for tomorrow's court reporter.</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p> |
| <p style="text-align: right;">Page 239</p> <p>1 A. They were analyzed in the way that you would</p> <p>2 do normally in a study like this which is the pathology</p> <p>3 and the histopathology.</p> <p>4 Q. Where does it show that?</p> <p>5 A. Because I saw evidence of effects that were</p> <p>6 supposedly seen in the brain. I thought I noticed that</p> <p>7 as I was going through.</p> <p>8 Q. Did you see evidence of numbers of cysts or</p> <p>9 cystic spaces in the spinal cord?</p> <p>10 A. Yes, that's one of the things I noted.</p> <p>11 Q. So you saw evidence of it but do you see any</p> <p>12 evidence that they actually looked at the brain itself?</p> <p>13 A. Well this is where I would need to get into</p> <p>14 the detail and I haven't had a chance to look at all --</p> <p>15 these will be in the tables I would need to go through.</p> <p>16 Q. Quickly look at this and confirm this, if you</p> <p>17 wouldn't mind. Whether one of the findings of the</p> <p>18 study was that animals given paraquat had</p> <p>19 "hydrocephalus degeneration of the nerve fibres of the</p> <p>20 sciatic nerve, and an increase in the numbers of cysts</p> <p>21 or cystic spaces in the spinal cord."</p> <p>22 Did you see that?</p> <p>23 A. I did see that, yes.</p> <p>24 Q. Those are effects on the central nervous</p> <p>25 system, aren't they?</p> | <p style="text-align: right;">Page 241</p> <p>1 CERTIFICATE OF COURT REPORTER</p> <p>2</p> <p>3 I, Channele Malliff, an Accredited Real-time Reporter</p> <p>4 of the United Kingdom and Europe, hereby certify that</p> <p>5 the testimony of the witness Dr. Philip Botham in the</p> <p>6 foregoing transcript, numbered pages 1 through 240,</p> <p>7 taken on this 25th day of February, 2020 was recorded</p> <p>8 by me in machine shorthand and was thereafter</p> <p>9 transcribed by me; and that the foregoing transcript is</p> <p>10 a true and accurate verbatim record of the said</p> <p>11 testimony.</p> <p>12</p> <p>13</p> <p>14 I further certify that I am not a relative, employee,</p> <p>15 counsel or financially involved with any of the parties</p> <p>16 to the within cause; nor am I an employee or relative</p> <p>17 of any counsel for the parties; nor am I in any way</p> <p>18 interested in the outcome of the within cause.</p> <p>19</p> <p>20</p> <p>21 Signed:</p> <p>22 Name: CHANELLE MALLIFF</p> <p>23 Date: February 26, 2020</p> <p>24</p> <p>25</p> |

CONFIDENTIAL

| Page 242 | Page 244 |
|---|---|
| <p>1 Ragan Naresh, Esq.</p> <p>2 ragan.naresh@kirkland.com</p> <p>3 March 2, 2020</p> <p>4 RE: Hoffmann, Diana v. Syngenta Crop Protection LLC</p> <p>5 2/25/2020, Dr. Philip Botham (#3984456)</p> <p>6 The above-referenced transcript is available for</p> <p>7 review.</p> <p>8 Within the applicable timeframe, the witness should</p> <p>9 read the testimony to verify its accuracy. If there are</p> <p>10 any changes, the witness should note those with the</p> <p>11 reason, on the attached Errata Sheet.</p> <p>12 The witness should sign the Acknowledgment of</p> <p>13 Deponent and Errata and return to the deposing attorney.</p> <p>14 Copies should be sent to all counsel, and to Veritext at</p> <p>15 cs-ny@veritext.com.</p> <p>16</p> <p>17 Return completed errata within 30 days from</p> <p>18 receipt of testimony.</p> <p>19 If the witness fails to do so within the time</p> <p>20 allotted, the transcript may be used as if signed.</p> <p>21</p> <p>22 Yours,</p> <p>23 Veritext Legal Solutions</p> <p>24</p> <p>25</p> | <p>1 Hoffmann, Diana v. Syngenta Crop Protection LLC</p> <p>2 Dr. Philip Botham (#3984456)</p> <p>3 ACKNOWLEDGEMENT OF DEPONENT</p> <p>4 I, Dr. Philip Botham, do hereby declare that I</p> <p>5 have read the foregoing transcript, I have made any</p> <p>6 corrections, additions, or changes I deemed necessary as</p> <p>7 noted above to be appended hereto, and that the same is</p> <p>8 a true, correct and complete transcript of the testimony</p> <p>9 given by me.</p> <p>10</p> <p>11 _____</p> <p>12 Dr. Philip Botham Date _____</p> <p>13 *If notary is required</p> <p>14 SUBSCRIBED AND SWORN TO BEFORE ME THIS</p> <p>15 _____ DAY OF _____, 20____</p> <p>16</p> <p>17</p> <p>18 _____</p> <p>19 NOTARY PUBLIC</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p> |
| <p>Page 243</p> <p>1 Hoffmann, Diana v. Syngenta Crop Protection LLC</p> <p>2 Dr. Philip Botham (#3984456)</p> <p>3 E R R A T A S H E E T</p> <p>4 PAGE _____ LINE _____ CHANGE _____</p> <p>5 _____</p> <p>6 REASON _____</p> <p>7 PAGE _____ LINE _____ CHANGE _____</p> <p>8 _____</p> <p>9 REASON _____</p> <p>10 PAGE _____ LINE _____ CHANGE _____</p> <p>11 _____</p> <p>12 REASON _____</p> <p>13 PAGE _____ LINE _____ CHANGE _____</p> <p>14 _____</p> <p>15 REASON _____</p> <p>16 PAGE _____ LINE _____ CHANGE _____</p> <p>17 _____</p> <p>18 REASON _____</p> <p>19 PAGE _____ LINE _____ CHANGE _____</p> <p>20 _____</p> <p>21 REASON _____</p> <p>22 _____</p> <p>23 _____</p> <p>24 Dr. Philip Botham Date _____</p> <p>25</p> | |

62 (Pages 242 - 244)

| Age | Gender | Height | Weight | Time | Time | Time | Time | Time | Time | Time | Time | Time | Time | Time | Time | Time | Time | Time | Time |
|-----|--------|--------|--------|-------|-------|-------|-------|-------|-------|-------|-------|-------|-------|-------|-------|-------|-------|-------|-------|
| 18 | M | 175 | 70 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 |
| 19 | F | 165 | 55 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 20 | M | 180 | 75 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 21 | F | 170 | 60 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 22 | M | 185 | 80 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 23 | F | 175 | 65 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 24 | M | 190 | 85 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 25 | F | 180 | 70 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 26 | M | 195 | 90 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 27 | F | 185 | 75 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 28 | M | 200 | 95 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 29 | F | 190 | 80 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 30 | M | 205 | 100 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 31 | F | 195 | 85 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 32 | M | 210 | 110 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 33 | F | 200 | 90 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 34 | M | 215 | 120 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 35 | F | 205 | 95 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 36 | M | 220 | 130 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | 10:00 | |
| 37 | F | 210 | 100 | 10:00 | 10:00 | 10 | | | | | | | | | | | | | |

Case No. : 17-L-517

9:13 a.m.

C O N F I D E N T I A L

CONFIDENTIAL

| Page 246 | Page 248 |
|---|---|
| <p>1 APPEARANCES</p> <p>2</p> <p>3 Attorneys for the Plaintiff:</p> <p>4 KOREIN TILLERY, LLC</p> <p>5 One U.S. Bank Plaza</p> <p>6 505 N. 7th Street, Suite 3600</p> <p>7 St. Louis, MO 63101</p> <p>8 (314) 241-4844</p> <p>9 By: Stephen M. Tillery</p> <p>10 stillery@koreintillery.com</p> <p>11 John Craig</p> <p>12 jrcraig@koreintillery.com</p> <p>13 Rosemarie Fiorillo</p> <p>14 rfiorillo@koreintillery.com</p> <p>15</p> <p>16 WALKUP, MELODIA, KELLY & SCHOENBERGER</p> <p>17 650 California Street, 26th Floor</p> <p>18 San Francisco, CA 94108</p> <p>19 (415) 981-7210</p> <p>20 By: Michael A. Kelly</p> <p>21 mkelly@walkuplawoffice.com</p> <p>22</p> <p>23 Attorneys for the Defendants and Witness:</p> <p>24 KIRKLAND & ELLIS LLP</p> <p>25 1301 Pennsylvania Avenue, N.W.</p> <p>Washington, D.C. 20004</p> <p>(202) 389-5267</p> <p>By: Ragan Naresh</p> <p>ragan.naresh@kirkland.com</p> <p>HUSCH BLACKWELL LLP</p> <p>190 Carondelet Plaza, Suite 600</p> <p>St. Louis, MO 63105</p> <p>(314) 480-1927</p> <p>By: Joseph C. Orlet</p> <p>joseph.orlet@huschblackwell.com</p> <p>Also Present:</p> <p>Nicole Graham, paralegal, Korein Tillery</p> <p>Mark Smith, in-house, Syngenta</p> <p>Philip Viner, Videographer, Veritext</p> | <p>1 EXHIBIT INDEX</p> <p>2</p> <p>3 No. Description Page</p> <p>4</p> <p>5 Exhibit 21 Patent with256</p> <p>6 "Inventors/Applicants" John Doe,</p> <p>7 Nicholas Sturgess, Kim Travis,</p> <p>8 dated 31 August 2006.</p> <p>9</p> <p>10 Exhibit 22 Assignment of patent rights by256</p> <p>11 John Doe, Nicholas Sturgess and Kim</p> <p>12 Zachary to Syngenta.</p> <p>13</p> <p>14 Exhibit 23 Syngenta presentation "Paraquat &296</p> <p>15 Parkinson's Disease", Bates</p> <p>16 SYNG-PA-00493318 through 00493392.</p> <p>17</p> <p>18 Exhibit 24 Printed PowerPoint presentation318</p> <p>19 "Paraquat and Parkinson's Disease</p> <p>20 Research Literature Update</p> <p>21 (External Publications)".</p> <p>22 Exhibit 25 Printed PowerPoint presentation328</p> <p>23 "Paraquat & Parkinson's Disease".</p> <p>24</p> <p>25 Exhibit 26 internal research report from330</p> <p>26 Syngenta CTL by L. Marks.</p> <p>27 Exhibit 27 Abstract for presentation "Lack342</p> <p>28 of Effect of Paraquat on the</p> <p>29 Nigrostriatal Dopaminergic System</p> <p>30 of the Mouse" at the Society for</p> <p>31 Neuroscience Annual Meeting,</p> <p>32 October 23-27, 2004, San Diego,</p> <p>33 California.</p> <p>34 Exhibit 28 Research report of study XM7258347</p> <p>35 by Dr. Marks. Study initiation</p> <p>36 date 17 September 2003.</p> <p>37 Exhibit 29 Research report of study XM7371359</p> <p>38 by Dr. Marks, initiated April 6,</p> <p>39 2004.</p> <p>40 Exhibit 30 Document titled "Notes of370</p> |
| Page 247 | Page 249 |
| <p>1 WITNESS INDEX</p> <p>2</p> <p>3 Witness Page</p> <p>4</p> <p>5 PHILIP BOTHAM (continued)251</p> <p>6</p> <p>7 Examination by MR. TILLERY (continued)251</p> <p>8</p> <p>9</p> <p>10</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p> | <p>1 discussion with Lewis Smith to brief</p> <p>2 him on the latest Parkinson's disease</p> <p>3 findings on 3rd December 2004".</p> <p>4 Exhibit 31 Document headed "Thoughts On378</p> <p>5 Options For Challenging The PQ and</p> <p>6 C57Bl6 Mouse Model."</p> <p>7 Exhibit 32 Research report for study XM7480392</p> <p>8 by Dr. Marks, initiation date 18</p> <p>9 February 2005.</p> <p>10 Exhibit 33 Letter to the US EPA from400</p> <p>11 Syngenta dated February 24, 2006.</p> <p>12</p> <p>13 Exhibit 34 Research report of study XM7570406</p> <p>14 by Dr. Marks. Study initiation</p> <p>15 date 3 April 2006.</p> <p>16</p> <p>17 Exhibit 35 Presentation "Investigating the408</p> <p>18 Nigrostriatal Toxicity of Paraquat</p> <p>19 Dichloride" by Dr. Marks.</p> <p>20</p> <p>21 Exhibit 36 Summary of a presentation410</p> <p>22 "Paraquat & Parkinson's disease" at</p> <p>23 the Atlanta meeting on February</p> <p>24 13th-14th 2008.</p> <p>25 Exhibit 37 Document Bates numbered414</p> <p>SYNG-PQ-01586117 through</p> <p>SYNG-PQ-01586606.</p> <p>Exhibit 38 Product Safety Technical422</p> <p>Evaluation Claimed Links Between</p> <p>Exposure to Paraquat and</p> <p>Development of Parkinson's Disease.</p> <p>Draft: September 2009.</p> <p>Exhibit 39 Product Safety Technical430</p> <p>Evaluation Claimed Links Between</p> <p>Exposure to Paraquat and</p> <p>Development of Parkinson's Disease.</p> <p>Draft: July 2011.</p> <p>Exhibit 40 Printed PowerPoint presentation443</p> <p>"Does the animal or human element</p> <p>support a causal relationship</p> <p>between Paraquat use and</p> <p>Parkinsonism", presented 2 March</p> |

CONFIDENTIAL

| | |
|---|--|
| Page 250 | Page 252 |
| <p>1 2016, Brazil</p> <p>2 Exhibit 41 Email exchange dated 22 June 2007 ...459</p> <p>3 subject "Study titles".</p> <p>4</p> <p>5</p> <p>6</p> <p>7</p> <p>8</p> <p>9</p> <p>10</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p> | <p>1 Parkinson's disease, which are related to changes in motor</p> <p>2 function but there are other clinical symptoms as well as,</p> <p>3 but in short, that's -- that is Parkinson's disease.</p> <p>4 Q. What is alpha synuclein?</p> <p>5 A. Alpha synuclein is a protein which can be found</p> <p>6 in -- including in cells in the brain.</p> <p>7 Q. And what role does the misfolding of alpha synuclein</p> <p>8 have in Parkinson's disease?</p> <p>9 A. It's, again, perhaps -- as I said yesterday, I'm not</p> <p>10 a clinical neurologist, but my understanding of alpha</p> <p>11 synuclein is that it's believed to play some role in</p> <p>12 Parkinson's disease.</p> <p>13 Some people believe it has a pathological role, in</p> <p>14 other words it's in some way causing some of the symptoms</p> <p>15 that we have been describing; but I have heard others say</p> <p>16 that it has a protective effect. So I think there is still</p> <p>17 some uncertainty about precisely how alpha synuclein would</p> <p>18 work.</p> <p>19 Q. What are the risk factors for Parkinson's disease?</p> <p>20 A. The biggest factor that I am aware of is genetic.</p> <p>21 So there are a number of people who would have genetic</p> <p>22 susceptibility. I believe that that is particularly so for</p> <p>23 people who get early onset Parkinson's disease.</p> <p>24 Q. And could you define what early onset Parkinson's</p> <p>25 disease is?</p> |
| Page 251 | Page 253 |
| <p>1 PHILIP BOTHAM</p> <p>2 having been duly sworn testified as follows:</p> <p>3 THE VIDEOGRAPHER: Good morning. The date today</p> <p>4 is February 26, 2020. The time of commencement is 9:13 am.</p> <p>5 This is Day 2 in the deposition of Mr. Philip Botham.</p> <p>6 I would just add for the record that the court</p> <p>7 reporter today is Ms. Laura Evans, and the videographer is</p> <p>8 Philip Viner, both of Veritext.</p> <p>9 With that you may continue your questions.</p> <p>10 MR. NARESH: Before you start, Steve, I think</p> <p>11 I didn't do this on the record yesterday: we will read and</p> <p>12 sign and the transcript should be marked "confidential" in</p> <p>13 accordance with the protective order in the case.</p> <p>14 MR. TILLERY: Are you ready to resume the</p> <p>15 deposition, Mr. Botham?</p> <p>16 THE WITNESS: I am.</p> <p>17 EXAMINATION BY MR. TILLERY (continued):</p> <p>18 Q. Can you give me your understanding and definition of</p> <p>19 Parkinson's disease.</p> <p>20 A. Parkinson's disease is a neurodegenerative disease</p> <p>21 which is focused on the region of the brain called the</p> <p>22 substantia nigra. The specifics neurodegeneration is what</p> <p>23 are called dopaminergic cells in that region.</p> <p>24 When a significant proportion of those cells have</p> <p>25 died, then that results in the clinical symptoms of</p> | <p>1 A. Again saying I'm not a clinical neurologist so my</p> <p>2 accuracy here may not be complete, but that would generally</p> <p>3 mean people who are under the age of 60. There are other</p> <p>4 risk factors which are known. Head jury is a similar one --</p> <p>5 Q. I'm going to work through those.</p> <p>6 A. Yes.</p> <p>7 Q. Yes. When you used the word biggest factor, what do</p> <p>8 you mean by that?</p> <p>9 A. In terms -- quantitatively, yes.</p> <p>10 Q. Okay. What is Syngenta's position or conclusion as</p> <p>11 to whether exposure to paraquat causes or contributes to</p> <p>12 cause Parkinson's disease?</p> <p>13 A. Our position is that whilst there is biological</p> <p>14 plausibility that the chemical properties of paraquat could</p> <p>15 cause damage to cells in the substantia nigra, so we</p> <p>16 certainly don't deny that that is a plausible hypothesis,</p> <p>17 but the overall evidence based on studies in animals -- the</p> <p>18 mouse model particularly -- and the epidemiology studies do</p> <p>19 not allow you to conclude that paraquat is a causative</p> <p>20 factor in Parkinson's disease.</p> <p>21 Q. And were Syngenta to see the mass of scientific</p> <p>22 evidence shift to the point where all the key studies are</p> <p>23 replicable in independent laboratories, what would</p> <p>24 Syngenta's position be about the ongoing manufacture and</p> <p>25 sale of paraquat?</p> |

3 (Pages 250 - 253)

CONFIDENTIAL

| Page 254 | Page 256 |
|--|---|
| <p>1 MR. NARESH: I object to the form and scope.</p> <p>2 You can answer.</p> <p>3 A. Clearly if the evidence became more convincing that</p> <p>4 there was an association and indeed a causation, then we</p> <p>5 would need to consider what appropriate steps might be</p> <p>6 taken.</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. And what quantum of evidence would Syngenta require</p> <p>9 to reach that stage?</p> <p>10 MR. NARESH: Same objections.</p> <p>11 A. That would include more evidence from the two</p> <p>12 strands that I mentioned previously. So if the experimental</p> <p>13 research studies were lending further evidence to paraquat</p> <p>14 being a causative agent, or epidemiology goes beyond what it</p> <p>15 currently does which is very equivocal on this subject, then</p> <p>16 we may be in a position where we need to take a different</p> <p>17 view.</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. And would the different view include terminating the</p> <p>20 sale of the product -- product paraquat?</p> <p>21 MR. NARESH: Same objections.</p> <p>22 A. I wouldn't rule that out if the evidence became so</p> <p>23 strong. But at this stage I think we are some way from</p> <p>24 being in that position.</p> <p>25 BY MR. TILLERY:</p> | <p>1 So we have not developed a treatment ourselves, but</p> <p>2 we would -- we encourage the use of appropriate treatments</p> <p>3 to -- that are used in acute poisoning, such as the use of</p> <p>4 Fuller's Earth and deactivating -- other deactivating</p> <p>5 materials.</p> <p>6 Q. So you have not generated your own treatment for</p> <p>7 Parkinson's disease?</p> <p>8 A. Not generated it per se, no. No, we have used</p> <p>9 existing mechanisms --</p> <p>10 Q. Used it from other people?</p> <p>11 A. Correct.</p> <p>12 Q. Okay.</p> <p>13 Now what is our next exhibit number you have?</p> <p>14 (Exhibit 21 marked for identification)</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. And would you mark this as 22, please.</p> <p>17 (Exhibit 22 marked for identification).</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. Have you ever seen exhibit 21 before?</p> <p>20 A. No, I have not seen this exhibit.</p> <p>21 Q. Were you aware of this process?</p> <p>22 A. I was aware of the -- the research that had gone on</p> <p>23 with this, yes.</p> <p>24 Q. And let's, first of all, describe for the record</p> <p>25 what number 21 is.</p> |
| Page 255 | Page 257 |
| <p>1 Q. You indicated a minute ago there was a plausible</p> <p>2 mechanism for paraquat causing damage -- neurological</p> <p>3 damage -- in the substantia nigra portion of the human</p> <p>4 brain; do you remember?</p> <p>5 A. I do.</p> <p>6 Q. How long has Syngenta been aware of that mechanism?</p> <p>7 A. We have been aware that paraquat can cause what is</p> <p>8 called oxydative damage because of its redox cycling</p> <p>9 capability for many years. What we still don't know is</p> <p>10 whether that potential -- and I think it is important to</p> <p>11 talk about this as potential -- to have to express that</p> <p>12 effect, that mechanism, in a particular region of the brain,</p> <p>13 that that is still -- why it's plausible but not a proven</p> <p>14 hypothesis.</p> <p>15 Q. Did ICI know that as well?</p> <p>16 A. ICI have known that paraquat has that mode of action</p> <p>17 that I have just described, yes.</p> <p>18 Q. At least for the time you were there, right?</p> <p>19 A. Yes.</p> <p>20 Q. Okay. Has Syngenta ever tried to market a treatment</p> <p>21 for Parkinson's disease?</p> <p>22 MR. NARESH: Objection, scope.</p> <p>23 A. I am not aware of a treatment. But we certainly are</p> <p>24 very actively -- we always actively support the use of</p> <p>25 general treatment procedures.</p> | <p>1 A. Would you like me to do that or --</p> <p>2 Q. Yes, please.</p> <p>3 A. Well, I just will take a little while to read this,</p> <p>4 if I may.</p> <p>5 Q. My questions will be general in nature.</p> <p>6 A. Okay.</p> <p>7 Q. This is a patent, right?</p> <p>8 A. It is. It is, yes.</p> <p>9 Q. And it shows the "Inventors/Applicants" as John</p> <p>10 Doe -- and that is actually a man's name, right?</p> <p>11 A. Yes, correct.</p> <p>12 Q. And he works for Syngenta?</p> <p>13 A. He did work for Syngenta.</p> <p>14 Q. Okay. And then there is a person named Nicholas</p> <p>15 Sturgess; correct?</p> <p>16 A. Yes.</p> <p>17 Q. And Kim Travis, right?</p> <p>18 A. Yes.</p> <p>19 Q. They all work, or both worked, at one point --</p> <p>20 A. That is correct.</p> <p>21 Q. -- for Syngenta?</p> <p>22 A. That is correct.</p> <p>23 Q. And this was issued in what date? 2006?</p> <p>24 A. That's correct.</p> <p>25 Q. Okay. And in general terms what do you understand</p> |

4 (Pages 254 - 257)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 258</p> <p>1 this patent to involve?</p> <p>2 A. Well, in very broad terms, this was a -- the patent</p> <p>3 was based on -- again I use the word "hypothesis", that this</p> <p>4 particular agent that is the subject of this patent could</p> <p>5 have utility in the treatment of Parkinson's disease. But</p> <p>6 I believe -- I believe -- that its actual use has actually</p> <p>7 never come to pass. But I maybe wrong about that, because</p> <p>8 this work originated with Syngenta but I believe was then</p> <p>9 passed on to another company.</p> <p>10 Q. So would it be fair to say that the patent deals</p> <p>11 with the treatment by expanding the effectiveness of</p> <p>12 levadopa and other treatments in the substantia nigra of the</p> <p>13 human brain?</p> <p>14 A. That was the hope in bringing this forward.</p> <p>15 Q. And this was 2006, done by Syngenta employees while</p> <p>16 they were on duty?</p> <p>17 A. Yes.</p> <p>18 Q. And as a consequence of that, if you look at</p> <p>19 exhibit 22, they did, as is typical, assign their patent</p> <p>20 rights to Syngenta, didn't they?</p> <p>21 A. Yes.</p> <p>22 Q. Okay.</p> <p>23 Do you know what the Brock theory is, sir?</p> <p>24 A. I have an outline understanding of it, yes.</p> <p>25 Q. Do you understand whether it includes the olfactory</p> | <p style="text-align: right;">Page 260</p> <p>1 Q. And that also includes ICI?</p> <p>2 A. It would have started in the -- when ICI was the</p> <p>3 company, yes. That is correct.</p> <p>4 Q. So you tried an alternative product --</p> <p>5 A. We --</p> <p>6 Q. Or a means of improving the safety of the product?</p> <p>7 A. We did.</p> <p>8 Q. What did you do?</p> <p>9 A. We tried to develop formulations of paraquat which,</p> <p>10 if accidentally or deliberately, were ingested, would not be</p> <p>11 absorbed by the stomach as readily in order to be able to</p> <p>12 allow for more effective and quicker treatment.</p> <p>13 Q. And this was a form of emetic, wasn't it?</p> <p>14 A. Emetic was one of the agents that we used.</p> <p>15 Q. And this contemplated a larger ingestion, for</p> <p>16 example, a teaspoon or more of paraquat?</p> <p>17 A. It certainly contemplated that scenario, yes.</p> <p>18 Q. Someone accidentally or perhaps even intentionally</p> <p>19 taking the product, you were designing a product that caused</p> <p>20 them, in addition to paraquat, to vomit to eliminate this</p> <p>21 product from their body and perhaps save their lives?</p> <p>22 A. Because at that time that was considered by medical</p> <p>23 experts to be something that would have potential utility in</p> <p>24 the way in which you have described.</p> <p>25 Q. With respect to the active ingredient of paraquat,</p> |
| <p style="text-align: right;">Page 259</p> <p>1 bulb as a route of access to the mid-brain?</p> <p>2 A. It certainly includes that, yes.</p> <p>3 Q. Has the olfactory bulb been implicated as an initial</p> <p>4 site of Parkinson's disease pathology?</p> <p>5 A. It has. As what is called one of the prodromal</p> <p>6 symptoms, where -- the loss of smell.</p> <p>7 Q. Do you know if Chevron quit producing and selling</p> <p>8 paraquat because of the likelihood of it causing Parkinson's</p> <p>9 disease?</p> <p>10 MR. NARESH: Objection to scope.</p> <p>11 A. I cannot comment on behalf of Chevron.</p> <p>12 BY MR. TILLERY:</p> <p>13 Q. ICI and Chevron were working a joint venture at that</p> <p>14 time, weren't they?</p> <p>15 MR. NARESH: Same objection.</p> <p>16 A. They were.</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. You don't know why Chevron told ICI that they no</p> <p>19 longer wanted to sell -- manufacture and sell the product?</p> <p>20 A. I don't know why they -- they said that, no.</p> <p>21 Q. Okay. Did you ever attempt to develop any</p> <p>22 formulation of paraquat products with the intent of reducing</p> <p>23 the exposure of users to paraquat? Again, when I say "you",</p> <p>24 I mean Syngenta.</p> <p>25 A. Yes, we did.</p> | <p style="text-align: right;">Page 261</p> <p>1 would it be safe to say that since 1962, when it was first</p> <p>2 used in the UK, and then three years later in the</p> <p>3 United States in 1965, has the molecule been the same?</p> <p>4 A. As far as I'm aware, the molecule is the same.</p> <p>5 Q. And so far as you know, there's been no effort to</p> <p>6 change the molecular structure of that chemical --</p> <p>7 A. I'm not --</p> <p>8 Q. -- in order to affect or change the potential health</p> <p>9 effects on users or consumers of the product?</p> <p>10 A. I -- I'm not aware of any attempt to change the</p> <p>11 fundamental structure of the molecule.</p> <p>12 Q. If you wanted to test a hypothesis that repeated low</p> <p>13 dose exposures to paraquat over several years could cause or</p> <p>14 potentially cause Parkinson's disease, how would you do</p> <p>15 that, sir?</p> <p>16 MR. NARESH: Objection to form.</p> <p>17 A. Well, the normal toxicological practice would be to</p> <p>18 see if you can see effects in an appropriate laboratory</p> <p>19 animal model, and to consider what the appropriate routes of</p> <p>20 exposure and duration of exposure should be.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. More granularly, how would you do it?</p> <p>23 MR. NARESH: Same objection.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. In other words how would you design this, if you</p> |

5 (Pages 258 - 261)

CONFIDENTIAL

| Page 262 | Page 264 |
|--|---|
| <p>1 were the lab lead scientist assigned to this product? How</p> <p>2 would you do that?</p> <p>3 MR. NARESH: Same objection?</p> <p>4 A. Well, you would first of all have to ascertain what</p> <p>5 end point, what effects you would want to detect in</p> <p>6 that animal model, regardless of the factors I have just</p> <p>7 been mentioning, and therefore you would want to see whether</p> <p>8 the hallmarks of Parkinson's disease that you see in a human</p> <p>9 could be seen and detected in such an animal model. So that</p> <p>10 would include the pathology in the relevant part of the</p> <p>11 brain, levels of dopamine and other such factors.</p> <p>12 BY MR. TILLERY:</p> <p>13 Q. Would your proposal include looking at the role of</p> <p>14 alpha synuclein in the development of Parkinson's disease?</p> <p>15 MR. NARESH: Same objections.</p> <p>16 A. It could. But as I said earlier, we are still</p> <p>17 unsure of exactly what role alpha synuclein has. So one</p> <p>18 would, I think, include that with some caution because it</p> <p>19 might be difficult to interpret the findings.</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. How many studies has Syngenta done of alpha</p> <p>22 synuclein impact by paraquat?</p> <p>23 A. We have not done very much in the way of addressing</p> <p>24 specifically alpha synuclein ourselves.</p> <p>25 Q. Have you done one study?</p> | <p>1 an increase, when you talking about upregulation -- to the</p> <p>2 expression or the amount of alpha synuclein in a particular</p> <p>3 part of the body.</p> <p>4 Q. Is Lewy body pathology a pathological hallmark of</p> <p>5 Parkinson's disease?</p> <p>6 A. I think that is generally true.</p> <p>7 Q. Does alpha synuclein misfolding comprise the</p> <p>8 majority of proteins in the Lewy bodies?</p> <p>9 A. Again I think that is largely seen to be true, yes.</p> <p>10 Q. And Parkinson's disease -- strike that question.</p> <p>11 Can paraquat cause a misfolding of the alpha</p> <p>12 synuclein?</p> <p>13 A. I'm not aware that there's any clear cut evidence of</p> <p>14 that.</p> <p>15 Q. And there has been no test done by Syngenta to</p> <p>16 verify it one way or another?</p> <p>17 A. We have certainly not looked at that specific</p> <p>18 parameter.</p> <p>19 Q. Did Lewis Smith and Charles Breckenridge seek</p> <p>20 approval to perform exactly those studies?</p> <p>21 A. We -- I certainly recall that we had discussions</p> <p>22 within our health scientists team about this on more than</p> <p>23 one occasion. But as I said earlier, the view was that</p> <p>24 although it was one possible avenue of research, the overall</p> <p>25 decision was that it was too uncertain that we would be able</p> |
| Page 263 | Page 265 |
| <p>1 A. I don't recall if we have done one study. We may</p> <p>2 have done. I don't recall.</p> <p>3 Q. You don't remember ever seeing one?</p> <p>4 A. Not -- I don't remember now seeing a Syngenta study</p> <p>5 where we have looked at --</p> <p>6 Q. Have you -- sorry.</p> <p>7 A. No, it's fine.</p> <p>8 Q. Have you ever asked a third party to do a study that</p> <p>9 evaluated the role of alpha synuclein in the development of</p> <p>10 Parkinson's disease with respect to paraquat?</p> <p>11 A. We have had conversations with other scientists</p> <p>12 about alpha synuclein, but again I can't recall that we've</p> <p>13 ever asked a third party to include this in any of their</p> <p>14 experiments.</p> <p>15 Q. Is alpha synuclein included in the tests you did in</p> <p>16 the paraquat mouse model, such as the Breckenridge line of</p> <p>17 studies?</p> <p>18 A. No, I don't believe it is included.</p> <p>19 Q. Has paraquat been shown to cause an upregulation of</p> <p>20 alpha synuclein in laboratory animals?</p> <p>21 A. I believe that in some other -- in some studies that</p> <p>22 other researchers have done, that that is the case.</p> <p>23 Q. And for the record, would you describe briefly what</p> <p>24 upregulation of alpha synuclein means?</p> <p>25 A. It means that there is a change -- usually meaning</p> | <p>1 to interpret the findings.</p> <p>2 Q. And just so we are clear, when I mentioned those two</p> <p>3 scientists, Charles Breckenridge was until recently in what</p> <p>4 role at Syngenta?</p> <p>5 A. He was a senior toxicologist in our North American</p> <p>6 toxicology department.</p> <p>7 Q. And you described Lewis Smith, but he was in</p> <p>8 a similar position in the UK; correct?</p> <p>9 A. He had a number of senior roles in product safety</p> <p>10 and in the company more widely.</p> <p>11 Q. So two very high ranking scientists in the Syngenta</p> <p>12 organization sought that.</p> <p>13 Who did they need approval from in order to secure</p> <p>14 the approval to do the test and to get the funding?</p> <p>15 A. Discussions of that sort, where we were proposing</p> <p>16 possible lines of research, were discussed within the</p> <p>17 paraquat health scientists team, which Lewis Smith was</p> <p>18 initially the -- the head of that team, and subsequently</p> <p>19 I became the head.</p> <p>20 And we would, ourselves, make recommendations -- in</p> <p>21 some cases a strong recommendation -- about which line of</p> <p>22 research we should take and they were then further discussed</p> <p>23 with a group of more senior leaders in the company called</p> <p>24 the Paraquat Issues Leadership Team.</p> <p>25 Q. And who sat on that committee you are referring to?</p> |

6 (Pages 262 - 265)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 266</p> <p>1 A. The Paraquat Issues Leadership Team included -- and 2 this is not an extensive list -- the head of research and 3 development, a senior attorney -- a senior lawyer -- 4 a senior person in the marketing department, other people in 5 R&D, and the head of regulatory affairs. 6 Q. And let's assume they agreed that these studies 7 should be undertaken. Was that the final authority or did 8 they need to seek approval from yet a higher level in the 9 company? 10 A. In normal terms, experiments would not require any 11 further approval. 12 Q. Is the Syngenta executive committee involved in any 13 of this process? 14 A. Only occasionally when there are some specific 15 circumstances where we feel that we would like their 16 opinion. 17 Q. Not just their opinion, their approval; correct? 18 A. And sometimes -- sometimes their approval. 19 Q. And for the record, describe what the Syngenta 20 executive committee is? 21 A. The Syngenta executive committee, now called the 22 Syngenta executive team, is the most senior group of leaders 23 in the organization. So it is chaired by the Chief 24 Executive Officer. 25 Q. These are the people who make the final decisions in</p> | <p style="text-align: right;">Page 268</p> <p>1 although it's generally actually not used in the same way as 2 it was before. It's a smaller group of people now who can 3 help with this. 4 Q. Was the Syngenta executive committee involved in 5 approving the studies that resulted in the Breckenridge and 6 Minnema published studies? 7 A. The executive committee were informed about that at 8 a point in time, but they were not -- we did not need to 9 seek their approval to do those tests. 10 Q. Okay, but they were told about it? 11 A. I personally reported to them at one time, 12 certainly, yes. 13 Q. Irrespective of whether or not they get involved at 14 a level of approving or disapproving, are they informed of 15 these types of studies at paraquat? 16 A. They are informed about the broad direction of the 17 program, and from time to time they are given some detail 18 where that is considered to be appropriate. 19 Q. Doesn't the Syngenta executive committee approve the 20 entire Syngenta research project budget? 21 A. They -- the Syngenta executive committee would 22 certainly approve the R&D budget, that is correct. 23 Q. Okay. What studies has Syngenta performed -- or had 24 performed -- which investigated whether paraquat causes 25 upregulation? Did we cover that?</p> |
| <p style="text-align: right;">Page 267</p> <p>1 the company; correct? 2 MR. NARESH: Objection to scope and form. 3 A. They make the strategic decisions for the company. 4 Of course, a lot of decision-making is delegated to 5 appropriate organizations within the company. 6 BY MR. TILLERY: 7 Q. Is there any higher form of authority at the company 8 than the Syngenta executive committee? 9 A. Well, the chairman of the executive committee 10 reports to the Syngenta -- or did report to the Syngenta 11 board, so in that sense there is a higher authority. 12 Q. Could you tell me the names of the people on the 13 paraquat leadership team? 14 A. So the Paraquat Issues Leadership Team. 15 Q. Yes. You referred to it as PILT? 16 A. Yes, the PILT, that's right. 17 Q. Okay? 18 A. Well, that's quite a difficult thing to do. It has 19 existed for a long period of time and the names have changed 20 so 21 Q. So it's different. I'm not trying to put you 22 through a memory test, so okay. 23 A. Yes. 24 Q. Is there a group now? 25 A. There is a group which acts in that same capacity,</p> | <p style="text-align: right;">Page 269</p> <p>1 A. We covered that. 2 Q. All right, forget that. 3 Now, you mentioned head injury before and I told you 4 we would come back to that. Do you think head injury is 5 a risk factor? 6 A. Well, I think there is certainly some evidence that 7 suggests that, which is why I mentioned it. And head injury 8 appears to be a potential risk factor for other 9 neurodegenerative diseases, not just Parkinson's. 10 Q. Can you tell me the mechanism that you believe 11 causes head injury to become a risk factor for Parkinson's 12 disease? 13 MR. NARESH: Object to the scope, the form. 14 Go ahead. 15 A. No, I can't tell you that. Because that's, again, 16 a level of knowledge which I've never really explored. 17 BY MR. TILLERY: 18 Q. And you mentioned earlier that more sporadic cases 19 of Parkinson's disease, other than those that you said were 20 early onset, they start around -- they average around 60? 21 A. Again, I think that I wouldn't -- I would say that 22 early onset Parkinson's is generally something that would be 23 in people who are under the age of 60. Parkinson's disease 24 as a whole is a disease of older age. 25 Q. And is age itself -- aging itself -- a risk factor</p> |

7 (Pages 266 - 269)

CONFIDENTIAL

| Page 270 | Page 272 |
|--|--|
| <p>1 for Parkinson's disease?</p> <p>2 A. Indeed, that is probably in itself the biggest risk</p> <p>3 factor.</p> <p>4 Q. And why is that? What is it doing in terms of the</p> <p>5 causative effects of Parkinson's disease, from your</p> <p>6 standpoint?</p> <p>7 A. Again, really as a layperson in the clinical aspects</p> <p>8 of this, I would simply say that as you grow older things</p> <p>9 like repair processes and normal functioning of the body</p> <p>10 will tend to be less effective, thus leading us to be prone</p> <p>11 to a number of diseases.</p> <p>12 Q. Let me ask you in terms of the research: you have a</p> <p>13 specific committee at Syngenta that deals with Parkinson's</p> <p>14 and paraquat, right?</p> <p>15 A. We have a -- a health science team, yes.</p> <p>16 Q. And that health science team has a name that applies</p> <p>17 to paraquat and Parkinson's studies, right?</p> <p>18 A. Correct.</p> <p>19 Q. What is that group called?</p> <p>20 A. The paraquat health scientists team.</p> <p>21 Q. And that explores and investigates the relationship</p> <p>22 between paraquat and Parkinson's, at least in part; correct?</p> <p>23 A. It does.</p> <p>24 Q. All right. Now, that committee and you -- you are</p> <p>25 heading it, that committee, or you did; right?</p> | <p>1 typically?</p> <p>2 A. That's about right, yes.</p> <p>3 Q. Right, okay. Now if we are using the model -- human</p> <p>4 model -- and we are talking about people exposed to paraquat</p> <p>5 or exposed to any other external toxicant and looking at</p> <p>6 those, and they typically wouldn't develop the condition</p> <p>7 until their 60s or later, can you tell me if you are using</p> <p>8 animal models whether it is appropriate to use a very, very</p> <p>9 young animal?</p> <p>10 MR. NARESH: Objection to form, scope.</p> <p>11 Go ahead.</p> <p>12 BY MR. TILLERY:</p> <p>13 Q. What I mean by that is let's say a mouse that lives</p> <p>14 for two years. We talked yesterday about mice and they were</p> <p>15 dying and you said that typically could be the end of their</p> <p>16 lives, two years, right? And these were six to eight week</p> <p>17 old mice that you were using in the studies, right?</p> <p>18 A. When we first started to administer paraquat, that</p> <p>19 is correct.</p> <p>20 Q. Okay. And can you extrapolate the effects of</p> <p>21 paraquat as a toxicant in these mice that are six to eight</p> <p>22 weeks old to the outcomes from the same exposure in the</p> <p>23 natural environment for people who have an onset at age 60</p> <p>24 or later?</p> <p>25 A. Toxicology is always based on an understanding that</p> |
| Page 271 | Page 273 |
| <p>1 A. I still do.</p> <p>2 Q. You understood that typical onset in the absence of</p> <p>3 a genetic -- strong genetic -- predisposition to have onset</p> <p>4 irrespective of any kind of external toxicant, okay, like an</p> <p>5 environmental factor, paraquat for example, would occur</p> <p>6 normally much earlier than 60 years, you knew that?</p> <p>7 A. Early onset Parkinson's disease occurs before 60,</p> <p>8 yes.</p> <p>9 Q. Okay. And you understood that in the literature</p> <p>10 that often ranged from late 20s to 30s and 40s?</p> <p>11 A. It --</p> <p>12 Q. You knew that, right?</p> <p>13 A. We knew that, yes.</p> <p>14 Q. All right.</p> <p>15 But the traditional kind where scientists are</p> <p>16 investigating the effects that are not generated by some</p> <p>17 genetic predisposition, but instead by environmental</p> <p>18 factors, are in the sporadic group that typically -- not</p> <p>19 always but typically -- start around age 60; you knew that?</p> <p>20 A. I would say it was generally above 60. I think that</p> <p>21 Parkinson's --</p> <p>22 Q. Over 60, perhaps --</p> <p>23 A. Certainly well over 60.</p> <p>24 Q. And for those of us who are over 60, okay, the stage</p> <p>25 of life would be like, what, the last fourth of our life,</p> | <p>1 the animal model cannot be necessarily an accurate mimic of</p> <p>2 the human being. And you sometimes have to compensate --</p> <p>3 for example, for the factor that you have just mentioned --</p> <p>4 by exaggerating the way in which you expose the animals to</p> <p>5 a substance, to give very high doses, for example.</p> <p>6 And yes, you can use different ages of animals, and</p> <p>7 we ourselves did use older animals than six to eight weeks</p> <p>8 in some of our experiments. But you are never in a position</p> <p>9 to accurately replicate what might happen in the human</p> <p>10 being, and, if I may just add, it's not clear when the</p> <p>11 disease of Parkinson's disease -- of Parkinson's actually</p> <p>12 starts in the human being.</p> <p>13 Q. Let's talk about that for a second. Let me ask you,</p> <p>14 what age does a six to eight week old mouse translate to in</p> <p>15 terms of the human being?</p> <p>16 A. A mouse would normally have a life span of around</p> <p>17 18 months.</p> <p>18 Q. Okay. So a six to eight week old mouse is, what,</p> <p>19 just passed a -- not even a teenager yet, in human terms,</p> <p>20 right?</p> <p>21 A. If you want to extrapolate that, yes.</p> <p>22 Q. If you take the study out for four weeks or six</p> <p>23 weeks, you have moved them up to maybe the beginning of</p> <p>24 their teenage years in human terms, right?</p> <p>25 A. Yes.</p> |

8 (Pages 270 - 273)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 274</p> <p>1 Q. Have you ever in your life read any accounts of 2 people who were in their teens contracting Parkinson's 3 disease that was not caused by some genetic predisposition? 4 A. No. 5 Q. All right, thank you. 6 Does well water cause Parkinson's disease? 7 A. I don't know. But there is, again, a hypothesis 8 that it could, due to the presence of microorganisms in the 9 well water. 10 Q. Or due to the presence of pesticides? 11 A. What I have seen is that microorganisms in well 12 water have been hypothesized. 13 Q. But you have not done research to determine that; is 14 that correct? 15 A. No, we have not. 16 Q. Do you know what percentage of people with 17 Parkinson's disease have genes that give rise to the disease 18 without any environmental factor? 19 A. I wouldn't be able to answer that. 20 Q. Are you aware of any geographical areas or 21 occupational groups that have a greater than expected 22 incidence of Parkinson's disease? 23 A. Again, I'm not a expert in this field. So again in 24 broad terms, I sometimes read that there are such effects 25 but they are not remarkable. There is nothing that strikes</p> | <p style="text-align: right;">Page 276</p> <p>1 in toxicology studies but not exclusively in toxicology 2 studies, may need to be reported to the US EPA. 3 Q. And does Syngenta have a committee known as the 4 Potentially Referable Finding Committee? 5 A. It does, and that is a committee which is based in 6 our United States organization. 7 Q. You mentioned using high doses of testing chemicals 8 or tested chemicals in animals to exaggerate the exposure to 9 make up for your inability to perfectly replicate real world 10 exposure; do you remember that? 11 A. I do. 12 Q. Right. How would that make up for using animals 13 that were the equivalent of teenagers at the end of the 14 study? 15 A. I am not claiming that it necessarily would. I was 16 making a broad point there that toxicology studies, because 17 they can never accurately replicate what happens in a human 18 being's lifetime, will take actions such as exaggerating the 19 amount that is given to the animal, but not exclusively 20 that. 21 Q. You agree with me that it would not make up for that 22 difference of using young animals, would it? 23 A. You can't say that it would; equally, you can't say 24 that it would not. 25 Q. Hasn't it been Syngenta's position that the high</p> |
| <p style="text-align: right;">Page 275</p> <p>1 you as being very clear in that area. 2 Q. So would a -- let's say a odds ratio of two or three 3 or four to one, that odds ratio for developing Parkinson's 4 disease, would that be something that would get your 5 attention? 6 A. It's, again, an area where you would have to consult 7 with epidemiologists as to what a significant odds ratio 8 would be. But certainly, yes, again in general terms, odds 9 ratios of that sort you would want to look at to see whether 10 you could understand where that might have come from. 11 Q. And for the court and ladies and gentlemen of the 12 jury, what that means, by odds ratios of those types, means 13 odds ratio 2 means that you are twice as likely to get 14 Parkinson's disease, right? 15 A. That's correct. 16 Q. Three, three times more likely? 17 A. Yes. 18 Q. Four, four times more likely? 19 A. Yes. 20 Q. Is that what your understanding is -- 21 A. That's my -- that is what odds ratio means. 22 Q. What is a potentially referable finding? 23 A. This is associated with the United States 24 Environmental Protection Agency legislation, which in 25 shorthand is called 6(a)(2), where findings in, for example,</p> | <p style="text-align: right;">Page 277</p> <p>1 doses in your paraquat mouse study are not relevant to real 2 world exposure? 3 A. That is a different question. Because what our 4 argument there is, is that it's the route of administration 5 which is particularly of concern. Which I have to say is 6 a view also shared by the US Environmental Protection 7 Agency. 8 Q. And you actually suggested that to them, didn't you? 9 A. We certainly came to that view ourselves, because 10 here, for the record, we are talking about injecting 11 paraquat into the intra-peritoneal cavity, which was clearly 12 a very big exaggeration, if you like, of the way -- 13 Q. You have done that consistently for years in 14 studies, haven't you? 15 A. We did that for two reasons. One for the reason we 16 just indicated so that we are not trying to -- not -- look 17 to see whether the mouse might have the capability of 18 showing Parkinson's pathology, but also because our -- and 19 more importantly -- because our research efforts were 20 directed to see whether the finding that other people have 21 shown using this route of exposure is repeatable. 22 Q. Did you suggest that to the US EPA or did they 23 suggest it to you? 24 A. I -- neither applies. 25 Q. So you didn't go to the US EPA and suggest that</p> |

9 (Pages 274 - 277)

CONFIDENTIAL

| | |
|---|--|
| Page 278 | Page 280 |
| <p>1 intra-peritoneal injections of mice for studies of paraquat 2 are not appropriate, you never suggested that, is that what 3 you are telling me?</p> <p>4 MR. NARESH: I will object to the scope.</p> <p>5 A. I may have misunderstood your question at first when 6 you used the term "suggest". We certainly gave an opinion 7 to the EPA that as a route of administration it was 8 certainly not physiological.</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. And you gave that opinion before they ever 11 published a similar statement publicly, right?</p> <p>12 MR. NARESH: Same objection.</p> <p>13 A. I believe that would be correct in terms -- at the 14 time, yes.</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. Does your position with respect to the route of 17 exposure being the issue mean that high doses themselves are 18 not a concern?</p> <p>19 A. No, high doses are -- in of themselves are still 20 appropriate to consider. So we also did -- the Minnema 21 paper that you referenced was where we gave paraquat through 22 a more normal route of exposure, in their diet, but that was 23 still at high doses.</p> <p>24 Q. I'm going to come back later on the issue of 25 potentially referable findings, okay. But I wanted to at</p> | <p>1 MR. NARESH: Objection to scope.</p> <p>2 A. Again, I can't give you an accurate number.</p> <p>3 BY MR. TILLERY:</p> <p>4 Q. If I told you it was over 32, would you dispute 5 that?</p> <p>6 MR. NARESH: Same objection.</p> <p>7 A. I would not dispute that.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. Okay.</p> <p>10 So the potential neurotoxic health effects of 11 paraquat are required to be reported to regulatory 12 authorities, correct?</p> <p>13 A. It's not quite as straightforward as that. The 14 reporting requirements are -- also say that the finding has 15 to be new. A new finding. So if it is deemed already to be 16 known by the agency, then that requirement is not -- is not 17 in place.</p> <p>18 Q. Well, more specifically, would you agree that 19 withholding scientific findings from the regulatory agencies 20 about the neurotoxic effects of paraquat would be 21 inconsistent with compliance with the regulatory 22 authorities?</p> <p>23 MR. NARESH: I will just object to this. It 24 calls for a legal conclusion.</p> <p>25 You can answer to the extent you know.</p> |
| Page 279 | Page 281 |
| <p>1 least inquire before the rest of this deposition today and 2 just see if we can summarize, without getting into all of 3 the details which we will touch later.</p> <p>4 A potential referable finding refers to some adverse 5 effect, doesn't it?</p> <p>6 A. It does.</p> <p>7 Q. And your committee evaluates whether certain adverse 8 effects require reporting them, correct?</p> <p>9 A. That is their responsibility, yes.</p> <p>10 Q. And there's regulatory authorities all over the 11 world which you have an obligation to report to, right, not 12 just the US regulatory authority?</p> <p>13 A. That is true.</p> <p>14 Q. And those include where you sell, manufacture, 15 market paraquat?</p> <p>16 A. Yes.</p> <p>17 Q. How many of those countries are there?</p> <p>18 MR. NARESH: Object to scope.</p> <p>19 A. Where we -- where we market paraquat?</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. Yes.</p> <p>22 A. I am not able to give you answer.</p> <p>23 BY MR. TILLERY:</p> <p>24 Q. How many countries -- strike that.</p> <p>25 In how many countries has paraquat been banned?</p> | <p>1 A. If the -- if all the criteria had been addressed and 2 we believed that the -- we needed to report, then we would 3 certainly always have done so.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. You understood -- and I again mean Syngenta -- that 6 when the US Congress amended FIFRA -- you know what FIFRA 7 is?</p> <p>8 A. I do.</p> <p>9 Q. In 1972, it adopted a very broad reporting 10 requirement, typically referred to as a section 6(a)(2) 11 requirement, right?</p> <p>12 MR. NARESH: I will object. It calls for a legal 13 conclusion --</p> <p>14 A. Indeed, 6(a)(2) is what I referred to in my earlier 15 answer.</p> <p>16 MR. NARESH: Steve, can I have -- to the extent 17 that I suspect we are going to have a number of objections 18 to the extent calling for a legal conclusion, can I just 19 have a standing objection as to that?</p> <p>20 MR. TILLERY: Absolutely.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. Do you understand that section 6(a)(2) requires 23 pesticide registrants like Syngenta to report to the 24 Environmental Protection Agency information concerning -- 25 and I'm quoting:</p> |

10 (Pages 278 - 281)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 282</p> <p>1 "Any unreasonable risk to man or the environment." 2 Of their products? Did you know that? 3 A. I did know that. 4 Q. Okay. And do you know that FIFRA section 14(b) 5 authorizes criminal prosecution of a registrant who 6 knowingly violates FIFRA? 7 A. I did know that. 8 Q. All right. Sections 12(a)(2)(n) and (q) make it 9 unlawful for a registrant: 10 "... to fail to file reports required by the 11 subchapter." 12 Or to: 13 "Falsify all or part of any information relating to 14 the testing of any pesticide including the nature of any 15 observation made or conclusion or opinion formed submitted 16 to the administrator or that person knows will be furnished 17 to the administrator." 18 Did you know that? 19 A. I did know that. 20 Q. You are also aware that false statements to the EPA 21 are also unlawful under the Criminal False Statement Statute 22 which provides: 23 "Whoever falsifies, conceals or covers by any trick, 24 scheme or device a material fact or makes an immaterial 25 false fictitious or fraudulent statement or representation,</p> | <p style="text-align: right;">Page 284</p> <p>1 A. It is correct. But I would repeat that there are -- 2 there is detailed guidance there on exactly what that means 3 in terms of the findings. 4 Q. I am actually going to get into this, okay. 5 You are aware that the EPA regulations require 6 registrants to report information that is relevant to the 7 assessment of the risks or benefits of one or more specific 8 pesticide registrations currently or formerly held by the 9 registrant. 10 Correct? 11 A. Yes. 12 Q. And of course paraquat is one of those because 13 Syngenta is a primary registrant of the chemical paraquat, 14 right? 15 A. It is. 16 Q. In the United States and elsewhere, correct? 17 A. It is. 18 Q. And you knew that information is relevant to the 19 assessment of the risks or benefits if it includes the 20 conclusions or opinions of a person (i) who is employed or 21 retained by the registrant and was likely to receive such 22 information, (ii) from whom the registrant requested an 23 opinion or conclusion, or (iii) who is a qualified expert as 24 described in the codafel(?) regulations. 25 You knew that as well?</p> |
| <p style="text-align: right;">Page 283</p> <p>1 or makes or uses --..." 2 (Fire alarm test) 3 BY MR. TILLERY: 4 Q. "... or makes or uses any false writing or document 5 knowing the same to contain any materially false, fictitious 6 or fraudulent statement ... or shall be fined under this 7 title or imprisoned for not more than five years." 8 Did you know that? 9 A. Yes, I did. 10 Q. That is 18 USC section 1001. You know that, right? 11 A. I don't know all the detailed numbers. 12 Q. But you knew in general -- 13 A. I know in general what this says -- 14 Q. You can't lie about this stuff and you can't 15 withhold information, you knew that? 16 A. I knew that. 17 Q. If you've got information, you got to turn it over, 18 right? 19 A. I know that. 20 Q. It is an absolute obligation to do it, if you are 21 selling a product that they are in control of in terms of 22 regulation and that can cause harm to the consumer, right? 23 MR. NARESH: Object to scope. 24 BY MR. TILLERY: 25 Q. Is that correct?</p> | <p style="text-align: right;">Page 285</p> <p>1 A. We did. 2 Q. Okay. You knew that conclusions and opinions of 3 Syngenta employees and retained experts relevant to the 4 assessment of the risks or benefits of a regulated chemical 5 must be reported to the US EPA? 6 A. Yes, yes. 7 Q. Did you know that? 8 A. Yes. 9 Q. Okay. How long have you known this? 10 A. Personally, I've known this since the 1990s when 11 I was -- 12 Q. Okay. Syngenta knew that EPA regulations require 13 that codafel(?) regulations section 159.165 makes 14 mandatorily reportable adverse findings in toxicological 15 studies not withstanding similar findings in prior studies: 16 "If relative to all previously submitted studies 17 they show an adverse effect." 18 And then it lists a number of things: in a different 19 organ or tissue of the test organism; at a lower dosage; 20 after a shorter exposure period; after a shorter latency 21 period; at a higher incidence or frequency; by a different 22 route of exposure; in a different strain, sex or generation 23 of test organism. 24 You knew that too? 25 A. That's right. Those are the qualifications I was --</p> |

CONFIDENTIAL

| Page-286 | Page-288 |
|--|--|
| <p>1 Q. Those are the ones you were talking about?</p> <p>2 A. Yes, yes.</p> <p>3 Q. Would you agree that an adverse effect finding is</p> <p>4 a completely -- in a completely different species is</p> <p>5 a highly relevant mandatory reportable adverse effect</p> <p>6 finding under 40 CFR 159.165?</p> <p>7 MR. NARESH: Objection to form.</p> <p>8 A. In a different -- excuse me, in a different species,</p> <p>9 yes.</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. Okay. You also knew that the EPA has a catchall</p> <p>12 regulation that makes "Other information" mandatorily</p> <p>13 reportable. A registrant must submit "information other</p> <p>14 than as described", in the section I just quoted 159.165: if</p> <p>15 the registrant knows or reasonably should know that if the</p> <p>16 information should prove to be correct, EPA might regard the</p> <p>17 information alone or in conjunction with other information</p> <p>18 about the pesticide as raising concerns about the continued</p> <p>19 registration of a product, or about the appropriate terms</p> <p>20 and conditions of registration of a product. Right?</p> <p>21 MR. NARESH: Objection to form.</p> <p>22 A. Yes. But if I could say at this point --</p> <p>23 BY MR. TILLERY:</p> <p>24 Q. Just tell me this: were you aware of that rule, 40</p> <p>25 CFR 159.165?</p> | <p>1 about whether to make a report to the US EPA under any of</p> <p>2 these laws, the most responsible way to proceed would be to</p> <p>3 file a report?</p> <p>4 A. That is correct. And I will have to say that that</p> <p>5 is absolutely the philosophy that I was encouraging.</p> <p>6 Q. Okay.</p> <p>7 A. If in doubt, we put it into the system to decide</p> <p>8 whether we should be submitting.</p> <p>9 Q. I move to strike the answer as unresponsive.</p> <p>10 Would you agree with me that in the case of any</p> <p>11 doubt about whether to make a report to the US EPA under any</p> <p>12 of these laws, the most responsible way to proceed is to</p> <p>13 file the report?</p> <p>14 MR. NARESH: I will object as asked and answered.</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. Would you agree with that?</p> <p>17 A. It is. But I still believe that that is and should</p> <p>18 be done in accordance with understanding the specific</p> <p>19 requirements that you have been reading out.</p> <p>20 Q. Were there others at Syngenta that argued the other</p> <p>21 side of this position?</p> <p>22 A. Let me, at this point, say that the final decision</p> <p>23 for this was taken by the US PRF committee that I mentioned</p> <p>24 before. I personally was not a member of that committee.</p> <p>25 My responsibility was actually to give information to that</p> |
| Page 287 | Page 289 |
| <p>1 A. Well, the script -- the last few words that you read</p> <p>2 out, I am less familiar with because my responsibility was</p> <p>3 largely to deal with toxicological information or opinion</p> <p>4 given to us by experts.</p> <p>5 Q. What I'm asking is did you recognize this to be your</p> <p>6 duty at Syngenta?</p> <p>7 MR. NARESH: Objection, asked and answered.</p> <p>8 A. In broad terms, of course, yes.</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. Okay. Syngenta never got a pass for compliance with</p> <p>11 these rules, did it?</p> <p>12 MR. NARESH: Objection to form.</p> <p>13 A. Would you repeat the question?</p> <p>14 BY MR. TILLERY:</p> <p>15 Q. Syngenta never got any kind of exoneration or pass</p> <p>16 from compliance with the rules?</p> <p>17 A. You mean an exemption? No.</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. Yes, exemption?</p> <p>20 A. No, they didn't.</p> <p>21 Q. Okay. At all relevant times Syngenta was required</p> <p>22 to fully comply with all of the rules that I just read to</p> <p>23 you about paraquat, wasn't it?</p> <p>24 A. That is correct.</p> <p>25 Q. Would you agree with me that in case of any doubt</p> | <p>1 committee from studies or opinion that my department or my</p> <p>2 team were aware of or had found.</p> <p>3 Q. So you may have never made a final report decision</p> <p>4 yourself?</p> <p>5 A. I was never in the position of being part of that US</p> <p>6 committee that made those decisions.</p> <p>7 Q. Right. And who was?</p> <p>8 A. They were employees of ICI-Zeneca Syngenta in</p> <p>9 North America.</p> <p>10 Q. And who was on the US PRF committee?</p> <p>11 A. Rather like the answer to the previous question, the</p> <p>12 personnel have changed over the years so I could give</p> <p>13 a number of --</p> <p>14 Q. It depends on the year is what you are saying?</p> <p>15 A. It depends on the year, yes, yes.</p> <p>16 Q. What are you saying is you could make</p> <p>17 recommendations but whether they followed them and reported</p> <p>18 it wasn't within your wheelhouse so to speak?</p> <p>19 A. It was not my accountability to do that.</p> <p>20 Q. Accountability, right. And would you agree with me</p> <p>21 that in case of doubt there should be a report made?</p> <p>22 A. That was very much the philosophy of what -- what</p> <p>23 I was responsible for was what we called an approach</p> <p>24 process. So we had a PRF approach committee in my function</p> <p>25 and it -- absolutely, people were encouraged that if in</p> |

12 (Pages 286 - 289)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 290</p> <p>1 doubt they brought that for my committee to look at, and 2 then we again would pass most of that information on to the 3 US committee. 4 Q. How many times has a 6(a)(2) report about paraquat 5 been considered but rejected in terms of advancement to the 6 US EPA by either the PRF committee or someone above them? 7 A. I wouldn't want to speculate on that number. So 8 I don't want to answer that question, because I don't -- 9 I don't know. 10 Q. Okay. You know it's happened, don't you? 11 A. The word "rejected" is perhaps not quite the word 12 I would use. Certainly a decision has been taken that 13 certain findings did not meet those criteria that you read 14 out. 15 Q. Right. That would be certainly something that you 16 would put in a document perhaps. Would that be done by you 17 or by the PRF committee or by the SEC? 18 A. By the US PRF committee who made that final 19 decision. 20 Q. And would they make that decision or would that have 21 to be approved by the managing board of the company? 22 A. My understanding is that that would be the 23 accountability of the US PRF committee. 24 Q. Okay. 25 Would you agree that science is built on the sharing</p> | <p style="text-align: right;">Page 292</p> <p>1 MR. NARESH: Same objections. 2 A. As a general statement, I think that is true. 3 BY MR. TILLERY: 4 Q. On the other hand, awareness of scientific studies 5 and findings helps redirect precious efforts and dollars and 6 avoids unnecessary delay and expense? 7 MR. NARESH: Same objections. 8 A. Yes. 9 BY MR. TILLERY: 10 Q. Okay. Scientific results establishing possible 11 links between heavily used products and very serious health 12 effects are all the more important to disclose because of 13 the potential enormous cost and suffering to human victims 14 specifically and to our society generally. 15 Would you agree with that statement? 16 MR. NARESH: Same objection. 17 A. Yes, I would agree with that. 18 BY MR. TILLERY: 19 Q. Okay. Would you agree that scientific research is 20 often a cumulative process built on the knowledge learned 21 from laboratory and epidemiological studies. Disclosing all 22 scientific research is vital to this process and failing to 23 do so interferes with the advancement of objective research 24 and knowledge? 25 MR. NARESH: Same objection.</p> |
| <p style="text-align: right;">Page 291</p> <p>1 of information; that scientists generate knowledge building 2 on the information produced and shared by other scientists? 3 MR. NARESH: Objection to form, scope. 4 A. I think that's a very sound description. 5 BY MR. TILLERY: 6 Q. Okay. You would agree with it? 7 A. Yes. 8 Q. Would you agree that science flourishes best in 9 conditions of the open and public exchange of ideas, 10 methods, findings and interpretation; openness facilitates 11 vetting new findings and new theories through continued 12 study and analysis? 13 MR. NARESH: Same objection. 14 A. I would have to agree with that. 15 BY MR. TILLERY: 16 Q. Would you agree that the absence of disclosure of 17 scientific information inevitably causes society to be the 18 loser? 19 MR. NARESH: Same objections -- 20 A. It could -- it could be one consequence. 21 BY MR. TILLERY: 22 Q. You would agree also that secrecy regarding 23 scientific findings diminishes our ability to both identify 24 public health and safety hazards and to prevent harm from 25 them?</p> | <p style="text-align: right;">Page 293</p> <p>1 A. I would -- I would add one qualifier if I may at 2 this point. What is just as important is that the sharing 3 of information must be done so in a way in which the quality 4 of that information is also properly understood because -- 5 BY MR. TILLERY: 6 Q. The quality of the studies? 7 A. Whether it is preliminary findings or whether it is 8 confirmed findings. 9 Q. Would you say that would also be sharing information 10 about whether the sponsor of a study or the people paid for 11 a study or to do a study had a financial interest in the 12 outcome? 13 A. Some people would believe that that was an important 14 factor. And I have no problems in transparency of that 15 issue. 16 Q. Right. Would you agree that the importance of 17 public disclosure of adverse effects of chemicals is 18 especially true when studies link that chemical to 19 a pervasive and progressive disease like Parkinson's 20 disease? 21 MR. NARESH: Same objections. 22 A. I think that that would be not unreasonable. 23 BY MR. TILLERY: 24 Q. Okay. 25 What is neurotoxicity? What does that mean?</p> |

13 (Pages 290 - 293)

CONFIDENTIAL

| Page 294 | Page 296 |
|---|--|
| <p>1 A. Neurotoxicity is the effects of agents on the 2 nervous system.</p> <p>3 Q. How would you define neurotoxicity?</p> <p>4 A. It is toxicity to the parts of the nervous system, 5 the nerves, the brain and so on, and to neurological 6 function.</p> <p>7 Q. Let me propose a sort of a textbook definition of 8 neurotoxicity and see if you agree with it so that we can 9 agree to use it for the next round of questions I'm about to 10 ask you.</p> <p>11 A. Okay.</p> <p>12 Q. Neurotoxicity is a form of toxicity in which 13 a biological, chemical or physical agent produces an adverse 14 effect on the structure or function of the central and/or 15 peripheral nervous system. It occurs when exposure to 16 a neurotoxin alters the normal activity of the nervous 17 system in such a way as to cause damage to nervous tissue. 18 This can eventually disrupt or kill neurons and other parts 19 of the nervous system.</p> <p>20 Do you understand that?</p> <p>21 A. I do.</p> <p>22 Q. Does that make sense to you?</p> <p>23 A. It makes sense.</p> <p>24 Q. Okay. Can we use that definition for the purpose of 25 this line of questions?</p> | <p>1 potentially be a real effect.</p> <p>2 MR. TILLERY: Let's go off the record for 3 a minute.</p> <p>4 THE VIDEOGRAPHER: We are going off the record at 5 10:15.</p> <p>6 (Break taken.)</p> <p>7 THE VIDEOGRAPHER: We are back on the record as 8 of 10:27. This is now media number 2. You may continue.</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. Before we move on to this line of questions, just 11 for clarification's sake for this record: the PRF committee, 12 you said, could make a recommendation to the US Syngenta 13 folks -- that would be Syngenta Crop Protection LLC, 14 correct?</p> <p>15 A. That is right.</p> <p>16 Q. And they had the final authority about whether or 17 not to report it to the US EPA?</p> <p>18 A. That is correct.</p> <p>19 Q. Okay. Now I would like to direct your attention to 20 exhibit number 23.</p> <p>21 (Exhibit 23 marked for identification)</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. This starts at a Bates range of Syngenta 493318. Is 24 that correct, sir?</p> <p>25 A. That is correct.</p> |
| Page 295 | Page 297 |
| <p>1 A. I am fine with that.</p> <p>2 Q. Is paraquat neurotoxic?</p> <p>3 A. I believe that that is not yet shown to be the case.</p> <p>4 Q. So your answer is it is not?</p> <p>5 A. At this point in time, it is not.</p> <p>6 Q. Okay. In the Charles River black mouse, does 7 paraquat kill dopaminergic neurons in the substantia nigra?</p> <p>8 A. In some laboratory experiments, some investigators, 9 but not all, have found -- have found that.</p> <p>10 Q. So if it did kill dopaminergic neurons, under the 11 definition it would be neurotoxic at least as to the 12 Charles River black mouse, right?</p> <p>13 A. If that were a consistent and reproducible finding, 14 yes.</p> <p>15 Q. When did you first learn that paraquat was 16 neurotoxic in the Charles River black mouse?</p> <p>17 MR. NARESH: Objection to form.</p> <p>18 A. When some of the publications from other researchers 19 began to appear in peer-reviewed journals.</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. Why did you conduct paraquat studies using the 22 Charles River black mouse?</p> <p>23 A. Because we were trying to do as I have just 24 indicated, to see whether that apparent toxicity, 25 neurotoxicity, could be repeated and therefore could</p> | <p>1 Q. I will be using those numbers as references to guide 2 you to different pages of this document.</p> <p>3 A. Okay.</p> <p>4 Q. Okay. This is a document I will represent to you 5 that was produced in discovery by your counsel to us --</p> <p>6 A. Um-hm.</p> <p>7 Q. -- as one of Syngenta's documents. This is 8 a Syngenta presentation entitled:</p> <p>9 "Paraquat & Parkinson's Disease."</p> <p>10 Correct?</p> <p>11 A. Yes.</p> <p>12 Q. And when was this presentation given?</p> <p>13 A. I'm not able to answer that question at the moment. 14 I can't see a date.</p> <p>15 Q. All right. And if you would go to -- I will just 16 refer to the last three numbers on the Bates number -- to 17 319.</p> <p>18 A. Okay.</p> <p>19 Q. The presenters of this -- it looks like 20 a presentation and a PowerPoint presentation, doesn't it?</p> <p>21 A. It does.</p> <p>22 Q. All right. The presenters were Mr. Sturgess -- Nick 23 Sturgess -- Louise Marks and Alison Foster; correct?</p> <p>24 A. Yes.</p> <p>25 Q. And Nick Sturgess is the person that you described</p> |

14 (Pages 294 - 297)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 298</p> <p>1 yesterday in the deposition. He was the former technical --</p> <p>2 senior technical expert product safety at Syngenta; correct?</p> <p>3 A. That is correct.</p> <p>4 Q. Okay. He served as senior technical expert in</p> <p>5 product safety from 1989 to 2018. Is that also correct?</p> <p>6 A. Those dates sound familiar. I can't be sure those</p> <p>7 are absolutely accurate, but I think that that's correct.</p> <p>8 Q. Okay. And for this presentation, and from this</p> <p>9 exhibit, Mr. Sturgess presented an introduction to paraquat</p> <p>10 and Parkinson's disease, and the summary comments as well.</p> <p>11 Okay?</p> <p>12 A. Yes.</p> <p>13 Q. Is that what --</p> <p>14 A. That's what that says, yes.</p> <p>15 Q. And Dr. Marks was a research scientist at Syngenta</p> <p>16 CPL in the neurotoxicity group in the investigating</p> <p>17 toxicology section; correct?</p> <p>18 A. That is correct.</p> <p>19 Q. And she worked for Syngenta?</p> <p>20 A. She did.</p> <p>21 Q. And Dr. Marks presented the part of this</p> <p>22 presentation referenced as "In vivo studies with paraquat",</p> <p>23 right?</p> <p>24 A. That is correct.</p> <p>25 Q. Now if we go to 328, Dr. Sturgess in his</p> | <p style="text-align: right;">Page 300</p> <p>1 "Literature Developments of Concern."</p> <p>2 It lists a number of them. One, the first one it</p> <p>3 starts with, is Fredriksson et al 1993, right, do you see</p> <p>4 that?</p> <p>5 A. I do.</p> <p>6 Q. It is listed as a literature development of concern,</p> <p>7 isn't it?</p> <p>8 A. It is.</p> <p>9 Q. And the study found behavioural effects in mice</p> <p>10 given oral doses of paraquat, right?</p> <p>11 A. Correct.</p> <p>12 Q. And it found reductions in striatal dopamine in mice</p> <p>13 given oral doses of paraquat too, didn't it?</p> <p>14 A. That's what this says.</p> <p>15 Q. So this was a presentation that the Syngenta</p> <p>16 scientists were presumably making to other people at</p> <p>17 Syngenta?</p> <p>18 A. I'm not sure exactly where this presentation was</p> <p>19 made.</p> <p>20 Q. But we weren't given any information as well. Had</p> <p>21 we been given that in discovery, I would have given it to</p> <p>22 you.</p> <p>23 A. Right.</p> <p>24 Q. So you would have it.</p> <p>25 A. Okay.</p> |
| <p style="text-align: right;">Page 299</p> <p>1 presentation said that:</p> <p>2 "Paraquat is unlikely to be neurotoxic owing to the</p> <p>3 fact that it has a chemical structure and physical</p> <p>4 properties (charged, polar molecule) which mean it will not</p> <p>5 readily cross the blood brain barrier ..."</p> <p>6 Correct?</p> <p>7 A. That's what that says.</p> <p>8 Q. That's what it says.</p> <p>9 Syngenta, however, knew that paraquat crossed the</p> <p>10 blood-brain barrier when this presentation was given, didn't</p> <p>11 it?</p> <p>12 A. As we discussed yesterday, it depends on how you</p> <p>13 define "readily", yes.</p> <p>14 Q. But it did cross it?</p> <p>15 A. Of course.</p> <p>16 Q. All right.</p> <p>17 If you go to the next page, Dr. Sturgess actually</p> <p>18 refers to the radio-labeled paraquat studies that we talked</p> <p>19 about yesterday, didn't he?</p> <p>20 A. Yes.</p> <p>21 Q. And he said "some of this material gets into the</p> <p>22 brain"; he acknowledges that, right?</p> <p>23 A. Yes.</p> <p>24 Q. Yes. Now if we go to 330, the following page, it's</p> <p>25 entitled:</p> | <p style="text-align: right;">Page 301</p> <p>1 Q. This is as best I have. You have to go to your</p> <p>2 lawyer to get more information.</p> <p>3 MR. NARESH: I will object to all this.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. So based upon where we are right now with the</p> <p>6 document, is that what you would think the situation would</p> <p>7 be?</p> <p>8 MR. NARESH: Just for the record, I object to the</p> <p>9 whole attorney commentary.</p> <p>10 Could you please just ask your question again?</p> <p>11 BY MR. TILLERY:</p> <p>12 Q. Yes. Does it appear to be a presentation by</p> <p>13 Syngenta scientists to other Syngenta employees?</p> <p>14 A. It appears to be a presentation by Syngenta</p> <p>15 scientists, but I don't know to whom this particular</p> <p>16 presentation was made.</p> <p>17 Q. Okay. All right.</p> <p>18 Okay. Continuing on, and this would be 331 --</p> <p>19 A. Yes.</p> <p>20 Q. -- and this was again under the topic heading:</p> <p>21 "Recent Literature Developments of Concern."</p> <p>22 Right?</p> <p>23 A. Yes.</p> <p>24 Q. And here it says, by Dr. Sturgess, there is a note</p> <p>25 that:</p> |

15 (Pages 298 - 301)

CONFIDENTIAL

| Page 302 | Page 304 |
|--|--|
| <p>1 "Two US based research groups have produced a series 2 of publications since 1999 implicating paraquat in 3 a Parkinson's disease ..." 4 Model in the mouse, right? 5 A. It does. 6 Q. And that references a Cory-Slechta Group and that is 7 Rutgers, New Jersey? 8 A. Correct. 9 Q. And the Di Monte Group at the Parkinson's Disease 10 Institute in Sunnyvale, California? 11 A. Correct. 12 Q. And he's the person that you mentioned yesterday as 13 the person who educated, I think Dr. Louise Marks about 14 stereology, right? 15 A. Yes, she visited Dr. Di Monte in his institute. 16 Q. At his facility? 17 A. Yes. 18 Q. And he acquainted her with techniques about how to 19 do that? 20 A. He did. 21 Q. Okay. Continuing, the studies observed 22 neurotoxicity in paraquat in three biological endpoints that 23 are referenced: loss of neurones from the substantia nigra; 24 loss of dopamine from the striatum; and reduction in 25 locomotor activity. Correct?</p> | <p>1 more toxic pesticides, but they campaign against pesticides 2 generally. 3 Q. That's how you see them, right? 4 A. Yes. 5 Q. And they really, really burn up over those that 6 cause horrible, long-lasting miserable deaths too, right? 7 MR. NARESH: Objection to form. 8 A. They will certainly -- 9 BY MR. TILLERY: 10 Q. They don't like those at all? 11 A. They will certainly focus on those that they 12 perceive in that manner. 13 Q. Right. Okay. So were they at that time writing an 14 open letter for a ban of this chemical across all of the 15 European Union? 16 A. I can only read what I've got in front of me. At 17 the time that this was written, I was not familiar with 18 this. 19 Q. Okay. But you are the corporate designee and you 20 are sitting there as the two Syngenta entities, so I have 21 nobody else to ask. 22 A. Well, I -- they clearly -- this suggests they did 23 write such an open letter. 24 Q. They wrote a letter dated August 4, 2003, saying 25 they wanted it banned in Europe; right?</p> |
| Page 303 | Page 305 |
| <p>1 That's what Dr. Sturgess is telling the people in 2 the audience? 3 A. Yes, he was saying that those were the endpoints 4 that were looked at in those studies. 5 Q. In those studies that he's reporting. Okay. 6 If you look at the next three slides in the 7 presentation, all three of these fall under the heading: 8 "Recent external pressures on paraquat quoting links 9 with Parkinson's disease." 10 Do you see that? 11 A. I do. 12 Q. Now, one of them, the first one, is a reference to 13 PAN Europe. Do you remember that? 14 A. Yes. 15 Q. Where that was a group of people who had as their 16 position or their mission statement to fight against 17 chemicals that they thought were destructive to human 18 health. Did you understand that? 19 A. This is the Pesticides Action Network, as it says. 20 Q. What do they do? 21 A. They are an NGO, a nongovernmental organization, 22 whose aim is to promote that pesticides should, essentially, 23 be used as little as possible. 24 Q. Well, at least the ones that kill people, right? 25 A. They have concerns -- obviously more concerns about</p> | <p>1 A. That's what this suggests, yes. 2 Q. And you know that it was banned in Europe? 3 A. It was subsequently, um, deregistered in Europe. 4 Q. That's right. Isn't that about the same? 5 Let me ask you this: can you sell it in Europe? 6 A. We are not able to sell it in Europe. 7 Q. Okay. 8 A. And -- 9 Q. I view that as a ban. 10 A. Well, we voluntarily withdrew our registration. 11 Q. Okay. So you just, out of the goodness of your 12 heart not because everybody was trying to ban your chemical, 13 like the open letter from PAN Europe, you just decided not 14 to engage, right? 15 MR. NARESH: I will object to the form. 16 A. The situation in Europe is much more complicated 17 than you portray it. 18 BY MR. TILLERY: 19 Q. Okay. Well, let's continue on. Let's look at the 20 next one. 21 What is the next page? 22 A. "The Swedish government is suing the EU Commission", 23 yes. 24 Q. So let me ask you this. You withdrew it when you 25 were asked to prove that it was safe, didn't you?</p> |

16 (Pages 302 - 305)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 306</p> <p>1 A. No, we withdrew it because of what it says here, 2 that the process that the EU had gone through in the new 3 legislation for registering pesticides was considered by 4 Sweden to have been a process that had not been followed 5 through appropriately. 6 Q. They actually filed a lawsuit, didn't they? 7 A. That, I believe, is the case. 8 Q. And that's, if you look at 333, that page -- 9 A. Yes. 10 Q. -- "Swedish government is suing the [European Union] 11 Commission", right? 12 A. That's right. 13 Q. And they filed a law suit? 14 A. Yes. 15 Q. They decided that day, 6 February 2004, to sue the 16 EU Commission for their decision about paraquat in 17 pesticides in the European Union, didn't they? 18 MR. NARESH: Objection to form. 19 A. They did. And I would like to reiterate that it was 20 suing the EU because they had not followed the process 21 correctly. 22 BY MR. TILLERY: 23 Q. Well, look at what Mr. Sturgess says. He says: 24 "The suit means that the EU Court of Justice is 25 trying the government's partition to nullify the</p> | <p style="text-align: right;">Page 308</p> <p>1 Now -- now let's go to 335 if we can. This is 2 a slide entitled: 3 "Research Activity at Syngenta CTL Strategy Being 4 Followed." 5 Okay. You agree? 6 A. Yes. 7 Q. All right. And it starts off with a first bullet 8 point: 9 "Establish whether there is a sound scientific basis 10 ..." 11 For claims, right? 12 A. Yes. 13 Q. And second one: 14 "If findings are not reproducible, aim to publicly 15 refute the claims in the literature by offering our own 16 alternative experimental findings." 17 Right? 18 A. That's what that says. 19 Q. Did Syngenta at that time and earlier have a group 20 whose job it was to monitor publications about its products? 21 A. Not knowing the exact date of this, but this 22 preceded what I have been referring to as the paraquat 23 health science team -- 24 Q. Irrespective of whether it is paraquat, I am talking 25 about products in general: did it have a group of people</p> |
| <p style="text-align: right;">Page 307</p> <p>1 commission's decision." 2 Right? That's what Mr. Sturgess says, if you could 3 just answer that question. 4 A. That's -- that is what that says, yes. 5 Q. Okay. That's what he's submitting. 6 Were you there at this meeting? 7 A. I don't know if I was. 8 Q. Okay. 9 Now go to the next page. It says at the top: 10 "Recent external pressures on paraquat quoting links 11 with Parkinson's disease." 12 Right? 13 A. Yes. 14 Q. And that was: 15 "Stockholm to seek ban on paraquat herbicides By 16 Nicholas George." 17 Who is Nicholas George? 18 A. I don't know. But that -- this page suggests he may 19 have been a journalist. 20 Q. A journalist from the Financial Times seeking -- 21 strike that. 22 A journalist from the Financial Times quoting 23 Stockholm seeking a ban on paraquat herbicides, right? 24 A. Okay. 25 Q. Okay.</p> | <p style="text-align: right;">Page 309</p> <p>1 whose job it was to monitor publications that were -- that 2 were published about their products? 3 A. It -- it did not, as far as I am aware, have 4 a specific group whose objective was to do that. We 5 expected the scientists who were engaged in either having 6 responsibility for products or particular areas of science 7 to monitor the literature. 8 Q. So you had groups of scientists -- of these 2000 9 chemists and other scientists that you had, you had these 10 groups who had portions of them assigned particular products 11 to monitor? 12 A. I am just talking specifically about what we now 13 call the product safety organization, where we were 14 looking -- where we were expecting our researchers to be 15 aware of the appropriate literature. 16 Q. If you look at the next bullet: 17 "If findings are repeatable, Syngenta CTL generated 18 data will be used to build a defensive position for 19 [paraquat] based on establishing a no effect dose (under 20 various dosing regimens) in the C57Bl6 mouse model, based on 21 a biological endpoint -- neuronal cell loss in the 22 substantia nigra." 23 Right? 24 A. That's what that says. 25 Q. So Dr. Sturgess was coming up with a game-plan to</p> |

17 (Pages 306 - 309)

CONFIDENTIAL

| | |
|--|--|
| Page 310 | Page 312 |
| <p>1 refute findings that you made in your own laboratories that</p> <p>2 duplicated what was done in the public literature, right?</p> <p>3 A. I would not put it that way. What the intent here</p> <p>4 was to say if the finding that we are talking about here was</p> <p>5 indeed repeatable, as would always be the case with any</p> <p>6 toxicological finding, you don't simply say you have that</p> <p>7 finding, at very high doses quite often, and you leave it at</p> <p>8 that. You actually say at what dose levels do you stop</p> <p>9 seeing that finding? You go down the dose levels --</p> <p>10 Q. Okay.</p> <p>11 A. -- to find a "no effect" level.</p> <p>12 Q. Have you seen this before?</p> <p>13 A. I have may have done. I don't today recall whether</p> <p>14 I have or not.</p> <p>15 Q. Okay. So -- and you don't remember being here,</p> <p>16 right, at this meeting?</p> <p>17 A. Because I don't exactly know which meeting this was.</p> <p>18 Q. Okay. Let's go to the last point that Dr. Sturgess</p> <p>19 made, which says:</p> <p>20 "Avoided measuring PQ levels in the brain ..."</p> <p>21 So Syngenta was avoiding measuring PQ levels in the</p> <p>22 brain, right? That's what he says?</p> <p>23 A. At that point clearly that was what some people felt</p> <p>24 was the right thing to do.</p> <p>25 Q. Right. And to, in other words, when you do</p> | <p>1 people who were engaged in this conversation.</p> <p>2 Q. Right. And continuing on with that same bullet, he</p> <p>3 says:</p> <p>4 "... since the detection of any [paraquat] in the</p> <p>5 brain (no matter how small) will not be perceived externally</p> <p>6 in a positive light."</p> <p>7 Correct?</p> <p>8 A. That's --</p> <p>9 Q. Is that what he says?</p> <p>10 A. That's what it says.</p> <p>11 Q. All right.</p> <p>12 A. But I would like to add --</p> <p>13 Q. Excuse me, sir: is that what it says or not?</p> <p>14 A. That's what it says.</p> <p>15 Q. All right. So at this time, based upon what he</p> <p>16 said, Syngenta knew that any amount of paraquat in the</p> <p>17 brain, "no matter how small", would be perceived negatively</p> <p>18 outside the company; correct? That's what he was saying?</p> <p>19 A. That is what he was saying.</p> <p>20 Q. All right.</p> <p>21 So the research program at Syngenta CTL described</p> <p>22 here, Syngenta simply didn't do studies to determine how</p> <p>23 much paraquat was getting into the brains of animals and</p> <p>24 they did that intentionally?</p> <p>25 A. That's what I was about to follow on by saying. The</p> |
| Page 311 | Page 313 |
| <p>1 laboratory analysis avoid measuring that level in the brain?</p> <p>2 A. That would not be our position today, and it wasn't</p> <p>3 our position --</p> <p>4 Q. Well, what it -- excuse me, sir.</p> <p>5 A. -- at a later time.</p> <p>6 Q. Excuse me. I move to strike your answer as</p> <p>7 unresponsive.</p> <p>8 Did he at that time say --</p> <p>9 MR. NARESH: Hang on, Steve, your question</p> <p>10 was: when do you do laboratory --</p> <p>11 MR. TILLERY: No.</p> <p>12 MR. NARESH: -- analysis avoid measuring that</p> <p>13 level in the brain, and he was trying to answer your</p> <p>14 question.</p> <p>15 MR. TILLERY: I said did he -- "avoiding</p> <p>16 measuring PQ levels in the brain", is that what he said?</p> <p>17 MR. NARESH: That wasn't your question --</p> <p>18 MR. TILLERY: Well, I will withdraw the question</p> <p>19 and I will ask him.</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. He said, Dr. Sturgess said:</p> <p>22 "Avoided measuring PQ levels in the brain, ..."</p> <p>23 That means Syngenta was avoiding measuring paraquat</p> <p>24 levels in the brain, doesn't it?</p> <p>25 A. At that point in time, that was the opinion of the</p> | <p>1 record shows that in our research program which followed --</p> <p>2 Q. Not followed -- excuse me.</p> <p>3 MR. NARESH: Steve, you have to stop interrupting</p> <p>4 him --</p> <p>5 MR. TILLERY: Now that is -- we are not going to</p> <p>6 do this. You are not going to do it. You may try to</p> <p>7 override and state another answer. Not with me. Okay. You</p> <p>8 are not going to do it. I'm not going to let you.</p> <p>9 So here's what you are going to do, you are going to</p> <p>10 answer my questions, or we are going to do this -- and this</p> <p>11 is for you, counsel -- we are going to terminate it, if you</p> <p>12 want it that way, and you will go to St. Clair County</p> <p>13 Illinois and finish this in front of our judge.</p> <p>14 Now I know you have prepared him very well. Okay.</p> <p>15 But you are going to answer my questions, not what your</p> <p>16 counsel told you to say.</p> <p>17 A. Can I just say my counsel has not told me to say</p> <p>18 that --</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. Well, here's what we're going to do. I want you to</p> <p>21 answer my specific --</p> <p>22 MR. NARESH: Steve, you've got to stop</p> <p>23 interrupting him.</p> <p>24 MR. TILLERY: -- question.</p> <p>25 MR. NARESH: You have interrupted him over and</p> |

18 (Pages 310 - 313)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 314</p> <p>1 over again.</p> <p>2 MR. TILLERY: No, I wasn't talking to him about</p> <p>3 anything else.</p> <p>4 MR. NARESH: You just interrupted him again.</p> <p>5 MR. TILLERY: I want you to answer my question</p> <p>6 and my question only. Not something else.</p> <p>7 MR. NARESH: Why don't you ask your question</p> <p>8 again? Ask your question again. I don't think -- the</p> <p>9 question you are asking in your mind is not the question</p> <p>10 that's showing up on the transcript.</p> <p>11 MR. TILLERY: Well, maybe --</p> <p>12 MR. NARESH: He's answering the question that</p> <p>13 you're asking. Why don't you ask your question --</p> <p>14 MR. TILLERY: I'm reading it, and I have it right</p> <p>15 here, okay.</p> <p>16 MR. NARESH: The one that you just asked was the</p> <p>17 one he was answering and you interrupted him.</p> <p>18 MR. TILLERY: So --</p> <p>19 MR. NARESH: So why don't you try it again --</p> <p>20 MR. TILLERY: What we are going to try is this --</p> <p>21 and we are going to continue it for a bit -- and then we are</p> <p>22 going to see what the judge says.</p> <p>23 MR. NARESH: Ask your question.</p> <p>24 MR. TILLERY: It is 10 to 5 there right now, and</p> <p>25 we will go to the court and see what the judge says whether</p> | <p style="text-align: right;">Page 316</p> <p>1 Those studies could have been done at that time,</p> <p>2 couldn't they?</p> <p>3 A. They -- further studies could always have been done</p> <p>4 at any time.</p> <p>5 Q. Right. But Syngenta had not been doing them, had</p> <p>6 they?</p> <p>7 A. That's not quite true, if I may say so. There had</p> <p>8 been studies done by Syngenta earlier than this time.</p> <p>9 Q. That we have talked about?</p> <p>10 A. Which included measuring paraquat in the brain.</p> <p>11 Q. Okay. Now if you would go to 36 -- I'm sorry, yes,</p> <p>12 to 36 -- and that is:</p> <p>13 "Research Activity at Syngenta CTL in vivo Studies."</p> <p>14 And that references Louise Marks, do you see that?</p> <p>15 A. I do.</p> <p>16 Q. Okay. And the first bullet says:</p> <p>17 "Repeat of published in vivo experiments with</p> <p>18 [paraquat] alone being dosed to C57Bl6 mice."</p> <p>19 Right?</p> <p>20 A. It does.</p> <p>21 Q. What does that mean?</p> <p>22 A. It means to repeat the studies done by, for example,</p> <p>23 the researchers that were referred to in earlier slides,</p> <p>24 Di Monte and Cory-Slechta, whether paraquat if given to</p> <p>25 the -- this particular strain of mice may cause the same</p> |
| <p style="text-align: right;">Page 315</p> <p>1 or not -- and we can email the rough to the judge and see if</p> <p>2 the judge feels that this deposition should go forward.</p> <p>3 MR. NARESH: That's fine. Ask your question.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. So I'm asking you, sir, to answer my specific</p> <p>6 questions and not volunteer information. If you want to do</p> <p>7 that at the trial, called by Syngenta as a witness, that is</p> <p>8 up to you.</p> <p>9 Do we have an understanding, clearly what I want at</p> <p>10 least?</p> <p>11 A. I understand what you are saying.</p> <p>12 Q. All right. Thank you.</p> <p>13 Syngenta knew at that time -- at the time this was</p> <p>14 done, this presentation -- Syngenta knew that any amount of</p> <p>15 the brain -- strike that.</p> <p>16 Syngenta knew at that time that any amount of</p> <p>17 paraquat in the brain, no matter how small, would be</p> <p>18 perceived negatively outside the company; correct?</p> <p>19 A. It is correct that that was the view at that time.</p> <p>20 Q. Right. And Syngenta at that time, as reported by</p> <p>21 Dr. Sturgess, didn't do studies to determine how much</p> <p>22 paraquat was getting into the brains of animals, correct?</p> <p>23 A. It is correct to say that at that time that is the</p> <p>24 case.</p> <p>25 Q. And those studies couldn't -- strike that.</p> | <p style="text-align: right;">Page 317</p> <p>1 effects.</p> <p>2 Q. Okay. "In vivo" just means live animals, right?</p> <p>3 A. It means -- to live mice in this case.</p> <p>4 Q. So Dr. Marks' research was intended to repeat the</p> <p>5 independent research in the published literature and</p> <p>6 determine whether she could reproduce the neurotoxic effects</p> <p>7 in the mouse; correct?</p> <p>8 A. That was correct, yes.</p> <p>9 Q. Okay. The last bullet, if you would see on the very</p> <p>10 last one on that page, notes Syngenta intended:</p> <p>11 "... to seek peer review of our findings."</p> <p>12 Correct?</p> <p>13 A. Correct.</p> <p>14 Q. Did Syngenta ever seek peer review of any of</p> <p>15 Dr. Marks' findings?</p> <p>16 A. No, it didn't at the time that they were conducted</p> <p>17 because the, er --</p> <p>18 Q. Did I ask you about "because"?</p> <p>19 A. Yes, right.</p> <p>20 Q. Okay. Read back my question to this gentlemen,</p> <p>21 please.</p> <p>22 COURT REPORTER: "Did Syngenta ever seek peer</p> <p>23 review of any of Dr. Marks' findings?"</p> <p>24 A. I don't believe that we did.</p> <p>25 Q. Thank you.</p> |

19 (Pages 314 - 317)

CONFIDENTIAL

| Page 318 | Page 320 |
|--|---|
| <p>1 Did Syngenta publish any of Dr. Marks' studies 2 anywhere? 3 MR. NARESH: Objection to form. 4 A. I don't believe that Dr. Marks' studies specifically 5 were ever published. 6 BY MR. TILLERY: 7 Q. Okay. Now, let us go to next exhibit number 98, 8 please, our internal number 98. 9 Next exhibit is 24, counsel. 10 (Exhibit 24 marked for identification). 11 A. Thank you. 12 MR. NARESH: Is this the first page of the 13 document? 14 BY MR. TILLERY: 15 Q. It is. 16 Is this another presentation so far as you can tell, 17 sir? 18 A. This looks like it is another paraquat 19 presentation -- PowerPoint presentation, yes. 20 Q. PowerPoint? 21 A. A PowerPoint presentation. 22 Q. And this has a date of -- can you see a date on it? 23 We may see one. 24 A. I don't immediately see one. 25 Q. It discusses work to be done at CTL with paraquat in</p> | <p>1 conducted paraquat mouse research, correct? 2 A. That is correct. 3 Q. Did that group include Drs. Sturgess and Marks? 4 A. That is correct. 5 Q. Okay. And on this slide, Syngenta is concerned 6 whether the findings that paraquat is neurotoxic that have 7 been made in the independent published literature are 8 accurate. 9 Would that be a fair statement? 10 A. I would use the word "repeatable". 11 Q. And that means, if they are not repeatable, there 12 would be some question about whether they are legitimate? 13 A. That is right. That is normal scientific practice. 14 Q. And replication in science is kind of the gold 15 standard, isn't it? 16 A. It is the hallmark of quality science, of course. 17 Q. And if they are not replicable in laboratories that 18 are well-run and well-organized and using the same 19 technology and people who don't distort and alter the 20 results intentionally or not intentionally -- 21 A. Yes. 22 Q. -- then if they get those results and keep repeating 23 them at different labs, it becomes more or less an 24 established fact among the scientific community; right? 25 A. That is -- you have described the scientific process</p> |
| Page 319 | Page 321 |
| <p>1 the mouse, right? 2 A. Starting at 628, it's moving into the in vivo 3 studies that we were just discussing. 4 Q. Right. The studies that Dr. Marks undertook, is 5 that correct? 6 A. This looks like it is the case. 7 Q. And who authored the presentation? 8 A. I can't see an author on the copy that you've given 9 me. 10 Q. Okay. If you wouldn't mind turning to 633 of that 11 document. 12 Do you see that page, sir? 13 A. I do. 14 Q. Okay. The first bullet in this PowerPoint 15 presentation is entitled -- it's under "Investigative 16 Toxicology Input," correct? 17 A. Correct. 18 Q. And it says: 19 "Investigative toxicology is involved in 20 establishing whether there is a sound scientific basis for 21 the claims by some research groups that exposure to paraquat 22 causes Parkinsonian like [symptoms] in animal models." 23 Correct? 24 A. Correct. 25 Q. And Investigative Toxicology is a group at CTL that</p> | <p>1 well, yes. 2 Q. Okay. Let's turn to, if we can -- actually on that 3 same list, if you don't mind, going down it says: 4 "Are their findings repeatable?" 5 It asks that question. 6 A. Yes. 7 Q. And then it says: 8 "If so can we offer a mechanistic explanation for 9 their results?" 10 Right? 11 A. Yes. 12 Q. What is a "mechanistic explanation" as you 13 understand it referenced in that slide? 14 A. Again, not knowing exactly what point in time this 15 was, I believe the most likely explanation for what they 16 meant here was to say if you see -- let me call them 17 discrepant results, one laboratory has seen this, another 18 laboratory has seen something different so they are not 19 repeatable -- in an ideal world you would like to understand 20 why. So is there an explanation, a mechanistic explanation, 21 as to why one lab has seen something and another has not? 22 That's what I suspect may have been -- 23 Q. Actually look at it again, sir. It says the exact 24 opposite. It says: 25 "Are their findings repeatable?"</p> |

20 (Pages 318 - 321)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 322</p> <p>1 And it says:</p> <p>2 "If so can we offer a mechanistic explanation ..."</p> <p>3 So in other words, they are saying here that if we</p> <p>4 repeat their same findings, can we offer an explanation?</p> <p>5 Correct?</p> <p>6 A. Oh sorry, yes, I do -- sorry, there's -- I should</p> <p>7 have looked at this more carefully. You are quite right.</p> <p>8 As written there, I think what I said --</p> <p>9 Q. As written here it means --</p> <p>10 A. Yes.</p> <p>11 Q. -- that they are saying, the presentation: if we</p> <p>12 reproduce the results, we better come up with a mechanistic</p> <p>13 explanation for why we are reaching the same results.</p> <p>14 A. Right. So I think that's true. I mean, what I also</p> <p>15 said is equally true, but yes, you are right, as written you</p> <p>16 would need to understand what the mechanism they are --</p> <p>17 Q. I mean, what is the final bullet? Read that one</p> <p>18 into the record.</p> <p>19 A. "If findings are not reproducible, can we refute the</p> <p>20 claims in the literature and offer alternative experimental</p> <p>21 findings?"</p> <p>22 Q. Was that the course of Syngenta at that time: when</p> <p>23 the findings were not reproducible in their labs, they could</p> <p>24 refute the claims in the literature and offer alternative</p> <p>25 experimental findings in the literature; was that the</p> | <p style="text-align: right;">Page 324</p> <p>1 it on the market and making profits from the sale, don't</p> <p>2 they?</p> <p>3 MR. NARESH: Objection to scope.</p> <p>4 A. That's an assumption which you can certainly take</p> <p>5 from the way that's written.</p> <p>6 BY MR. TILLERY:</p> <p>7 Q. Yes. You would not disagree with that</p> <p>8 interpretation, would you?</p> <p>9 A. I think that is one plausible interpretation.</p> <p>10 Q. That is probably the most plausible, would you</p> <p>11 agree?</p> <p>12 A. It may well have been.</p> <p>13 Q. Okay. So Syngenta's aspiration for products,</p> <p>14 paraquat products, would not be realized if the link between</p> <p>15 paraquat exposure and Parkinson's disease was established;</p> <p>16 is that right?</p> <p>17 A. That's what you would infer from that.</p> <p>18 Q. So going to the next bullet:</p> <p>19 "Data generated will be used to build</p> <p>20 a scientifically robust, defensive position for paraquat in</p> <p>21 response to the issues already in the scientific literature,</p> <p>22 and to questions raised by the media, customers and</p> <p>23 regulatory authorities."</p> <p>24 Is that what that says?</p> <p>25 A. That's what that says.</p> |
| <p style="text-align: right;">Page 323</p> <p>1 standard?</p> <p>2 A. The standard is if the -- if findings are not</p> <p>3 reproducible, then you don't necessarily just leave it at</p> <p>4 that. That's why I made my previous answer, if I may say</p> <p>5 so, which is to say that you do need to understand why that</p> <p>6 might be the case, and not just assume that we are right and</p> <p>7 somebody else is wrong.</p> <p>8 Q. But it says here very clearly:</p> <p>9 "... can we refute the claims in the literature and</p> <p>10 offer alternative experimental findings?"</p> <p>11 A. Yes.</p> <p>12 Q. That's what they intended to do --</p> <p>13 A. That's a question --</p> <p>14 Q. -- if they didn't reproduce the results?</p> <p>15 A. That is a question at the time.</p> <p>16 Q. Right. Now please turn to 638 on that document.</p> <p>17 It's entitled "Paraquat and Parkinson's Disease</p> <p>18 Investigative Toxicology Research", isn't it?</p> <p>19 A. It is.</p> <p>20 Q. The first bullet says:</p> <p>21 "The issue around the claims that paraquat exposure</p> <p>22 and Parkinson's disease are linked needs to be addressed if</p> <p>23 the future Syngenta aspirations for the product are to be</p> <p>24 realized."</p> <p>25 The future aspirations for the product mean selling</p> | <p style="text-align: right;">Page 325</p> <p>1 Q. Okay. Now let's go to 641 of that document. The</p> <p>2 third bullet says:</p> <p>3 "External publication of findings at scientific</p> <p>4 meetings to assist our influencing strategy."</p> <p>5 Do you see that?</p> <p>6 A. Yes.</p> <p>7 Q. And "influencing strategy" was a strategy</p> <p>8 intending -- intended to influence publications, scientists</p> <p>9 around the world, wasn't it?</p> <p>10 A. As written that would be what it suggests it -- yes,</p> <p>11 yes.</p> <p>12 Q. Okay. And Syngenta's paraquat mouse research was</p> <p>13 intended to influence independent researchers regarding the</p> <p>14 safety of paraquat, wasn't it?</p> <p>15 A. It was intended to ensure that we understood the</p> <p>16 validity of those findings that other researchers had</p> <p>17 published, including the aspects, as it said earlier, about</p> <p>18 repeatability.</p> <p>19 Q. And it says very clearly in bullet three there --</p> <p>20 were you at this presentation, sir?</p> <p>21 A. I don't know that I was.</p> <p>22 Q. All right. It says very clearly in this bullet,</p> <p>23 the:</p> <p>24 "External publication of findings at scientific</p> <p>25 meetings to assist our influencing strategy."</p> |

21 (Pages 322 - 325)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 326</p> <p>1 Right?</p> <p>2 A. Yes, but I can say that --</p> <p>3 Q. Can you answer that question?</p> <p>4 A. Yes, that's what it says.</p> <p>5 Q. And scientific meetings are meetings where</p> <p>6 Syngenta's people would go with boards or presentations and</p> <p>7 speak and present to scientists around the world the</p> <p>8 findings from their studies; right?</p> <p>9 A. Yes, yes.</p> <p>10 Q. And you have done that yourself, haven't you?</p> <p>11 A. I have.</p> <p>12 Q. Okay. And what's the purpose? It is to get that</p> <p>13 information -- your position or your findings -- out, right?</p> <p>14 MR. NARESH: Objection to form.</p> <p>15 A. That's -- that's correct.</p> <p>16 BY MR. TILLERY:</p> <p>17 Q. Okay. And that, if there was a strategy, it's</p> <p>18 referenced here as "spring 2003", there was a strategy that</p> <p>19 had been adopted by paraquat -- sorry, strike that.</p> <p>20 There had been a strategy announced in spring 2003</p> <p>21 by Syngenta scientifics meetings within them to influence</p> <p>22 other scientists around the world?</p> <p>23 A. To give transparency, as we have said before, to the</p> <p>24 fact that the science behind this issue is still a work in</p> <p>25 progress.</p> | <p style="text-align: right;">Page 328</p> <p>1 (Exhibit 25 marked for identification)</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. Can you identify exhibit number 25, please?</p> <p>4 A. Again, this is a PowerPoint presentation on paraquat</p> <p>5 and Parkinson's disease.</p> <p>6 Q. It's another presentation or excerpt entitled:</p> <p>7 "Paraquat & Parkinson's disease."</p> <p>8 Right?</p> <p>9 A. That's right.</p> <p>10 Q. And the front page says:</p> <p>11 "Paraquat and Parkinson's Disease Experimental</p> <p>12 Strategy."</p> <p>13 A. Correct.</p> <p>14 Q. And it says:</p> <p>15 "Carry out in-house research to further our</p> <p>16 understanding of paraquat and it's role in Parkinson's</p> <p>17 disease models ... to ensure safety in use."</p> <p>18 And it says:</p> <p>19 "Use these data to gain a presence in the</p> <p>20 International Scientific Community and promote a balanced</p> <p>21 view for the use of paraquat as a non selective herbicide."</p> <p>22 A. That's what that says.</p> <p>23 Q. That's what it says.</p> <p>24 And all of "these data", as mentioned, refers to</p> <p>25 paraquat mouse research at CTL; correct?</p> |
| <p style="text-align: right;">Page 327</p> <p>1 Q. Okay. Would you see where it says -- read where it</p> <p>2 says that in bullet 3, what you just said?</p> <p>3 It doesn't say that at all. You made that up.</p> <p>4 MR. NARESH: Objection --</p> <p>5 A. I didn't, I was --</p> <p>6 MR. NARESH: Objection to form, argumentative.</p> <p>7 Ask your question.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. Did you make that up?</p> <p>10 A. No, I didn't make it up. I agree that this is what</p> <p>11 it says.</p> <p>12 Q. All right. It says that in spring 2003 there was an</p> <p>13 influencing strategy adopted, okay?</p> <p>14 A. Yes, I was trying to describe what I believe</p> <p>15 "influencing strategy" meant.</p> <p>16 Q. Okay. But the document doesn't say what you say,</p> <p>17 does it?</p> <p>18 A. The document does not say that.</p> <p>19 Q. All right, okay.</p> <p>20 The bottom line is the paraquat mouse research by</p> <p>21 Syngenta was intended to convince others outside of Syngenta</p> <p>22 that paraquat was safe, correct?</p> <p>23 A. It was at that time trying to see whether the</p> <p>24 findings were replicable in order for a judgment to be made</p> <p>25 about that point.</p> | <p style="text-align: right;">Page 329</p> <p>1 A. I believe that that is correct, yes.</p> <p>2 Q. Okay. If you go to 238, please, you see in the</p> <p>3 second bullet -- the top of this one says, the first line</p> <p>4 says:</p> <p>5 "Paraquat & Parkinson's Disease."</p> <p>6 And then the second bullet says:</p> <p>7 "Threats to paraquat from ... recent scientific</p> <p>8 literature."</p> <p>9 A. That's right.</p> <p>10 Q. Those were threats to Syngenta's continued ability</p> <p>11 to manufacture and sell paraquat, weren't they? Is that</p> <p>12 a fair reading?</p> <p>13 A. That would be an ultimate consequence that was</p> <p>14 intended.</p> <p>15 Q. Okay. And the ultimate threat was that the</p> <p>16 scientific literature would cause paraquat to be banned,</p> <p>17 correct?</p> <p>18 A. If the -- if the evidence eventually came together</p> <p>19 to make -- to lead to that possible conclusion.</p> <p>20 Q. Okay.</p> <p>21 If you go to the next page. This presentation, if</p> <p>22 you look at the next page and that is 484239, you see that?</p> <p>23 A. Yes.</p> <p>24 Q. Also refers to the Di Monte group at the Parkinson's</p> <p>25 Institute in California?</p> |

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 330</p> <p>1 A. Yes.</p> <p>2 Q. And both groups had shown paraquat was neurotoxic in</p> <p>3 the C57B16 mouse; correct?</p> <p>4 A. They had seen findings which suggest that that was</p> <p>5 a possibility.</p> <p>6 Q. Syngenta considered the work by Dr. Cory-Slechta and</p> <p>7 Dr. Di Monte to threaten its aspiration for paraquat product</p> <p>8 sales, didn't they?</p> <p>9 A. The findings, if they had -- if indeed they were</p> <p>10 replicable -- could lead to a view being taken on the safety</p> <p>11 of paraquat. That is correct.</p> <p>12 Q. And that would ultimately lead into the decision</p> <p>13 that it would not be allowed to be used?</p> <p>14 A. That was always one possible outcome, yes, as we</p> <p>15 said before.</p> <p>16 Q. That's a fair interpretation of this slide?</p> <p>17 A. Yes.</p> <p>18 Q. If you go to 889 --</p> <p>19 MR. NARESH: 889?</p> <p>20 (Exhibit 26 marked for identification)</p> <p>21 A. Thank you.</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. We've handed you what's been marked as plaintiff's</p> <p>24 exhibit number 26.</p> <p>25 Do you see that, sir?</p> | <p style="text-align: right;">Page 332</p> <p>1 Q. And the author was -- was Louise Marks?</p> <p>2 A. Yes.</p> <p>3 Q. And she also served as the study director and</p> <p>4 principal investigator?</p> <p>5 A. That is correct, yes.</p> <p>6 Q. And Mr. Sturgess served as a contributor to the</p> <p>7 report and study reviewer, correct?</p> <p>8 A. That is correct.</p> <p>9 Q. Okay. And that's the same Dr. Nick Sturgess we have</p> <p>10 been talking about, right?</p> <p>11 A. It is.</p> <p>12 Q. Okay. Dr. Marks reports the study was initiated</p> <p>13 on April 17, 2003?</p> <p>14 A. Yes.</p> <p>15 Q. And the experimental phase terminated August 21,</p> <p>16 2003; correct?</p> <p>17 A. Yes.</p> <p>18 Q. And that just means the experiments on these mice</p> <p>19 were terminated at that time?</p> <p>20 A. That's right.</p> <p>21 Q. But the study report was not issued until four years</p> <p>22 later --</p> <p>23 A. That's --</p> <p>24 Q. -- July 21, 2007; right?</p> <p>25 A. That is correct.</p> |
| <p style="text-align: right;">Page 331</p> <p>1 A. I do.</p> <p>2 Q. Can you identify what this document is?</p> <p>3 A. It's an internal research report from Syngenta. And</p> <p>4 specifically from Syngenta CTL.</p> <p>5 Q. Okay. Could you repeat -- read the report title and</p> <p>6 date?</p> <p>7 A. It's:</p> <p>8 "Paraquat Dichloride Hydrate.</p> <p>9 "Investigating reported paraquat-induced</p> <p>10 neurotoxicity in the Alderley Park C57 black mouse: The</p> <p>11 neurochemical and pathological effects on the dopaminergic</p> <p>12 system of 3 weekly injections of 10mg/kg</p> <p>13 1,1'dimethyl-4,4'bipyridinium (Paraquat)."</p> <p>14 Q. And this is a report of a study that Dr. Marks did,</p> <p>15 right?</p> <p>16 A. That's correct, yes.</p> <p>17 Q. It says "Author(s): L. Marks"?</p> <p>18 A. Correct, yes.</p> <p>19 Q. That was conducted by Syngenta at its CTL laboratory</p> <p>20 in Alderly Park; right?</p> <p>21 A. That is correct.</p> <p>22 Q. The study was part of the paraquat mouse research</p> <p>23 program at Syngenta that was discussed in the presentations</p> <p>24 we just looked at, correct?</p> <p>25 A. That is right, yes.</p> | <p style="text-align: right;">Page 333</p> <p>1 Q. Okay. And do you have knowledge of why it was</p> <p>2 delayed for four years?</p> <p>3 A. I have some knowledge of that.</p> <p>4 Q. Okay. Who has all of the knowledge? Dr. Marks?</p> <p>5 A. Dr. Marks would be able to give you more knowledge</p> <p>6 than me.</p> <p>7 Q. You talked to her recently. Did she talk to you</p> <p>8 about this?</p> <p>9 A. Not specifically about this point.</p> <p>10 Q. Okay. Dr. Marks issued this report during her last</p> <p>11 week of employment with Syngenta, didn't she?</p> <p>12 A. I can't comment on that accurately. But certainly</p> <p>13 2007 was, I believe, the last year of her employment.</p> <p>14 Q. Okay. The study was designed to investigate the</p> <p>15 reproducibility of claims in the literature of what?</p> <p>16 A. Um, of the ability of paraquat to cause damage to</p> <p>17 the substantia nigra in the mouse brain.</p> <p>18 Q. Okay. And that's exactly what she was designing</p> <p>19 this study to do, right?</p> <p>20 A. Yes. And this was one of -- there were more than</p> <p>21 one study performed, just to add.</p> <p>22 Q. Okay. And other independent laboratories had</p> <p>23 observed loss of striatal dopamine and the loss of dopamine</p> <p>24 neurons in the substantia nigra of mice given paraquat --</p> <p>25 A. Correct.</p> |

23 (Pages 330 - 333)

CONFIDENTIAL

| Page 334 | Page 336 |
|--|---|
| <p>1 Q. -- and she was trying to replicate those studies?</p> <p>2 A. That is correct.</p> <p>3 Q. Okay. And it involved dosing male C57Bl6J mice with</p> <p>4 paraquat once a week for three consecutive weeks, correct?</p> <p>5 A. That is correct.</p> <p>6 Q. And the dose was 10 milligrams per kilogram body</p> <p>7 weight of the test animals; is that also correct?</p> <p>8 A. That is also correct.</p> <p>9 Q. The administration of paraquat resulted in a small</p> <p>10 reduction in dopaminergic cell number, correct, in the</p> <p>11 substantia nigra portion of the brain?</p> <p>12 A. Yes. What it says precisely is a small but</p> <p>13 non-statistically significant reduction in dopaminergic cell</p> <p>14 number.</p> <p>15 Q. And she found that it was not statistically</p> <p>16 significant?</p> <p>17 A. Yes.</p> <p>18 Q. Okay. And the dopaminergic cell number in the study</p> <p>19 was counted -- counting -- strike the question.</p> <p>20 The dopaminergic cell number in this study was</p> <p>21 counted using a technique called stereology, wasn't it?</p> <p>22 A. Yes.</p> <p>23 Q. Specifically, she used an optical fractionator</p> <p>24 method for stereology, didn't she?</p> <p>25 A. That is correct.</p> | <p>1 Q. Yes. And who maybe were innovative, creative and</p> <p>2 doing sound scientific work?</p> <p>3 A. Absolutely.</p> <p>4 Q. Okay. And that's why Dr. Sturgess, who was one of</p> <p>5 the advisers to this study, recognized that work and</p> <p>6 nominated her for that award; right?</p> <p>7 A. Yes.</p> <p>8 Q. Okay. The studies in the independent literature to</p> <p>9 that point had reported that paraquat was neurotoxic using</p> <p>10 an optical fractionator stereology to count dopaminergic</p> <p>11 cell loss; right?</p> <p>12 A. Yes.</p> <p>13 Q. They had used the same technology or similar</p> <p>14 technology?</p> <p>15 A. Yes, similar technology is the best way to describe</p> <p>16 it.</p> <p>17 Q. All right. Now let's go back, if we can, to 2905</p> <p>18 here. 2905.</p> <p>19 You see the heading "Stereology", 3.9?</p> <p>20 A. Yes.</p> <p>21 Q. Okay. You have seen this study before, haven't you?</p> <p>22 A. I have.</p> <p>23 Q. You read this in preparation --</p> <p>24 A. I have seen this study before.</p> <p>25 Q. And you read it in preparation. It is all in your</p> |
| Page 335 | Page 337 |
| <p>1 Q. What is that?</p> <p>2 A. It's essentially a device -- a microscopic device --</p> <p>3 that allows you to accurately identify the region of the</p> <p>4 brain that you are looking to investigate and to count the</p> <p>5 number of cells. So it is quantitative microscopy, in other</p> <p>6 words.</p> <p>7 Q. And she was actually nominated for what is called</p> <p>8 the Ashby Prize because she was the first scientist at</p> <p>9 Syngenta to use that technique, right?</p> <p>10 A. Now you mention it, I think that is correct.</p> <p>11 Q. And she was nominated by Dr. Sturgess --</p> <p>12 A. I think that is correct, yes.</p> <p>13 Q. -- for the Ashby Prize. And she was one of the</p> <p>14 finalists for the prize. Correct?</p> <p>15 A. I think -- yes, I think that's right.</p> <p>16 Q. Okay. And the reason being that she came up with</p> <p>17 this. And she had not yet been to Sunnyvale but she used</p> <p>18 this optical fractionator; right?</p> <p>19 A. Back in 2003, that is correct.</p> <p>20 Q. Okay. What is the Ashby Prize?</p> <p>21 A. It was a prize which was initiated in CTL to</p> <p>22 recognize scientific achievement.</p> <p>23 Q. Okay. In other words, to reward people who you</p> <p>24 thought had done a very good job?</p> <p>25 A. A good technical job, yes.</p> | <p>1 reliance materials.</p> <p>2 A. I certainly have read this study relatively</p> <p>3 recently.</p> <p>4 Q. Right. In preparation for this deposition?</p> <p>5 A. Not specifically for this, actually, no.</p> <p>6 Q. Okay. So under the heading "Stereology" --</p> <p>7 A. Yes.</p> <p>8 Q. -- you see where it says "All cell counts"? I'm</p> <p>9 going to read that into the record, and you tell me if</p> <p>10 I have read it correctly when I'm finished, okay:</p> <p>11 "All cell counts were carried out with the help of</p> <p>12 an interactive computer system and stereology software</p> <p>13 (Digital Stereology, Kinetic Imaging, UK) connected to a</p> <p>14 Zeiss Axioplan microscope. The stage itself was not</p> <p>15 automated and had to be moved from sampling point to</p> <p>16 sampling point."</p> <p>17 Is that correct?</p> <p>18 A. That's what it says.</p> <p>19 Q. Okay. And if you go to 2910, at the bottom there,</p> <p>20 Dr. Marks reports that the optical fractionator method was</p> <p>21 used by the majority of studies in the independent</p> <p>22 literature. Okay?</p> <p>23 A. Yes.</p> <p>24 Q. And it says at the very last part of that page, if</p> <p>25 you read it:</p> |

24 (Pages 334 - 337)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 338</p> <p>1 "Our method..."</p> <p>2 Do you see that sentence where she's talking about</p> <p>3 this? This is within her study still, isn't it?</p> <p>4 A. Yes, yes.</p> <p>5 Q. Okay:</p> <p>6 "Our method, in which the counting frame is moved</p> <p>7 manually from sampling point to sampling point, has been</p> <p>8 tested for sensitivity and has produced consistent values</p> <p>9 for the total number of cells in control and MPTP treated</p> <p>10 animals ... Our technique has been proven sensitive enough</p> <p>11 to detect a 13.8% reduction in TH+ cell number following</p> <p>12 MPTP administration and therefore should be sensitive enough</p> <p>13 to detect the reported 25-30% loss ... observed following PQ</p> <p>14 exposure: ..."</p> <p>15 Do you see that?</p> <p>16 A. I do.</p> <p>17 Q. Now the last sentence of that, if you read that into</p> <p>18 the record, that last sentence that starts "Nevertheless</p> <p>19 ..." and continues to the next page?</p> <p>20 A. "Nevertheless, even small differences in methodology</p> <p>21 could lead to our system potentially being deemed less</p> <p>22 accurate than the automated systems available and this may</p> <p>23 explain in part the differences in total cell counts</p> <p>24 obtained."</p> <p>25 Q. So she was reporting in her report that she was</p> | <p style="text-align: right;">Page 340</p> <p>1 A. Where are you now, please? Yes, at the bottom of</p> <p>2 the page.</p> <p>3 BY MR. TILLERY:</p> <p>4 Q. It's the bottom of the page.</p> <p>5 A. Yes, I see, yes.</p> <p>6 Q. Read it into the record, please?</p> <p>7 A. Yes: "It is therefore important to further</p> <p>8 investigate PQ toxicity and attempt to replicate our</p> <p>9 findings."</p> <p>10 Q. "Further input is required", I am reading now,</p> <p>11 follow along with me, please?</p> <p>12 A. Yes.</p> <p>13 Q. "Further input is required to determine whether the</p> <p>14 stereology set-up and parameters used in the present study</p> <p>15 are accurate enough to detect the reported cell loss."</p> <p>16 Is that right?</p> <p>17 A. That's what it says.</p> <p>18 Q. Okay. Was that the first optical fractionator</p> <p>19 stereology study done at Syngenta?</p> <p>20 A. This was the first one, other than the -- some of</p> <p>21 the method development which I think this report refers to,</p> <p>22 where we were trying to get the methods that we are now</p> <p>23 describing to work appropriately.</p> <p>24 Q. And she was the first researcher at CTL to use that</p> <p>25 stereology unit technique?</p> |
| <p style="text-align: right;">Page 339</p> <p>1 using a manual system that could be the reason her cell</p> <p>2 counts were different from the independent literature that</p> <p>3 had already found that this chemical could produce loss of</p> <p>4 dopaminergic neurones in the same mouse, right?</p> <p>5 A. At that time, that was a reasonable possibility for</p> <p>6 any discrepancy.</p> <p>7 Q. And she was -- she was reporting that, right?</p> <p>8 A. Yes.</p> <p>9 Q. Putting that in the study. The independent</p> <p>10 researchers had been using an automated system. She was</p> <p>11 using a manual system. That's what she was saying?</p> <p>12 A. That's what she said.</p> <p>13 Q. Okay. And she said the automated set up may confer</p> <p>14 a greater degree of accuracy to the counting process; do you</p> <p>15 see that?</p> <p>16 A. Yes, I do.</p> <p>17 Q. All right. In contrast in this study, Dr. Marks</p> <p>18 used a manual method to move the counting frame from</p> <p>19 sampling point to sampling point, didn't she?</p> <p>20 A. Yes.</p> <p>21 Q. She goes on to say:</p> <p>22 "It is therefore important to further investigate PQ</p> <p>23 [paraquat] toxicity and attempt to replicate our findings."</p> <p>24 Correct?</p> <p>25 MR. NARESH: Where are you?</p> | <p style="text-align: right;">Page 341</p> <p>1 A. That is correct.</p> <p>2 Q. And she was credited with establishing the technique</p> <p>3 at CTL?</p> <p>4 A. That's correct.</p> <p>5 Q. And that's one of the reasons she was nominated for</p> <p>6 the Ashby Prize?</p> <p>7 A. I think that was certainly one of the factors,</p> <p>8 I agree.</p> <p>9 Q. Okay. I have her nomination if you want to see it.</p> <p>10 That's up to you. If you wish to, I will give it to you --</p> <p>11 A. I don't think I need to see that.</p> <p>12 Q. All right, okay.</p> <p>13 She was, you would agree, concerned that the</p> <p>14 technique she used was not comparable to the technique used</p> <p>15 by independent researchers in the published literature.</p> <p>16 Would you agree?</p> <p>17 A. She clearly wanted to make sure that we understood</p> <p>18 where methodological differences could lie.</p> <p>19 Q. Right. She was also concerned the technique she</p> <p>20 used might be less accurate than the technique used by</p> <p>21 independent researchers in the published literature?</p> <p>22 A. Yes, and it is important that you --</p> <p>23 Q. Can you just answer my question?</p> <p>24 A. Yes.</p> <p>25 Q. Okay. And she was also concerned that the manual</p> |

25 (Pages 338 - 341)

CONFIDENTIAL

| Page 342 | Page 344 |
|--|---|
| <p>1 technique was not as accurate as the automated, newer 2 technique? 3 A. Accurate, but not necessarily sensitive. I think 4 those are -- those are two different issues here. 5 Q. Okay. 6 Now, despite Dr. Marks' concerns about the accuracy 7 of this study, Syngenta published the results of the study. 8 We are going to refer to that first study by her number -- 9 you assign numbers to studies at Syngenta, don't you? 10 A. Yes, we do. 11 Q. That number for that study was XM7229. Correct? 12 A. Correct. 13 Q. Okay. And Syngenta published the results of that 14 study, didn't they? 15 A. Can you remind me where we published that? 16 Q. I will, okay. 17 (Exhibit 27 marked for identification) 18 BY MR. TILLERY: 19 Q. What number is this document you are referring to, 20 sir, so we are clear? 21 A. The Bates number, do you want? 22 Q. No, the -- 23 A. Oh, the reference -- 24 Q. The exhibit number is 27? 25 A. Exhibit number 27.</p> | <p>1 of no impact, no loss of dopaminergic neurones in 2 a statistically significant way, right? 3 MR. NARESH: Objection to form. 4 A. Yes, that's what that says. 5 BY MR. TILLERY: 6 Q. And the abstract would have been published in the 7 symposium materials, wouldn't it, is that right? 8 A. I don't know exactly what this particular annual 9 meeting's procedures are. Certainly this is not 10 a peer-reviewed publication. 11 Q. Absolutely. Is it customary that the abstract be 12 published in the symposium materials? 13 A. In some meetings, yes. In other meetings, no. 14 Q. And it is made available -- it's published to the 15 people who are there and there's a presentation made? 16 A. That is correct. 17 Q. Okay. The presentation would be -- would 18 announce -- that a Syngenta study showed that there was no 19 impact on the substantia nigra in that mouse, correct? 20 A. In this study that is correct, yes. 21 Q. Okay. All right. 22 Did Syngenta at any time report Dr. Marks' 23 reservation about the study technique using a manual system? 24 A. Well, I was not in this particular meeting so 25 I don't know whether Dr. Marks took the opportunity to make</p> |
| Page 343 | Page 345 |
| <p>1 Q. Thank you. Exhibit number 27 is an abstract for 2 a presentation at the Society for Neuroscience Annual 3 Meeting, October 23 through 27, 2004, in San Diego in 4 California? 5 A. That is correct. 6 Q. Okay. The title of that is: 7 "Lack of Effect of Paraquat on the Nigrostriatal 8 Dopaminergic System of the Mouse." 9 Correct? 10 A. Correct. 11 Q. It shows Louise Marks as the principal investigator 12 with that asterisk underlying her name? 13 A. That asterisk would represent that she was the 14 presenter of this. 15 Q. Oh, she was the presenter as well? 16 A. Yes, that's what -- 17 Q. Okay. 18 A. -- the convention would normally suggest that 19 she was. 20 Q. And it says "a control group of mice received 21 injections of ... paraquat did not alter the concentrations 22 ..." 23 Do you see that? 24 A. Yes. 25 Q. It comes through and says it was basically a finding</p> | <p>1 that point. 2 Q. Did Syngenta otherwise -- did anybody at Syngenta -- 3 not just Dr. Marks, she made it clear in her entire study 4 which was, not to your knowledge, distributed to the 5 attendees at that Society for Neuroscience Annual Meeting, 6 was it? 7 A. This, if you are referring to the detail in this 8 report, no. 9 Q. It was kept highly confidential at Syngenta? 10 A. At this time, that report had not even been 11 finalized. 12 Q. That's right. It wasn't printed, right? 13 A. Right. 14 Q. Okay. So none of her reservations were announced, 15 to your knowledge? 16 A. But normal scientific practice in a meeting of this 17 sort is that this will lead to conversations with other 18 research people, and I can't rule out that Dr. Marks may 19 have had such conversations about some of those technical 20 reservations. 21 Q. I move to strike your answer as unresponsive. 22 Would you read back my question to the witness? 23 COURT REPORTER: "So none of her reservations 24 were announced, to your knowledge?" 25 A. Well, to my knowledge, that's true.</p> |

26 (Pages 342 - 345)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 346</p> <p>1 Q. Okay.</p> <p>2 Do you know of anyone at Syngenta who ever publicly</p> <p>3 stated or admitted that the manual cell counting method</p> <p>4 Dr. Marks used could account for the differences in the</p> <p>5 studies?</p> <p>6 A. I'm not aware of any such occurrences.</p> <p>7 Q. Was that ever reported to the US EPA?</p> <p>8 A. Um, this --</p> <p>9 Q. Her reservations?</p> <p>10 A. Her reservations were not reported to the EPA, no.</p> <p>11 Q. So the principal investigator acknowledged that her</p> <p>12 manual counting technique was not as accurate as automated</p> <p>13 techniques, and could explain the difference in results, but</p> <p>14 it was never announced by anybody else at Syngenta to your</p> <p>15 knowledge?</p> <p>16 A. To my knowledge.</p> <p>17 Q. Okay. Did you ever report her reservations about</p> <p>18 her study technique to your knowledge?</p> <p>19 A. Report in -- in what sense?</p> <p>20 Q. In any sense. Publicly?</p> <p>21 A. Well, I -- the only thing that I could offer there</p> <p>22 is that Dr. Marks certainly did discuss that as part of her</p> <p>23 discussions with Dr. Di Monte.</p> <p>24 Q. Okay. So that's the public disclosure is to another</p> <p>25 scientist who was teaching her how to improve her technique?</p> | <p style="text-align: right;">Page 348</p> <p>1 A. At that time, yes.</p> <p>2 Q. Dr. Louise Marks was the report author?</p> <p>3 A. Correct.</p> <p>4 Q. Did she use different techniques?</p> <p>5 A. I'd need to read the detail of, um, what she said in</p> <p>6 here --</p> <p>7 Q. Actually, let me withdraw that for a second. We</p> <p>8 will get to it, okay. Rather than going through in</p> <p>9 sequence, I would rather go through the whole thing in</p> <p>10 sequence and then get to the different technique.</p> <p>11 A. Okay.</p> <p>12 Q. Dr. Sturgess contributed to the reported -- report</p> <p>13 as a study reviewer as well, didn't he?</p> <p>14 A. Yes, that is correct.</p> <p>15 Q. Okay. And Dr. Marks reports that this study was</p> <p>16 initiated on September 17, 2003?</p> <p>17 A. Correct.</p> <p>18 Q. And it terminated on December 22, 2003.</p> <p>19 A. Correct.</p> <p>20 Q. Okay. That just means the mice were terminated on</p> <p>21 that day?</p> <p>22 A. I'm not sure if that means that the experiment as</p> <p>23 a whole was terminated on the 22 December --</p> <p>24 Q. Okay. The study report was issued three and a half</p> <p>25 years later, June of 2007?</p> |
| <p style="text-align: right;">Page 347</p> <p>1 A. If you call that public. I mean, that is part of</p> <p>2 normal scientific discourse as we have said many times.</p> <p>3 Q. Okay, all right.</p> <p>4 (Exhibit 28 marked for identification)</p> <p>5 BY MR. TILLERY:</p> <p>6 Q. We are giving you the full study as well as the</p> <p>7 quotes that you may want to look at.</p> <p>8 We have handed you plaintiff's exhibit number 28.</p> <p>9 A. Correct.</p> <p>10 Q. Okay. What is this document?</p> <p>11 A. It's another research report from CTL of a study,</p> <p>12 authored again by Dr. Louise Marks, again looking at the</p> <p>13 effect of neurotoxicity in the same strain of mouse using</p> <p>14 the same techniques that we were describing previously.</p> <p>15 Q. So this is the second paraquat study that was</p> <p>16 conducted at Syngenta by Dr. Marks, right?</p> <p>17 A. You may be right. I don't have accurate knowledge</p> <p>18 of the -- of the order of which these studies were done.</p> <p>19 But this was -- there were four or five studies in total.</p> <p>20 Q. And this is the study Dr. Marks recommended to try</p> <p>21 to replicate the first study's findings that paraquat was</p> <p>22 not neurotoxic; correct?</p> <p>23 A. If this is the second study, that would be the case.</p> <p>24 Q. Okay. It was part of the paraquat mouse research</p> <p>25 program at Syngenta CTL?</p> | <p style="text-align: right;">Page 349</p> <p>1 A. That's because the reports of all of that series of</p> <p>2 studies were written up together.</p> <p>3 Q. And they were generated in the last week of her</p> <p>4 employment?</p> <p>5 A. As you said earlier, that is what you -- your</p> <p>6 information suggests.</p> <p>7 Q. The study completion date is June 21, 2007?</p> <p>8 A. The -- yes, in terms of writing the report --</p> <p>9 Q. Instead --</p> <p>10 A. -- yes.</p> <p>11 Q. I should have said "the report completion date"?</p> <p>12 A. Yes, yes.</p> <p>13 Q. That would have been a more accurate question?</p> <p>14 A. Yes, it would.</p> <p>15 Q. Thank you. Okay. You have answered.</p> <p>16 Dr. Marks announced the study purpose on page 6791,</p> <p>17 didn't she?</p> <p>18 A. Yes.</p> <p>19 Q. And the purpose she announced is:</p> <p>20 "The aim of this study was to assess whether at</p> <p>21 a dose of 10 mg/kg, paraquat dichloride caused changes in</p> <p>22 the concentrations of striatal dopamine and its metabolites,</p> <p>23 and a loss of dopamine containing neurons in the</p> <p>24 substantia nigra pars compacta when dosed i.p. ..."</p> <p>25 That is intra-peritoneally.</p> |

CONFIDENTIAL

| Page 350 | Page 352 |
|---|---|
| <p>1 "... to Charles River male C57Bl6J mice." 2 Is that correct? 3 A. That is correct, and that part of the purpose is the 4 same as the purpose of the same previous experiment. 5 Q. It is exactly the same, isn't it? 6 A. Yes. 7 Q. Okay. And continuing on, she says: 8 "This study was conducted in order to determine the 9 reproducibility of findings from an earlier CTL study ... 10 where no apparent effect of [paraquat] was observed at these 11 toxicity endpoints. The stereology methodology used in the 12 present study has been modified from that detailed in ..." 13 The study that she reports as XM7229. Is that the 14 first study? 15 A. That's what we were talking about previously. 16 Q. And continuing on, she says: 17 "This was as a result of information obtained 18 following a lab visit to the Parkinson's Institute, 19 California, and discussions with Dino Di Monte's research 20 group." 21 Correct? 22 A. Indeed, as I have indicated. 23 Q. And she was educated in the use of an automated 24 stereology technique, correct? 25 A. That's right, as used in his laboratory.</p> | <p>1 A. Yes. 2 Q. Correct? 3 A. Yes. 4 Q. Okay. Now she reported a 23.7 percent reduction in 5 dopaminergic neurons found in the study, and that was 6 comparable to the other publications finding between 25 and 7 30 percent reduction in dopaminergic neurons in the 8 substantia nigra; all right? 9 A. Yes. 10 Q. And she refers in this to the McCormack studies, 11 didn't she? Do you see that? If you want to go to the top 12 of 6808 that will give you a reference. On the preceding 13 page, as well. Bottom of the preceding page 6807 and to the 14 top of the page. Do you see that? 15 A. Yes, yes. 16 Q. And she shows you the results from the preceding 17 page, reduced by 24 percent. It is comparable to the 18 findings in publications observing a 25 to 35 percent 19 reduction in cell numbers in the substantia nigra? 20 A. Yes. 21 Q. And she references studies and those -- one is 22 McCormack et al, and that is 2002. 23 A. Yes. 24 Q. Can you tell me how to pronounce the second 25 scientist's name?</p> |
| Page 351 | Page 353 |
| <p>1 Q. Right. 2 Now what were the results of this study? I think 3 that is probably 6808? 4 A. Actually, I was looking at the executive summary of 5 that -- 6 Q. Okay. 7 A. -- on 6790, where it states that on this occasion, 8 again, paraquat did not result in any significant reduction 9 in the concentration of dopamine or its metabolites, whereas 10 the positive control substance did. But on this occasion -- 11 in this study, administration of paraquat did result in 12 a statistically significant reduction, 23.7 percent. 13 Q. 23.7 percent reduction? 14 A. Yes. 15 Q. Okay. 16 A. In dopaminergic neuronal cells. 17 Q. And she reported that as statistically significant? 18 A. Yes. 19 Q. And that finding is comparable with the findings 20 reported by independent laboratories who investigated the 21 effects of paraquat on the black mouse; correct? 22 A. Absolutely. This is more, if you like, similar to 23 the findings that you've described, yes. 24 Q. So she, effectively, in your laboratories replicated 25 what the other scientists in the independent labs had done?</p> | <p>1 A. We pronounced it Thiruchelvam. 2 Q. Thiruchelvam? 3 A. I don't know whether that's the right pronunciation, 4 but that's the one we used. 5 Q. And that is 2002; and Thiruchelvam et al 2003; and 6 McCormack & Di Monte 2003. Right? 7 A. Yes. 8 Q. These were the studies by independent researchers in 9 the published literature who had found paraquat to be 10 neurotoxic in that mouse, hadn't they? 11 A. Yes. 12 Q. And these were published literature findings that 13 CTL was trying to replicate? 14 A. Yes. 15 Q. Okay. And this study, in fact, replicated those 16 independent scientific findings? 17 A. On this occasion, that is true. 18 Q. Those were in peer-reviewed journals as well, 19 weren't they? 20 A. The publications that are cited here, yes. 21 Q. That she refers to? 22 A. Yes. 23 Q. And then the last -- okay, she reports the results 24 and compares them to the XM7229 study; do you see that? 25 A. Yes.</p> |

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 354</p> <p>1 Q. Look on page 6808: 2 "With respect to the apparent cell loss ..." 3 A. Yes. 4 Q. Okay. And Dr. Marks -- would you take a minute to 5 read that, please? 6 A. Yes, I would like to do that, please. 7 Q. Yes. And, sir, that whole page or at least the last 8 two paragraphs. Take your time. 9 A. Okay. 10 Q. Have you read it? 11 A. Yes. 12 Q. Dr. Marks attributes the failure to detect neuronal 13 cell loss in study XM7229 to differences in the stereology 14 methodology, software and hardware used in that study; 15 correct? 16 A. She does. 17 Q. And she also reports that in the study that you have 18 in front of you, the newer one or the more recent one, 19 XM7258, she: 20 "... used one of the most widely used and accurate 21 stereology systems currently available and the methodology 22 was refined to further improve the accuracy of the cell 23 count data." 24 Correct? 25 A. Indeed.</p> | <p style="text-align: right;">Page 356</p> <p>1 Dr. Marks had actually repeated the same manual technique on 2 the second round analysis, and came up with the same results 3 as the first study. 4 When she used the automated technique -- 5 A. Right. 6 Q. -- she got an accurate result because of the 7 difference in techniques? 8 A. I don't understand that I actually knew that -- 9 Q. But you do now? 10 A. But I do now. 11 Q. All right. She re-examined the tissue with new, 12 improved stereology equipment and found the loss of neurons 13 in the substantia nigra that she reports here? 14 A. Okay, that's fine. 15 Q. Now, did -- strike that. 16 Did Syngenta send Dr. Marks to Sunnyvale? 17 A. Yes. 18 Q. Okay. Did Syngenta ever publish the results of the 19 second study? 20 A. Again, not that I remember, unless, like on the 21 previous occasion, it was at a scientific meeting. But I've 22 no evidence for that. 23 Q. Did Syngenta ever notify the Society of Neural 24 Science where the first study was reported to tell them that 25 the first study was wrong?</p> |
| <p style="text-align: right;">Page 355</p> <p>1 Q. "These changes to the stereology hardware and 2 software were implemented following ... " 3 The visit and training by Dr. Dino Di Monte. Is 4 that right? 5 A. That is correct. 6 Q. And that was in the Parkinson's Institute in 7 Sunnyvale, California? 8 A. That's right. 9 Q. The previous study used "a non automated stage and 10 used much older stereology software." 11 Correct? 12 A. Yes. 13 Q. Dr. Marks also notes that brain tissue in the 14 previous study had deteriorated and thus could not be 15 examined using the new, improved stereology equipment? 16 A. Correct. 17 Q. So what she did is she actually used the old 18 methodology on the second group of mouse brains to count, 19 didn't she? And when she did, she found negative results. 20 She would have reached the same conclusion, that's what she 21 found. 22 MR. NARESH: Objection to form. 23 A. Could you just repeat that? 24 BY MR. TILLERY: 25 Q. Of course. Did you understand or did you know that</p> | <p style="text-align: right;">Page 357</p> <p>1 A. I have no evidence we did that. 2 Q. Okay. Based upon what you have seen from these two 3 studies, would you agree with me that the results of the 4 first study was wrong? 5 A. The -- it points to the possibility that the first 6 study was wrong. 7 Q. Do you have any reason to dispute her statements 8 that the difference in automated versus manual technology 9 accounted for the difference in the results? 10 A. No reason to dispute that at all. 11 Q. Okay. Did Syngenta ever inform the Environmental 12 Protection Agency about the loss of dopaminergic neurones 13 found in the study? 14 A. In this study, no, because they were not new 15 findings. 16 Q. I am just asking whether they did. 17 A. Right. 18 Q. Did they? 19 A. So far as I'm aware, they did not. 20 Q. Okay. So you weren't aware that on December 13, 21 three months ago, your counsel notified them of this 22 study -- 23 A. I thought you meant at the time, sir, so I -- 24 Q. Oh, that would be the logical thing to do -- 25 A. Right.</p> |

CONFIDENTIAL

| Page 358 | Page 360 |
|---|---|
| <p>1 Q. -- would be to tell them.</p> <p>2 Would you think it would be appropriate to wait</p> <p>3 16 years and a half -- 16.5 years -- to tell them?</p> <p>4 MR. NARESH: And I will object to the attorney</p> <p>5 commentary.</p> <p>6 BY MR. TILLERY:</p> <p>7 Q. We're just asking you. Is 16.5 years an appropriate</p> <p>8 time period to make a report?</p> <p>9 A. I believe it was still the correct decision at the</p> <p>10 time that these findings were not reportable.</p> <p>11 Q. Okay. So when it changed and suddenly you decided</p> <p>12 to notify the US EPA on December 13, 2019, sixteen and</p> <p>13 a half years after the report was finalized --</p> <p>14 A. Well, that was not a decision that I was involved in</p> <p>15 taking.</p> <p>16 Q. Okay. Would you have done that?</p> <p>17 A. I wouldn't want to comment on that. I'm not an</p> <p>18 expert in whether these things should be done.</p> <p>19 Q. You don't want to go down that path, do you?</p> <p>20 A. I --</p> <p>21 MR. NARESH: I object to the form, argumentative.</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. Okay. So can you explain to me why there was</p> <p>24 a 16.5-year delay?</p> <p>25 A. I don't want to venture in any speculative way,</p> | <p>1 investigating the reported paraquat-induced dopaminergic</p> <p>2 neurotoxicity in the black mouse.</p> <p>3 Q. And this was at Central Toxicology Laboratory</p> <p>4 Alderley Park, right?</p> <p>5 A. It was.</p> <p>6 Q. And the principal investigator was Louise Marks?</p> <p>7 A. That is correct.</p> <p>8 Q. And the study number is XM7371?</p> <p>9 A. Yes.</p> <p>10 Q. This was a study that was part of the paraquat mouse</p> <p>11 research program at Syngenta CTL, right?</p> <p>12 A. At that time, yes.</p> <p>13 Q. Dr. Sturgess again was the study reviewer?</p> <p>14 A. Correct.</p> <p>15 Q. The study was initiated on April 26th, 2004?</p> <p>16 A. Yes.</p> <p>17 Q. And was terminated on November 17, 2004?</p> <p>18 A. Yes.</p> <p>19 Q. And reported in June 2007?</p> <p>20 A. Correct, along with the other reports we have been</p> <p>21 discussing.</p> <p>22 Q. Right.</p> <p>23 If you would go to 911 in the "Study Design"</p> <p>24 section, Dr. Marks reports that the purpose of the study was</p> <p>25 to investigate whether the loss of dopaminergic neurons in</p> |
| Page 359 | Page 361 |
| <p>1 because I'm not involved in that process.</p> <p>2 Q. Were you aware that I wrote your counsel a letter</p> <p>3 demanding that they notify the US EPA?</p> <p>4 A. I saw that letter.</p> <p>5 Q. Okay. That might account for why they did it a week</p> <p>6 later, do you think?</p> <p>7 MR. NARESH: Objection to form, argumentative.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. Do you think there's a connection?</p> <p>10 A. I wouldn't want to comment on that.</p> <p>11 MR. TILLERY: Okay. Let's take a lunch break.</p> <p>12 Is this a good time? Or we can keep going --</p> <p>13 MR. NARESH: Let's go off the record for</p> <p>14 a second.</p> <p>15 THE VIDEOGRAPHER: Off the record at 11:49.</p> <p>16 (Break taken.)</p> <p>17 THE VIDEOGRAPHER: We are back on the record at</p> <p>18 12:08. This is now media number 3 in the deposition of</p> <p>19 Philip Botham.</p> <p>20 You may continue.</p> <p>21 (Exhibit 29 marked for identification)</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. I'm directing your attention, sir, to plaintiff's</p> <p>24 exhibit 29. Can you tell us what that is?</p> <p>25 A. Again, another study on paraquat dichloride</p> | <p>1 the substantia nigra observed in XM7258 could be further</p> <p>2 enhanced by increasing the frequency of dosing; correct?</p> <p>3 A. Correct.</p> <p>4 Q. And then if you go to the "Results" section,</p> <p>5 Dr. Marks reports dosing of paraquat resulted in</p> <p>6 a statistically significant loss of dopaminergic neurons in</p> <p>7 the substantia nigra pars compacta of mice; correct?</p> <p>8 A. Correct.</p> <p>9 Q. But the increased dosing frequency did not result in</p> <p>10 a greater magnitude of cell loss, correct?</p> <p>11 A. Correct.</p> <p>12 Q. At the bottom of that page, that is on 911,</p> <p>13 Dr. Marks reports:</p> <p>14 "These results support the findings of study XM7258</p> <p>15 and demonstrate that paraquat, when administered to [C57Bl6]</p> <p>16 mice ... induces nigral, but not striatal, toxicity."</p> <p>17 Correct?</p> <p>18 A. Correct.</p> <p>19 Q. And nigral toxicity is a form of neurotoxicity,</p> <p>20 isn't it, sir?</p> <p>21 A. It is an effect on that part of the -- of the brain,</p> <p>22 yes.</p> <p>23 Q. The results support the findings that paraquat is</p> <p>24 neurotoxic, correct?</p> <p>25 A. They support the possibility that paraquat could be</p> |

30 (Pages 358 - 361)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 362</p> <p>1 neurotoxic, yes.</p> <p>2 Q. Support the findings of it as well, at least in</p> <p>3 mouse, right?</p> <p>4 A. The findings are now becoming more replicable, yes.</p> <p>5 Q. As a matter of fact, this result replicates the</p> <p>6 studies in the independent literature she found as well,</p> <p>7 correct?</p> <p>8 A. It does. As did the previous one. Although,</p> <p>9 interestingly, there was no exacerbation of the effect</p> <p>10 compared to the previous study.</p> <p>11 Q. All right. And if you go to 25, Dr. Marks reports:</p> <p>12 "The present study has confirmed that administration</p> <p>13 of 3 weekly injections of 10 mg/kg paraquat dichloride to</p> <p>14 ... C57Bl6J mice, results in a statistically significant</p> <p>15 reduction in the number of ... dopaminergic neurons in the</p> <p>16 [substantia nigra] ..."</p> <p>17 Correct?</p> <p>18 A. That's what that says, yes.</p> <p>19 Q. Yes. She further reports this reduction is</p> <p>20 comparable with the loss reported in the public literature?</p> <p>21 A. Yes.</p> <p>22 Q. And she references several studies by independent</p> <p>23 researchers, right?</p> <p>24 A. Yes.</p> <p>25 Q. And who does she list as the source: McCormack et al</p> | <p style="text-align: right;">Page 364</p> <p>1 Q. Okay. Do you know who made the decision to file the</p> <p>2 report?</p> <p>3 A. I don't.</p> <p>4 Q. Did Syngenta ever disclose the study to any other</p> <p>5 regulatory agency around the world?</p> <p>6 A. I -- I'm not able to answer that accurately. I am</p> <p>7 not sure.</p> <p>8 Q. Okay. You've dealt with other regulatory bodies</p> <p>9 yourself, haven't you, outside the United States?</p> <p>10 A. I have.</p> <p>11 Q. You haven't dealt with the US EPA?</p> <p>12 A. I have not engaged with the US EPA on this issue,</p> <p>13 no.</p> <p>14 Q. Okay. And you have engaged with other regulatory</p> <p>15 authorities?</p> <p>16 A. Yes.</p> <p>17 Q. Okay. Which countries have you dealt with?</p> <p>18 MR. NARESH: You are asking him personally?</p> <p>19 MR. TILLERY: Yes.</p> <p>20 A. Right. So for me personally, I have had some</p> <p>21 engagement with Brazil. But I have had -- there has been</p> <p>22 indirect contact with other -- Australia by members of my</p> <p>23 team, but not me personally.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. Are those the only two countries that you know of</p> |
| <p style="text-align: right;">Page 363</p> <p>1 2002; McCormack & Di Monte 2003; correct?</p> <p>2 A. Correct.</p> <p>3 Q. Did Syngenta ever publish the results of this study</p> <p>4 in a peer-reviewed journal?</p> <p>5 A. I'm not aware that it did.</p> <p>6 Q. Okay. Did the results of this study ever appear</p> <p>7 anywhere in any public literature?</p> <p>8 A. I am not aware of -- of whether that actually did</p> <p>9 happen.</p> <p>10 Q. Did Syngenta ever inform the United States</p> <p>11 Environmental Protection Agency about the loss of</p> <p>12 dopaminergic neurons observed in this study?</p> <p>13 A. At the time of this study, there was -- this was not</p> <p>14 reported to the EPA, that I do know.</p> <p>15 Q. This was reported sixteen and a half years later</p> <p>16 in December 2019; correct?</p> <p>17 A. As you were indicating on the previous occasion,</p> <p>18 yes.</p> <p>19 Q. You saw that report, and you saw my letter demanding</p> <p>20 that it be reported?</p> <p>21 A. I saw your letter, yes.</p> <p>22 Q. You saw my -- I'm sorry, strike that.</p> <p>23 You saw my letter and you saw the report that was</p> <p>24 filed?</p> <p>25 A. I'm not sure that I've seen the report.</p> | <p style="text-align: right;">Page 365</p> <p>1 that -- that you interact with in terms of this?</p> <p>2 A. Well, certainly in terms of personal interaction,</p> <p>3 there were no other countries.</p> <p>4 Q. Do you know of any other regulatory bodies in other</p> <p>5 countries besides Brazil and Australia?</p> <p>6 A. There are other regulatory authorities who will have</p> <p>7 had -- where there will have been discussions about this</p> <p>8 topic.</p> <p>9 Q. You don't know whether this study was reported to</p> <p>10 them?</p> <p>11 A. I can't give you any indication of that, I am</p> <p>12 afraid.</p> <p>13 Q. Was it given to Brazil?</p> <p>14 A. I don't think it was specifically given to Brazil.</p> <p>15 Q. Was it given to Australia?</p> <p>16 A. I don't believe so.</p> <p>17 Q. Are you aware of any disclosures of Dr. Mark's study</p> <p>18 results to any regulatory agency in the world?</p> <p>19 A. I am not aware of that.</p> <p>20 Q. When Dr. Marks -- strike that.</p> <p>21 When Dr. Marks reported the results of the studies</p> <p>22 to her superiors, her superiors did not want her to accept</p> <p>23 the findings that paraquat causes neuronal cell loss in the</p> <p>24 black mouse; is that a fair statement?</p> <p>25 A. I would not say that was a fair statement because</p> |

31 (Pages 362 - 365)

CONFIDENTIAL

| Page 366 | Page 368 |
|---|---|
| <p>1 I don't know what your evidence is to -- to back that up.</p> <p>2 Q. Okay, okay.</p> <p>3 Were you aware that her superiors wanted her to find</p> <p>4 a flaw in her methodology?</p> <p>5 A. Again, I would not -- I would like to understand</p> <p>6 what the basis of that is.</p> <p>7 Q. Okay. Before we get into the documents, because</p> <p>8 I promise you I will -- after lunch I will share that with</p> <p>9 you, I will -- were you aware that they were doing that?</p> <p>10 A. No, I was not aware that there was such -- such</p> <p>11 conversations were going on at the time.</p> <p>12 Q. Have you ever heard of a scientist being asked to</p> <p>13 find -- search for flaws in her own methodology in order to</p> <p>14 disprove her own scientific results?</p> <p>15 A. Well, put that way, I think that is something that</p> <p>16 you wouldn't expect. But you would expect that people would</p> <p>17 be -- would say: are you really sure that the methodology</p> <p>18 that you are using is actually being used as well as</p> <p>19 possible?</p> <p>20 Q. But you've studied -- you've looked at these studies</p> <p>21 and this is a scientist who has gone through -- who has</p> <p>22 criticized her first result because it was manual technique,</p> <p>23 who has gone to the trouble of educating herself at</p> <p>24 Sunnyvale, California, in a -- in a more automated technique</p> <p>25 in order to enhance the accuracy of her scientific findings.</p> | <p>1 that evidence.</p> <p>2 MR. NARESH: Steve, just so we have a clear</p> <p>3 record, you mentioned the Marks studies. You are referring</p> <p>4 to the three studies you have introduced as evidence?</p> <p>5 MR. TILLERY: No, all of the studies. Every one</p> <p>6 she did for Syngenta.</p> <p>7 MR. NARESH: Including the studies you have not</p> <p>8 yet introduced?</p> <p>9 MR. TILLERY: Yes.</p> <p>10 MR. NARESH: All right, then --</p> <p>11 MR. TILLERY: I'm going to go through them all.</p> <p>12 MR. NARESH: Okay. Well, I'm concerned that</p> <p>13 there might be confusion from the witness because you asked</p> <p>14 some questions about disclosure to the EPA --</p> <p>15 MR. TILLERY: Yes.</p> <p>16 MR. NARESH: -- and referred to the Marks</p> <p>17 studies. And if you are referring to all, as opposed to the</p> <p>18 ones you have introduced, I think there maybe some confusion</p> <p>19 on the record. So I don't know if you want to --</p> <p>20 MR. TILLERY: I don't want an incorrect record.</p> <p>21 So if I -- if my question did -- was too broad and</p> <p>22 encompassed it, I am willing to allow you to have him</p> <p>23 correct that so he's not impeached by it later.</p> <p>24 MR. NARESH: You want to do it now or later --</p> <p>25 MR. TILLERY: We can do it later. Then you can</p> |
| Page 367 | Page 369 |
| <p>1 For that, she's been recognized with the highest prize for</p> <p>2 professionalism that your company even recognizes; correct?</p> <p>3 A. Correct.</p> <p>4 Q. Okay. Now in that context, right, can you imagine</p> <p>5 a scientist being told, of that type, to discredit her own</p> <p>6 findings?</p> <p>7 MR. NARESH: Objection to form.</p> <p>8 A. I would personally never put such a -- a proposal to</p> <p>9 a scientist.</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. You wouldn't do that, would you?</p> <p>12 A. I would not do that personally.</p> <p>13 Q. Okay. And that would be unethical, would you agree?</p> <p>14 MR. NARESH: Objection to form, scope.</p> <p>15 A. As you -- as you actually stated it, that would, to</p> <p>16 my mind, be an inappropriate way of dealing with</p> <p>17 a scientist.</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. All right.</p> <p>20 Do you, as Syngenta's representative who prepared to</p> <p>21 testify on the subjects that included what we are talking</p> <p>22 about here today, need to know what evidence I have before</p> <p>23 you are able to testify about what Dr. Marks' superiors</p> <p>24 wanted?</p> <p>25 A. As I said a minute ago, yes, I would like to see</p> | <p>1 use the hour time to fix that with him. I'm happy to let</p> <p>2 you do that.</p> <p>3 MR. NARESH: Let me put it this way, I do</p> <p>4 anticipate having more fulsome redirect than just on that</p> <p>5 question. Would you want me to do that, do all of it at the</p> <p>6 conclusion of your examination, or do you want me to do that</p> <p>7 at some other point?</p> <p>8 MR. TILLERY: Probably at the conclusion of the</p> <p>9 examination.</p> <p>10 MR. NARESH: I will reserve the right to do</p> <p>11 redirect on that point and all of the points at the</p> <p>12 conclusion of your deposition.</p> <p>13 MR. TILLERY: I'm not saying that I agree that</p> <p>14 you are entitled to do that, so that you understand, okay?</p> <p>15 MR. NARESH: Okay.</p> <p>16 MR. TILLERY: Thank you.</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. Were you aware that her superiors wanted Dr. Marks</p> <p>19 to come up with other explanations for why paraquat could</p> <p>20 have caused the same findings that were not consistent</p> <p>21 with it being a potential cause for neuronal cell loss?</p> <p>22 A. I can't speak about the specific conversations at</p> <p>23 the time. But such conversations were certainly had at</p> <p>24 a later time which I was involved with, when we continued to</p> <p>25 find an apparent loss of dopaminergic neurons, and which</p> |

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 370</p> <p>1 indeed we have subsequently published.</p> <p>2 Q. Well, let us go to the next exhibit.</p> <p>3 (Exhibit 30 marked for identification)</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. Now, on that last point that you made, could you</p> <p>6 tell me where Syngenta published a Syngenta study finding</p> <p>7 loss of dopaminergic neurons after the use of paraquat?</p> <p>8 A. Yes.</p> <p>9 Q. Where?</p> <p>10 A. In the neurotoxicology publication of Breckenridge</p> <p>11 et al. One of the studies that was reported in that showed</p> <p>12 an apparent loss of dopaminergic neurons.</p> <p>13 Q. And which study specifically, could you tell us on</p> <p>14 the record, please?</p> <p>15 A. I would have to go back and look at the publication</p> <p>16 to see exactly what study number it was referred to in that,</p> <p>17 but it was -- I know the dose level was 15 milligrams per</p> <p>18 kilogram of paraquat.</p> <p>19 Q. Okay. Now look at exhibit number 50, please -- I'm</p> <p>20 sorry, number 30.</p> <p>21 A. 30.</p> <p>22 Q. Can you read into the record the title of this</p> <p>23 document?</p> <p>24 A. "Notes of discussions with Lewis Smith to brief him</p> <p>25 on the latest Parkinson's disease findings on</p> | <p style="text-align: right;">Page 372</p> <p>1 the Central Toxicology Laboratory.</p> <p>2 Q. Who is Mike Clapp?</p> <p>3 A. Mike Clapp was the product toxicologist for -- based</p> <p>4 at CTL who had paraquat as one of his product</p> <p>5 responsibilities.</p> <p>6 Q. Is he still employed with the company?</p> <p>7 A. He is not.</p> <p>8 Q. Where is he now?</p> <p>9 A. He's retired.</p> <p>10 Q. Okay. The purpose of the meeting was to brief</p> <p>11 Lewis Smith regarding the latest Parkinson's disease</p> <p>12 findings from Dr. Marks; correct?</p> <p>13 A. That's what the title suggests.</p> <p>14 Q. Now if you would look at page 2 of that two-page</p> <p>15 document, that's dated what, if you look at the bottom of</p> <p>16 the second page?</p> <p>17 A. 7 December 2004.</p> <p>18 Q. 7 December 2004?</p> <p>19 A. Yes, if this is an English document, the --</p> <p>20 Q. Okay. All right.</p> <p>21 Were Dr. Smith and Dr. Clapp, Louise Marks' and</p> <p>22 Sturgess's superiors?</p> <p>23 A. Dr. Smith, yes; Dr. Clapp, no.</p> <p>24 Q. But Dr. Smith was their boss?</p> <p>25 A. He was the head of the laboratory at that time.</p> |
| <p style="text-align: right;">Page 371</p> <p>1 3rd December 2004".</p> <p>2 Q. And present were, can you tell us?</p> <p>3 A. Lewis Smith, Nick Sturgess, Louise Marks and Mike</p> <p>4 Clapp.</p> <p>5 Q. And Nick Sturgess and Louise Marks presented the</p> <p>6 latest findings, correct?</p> <p>7 A. That's what the next line says.</p> <p>8 Q. Okay. And the first bullet point of number 1</p> <p>9 paragraph says:</p> <p>10 "Is the loss of neurones indicating generalised</p> <p>11 neuronal toxicity in the brain rather than a specific effect</p> <p>12 in the Substantia Nigra? This is not ... clear, however</p> <p>13 data from other brain areas (e.g. hippocampus) suggest that</p> <p>14 it is specific, but it may be difficult to detect changes in</p> <p>15 dopaminergic neurone number in other brain regions where the</p> <p>16 density of dopamine containing neurones is lower than in the</p> <p>17 [substantia nigra], thus making a loss of neurones more</p> <p>18 difficult to detect."</p> <p>19 Right?</p> <p>20 A. Yes.</p> <p>21 Q. Okay. Lewis Smith, who is listed here, is the same</p> <p>22 Lewis Smith that we have been talking about, right?</p> <p>23 A. He is.</p> <p>24 Q. And what was his role at that time?</p> <p>25 A. I think in 2004 it would be when he was the head of</p> | <p style="text-align: right;">Page 373</p> <p>1 Q. He was the head of the entire operation?</p> <p>2 A. I believe at that time that is correct.</p> <p>3 Q. Okay. So if you would read this, does it report</p> <p>4 that Dr. Smith wanted to have the research team investigate</p> <p>5 other reasons for dopaminergic neuronal death in</p> <p>6 paraquat-dosed mice?</p> <p>7 A. I would just like to read it a little bit more if</p> <p>8 I could.</p> <p>9 Q. Sure, take your time.</p> <p>10 A. Okay. Would you like to repeat the question?</p> <p>11 Q. Of course, thank you.</p> <p>12 The question -- the first question I would ask is</p> <p>13 whether Dr. Smith wanted the research team to investigate</p> <p>14 other reasons for dopaminergic neuronal cell death in</p> <p>15 paraquat-dosed mice? He was looking for other explanations?</p> <p>16 A. He was suggesting that some methodological things</p> <p>17 needed checking and that there were other hypotheses that</p> <p>18 might explain the findings.</p> <p>19 Q. Trying to think of other ways this could account for</p> <p>20 the results?</p> <p>21 A. Yes, to see -- yes.</p> <p>22 Q. Okay. Right. That's what he was doing.</p> <p>23 Because Syngenta had replicated the paraquat</p> <p>24 neurotoxicity findings in the independent published</p> <p>25 literature, correct?</p> |

CONFIDENTIAL

| Page 374 | Page 376 |
|---|---|
| <p>1 A. Yes.</p> <p>2 Q. And unless those findings could be attributed to a</p> <p>3 cause other than paraquat, Syngenta had established a sound</p> <p>4 scientific basis for the claims made in the public</p> <p>5 literature that paraquat is neurotoxic; correct?</p> <p>6 A. That would be the implication of the replication and</p> <p>7 confirmation.</p> <p>8 Q. And those claims were a threat to Syngenta's</p> <p>9 aspirations for paraquat too, weren't they?</p> <p>10 A. That would undoubtedly have led to discussions about</p> <p>11 the -- paraquat and how it should be continued, I agree.</p> <p>12 Q. In fact, a threat to the bottom line of the company?</p> <p>13 A. Well, that's a -- possibly what some people had in</p> <p>14 mind. Certainly it wasn't, within the scientific community,</p> <p>15 something that was prominent.</p> <p>16 Q. Well, I mean, look at the last paragraph of the</p> <p>17 conclusion. He says, second sentence, "HA."</p> <p>18 Who is that? At that time, what is HA?</p> <p>19 A. That would be "Health Assessment".</p> <p>20 Q. "[Health Assessment] have a responsibility to create</p> <p>21 the scientific understanding and there will be no intention</p> <p>22 to slow down this understanding, although business risk will</p> <p>23 need to be considered in the decision making process."</p> <p>24 "Business risk" means selling paraquat, doesn't it?</p> <p>25 A. It does.</p> | <p>1 A. That's a -- you can never put an exact number on</p> <p>2 that. And don't forget, this was still very much at the</p> <p>3 forefront of research which is why, um, Louise Marks</p> <p>4 received that award, because, you know, this was new</p> <p>5 technology. We were still understanding how it worked.</p> <p>6 Q. It was new technology with you. It wasn't new</p> <p>7 technology around the world, was it?</p> <p>8 A. Even around the world, if we had -- conversations</p> <p>9 were made as an example when we spoke to Professor</p> <p>10 Nyengaard, I think I mentioned his name yesterday, where --</p> <p>11 which said this is technology where we are all still</p> <p>12 learning how to use it properly.</p> <p>13 Q. Let me ask you: you knew that this automated</p> <p>14 technology was already being used by the independent</p> <p>15 researchers before this, right?</p> <p>16 A. Yes.</p> <p>17 Q. They had access to this; they were using it.</p> <p>18 Do you know how long they had it in use before you</p> <p>19 got it?</p> <p>20 A. I can't answer that question.</p> <p>21 Q. You don't know?</p> <p>22 A. I don't know.</p> <p>23 Q. Let me ask you this. Do you think had you wanted to</p> <p>24 get it at the time that these independent researchers got</p> <p>25 it, you could have done exactly the same thing at CTL</p> |
| Page 375 | Page 377 |
| <p>1 Q. Right.</p> <p>2 A. But it did reinforce the point I was making about</p> <p>3 creating scientific understanding, so I think it --</p> <p>4 Q. Right.</p> <p>5 A. -- said the same as I did.</p> <p>6 Q. So HA at Syngenta had the responsibility to create</p> <p>7 the scientific understanding regarding paraquat's</p> <p>8 neurotoxicity?</p> <p>9 A. That's right. That was our accountability.</p> <p>10 Q. The business threats which needed to be considered</p> <p>11 in the decision-making process for creating the scientific</p> <p>12 understanding of paraquat was that they could further prove</p> <p>13 paraquat was neurotoxic. That was the business risk?</p> <p>14 A. Of course.</p> <p>15 Q. Yes. There was a business risk that paraquat would</p> <p>16 be banned in more countries if it were established by</p> <p>17 additional tests; correct?</p> <p>18 A. I would put it differently. It was always our role,</p> <p>19 in health assessment as it was called then, to -- to say if</p> <p>20 there was a scientific basis for there being a risk</p> <p>21 associated with the product, we would want that to be</p> <p>22 understood by the business.</p> <p>23 Q. How many of these studies need to be repeated before</p> <p>24 you're happy with the fact that this stuff causes brain</p> <p>25 injury?</p> | <p>1 laboratory?</p> <p>2 A. Of course we could have done that, yes.</p> <p>3 Q. Sure. And hired the best researchers in the world</p> <p>4 to do it?</p> <p>5 A. We could. But there was no reason for us to do it,</p> <p>6 because at that time there were no findings in the</p> <p>7 literature. So things go in a -- in an order.</p> <p>8 Q. Okay.</p> <p>9 And this technology, stereology, wasn't the first</p> <p>10 technology the scientists could use to detect the death of</p> <p>11 dopaminergic neurons in the substantia nigra, was it?</p> <p>12 A. Well, there are some other pathological techniques</p> <p>13 you could use, of course.</p> <p>14 Q. Of course. And they long pre-dated stereology</p> <p>15 techniques, didn't they?</p> <p>16 A. They are, but those pathological techniques are not</p> <p>17 necessarily specific to the cells that you are -- that are</p> <p>18 involved here.</p> <p>19 Q. Okay.</p> <p>20 THE VIDEOGRAPHER: In that case, we will go off</p> <p>21 the record at 12:33.</p> <p>22 (Break taken.)</p> <p>23 THE VIDEOGRAPHER: In which case we are back on</p> <p>24 the record as of 1:26.</p> <p>25 You may continue.</p> |

34 (Pages 374 - 377)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 378</p> <p>1 (Exhibit 31 marked for identification)</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. Sir, we have handed you what's been marked as</p> <p>4 plaintiff's exhibit number 31.</p> <p>5 This is Bates range Syngenta 1981435. Do you see</p> <p>6 that?</p> <p>7 A. I do.</p> <p>8 Q. Okay. And the top of this page says what?</p> <p>9 A. "Thoughts On Options For Challenging The PQ and</p> <p>10 C57Bl6 Mouse Model."</p> <p>11 Q. And if you look on the second page, it's got</p> <p>12 a reference to Nick Sturgess and Louise Marks,</p> <p>13 6 December 2004. Do you see that?</p> <p>14 A. I do.</p> <p>15 Q. Okay. Are these notes Drs. Sturgess and Marks made</p> <p>16 on December 6 regarding challenging the paraquat results</p> <p>17 they had seen in the C57B6 mouse?</p> <p>18 A. It suggests that this is a record of their</p> <p>19 conversation.</p> <p>20 Q. Okay. And these notes were made one day before the</p> <p>21 meeting with Dr. Smith on the paraquat results in the C57</p> <p>22 black mouse, weren't they?</p> <p>23 A. They were made -- well, that meeting itself was on</p> <p>24 3 December.</p> <p>25 Q. On the 3rd?</p> | <p style="text-align: right;">Page 380</p> <p>1 thinking?</p> <p>2 A. Well, I'm taking it to be that way. That they have</p> <p>3 met with Dr. Smith, and maybe this was Dr. Sturgess and</p> <p>4 Dr. Marks saying, "Well, where do we go from here?"</p> <p>5 Q. So your meeting -- the first meeting with Dr. Smith,</p> <p>6 and then this is notes of meetings that these two scientists</p> <p>7 had after they met with Dr. Smith, is that what you are</p> <p>8 saying?</p> <p>9 A. If the dates on these pieces of paper are accurate,</p> <p>10 then you would conclude that.</p> <p>11 Q. Okay. So if you look at the fifth bullet, it says</p> <p>12 Drs. Sturgess and Marks note, on the fifth one down:</p> <p>13 "It has not been reported that C57Bl6J mice are more</p> <p>14 sensitive to PQ induced toxicity than other strains of mice.</p> <p>15 In fact, given that the animals have been obtained from</p> <p>16 different suppliers including our own data, would suggest</p> <p>17 that subtle differences between strains in their</p> <p>18 susceptibility to PQ are unlikely."</p> <p>19 A. Correct, yes.</p> <p>20 Q. So one of the things they were looking at was to try</p> <p>21 to change the mice to come up with different results,</p> <p>22 potentially?</p> <p>23 A. One of the things they were looking at was asking</p> <p>24 the question about is there strain sensitivity, which may be</p> <p>25 important.</p> |
| <p style="text-align: right;">Page 379</p> <p>1 A. Yes. So the meeting minutes were dated 7 December,</p> <p>2 but the title says that the meeting itself was on</p> <p>3 3 December, if I have read this correctly.</p> <p>4 Q. This meeting minutes says 6 December 2004. And you</p> <p>5 read the other one as being --</p> <p>6 A. I do, sir, yes.</p> <p>7 Q. -- later?</p> <p>8 A. Yes. Well, earlier --</p> <p>9 Q. Earlier, right?</p> <p>10 A. So the meeting here is earlier, yes.</p> <p>11 Q. So Lewis Smith, from your prior review of the</p> <p>12 meeting with Lewis Smith, was of the opinion that CTL should</p> <p>13 aggressively challenge the results in the Marks studies; do</p> <p>14 you agree?</p> <p>15 A. And by "aggressively", it is to get on with it.</p> <p>16 Q. Yes. He wanted them to aggressively challenge the</p> <p>17 methodological issues with the --</p> <p>18 A. That was one of the factors, yes.</p> <p>19 Q. Okay. And did Lewis Smith ask Drs. Sturgess and</p> <p>20 Marks, or both, to come up with ways to challenge the</p> <p>21 paraquat mouse model in preparation for the briefing?</p> <p>22 A. Which briefing are you talking about?</p> <p>23 Q. Assuming you are right about the times, then it</p> <p>24 wouldn't be a briefing: this would be a meeting that</p> <p>25 occurred after they met with Dr. Smith, is that what you are</p> | <p style="text-align: right;">Page 381</p> <p>1 Q. Okay. The next says:</p> <p>2 "The only studies we are aware of involving</p> <p>3 non-C57Bl6 mice with [paraquat] relate to studies</p> <p>4 investigating PQ induced cell loss in alpha synuclein</p> <p>5 over-expressing animals."</p> <p>6 Correct?</p> <p>7 A. Yes.</p> <p>8 Q. So Syngenta was aware of studies involving other</p> <p>9 strains of mice where paraquat induced cell loss occurred,</p> <p>10 right?</p> <p>11 A. Yes.</p> <p>12 Q. And this was neuronal cell loss, correct?</p> <p>13 A. Yes, that would be correct.</p> <p>14 Q. And this paraquat induced neuronal cell loss</p> <p>15 occurred in mice who were over-expressing alpha synuclein?</p> <p>16 A. That's what the Swiss Webster was describing, yes.</p> <p>17 Q. And over-expressing alpha synuclein means the same</p> <p>18 thing as upregulation of alpha synuclein, would you agree?</p> <p>19 A. It is expressing more of the protein, yes.</p> <p>20 Q. You are aware that upregulation of alpha synuclein</p> <p>21 is part of the Lewy body pathology in human Parkinson's</p> <p>22 disease patients, correct?</p> <p>23 A. Yes.</p> <p>24 Q. Syngenta was fully aware of that fact in 2004 --</p> <p>25 A. Yes.</p> |

35 (Pages 378 - 381)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 382</p> <p>1 Q. -- would you agree?</p> <p>2 When was that first known to be true?</p> <p>3 A. I can't give you a date as to when that was first</p> <p>4 known.</p> <p>5 Q. Okay. The next bullet point says:</p> <p>6 "If we were concerned that the pigmented mouse was</p> <p>7 more sensitive to [paraquat] than other strains, one option</p> <p>8 would be to dose 10mg/kg [of paraquat] to variety of</p> <p>9 different mouse strains including BALB/c, Swiss Webster and</p> <p>10 CFI, and observe the extent of the neuronal cell loss."</p> <p>11 Right?</p> <p>12 A. Yes.</p> <p>13 Q. What are those?</p> <p>14 A. They are different strains of mice.</p> <p>15 Q. Okay. Then it says, "however" -- it goes on to say:</p> <p>16 "However, this would generate a PRF since no one</p> <p>17 else has dosed [paraquat] to these strains."</p> <p>18 A. That is absolutely correct.</p> <p>19 Q. And a PRF there means what?</p> <p>20 A. As we were discussing this morning, the potentially</p> <p>21 referable findings under 6(a)(2).</p> <p>22 Q. So they didn't do that, because had they made the</p> <p>23 finding they would have had to report it?</p> <p>24 A. That doesn't say that. It says:</p> <p>25 "However, this would generate a PRF ..."</p> | <p style="text-align: right;">Page 384</p> <p>1 A. Yes.</p> <p>2 Q. And then it cites another name you are going to have</p> <p>3 to pronounce for me.</p> <p>4 A. Chanyachukul.</p> <p>5 Q. We probably should spell that for the reporter,</p> <p>6 please?</p> <p>7 A. C-H-A-N-Y-A-C-H-U-K-U-L.</p> <p>8 Q. "... (work in part carried out at University of</p> <p>9 Nottingham) investigated the effect of acute [paraquat]</p> <p>10 exposure to Wistar rats, demonstrating neurochemical and</p> <p>11 behavioural deficits, which were attenuated by the</p> <p>12 administration of L-valine."</p> <p>13 Q. What is L-valine?</p> <p>14 A. It is an amino acid.</p> <p>15 Q. "Also neurochemical, pathological and behavioural</p> <p>16 changes have been reported following intracerebral injection</p> <p>17 of [paraquat] into rats."</p> <p>18 Correct?</p> <p>19 A. Yes.</p> <p>20 Q. Do you agree with that statement?</p> <p>21 A. Yes, those are published papers.</p> <p>22 Q. Okay. So Syngenta knew the same types of results</p> <p>23 would occur in rats as well, wouldn't it?</p> <p>24 A. There was clearly a potential for that to be the</p> <p>25 case, yes.</p> |
| <p style="text-align: right;">Page 383</p> <p>1 Q. And do you know if they ended up doing that study?</p> <p>2 A. I don't believe that they did.</p> <p>3 Q. Okay. Do you think that the reason they didn't do</p> <p>4 it is because it might generate a PRF?</p> <p>5 A. I don't know what the full reasoning was. It may</p> <p>6 have been taken into consideration but I wasn't involved in</p> <p>7 these discussions.</p> <p>8 Q. Okay. Do you agree with their conclusion that there</p> <p>9 was not strain sensitivity?</p> <p>10 A. I -- it depends whether you are looking at that</p> <p>11 question as it was seen at that time or with the benefit of</p> <p>12 hindsight, because with the benefit of hindsight more</p> <p>13 research has shown that there is perhaps some strain --</p> <p>14 difference in the sensitivity.</p> <p>15 Q. Statistically significant strain sensitivity?</p> <p>16 A. That is on the borderline, I have to say.</p> <p>17 Q. Okay. Are PRFs something that Syngenta generally</p> <p>18 wants to avoid?</p> <p>19 A. We don't avoid them if it actually is doing the</p> <p>20 right scientific study.</p> <p>21 Q. Okay. The next page -- flip this over -- fifth</p> <p>22 bullet down says:</p> <p>23 "The reported PQ induced nigrostriatal toxicity is</p> <p>24 not just mouse specific."</p> <p>25 Do you see that?</p> | <p style="text-align: right;">Page 385</p> <p>1 Q. Drs. Sturgess and Marks note:</p> <p>2 "The options for challenging the [paraquat] mouse</p> <p>3 model would appear to be somewhat limited."</p> <p>4 Okay?</p> <p>5 A. That's what it says.</p> <p>6 Q. Dr. Sturgess and Dr. Marks considered the options to</p> <p>7 be challenging -- strike that.</p> <p>8 Dr. Sturgess and Dr. Marks considered that the</p> <p>9 options to challenging the methodology of the paraquat mouse</p> <p>10 model appeared very limited, didn't they?</p> <p>11 A. Yes. And I think what was meant there is</p> <p>12 challenging its relevance to human Parkinson's disease</p> <p>13 potential from paraquat.</p> <p>14 Q. What options for challenging the paraquat mouse</p> <p>15 model did they provide?</p> <p>16 A. Well, what was being discussed at the time, and</p> <p>17 certainly was discussed subsequently, was whether there were</p> <p>18 more relevant models. The rat was discussed frequently</p> <p>19 as -- as another option. Indeed we did go on to do a rat</p> <p>20 study ourselves.</p> <p>21 Q. Well, didn't they say in the same bullet point</p> <p>22 there, they wrote:</p> <p>23 "The best way of challenging the model would be</p> <p>24 based on the dose, duration and route of exposure ..."</p> <p>25 A. Indeed, and that was going to be my second point.</p> |

36 (Pages 382 - 385)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 386</p> <p>1 So human relevance is much more going to be directed to how 2 you administer the compound, indeed. 3 Q. They are not challenges to the methodology of the 4 model, so much as changing certain aspects of the model? 5 A. Yes, it is back to what we discussed this morning: 6 the relevance of your model is always an important 7 consideration. 8 Q. What is NOEL? 9 A. A no effect level. 10 Q. What does that mean? 11 A. It is the dose that when you do a toxicology study 12 and you use more than one dose level -- so in other words 13 you feed or inject different concentrations of a chemical to 14 your animals -- it is the dose at which you saw no effects. 15 Q. And the very next bullet point says: 16 "I still believe our best defence is to conduct an 17 exposure based risk assessment based upon a dietary/dermal 18 NOEL using the mouse model of neuronal cell loss." 19 Correct? 20 A. Correct. 21 Q. Best defense? 22 A. And that -- if I may, again I would say that that 23 was being positioned at the time on the assumption that the 24 effect is real. It is reproducible. You know, it would be 25 perhaps not easy to deny it with the information that was</p> | <p style="text-align: right;">Page 388</p> <p>1 risk assessment. And that's why they used "exposure based 2 risk assessment" as a shorthand way of describing what 3 I just said to you. 4 Q. Well, aren't they really saying that Syngenta should 5 accept the results of the Marks studies and the findings of 6 independent researchers that paraquat causes neuronal cell 7 loss? Isn't that what they were suggesting? 8 A. I don't dispute that and actually agree with it. 9 Q. And you agree with it too? 10 A. Indeed. And as I said to you this morning, when we 11 continued our work and published the Breckenridge paper, 12 that was a position we were first in. We again replicated 13 that finding. 14 Q. And when you found that -- in the Breckenridge 15 paper, you found that it caused neuronal cell loss in the 16 substantia nigra, didn't you? 17 A. In one study at one dose level. 18 Q. In one study and one dose level in one type of rat, 19 I apologize -- 20 A. Mouse. 21 Q. A rat, right? 22 A. Mouse. 23 Q. Mouse, sorry, excuse me. In one type of mouse you 24 found it and it was attributable to paraquat exposure? 25 A. It appeared to be at the time. But then, as that</p> |
| <p style="text-align: right;">Page 387</p> <p>1 available at that time. 2 But is that model that's being used relevant to 3 humans because it is an injection model? And actually, even 4 if you do see an effect at a high dose, if you can find 5 a dose which is still orders of magnitude above anything 6 a human would be exposed to, then that would say that 7 that -- your concern would be reduced. 8 Q. I move to strike the answer as unresponsive. 9 So it says, my question was: 10 ""I ... believe our best defence is to conduct an 11 exposure based risk assessment based upon a dietary/dermal 12 NOEL using the mouse model of neuronal cell loss." 13 Doesn't it? 14 A. Yes. 15 Q. And it says the word "defence", that was the 16 question I had. "Defence", do you see that? 17 A. Yes. 18 Q. And defense is defense against the fact that the 19 study showed damage to the substantia nigra and to the 20 production of dopamine by the dopaminergic neurons? 21 A. No, I disagree, sir. The defense at that time, I am 22 sure, was not to say we are trying to discredit the 23 possibility that you see the neuronal cell loss in the 24 conditions that we have been talking about; but actually to 25 say -- to, if you wish, discredit its relevance to human</p> | <p style="text-align: right;">Page 389</p> <p>1 Breckenridge paper says, we did many more experiments as 2 part of that publication and in none of the others were we 3 able to replicate it nor were we able to see any evidence 4 that you would -- that other pathologists who we were now 5 consulting with said you should see if that was a genuine 6 death of cells. Because pathologists were telling us that 7 if cells were dying other things would happen around them. 8 Q. I just wanted to clarify one thing. My question was 9 really this -- I move to strike your answer as unresponsive. 10 My question was simply this: did you find damage in 11 that single mouse study that was reported there as a result 12 of paraquat exposure in the mouse? 13 A. Yes. In that single study, yes. 14 Q. Okay. And that was reported, correct? 15 A. As part of our publication. 16 Q. Okay, all right. 17 Would you agree that instead of challenging the 18 results, Syngenta should use them as the basis for a human 19 health risk assessment of paraquat? 20 A. Now that we have done many more experiments, 21 including those we have just been referring to in 22 neurotoxicology, I believe quite strongly that our position 23 is that the replicability has not been established, so 24 I don't think it would be appropriate to do that. 25 Q. Okay.</p> |

37 (Pages 386 - 389)

CONFIDENTIAL

| Page 390 | Page 392 |
|--|---|
| <p>1 You see the next section says, bottom of the second</p> <p>2 page:</p> <p>3 "Potential experimental follow-ups suggested by</p> <p>4 Lewis Smith include:</p> <p>5 "Can the changes observed be accounted for by tissue</p> <p>6 shrinkage owing to the diuretic effect of PQ? Suggests</p> <p>7 dosing with a diuretic to look for changes in ... cell</p> <p>8 counts."</p> <p>9 Do you see that?</p> <p>10 A. Yes, yes.</p> <p>11 Q. Okay. And it says:</p> <p>12 "Are the effects observed with the mouse model</p> <p>13 irreversible? Conduct a ip study ..."</p> <p>14 What does "ip" mean?</p> <p>15 A. Intra-peritoneal.</p> <p>16 Q. Intra-peritoneal study:</p> <p>17 "... at 3 x 10 mg/kg, and assess the extent of</p> <p>18 neuronal cell loss 7, 14, 28, and 90 days post final dose."</p> <p>19 A. Yes.</p> <p>20 Q. "This will confirm the loss detected so far is</p> <p>21 irreversible."</p> <p>22 Do you see that?</p> <p>23 A. I do.</p> <p>24 Q. Okay. And those were suggested by Lewis Smith,</p> <p>25 apparently, from this report --</p> | <p>1 MR. TILLERY: Yes, you do whatever you need.</p> <p>2 (Exhibit 32 marked for identification)</p> <p>3 BY MR. TILLERY:</p> <p>4 Q. The next exhibit is number 32, correct, sir?</p> <p>5 A. That is correct.</p> <p>6 Q. What is this?</p> <p>7 A. This is another study in the series of studies</p> <p>8 conducted with the title "Paraquat Dichloride Hydrate",</p> <p>9 looking at, in this case, the time course and reversibility</p> <p>10 of dopaminergic cell loss in that same mouse model following</p> <p>11 the administration of paraquat.</p> <p>12 Q. And this is XM7480, right?</p> <p>13 A. That is correct.</p> <p>14 Q. And it started June 21, 2007, right?</p> <p>15 A. That was when it was reported.</p> <p>16 Q. I'm sorry, that was the report date. The study</p> <p>17 dates were February 18, 2005, and the termination date</p> <p>18 was September 1, 2005; right?</p> <p>19 A. Which I think it must be misprint -- no, it may not</p> <p>20 be. I beg your pardon, forgive me. Yes, that is probably</p> <p>21 correct, yes. Yes.</p> <p>22 Q. Okay. This study was designed to investigate the</p> <p>23 time course and potential reversibility --</p> <p>24 A. Yes.</p> <p>25 Q. -- of the loss of dopaminergic neurons in the</p> |
| Page 391 | Page 393 |
| <p>1 A. From what this says, yes.</p> <p>2 Q. Okay.</p> <p>3 He suggested -- he being Lewis Smith -- suggested</p> <p>4 dosing mice with a diuretic to determine if the loss of</p> <p>5 neuronal cell loss could be attributed to the diuretic</p> <p>6 effect of paraquat on tissue samples; right?</p> <p>7 A. Yes.</p> <p>8 Q. That was one of the theories that they had for why</p> <p>9 these results could have come back the way they did?</p> <p>10 A. Indeed, and it was --</p> <p>11 Q. It's a diuretic?</p> <p>12 A. It was included in the notes of that other meeting</p> <p>13 we were talking about.</p> <p>14 Q. Right. And Lewis Smith has also suggested</p> <p>15 conducting a paraquat mouse study with animal sacrifice at</p> <p>16 7, 14, 28 and 90 days to confirm whether paraquat induced</p> <p>17 neuronal cell loss was irreversible, whether it was</p> <p>18 permanent?</p> <p>19 A. Yes. And an experiment of that sort was actually</p> <p>20 subsequently done.</p> <p>21 Q. It was done, wasn't it?</p> <p>22 A. Yes.</p> <p>23 Q. Okay.</p> <p>24 MR. NARESH: Steve, just as housekeeping thing,</p> <p>25 can I move some of these --</p> | <p>1 substantia nigra after three months of dosing; right?</p> <p>2 A. Yes.</p> <p>3 Q. And using paraquat?</p> <p>4 A. Well, if I may, I believe -- I would just like</p> <p>5 a little time to double-check this.</p> <p>6 Q. Go ahead and take your time.</p> <p>7 A. Okay.</p> <p>8 Q. If you look at 2793 under "Purpose"?</p> <p>9 A. Yes. So I think I would just need to, if I've heard</p> <p>10 you correctly, slightly qualify. So paraquat was given as</p> <p>11 three weekly injections but then it was not continued to be</p> <p>12 administered. I believe the animals were then rested, if</p> <p>13 you like, and sacrificed at different time points.</p> <p>14 Q. Yes. For clarity in the record, the purpose set out</p> <p>15 at page 2793 by Dr. Marks was:</p> <p>16 "The aim of this study was to investigate the time</p> <p>17 course and potential reversibility of nigrostriatal effects</p> <p>18 following 3 weekly injections of 10 mg/kg paraquat</p> <p>19 dichloride by assessing dopaminergic cell loss in the</p> <p>20 [substantia nigra] and concentrations of striatal dopamine</p> <p>21 and its metabolites at 7, 28 and 90 days after the final</p> <p>22 dose of paraquat."</p> <p>23 A. That is correct, yes.</p> <p>24 Q. That's what you --</p> <p>25 A. That's what I was qualifying, yes.</p> |

38 (Pages 390 - 393)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 394</p> <p>1 Q. In the previous studies, Dr. Marks had observed loss 2 of dopaminergic neurons in mice dosed with paraquat and 3 sacrificed seven days later, correct?</p> <p>4 A. Yes.</p> <p>5 Q. In this study she observed loss of dopaminergic 6 neurons dosed with paraquat, didn't she?</p> <p>7 A. Yes.</p> <p>8 Q. And the loss was statistically significant too, 9 wasn't it?</p> <p>10 A. Yes.</p> <p>11 Q. The study also measured the loss of dopaminergic 12 neurons 28 and 90 days after the last dose was given?</p> <p>13 A. Yes.</p> <p>14 Q. And the degree of dopaminergic neuron loss at 28 and 15 90 days was similar to the loss at 7 days, wasn't it?</p> <p>16 A. That is correct.</p> <p>17 Q. In other words, the animals did not recover 18 dopaminergic neuron function after a passage of 28 or 90 19 days?</p> <p>20 A. That's right. Using that measurement they stayed 21 the same, correct.</p> <p>22 Q. The loss of dopaminergic neuron function was 23 permanent throughout the 90 days of the study?</p> <p>24 A. That's right.</p> <p>25 Q. It was not reversible, is what you found?</p> | <p style="text-align: right;">Page 396</p> <p>1 Q. The third Syngenta study to repeat the results of 2 independent researchers' finding that paraquat is 3 neurotoxic?</p> <p>4 A. As specifically defined by the loss of dopaminergic 5 cells. There were other findings that were not seen. Like 6 the loss of dopamine has not been seen consistently in the 7 studies --</p> <p>8 Q. But in the limited context in which I asked the 9 question, to repeat the findings means replicate the 10 findings?</p> <p>11 A. In that area, that is correct --</p> <p>12 Q. So this is the third one in a row to replicate the 13 work by independent researchers?</p> <p>14 A. Can you just say that again?</p> <p>15 Q. By independent researchers?</p> <p>16 A. Yes.</p> <p>17 Q. And it also means, for these purposes, that these 18 studies reproduce the findings of the independent 19 researchers?</p> <p>20 A. They do.</p> <p>21 Q. If you look at 2807, the first full paragraph, sir, 22 tell me when you are there. .</p> <p>23 Dr. Marks says:</p> <p>24 "Our data would appear to be supportive of the 25 hypothesis that a sensitive subpopulation of dopaminergic</p> |
| <p style="text-align: right;">Page 395</p> <p>1 A. Within that timescale, it did not reverse.</p> <p>2 Q. The last paragraph, okay -- and that is 2792 -- 3 Dr. Marks says:</p> <p>4 "These results support the findings of two previous 5 CTL studies XM7258 and XM7371 ... and demonstrate that 6 paraquat, when administered to C57Bl6J mice ... would appear 7 to be capable of inducing nigral but not striatal toxicity." 8 Right?</p> <p>9 A. Yes.</p> <p>10 Q. And nigral toxicity is a form of neurotoxicity 11 again?</p> <p>12 A. Nigral is meant to be the substantia nigra.</p> <p>13 Q. The substantia nigra, yes, okay. The studies 14 suggest -- support -- the findings that paraquat is 15 neurotoxic, don't they?</p> <p>16 A. They support that, that finding yes.</p> <p>17 Q. Yes. This is the third Syngenta CTL study that 18 found that paraquat causes loss of dopaminergic neurons; 19 correct?</p> <p>20 A. Correct.</p> <p>21 Q. And the third Syngenta CTL study where Dr. Marks 22 found the loss of dopaminergic neurons were comparable to 23 the loss reported by independent researchers in published 24 scientific literature; correct?</p> <p>25 A. Correct.</p> | <p style="text-align: right;">Page 397</p> <p>1 neurons may exist which are vulnerable to paraquat induced 2 toxicity."</p> <p>3 Do you see that?</p> <p>4 A. I do.</p> <p>5 Q. Do you agree with that?</p> <p>6 A. That's what it says.</p> <p>7 Q. Okay. Did Syngenta publish the results of this 8 study in any journal?</p> <p>9 A. I'm not aware that we did.</p> <p>10 Q. Did Syngenta publish this anywhere, or post it, or 11 present it, or talk about it in any scientific symposium?</p> <p>12 A. I'm not sure that we did that.</p> <p>13 Q. Okay. Are you aware of anybody ever saying they 14 did?</p> <p>15 A. I'm not aware.</p> <p>16 Q. Did Syngenta ever disclose this study to any 17 regulatory authority in the world, including the 18 United States Environmental Protection Agency?</p> <p>19 A. This study was the subject of a disclosure to the 20 EPA --</p> <p>21 Q. You did report it, didn't you?</p> <p>22 A. We did.</p> <p>23 Q. Okay. When did you file that report?</p> <p>24 A. I don't have the date to hand, so I would need to 25 check the record of that date.</p> |

CONFIDENTIAL

| Page 398 | Page 400 |
|--|---|
| <p>1 Q. Okay. Why did you report this one?</p> <p>2 A. Because the conditions -- some of the conditions of</p> <p>3 the study were different to what had been used by other</p> <p>4 researchers. So it fulfilled some of those detailed</p> <p>5 criteria that we were talking about this morning under</p> <p>6 6(a)(2).</p> <p>7 Q. Do you not know when you filed it with US EPA?</p> <p>8 A. I can't give you the date off the top of my head.</p> <p>9 Q. It certainly wasn't when the study was done, was it?</p> <p>10 A. It was obviously after the study had been</p> <p>11 interpreted.</p> <p>12 Q. It was years before it was reported --</p> <p>13 A. It was before it was reported, yes. Yes.</p> <p>14 Q. All right. You reported it as an adverse finding?</p> <p>15 A. Yes, as defined by 6(a)(2), yes. Yes, where</p> <p>16 adverse --</p> <p>17 Q. Adverse effect?</p> <p>18 A. Adverse effect seen in -- because it was seen with</p> <p>19 different experimental conditions.</p> <p>20 Q. Why was it considered to be an adverse effect?</p> <p>21 A. Because we had, as we have been discussing,</p> <p>22 concluded that we now appeared to have a replicable finding</p> <p>23 showing loss of dopaminergic neurons which could be</p> <p>24 interpreted as neurotoxicity. And the reason that it was</p> <p>25 reported -- not reported before, as we said, is because up</p> | <p>1 A. They were -- you were telling me that they had been</p> <p>2 reported in some way in that time, yes. I have not seen</p> <p>3 that.</p> <p>4 Q. Okay, all right.</p> <p>5 (Exhibit 33 marked for identification)</p> <p>6 BY MR. TILLERY:</p> <p>7 Q. Do you have exhibit 33 before you, sir?</p> <p>8 A. I do.</p> <p>9 Q. Okay. Is this a report to the United States EPA?</p> <p>10 A. Yes, this is a letter to the US EPA, yes.</p> <p>11 Q. Okay. Let me ask you, wouldn't the evidence for the</p> <p>12 neurotoxic effect of paraquat be stronger if all three of</p> <p>13 the studies done by Dr. Marks were reported?</p> <p>14 A. I think there's -- there's certainly a reasonable</p> <p>15 thing to -- to propose. And as I said, the approach</p> <p>16 committee suggested that was one possibility.</p> <p>17 Q. And that was one of the reasons you told them,</p> <p>18 wasn't it?</p> <p>19 A. It was, yes.</p> <p>20 Q. Yes. Now, if you take a look at this document,</p> <p>21 number 33, what's the document date?</p> <p>22 A. February 24, 2006.</p> <p>23 Q. Syngenta made this disclosure under FIFRA 6(a)(2),</p> <p>24 correct?</p> <p>25 A. Correct.</p> |
| Page 399 | Page 401 |
| <p>1 until that point that was not a new finding. Now the</p> <p>2 conditions of the experiment had changed which meant that it</p> <p>3 was appropriate to report it.</p> <p>4 Q. So when you made this report, why didn't you include</p> <p>5 the other two prior reports at that time?</p> <p>6 A. When -- I was certainly involved in -- you remember</p> <p>7 I talked about the approach, the PRF approach committee this</p> <p>8 morning? So we discussed that. And in the record of that</p> <p>9 meeting, it very clearly shows that we discussed the</p> <p>10 totality of those experiments not just this one in</p> <p>11 isolation.</p> <p>12 And we proposed -- if my memory serves me right but</p> <p>13 it would be useful to check the record -- to the US PRF</p> <p>14 committee that that bigger picture should be -- should be</p> <p>15 included.</p> <p>16 Q. All of them?</p> <p>17 A. That was what I believe the record of our</p> <p>18 communications --</p> <p>19 Q. So the PRF committee recommended to the US people</p> <p>20 that they report all of them?</p> <p>21 A. That they could consider that, yes.</p> <p>22 Q. They didn't do that, did they?</p> <p>23 A. I would have to check the record of that.</p> <p>24 Q. Well, you know that the earlier two studies were</p> <p>25 never reported until December 13, 2019; correct?</p> | <p>1 Q. The only study results reported in this letter were</p> <p>2 XM7480; is that correct?</p> <p>3 A. That is correct, yes.</p> <p>4 Q. The study itself, which referenced the two earlier</p> <p>5 positive studies with similar results, was not sent to them,</p> <p>6 was it?</p> <p>7 A. Not in this -- in this letter, certainly.</p> <p>8 Q. Do you know if the study itself has ever been sent</p> <p>9 to them?</p> <p>10 A. I would need to check that. I don't know for sure.</p> <p>11 Q. Okay. Would it be your recommendation to include</p> <p>12 the entire study?</p> <p>13 A. As part of the normal process, it wouldn't</p> <p>14 necessarily be the case. And sometimes studies of this sort</p> <p>15 are sent to them for other purposes, but I can't comment</p> <p>16 about this specific.</p> <p>17 Q. In Dr. Marks' conclusions, at the "Results" section</p> <p>18 of study XM7480, she says the study results:</p> <p>19 "... support the findings of two previous CTL</p> <p>20 studies XM7258 and XM7371 ... and demonstrate that paraquat,</p> <p>21 when administered to C57BL6J mice ... would appear to be</p> <p>22 capable of inducing nigral but not striatal toxicity."</p> <p>23 That's what she says.</p> <p>24 A. Where are you reading from?</p> <p>25 Q. That would be from the document you are looking at,</p> |

40 (Pages 398 - 401)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 402</p> <p>1 the study --</p> <p>2 A. Which page?</p> <p>3 Q. 492792?</p> <p>4 A. Okay.</p> <p>5 Q. I will read that question over if you want it,</p> <p>6 because you were looking.</p> <p>7 MR. NARESH: Could you, please?</p> <p>8 A. Yes, I'm there, thank you.</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. All right. Dr. Marks concluded in the results</p> <p>11 section of that study that you are looking at -- that is</p> <p>12 7480 you are looking at, right?</p> <p>13 A. I'm now looking at --</p> <p>14 Q. I am sorry, the study number?</p> <p>15 A. Yes, the study number is --</p> <p>16 Q. 7480?</p> <p>17 A. 7480, yes.</p> <p>18 Q. She stated in the study number -- and 792 is the</p> <p>19 page?</p> <p>20 A. Okay.</p> <p>21 Q. That the results:</p> <p>22 "... support the findings of two previous CTL</p> <p>23 studies XM7258 and XM7371 ... and demonstrate that paraquat,</p> <p>24 when administered to C57BL6J mice ... would appear to be</p> <p>25 capable of inducing nigral but not striatal toxicity."</p> | <p style="text-align: right;">Page 404</p> <p>1 mouse was comparable to the findings reported in the</p> <p>2 published literature, did you?</p> <p>3 A. Indeed. Because the 6(a)(2) regulations did not</p> <p>4 require us to do so, because they were the same conditions</p> <p>5 and therefore the finding was not new.</p> <p>6 Q. Let me ask you -- let me ask you, would that be the</p> <p>7 prudent thing to do and was that the reason you recommended</p> <p>8 that they do it?</p> <p>9 A. The reason that I recommended that they should</p> <p>10 consider including that in the letter was that it would</p> <p>11 allow them to understand the totality of the program that we</p> <p>12 had done, which I didn't think was something which was</p> <p>13 unreasonable.</p> <p>14 Q. Would you think it would be unreasonable to wait 16</p> <p>15 and a half years to do that?</p> <p>16 A. I can't comment on that. Certainly that was --</p> <p>17 Q. You don't want to comment on that?</p> <p>18 A. Well, I'm not able to comment on that, because I was</p> <p>19 not involved in that decision process.</p> <p>20 Q. Okay. Okay. Who was involved in that decision</p> <p>21 process?</p> <p>22 A. I don't know.</p> <p>23 Q. Okay. You did not report to the US EPA in</p> <p>24 this February 24, 2006 letter that three different studies</p> <p>25 at CTL had replicated neuronal cell loss findings with</p> |
| <p style="text-align: right;">Page 403</p> <p>1 Right?</p> <p>2 A. That's right.</p> <p>3 Q. Okay. That conclusion was not reported, was it?</p> <p>4 A. It was not reported --</p> <p>5 Q. To the US --</p> <p>6 A. -- to the US EPA.</p> <p>7 Q. Is that right?</p> <p>8 A. No, it was not in its entirety. No, that's right.</p> <p>9 Q. Dr. Marks concluded about 7480, that:</p> <p>10 "Our data would appear to be supportive of the</p> <p>11 hypothesis that a sensitive subpopulation of dopaminergic</p> <p>12 neurons may exist which are vulnerable to paraquat induced</p> <p>13 toxicity."</p> <p>14 She said that as well?</p> <p>15 A. Yes.</p> <p>16 Q. Okay. But that report and that conclusion was not</p> <p>17 contained within the February 24, 2006 report to the US EPA,</p> <p>18 was it?</p> <p>19 A. It was not.</p> <p>20 Q. You did not report in this letter that paraquat was</p> <p>21 neurotoxic in three separate studies, did you?</p> <p>22 A. We did not in this letter, no.</p> <p>23 Q. You did not report in this letter that you had</p> <p>24 conducted three studies with paraquat where the loss of</p> <p>25 dopaminergic neurons in the substantia nigra of the black</p> | <p style="text-align: right;">Page 405</p> <p>1 paraquat in the C57 black mouse, did you?</p> <p>2 A. We did not.</p> <p>3 Q. Who was on the US PRF committee at that time, or was</p> <p>4 there one? Was the PRF committee here?</p> <p>5 A. The US PRF committee, which is who would be</p> <p>6 responsible for writing this letter, would have included</p> <p>7 a number of people whose names I wouldn't be able to say at</p> <p>8 this point in time who they exactly were.</p> <p>9 Q. Would you think that would be Montague Dixon?</p> <p>10 A. It may not have been Monty Dixon in 2006 but I would</p> <p>11 need to check.</p> <p>12 Q. Would it also have been Janice McFarland?</p> <p>13 A. It could have been Janice McFarland. She was the</p> <p>14 head of regulatory in North America at that time, but</p> <p>15 I don't know.</p> <p>16 Q. What did you send along, after the committee met</p> <p>17 here and made their recommendations about these -- all of</p> <p>18 these studies being reported -- did you send along any</p> <p>19 specific writings or papers to the committee?</p> <p>20 A. Yes. As always we completed a proforma document.</p> <p>21 Q. And sent it to them?</p> <p>22 A. And sent it to them.</p> <p>23 Q. And made that recommendation that they report the</p> <p>24 studies?</p> <p>25 A. Indeed. You may well have seen that document.</p> |

41 (Pages 402 - 405)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 406</p> <p>1 (Exhibit 34 marked for identification)</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. We've handed you what's been marked as plaintiff's</p> <p>4 exhibit 34. I will give you some time to look it over, sir.</p> <p>5 A. Okay.</p> <p>6 Q. Tell me when you are ready to talk about the</p> <p>7 document.</p> <p>8 A. Okay.</p> <p>9 Q. Okay. What is this, please?</p> <p>10 A. This is the fifth in the series of reports -- of</p> <p>11 studies conducted by Dr. Louise Marks. This one was looking</p> <p>12 at the effects on -- in the nigrostriatal region, including</p> <p>13 the substantia nigra, of a range of other compounds to the</p> <p>14 same strain of mice. And these were compounds which were</p> <p>15 toxic and -- were toxic compounds and they were given at</p> <p>16 high doses as one line of research that was suggested in</p> <p>17 that meeting that we were looking at earlier in this</p> <p>18 discussion.</p> <p>19 Q. Let me see if I can state this as a layperson would</p> <p>20 in the best understanding I have of the study.</p> <p>21 After the first couple of reports came back</p> <p>22 positive, Dr. Smith suggested that perhaps it was the study</p> <p>23 itself, the injection of the paraquat, that caused toxicity</p> <p>24 throughout the body; that the toxicity itself could have</p> <p>25 caused the results of seeing damaged or dead dopaminergic</p> | <p style="text-align: right;">Page 408</p> <p>1 could be attributed to a 'general toxicity' associated with</p> <p>2 dosing any compound at a high enough dose."</p> <p>3 Correct?</p> <p>4 A. That is correct.</p> <p>5 Q. All right. And her results were that it didn't.</p> <p>6 Now what did that mean for you as a scientist?</p> <p>7 A. It meant that we had --</p> <p>8 Q. Excuse me, I don't mean to interrupt you,</p> <p>9 I apologize to you. But when I asked that, I didn't say it</p> <p>10 right.</p> <p>11 I meant: what did it mean to you in terms of the</p> <p>12 reliability of the prior studies in terms of the toxicity</p> <p>13 being the cause, if you could explain?</p> <p>14 A. Well, it made it more likely that what we had seen</p> <p>15 with paraquat could be genuinely due to the -- to paraquat.</p> <p>16 Q. Right. Instead of just the overall toxicity to the</p> <p>17 body of this mouse?</p> <p>18 A. Yes, the stress -- in other words, putting it in</p> <p>19 a different way, the stress that you can cause in an animal</p> <p>20 if you are dosing anything at substantially high doses.</p> <p>21 Q. Yes. All right. And what you "had seen with</p> <p>22 paraquat", meaning the loss of dopaminergic neurons?</p> <p>23 A. Yes.</p> <p>24 Q. Okay.</p> <p>25 (Exhibit 35 marked for identification)</p> |
| <p style="text-align: right;">Page 407</p> <p>1 neurons, and that could account for this. That could be the</p> <p>2 explanation why not only Dr. Marks but the other folks who</p> <p>3 were publishing this in the sort of independent</p> <p>4 peer-reviewed literature, correct?</p> <p>5 A. That was the hypothesis.</p> <p>6 Q. And let's figure this out about by getting away from</p> <p>7 paraquat, and let's use other things in a toxic injection</p> <p>8 format?</p> <p>9 A. That's right.</p> <p>10 Q. And then if they create toxicity sufficient to</p> <p>11 actually kill the animal, or up to that point or close</p> <p>12 to it, then we can see if the injection of them could result</p> <p>13 in the same thing; correct?</p> <p>14 A. That is correct.</p> <p>15 Q. Have I said that accurately and fairly?</p> <p>16 A. You have indeed, yes.</p> <p>17 Q. All right. What were the results?</p> <p>18 A. The results were that none of these compounds</p> <p>19 induced the same effect on TH positive cells, the</p> <p>20 dopaminergic neurons in the substantia nigra.</p> <p>21 Q. So I'm looking at her discussion section. In the</p> <p>22 discussion section on 939, she says:</p> <p>23 "The aim of the present study was to determine</p> <p>24 whether the degree of [dopaminergic] cell loss observed</p> <p>25 following administration of [paraquat] to C57 black mice</p> | <p style="text-align: right;">Page 409</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Please take a look at exhibit number 35.</p> <p>3 After you are familiar, I am going to ask you couple</p> <p>4 of questions about it. If you go specifically to page 3432?</p> <p>5 This is a presentation that Dr. Marks gave, isn't</p> <p>6 it?</p> <p>7 A. Yes, I believe that is the case.</p> <p>8 Q. All right. If you look at 3432, she's discussing</p> <p>9 the study that you just commented on, isn't she?</p> <p>10 A. She is.</p> <p>11 Q. And she concludes, in reference to that study, that:</p> <p>12 "The data would suggest that [paraquat] induced cell</p> <p>13 loss in the [substantia nigra] is not likely to be</p> <p>14 attributable to a 'general toxicity' associated with dosing</p> <p>15 a compound at high doses rather it suggests a selective</p> <p>16 effect on vulnerable dopaminergic cells within the</p> <p>17 [substantia nigra]."</p> <p>18 Is that right?</p> <p>19 A. That's right.</p> <p>20 Q. You agree with that?</p> <p>21 A. That was not an unreasonable conclusion at the time.</p> <p>22 Q. What is the significance scientifically of the</p> <p>23 effect of paraquat being selective?</p> <p>24 A. Well, if it is selective to dopaminergic cells</p> <p>25 within that region, then clearly that could lead to</p> |

42 (Pages 406 - 409)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 410</p> <p>1 emphasizing a possible concern for Parkinson's disease. 2 Q. Right. 3 (Exhibit 36 marked for identification) 4 A. Okay. 5 BY MR. TILLERY: 6 Q. This is a presentation or a summary of 7 a presentation at a meeting in Atlanta on February 13 and 8 14, 2008; correct? 9 A. It was. 10 Q. This would have been a Syngenta meeting? 11 A. Yes. 12 Q. And it is "Paraquat & Parkinson's disease" as the 13 subject matter of the meeting? 14 A. Yes. 15 Q. Okay. And this would be an internal presentation 16 about Syngenta's research into paraquat and Parkinson's 17 disease, right? 18 A. It was. But we were -- I was aware -- I was 19 actually at this meeting, I believe. 20 Q. Okay. 21 A. And I believe also we did have people from outside 22 Syngenta in that meeting. 23 Q. Okay. This meeting was held over two years after 24 Dr. Marks had completed the paraquat mouse studies -- 25 A. Yes.</p> | <p style="text-align: right;">Page 412</p> <p>1 A. It does. 2 Q. Please turn to the last slide. That would be -- 3 I think that is at 754. 4 A. Yes. 5 Q. Is that right? 6 A. That is correct. 7 Q. And that's a summary slide: paraquat and Parkinson's 8 disease literature findings; right? 9 A. That is correct. 10 Q. The first bullet says: 11 "Reports in the literature suggest that in a certain 12 strain of pigmented mouse (C57Bl6), multiple i.p. [again 13 that is intraperitoneal] injections of paraquat at 14 relatively high doses can result in a 30% loss of 15 dopaminergic neurones in the substantia nigra." 16 Okay? 17 A. Yes. 18 Q. And then it says: 19 "These findings have been replicated in Syngenta 20 studies." 21 Is that what it says? 22 A. Yes, it does. 23 Q. Then it says: 24 "There are also claims that the effect can be 25 observed in another rodent species ... however Syngenta</p> |
| <p style="text-align: right;">Page 411</p> <p>1 Q. -- as you know. 2 Did Dr. Sturgess give this presentation? 3 A. I don't recall who gave this presentation, whether 4 it was one person or more than one person. 5 Q. Do you know who was in attendance? 6 A. I -- there would be a number of people, including 7 myself, Dr. Sturgess and Dr. Smith, and a number of other 8 people. 9 Q. And other of the people from Syngenta Crop 10 Protection? 11 A. I couldn't give you an exact list. I would need 12 check that. 13 Q. If you turn to 742, please, at the top of this 14 particular slide it says: 15 "Syngenta CTL Investigative Studies." 16 Right? 17 A. It does. 18 Q. The first paragraph says: 19 "In vivo studies -- replicating studies conducted in 20 the C57Bl6 mouse model with paraquat to validate the 21 literature claims." 22 Correct? 23 A. Correct. 24 Q. And that refers to the studies Dr. Marks conducted 25 with paraquat in the mouse at CTL, correct?</p> | <p style="text-align: right;">Page 413</p> <p>1 studies have failed to repeat this finding." 2 Okay? 3 A. Yes. And we have not talked about those studies but 4 that is true: in the Louise Marks period, we also looked in 5 the rat as well as the mouse, and we did not see such 6 a finding. 7 Q. And then the final bullet says: 8 "We should be aware that there may be NHP data with 9 paraquat emerging in the near future that may replicate the 10 findings already reported in rodent species -- potential 11 relevance to humans." 12 All right? 13 A. Yes. 14 Q. All right. 15 A. I don't know if you will allow me to just restate 16 that I believe this meeting had some external people to 17 Syngenta -- we should check the record -- but I think if 18 that is the case, it shows we were being very transparent 19 about those findings with those people. 20 Q. To follow up on that point, meaning being very 21 transparent to the public? 22 A. To other researchers engaged -- 23 Q. Which would be the public? 24 A. That's right, yes. 25 Q. And transparency, to follow up on your point which</p> |

43 (Pages 410 - 413)

CONFIDENTIAL

| Page 414 | Page 416 |
|---|--|
| <p>1 I allowed you to make to clarify, would mean that you wanted</p> <p>2 to set the record straight and be honest and straightforward</p> <p>3 with everybody?</p> <p>4 A. We wanted to make sure that the scientific community</p> <p>5 and, as you put it --</p> <p>6 Q. And the public?</p> <p>7 A. -- and the public knew that at that point in time we</p> <p>8 had come to a conclusion that the findings appeared to be</p> <p>9 replicable.</p> <p>10 Q. Right. They were replicable?</p> <p>11 A. At that time.</p> <p>12 Q. At that point. And that was 2008, right?</p> <p>13 A. Yes.</p> <p>14 Q. Was Dr. Cory-Slechta there?</p> <p>15 A. That's what I was wanting to check, exactly who the</p> <p>16 attendees were. We had a number of meetings and I can't</p> <p>17 remember who was present at which.</p> <p>18 (Exhibit 37 marked for identification)</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. The next document is included in such a voluminous</p> <p>21 format because only it includes one or two pages that are</p> <p>22 relevant --</p> <p>23 A. Okay.</p> <p>24 Q. -- but I didn't want to include a bunch of --</p> <p>25 I didn't want to include just a page or two of the document.</p> | <p>1 14th --</p> <p>2 A. Yes.</p> <p>3 Q. -- of 2008 was a summary of a meeting where you had</p> <p>4 invited people and you had been transparent. That's what</p> <p>5 you told me.</p> <p>6 A. To those people, yes.</p> <p>7 Q. All right. Now I want to direct your attention to</p> <p>8 this exhibit, which is marked as exhibit 37, okay? And</p> <p>9 I want you to tell me if this is a clip from the Paraquat</p> <p>10 Information Center, and what appears to be a --</p> <p>11 A. Yes.</p> <p>12 Q. -- page from the internet, right?</p> <p>13 A. It is. It is from, as it says at the bottom,</p> <p>14 from paraquat.com, yes.</p> <p>15 Q. What is paraquat.com?</p> <p>16 A. It's information resource which is provided for</p> <p>17 customers and for the public generally to understand more</p> <p>18 about the benefits, the use -- the appropriate use -- and</p> <p>19 some aspects of the safety of paraquat.</p> <p>20 Q. And, actually, it tells them about farming uses,</p> <p>21 explains things, gives them references to application, to</p> <p>22 its effectiveness, all kinds of information?</p> <p>23 A. It does.</p> <p>24 Q. And it's designed for use by the consuming public?</p> <p>25 A. Indeed. And especially the farmer and grower who</p> |
| Page 415 | Page 417 |
| <p>1 So very little relevance to virtually all of it, but</p> <p>2 I want to direct your attention to a particular section and</p> <p>3 follow up to a statement that you just made on the record,</p> <p>4 okay.</p> <p>5 If you would go to -- and feel free to refresh</p> <p>6 yourself about any of this as you wish, it just wasn't</p> <p>7 contained within this document -- but what I'm going to</p> <p>8 direct your attention to is 86601.</p> <p>9 Please take a look at that document. Again it is</p> <p>10 86601.</p> <p>11 A. Okay, got it. And, sorry, that was the one that you</p> <p>12 printed out separately but --</p> <p>13 Q. Go ahead --</p> <p>14 A. -- thank you for --</p> <p>15 Q. Go ahead and read that. Does it say "Paraquat</p> <p>16 Information Center" at the top?</p> <p>17 A. Yes, it does.</p> <p>18 Q. All right. And when you are finished, you let me</p> <p>19 know, please. Okay?</p> <p>20 A. Okay.</p> <p>21 Q. You had just clarified the record a minute ago and</p> <p>22 said that a document that I had put in the record mark and</p> <p>23 dated February 8, 2008, right?</p> <p>24 A. Yes.</p> <p>25 Q. Was the summary of a presentation -- February 13 and</p> | <p>1 may be using it.</p> <p>2 Q. The farmer and grower. The person like Freeman</p> <p>3 Schmidt in this case, or Mr. Hoffmann or Mr. Mills or any of</p> <p>4 these people who could -- who could, either by themselves or</p> <p>5 with help, get on the internet and ask questions or do</p> <p>6 research, correct?</p> <p>7 A. Of course.</p> <p>8 Q. Now look at this. And this is maintained by</p> <p>9 Syngenta, correct?</p> <p>10 A. It is certainly -- the content is certainly</p> <p>11 maintained by Syngenta.</p> <p>12 Q. Right. Whether it is housed by a third party, the</p> <p>13 content is supplied by Syngenta?</p> <p>14 A. Yes.</p> <p>15 Q. And then it contains paraquat frequently asked</p> <p>16 questions, doesn't it?</p> <p>17 A. It does.</p> <p>18 Q. And a frequently asked question is a question that</p> <p>19 you would expect the people out there who buy your product</p> <p>20 to perhaps want answered about that product?</p> <p>21 A. Yes.</p> <p>22 Q. One of those might be whether it causes me to get</p> <p>23 sick?</p> <p>24 A. Yes.</p> <p>25 Q. Whether it causes me to get Parkinson's disease?</p> |

44 (Pages 414 - 417)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 418</p> <p>1 A. Yes.</p> <p>2 Q. Because there had been some rumblings, right?</p> <p>3 A. There had.</p> <p>4 Q. All right. Let's take a look at what Syngenta had</p> <p>5 on its website three weeks before that meeting that you just</p> <p>6 told me they were so transparent at, okay?</p> <p>7 Let's look at this. First question:</p> <p>8 "Is paraquat safe to farmers and their families?"</p> <p>9 Next question:</p> <p>10 "What is the safety of paraquat to farmers when used</p> <p>11 long-term?</p> <p>12 "Has paraquat been found to cause cancer ...?"</p> <p>13 "Does paraquat cause Parkinson's Disease?"</p> <p>14 Do you see those?</p> <p>15 A. I do.</p> <p>16 Q. The answer given is:</p> <p>17 "There is no scientific or reliable epidemiological</p> <p>18 evidence so far to link paraquat with Parkinson's Disease.</p> <p>19 Previous studies have demonstrated that paraquat does not</p> <p>20 cross the blood-brain barrier easily, meaning that it does</p> <p>21 not reach the specific location in the brain necessary to</p> <p>22 produce Parkinson's symptoms.</p> <p>23 Am I reading that correctly?</p> <p>24 A. You are reading it correctly.</p> <p>25 Q. I will continue:</p> | <p style="text-align: right;">Page 420</p> <p>1 not teased out that area of brain and measured paraquat in</p> <p>2 that region.</p> <p>3 Q. Do you think it mattered to the average farmer</p> <p>4 whether you had teased that out --</p> <p>5 A. No, of course not.</p> <p>6 Q. Okay. Don't you think they are really worried about</p> <p>7 whether or not this stuff can make me sick generally?</p> <p>8 A. Of course.</p> <p>9 Q. And you think they might be concerned about whether</p> <p>10 or not it is going to cause them to get Parkinson's disease?</p> <p>11 A. Of course.</p> <p>12 Q. Do you know what the Illinois plaintiffs said when</p> <p>13 asked in their depositions whether they would have used this</p> <p>14 chemical if they had known there was any chance of getting</p> <p>15 Parkinson's disease? Do you know what they said?</p> <p>16 A. I do not.</p> <p>17 Q. Do you think that statement was transparent?</p> <p>18 A. It was transparent in terms of it being a conclusion</p> <p>19 that was appropriate at the time in that we did not</p> <p>20 believe -- and indeed still do not believe -- that the</p> <p>21 totality of evidence, not just the mouse models that we were</p> <p>22 talking about earlier but also the epidemiology, has yet</p> <p>23 come to a clear conclusion that paraquat is a causative</p> <p>24 agent in Parkinson's disease.</p> <p>25 Q. Didn't Dr. Marks conclude after repeated studies</p> |
| <p style="text-align: right;">Page 419</p> <p>1 "Epidemiology studies in areas of high and long-term</p> <p>2 paraquat usage have shown no increase in neurotoxic</p> <p>3 incidents."</p> <p>4 Right?</p> <p>5 A. That's what it says.</p> <p>6 Q. Now, were all those statements true?</p> <p>7 A. Well, you have to remember --</p> <p>8 Q. I am just asking you if they are true, in 2008?</p> <p>9 A. In 2008, I think that it is still broadly true</p> <p>10 because we were not yet seeing the totality of evidence that</p> <p>11 was sufficiently convincing to say that paraquat was a clear</p> <p>12 causative agent for paraquat -- for Parkinson's disease.</p> <p>13 Q. In the preceding five years, you just had test after</p> <p>14 test after test showing you that this chemical gets into the</p> <p>15 brain -- the substantia nigra -- of the exact location where</p> <p>16 paraquat can cause damage and cause Parkinson's disease,</p> <p>17 didn't you?</p> <p>18 A. Let me qualify --</p> <p>19 Q. If you could answer that?</p> <p>20 A. I need to answer it with a qualification, if I may.</p> <p>21 Q. All right.</p> <p>22 A. We had shown that paraquat had got potential to get</p> <p>23 into the brain. That a small amount of blood would get into</p> <p>24 the -- cross the blood-brain barrier into the brain. We</p> <p>25 hadn't definitely looked in the substantia nigra. We had</p> | <p style="text-align: right;">Page 421</p> <p>1 that paraquat selectively targeted the substantia nigra?</p> <p>2 A. She did. But that's not the same as saying that in</p> <p>3 the conditions at which people would be exposed to paraquat</p> <p>4 that that would pose a risk to those farmers and growers.</p> <p>5 Q. But telling them that it would cause this kind of</p> <p>6 dreadful disease would impact the bottom line, wouldn't it?</p> <p>7 MR. NARESH: Objection to form.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. If you told all the farmers in America that you --</p> <p>10 had brought your product that it could cause them to have</p> <p>11 Parkinson's disease, what do you think that would do to your</p> <p>12 sales?</p> <p>13 MR. NARESH: Objection to form, scope.</p> <p>14 A. Well, it absolutely --</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. Absolutely what?</p> <p>17 A. If I may say, it is a bit like me saying to you if</p> <p>18 you knew if you knew that you took twice the dose of</p> <p>19 acetaminophen or paracetamol that your liver may pack up,</p> <p>20 then you might be scared of taking paracetamol.</p> <p>21 Q. You bet I would.</p> <p>22 A. Yes, and that is the truth of the matter. But we</p> <p>23 don't suggest that you should stop using paracetamol.</p> <p>24 Q. Isn't damage to the substantia nigra the part of the</p> <p>25 brain associated with Parkinson's disease?</p> |

45 (Pages 418 - 421)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 422</p> <p>1 A. Yes.</p> <p>2 Q. How long did this statement appear on your</p> <p>3 Parkinson's -- on your paraquat website?</p> <p>4 A. I am afraid I don't know the answer to that.</p> <p>5 (Exhibit 38 marked for identification)</p> <p>6 MR. NARESH: Steve, could we go off the record</p> <p>7 and discuss this for a moment?</p> <p>8 MR. TILLERY: Sure.</p> <p>9 THE VIDEOGRAPHER: We are off the record at 2:34.</p> <p>10 (Break taken.)</p> <p>11 MR. NARESH: For the record we have discussed and</p> <p>12 we are willing to let you go forward on questioning on</p> <p>13 documents marked exhibit 38 -- which I assume will be</p> <p>14 exhibit 39 -- which were marked 502D documents by Syngenta,</p> <p>15 with all parties reserving the rights and to the extent that</p> <p>16 we believe that any of the questioning calls for the</p> <p>17 divulging of privileged or otherwise protected content, we</p> <p>18 will object on the record.</p> <p>19 MR. TILLERY: Okay. Back on the video record,</p> <p>20 please.</p> <p>21 THE VIDEOGRAPHER: We are back on the record as</p> <p>22 of 2:57. This is now media number 4 in the deposition of</p> <p>23 Philip Botham. You may continue.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. What is the number of the exhibit in front of you</p> | <p style="text-align: right;">Page 424</p> <p>1 this. You were evaluating product safety in terms of</p> <p>2 a mouse model?</p> <p>3 A. Yes. And it is a standard toxicological practice to</p> <p>4 take things like the no effect level we were discussing</p> <p>5 earlier and to do risk assessments from that.</p> <p>6 Q. Okay. Do you see the names listed on this document?</p> <p>7 I think, if you look at 14 --</p> <p>8 A. Yes.</p> <p>9 Q. -- who was the document's author?</p> <p>10 A. It is the four people that you see on page 14.</p> <p>11 Q. And that's Nick Sturgess, Kim Travis, Andy Cook and</p> <p>12 Phil Botham?</p> <p>13 A. Correct.</p> <p>14 Q. You are one of those authors?</p> <p>15 A. I am.</p> <p>16 Q. Okay.</p> <p>17 Was this kind of document prepared regularly at</p> <p>18 Syngenta?</p> <p>19 A. This kind of document is somewhat atypical in that</p> <p>20 we were taking a very precautionary approach, as I said</p> <p>21 a few minutes ago, assuming that this is an effect of real</p> <p>22 concern. But the principles of calculating no effect</p> <p>23 levels, doing risk assessment, is standard practice.</p> <p>24 Q. Why was the document prepared?</p> <p>25 A. In order for product safety, the function that I was</p> |
| <p style="text-align: right;">Page 423</p> <p>1 now, sir?</p> <p>2 A. Exhibit 38.</p> <p>3 Q. Okay. Do you know what 38 is?</p> <p>4 A. I know what the document is, yes.</p> <p>5 Q. Yes. What is it?</p> <p>6 A. It's an internal -- i.e. product safety, the</p> <p>7 department that I was responsible for evaluation of --</p> <p>8 essentially doing a risk assessment of the possibility that</p> <p>9 the findings that we have been discussing are real, and</p> <p>10 therefore we were looking to see what margins of exposure</p> <p>11 and safety margins would be -- occur if we assume that those</p> <p>12 findings were real.</p> <p>13 Q. And when you say "findings are real", what is it,</p> <p>14 more specifically, that you are referring to?</p> <p>15 A. We are referring to the findings we have just been</p> <p>16 discussing around the loss of dopaminergic cells in the</p> <p>17 brain.</p> <p>18 Q. That paraquat causes Parkinson's disease?</p> <p>19 A. That paraquat causes in the mouse model a loss of</p> <p>20 dopaminergic cells.</p> <p>21 Q. So you are limiting your evaluation in this product</p> <p>22 safety technical evaluation to the mouse model?</p> <p>23 A. That's -- the calculations are based on the data</p> <p>24 from the mouse model.</p> <p>25 Q. Okay. What I'm trying to figure out is the scope of</p> | <p style="text-align: right;">Page 425</p> <p>1 responsible for, to be fulfilling its duty of care to do</p> <p>2 risk assessment.</p> <p>3 Q. Was it prepared for any litigation?</p> <p>4 A. It was not in any sense done for litigation --</p> <p>5 purposes of future litigation.</p> <p>6 Q. Okay. On the first page, it says:</p> <p>7 "There is consistent evidence..."</p> <p>8 Do you see that?</p> <p>9 A. Yes.</p> <p>10 Q. Why don't you read that paragraph into the record?</p> <p>11 A. "There is consistent evidence in animal studies for</p> <p>12 the loss of dopaminergic neurones in the substantia nigra of</p> <p>13 male [C57Black6J] mice when dosed with paraquat (at up to</p> <p>14 one third of the median lethal dose) via the i.p. route."</p> <p>15 Q. And the next, if you wouldn't mind, where it starts:</p> <p>16 "There is no evidence..."?</p> <p>17 A. "There is no evidence to indicate that the observed</p> <p>18 effect on neuronal cell loss is an artefact of the test</p> <p>19 system, though this remains a possibility. Therefore it is</p> <p>20 prudent to assume at this stage that the finding is real,</p> <p>21 and that it is related to paraquat treatment in this strain</p> <p>22 of mice."</p> <p>23 Q. Okay. The next bullet reads, "In the absence..."</p> <p>24 Would you read that?</p> <p>25 A. "In the absence of evidence to the contrary, it is</p> |

46 (Pages 422 - 425)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 426</p> <p>1 assumed that this finding is potentially qualitatively</p> <p>2 relevant to man for the purposes of a re-evaluation of the</p> <p>3 reference dose."</p> <p>4 Q. What is a reference dose?</p> <p>5 A. It is a dose that is used in order to determine</p> <p>6 the -- again, the margin of safety as part of risk</p> <p>7 assessment.</p> <p>8 Q. And the sixth bullet reads, please check me for</p> <p>9 accuracy:</p> <p>10 "The estimated reference dose for neuronal cell loss</p> <p>11 is ... less than the current sub-chronic and chronic</p> <p>12 reference doses."</p> <p>13 MR. NARESH: Steve, I think you misspoke.</p> <p>14 MR. TILLERY: Really?</p> <p>15 MR. NARESH: I think you missed a word.</p> <p>16 MR. TILLERY: Okay, let me start over.</p> <p>17 Thank you, counsel.</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. Why don't you read it, the sixth bullet, where it</p> <p>20 says "The estimated reference dose"?</p> <p>21 A. "The estimated reference dose for neuronal cell loss</p> <p>22 is a little less than the current sub-chronic and chronic</p> <p>23 reference doses, but given the uncertainties of the</p> <p>24 calculation Product Safety considers the difference not to</p> <p>25 be significant."</p> | <p style="text-align: right;">Page 428</p> <p>1 A. Um-hm.</p> <p>2 Q. When did the product safety team first adopt this</p> <p>3 position?</p> <p>4 A. I believe that this was the first time that we put</p> <p>5 together the information in such a way.</p> <p>6 Q. Was it the position of Syngenta's product safety</p> <p>7 team in 2008?</p> <p>8 A. Well, I don't think we had actually -- we certainly</p> <p>9 hadn't done these kind of calculations in 2008.</p> <p>10 Q. Would it be different -- had you asked the same</p> <p>11 group of professionals in 2008 for their answer, would they</p> <p>12 have given you the same results?</p> <p>13 A. It would have been the same.</p> <p>14 Q. Would have been the same?</p> <p>15 A. Yes.</p> <p>16 Q. So the statement on the paraquat.com website</p> <p>17 "paraquat does not reach" the substantia nigra pars compacta</p> <p>18 is not all consistent with the position adopted in this</p> <p>19 technical evaluation, is it?</p> <p>20 A. It is not consistent, I would agree.</p> <p>21 Q. Okay. The second paragraph on the second page,</p> <p>22 starting with "A number", would you read that first full</p> <p>23 sentence?</p> <p>24 A. "A number of laboratories, including Syngenta CTL,</p> <p>25 have observed a reduction in neuronal cell counts in</p> |
| <p style="text-align: right;">Page 427</p> <p>1 Q. Okay. And the sub-chronic and chronic reference</p> <p>2 doses were based upon damage to the lungs as an endpoint,</p> <p>3 right?</p> <p>4 A. That is correct.</p> <p>5 Q. Product safety calculated the reference dose based</p> <p>6 on neuronal cell loss in the substantia nigra pars compacta</p> <p>7 as the endpoint, and that reference dose was lower; correct?</p> <p>8 A. The reference -- we took the no effect level from</p> <p>9 studies -- not our studies, actually, the studies of other</p> <p>10 researchers we have spoken about -- as the no effect level</p> <p>11 and then the reference dose is calculated by dividing that</p> <p>12 by some usual factors.</p> <p>13 Q. It was a lower number, wasn't it?</p> <p>14 A. Yes, yes.</p> <p>15 Q. The technical evaluation gives the position of</p> <p>16 Syngenta's Product Safety department in September 2009?</p> <p>17 A. That's correct.</p> <p>18 Q. With respect to paraquat's neurotoxic potential in</p> <p>19 the substantia nigra portion of the brain?</p> <p>20 A. Correct.</p> <p>21 Q. When did the product safety department or product</p> <p>22 safety group -- what do you refer to it as?</p> <p>23 A. It doesn't really matter, product safety team is</p> <p>24 fine.</p> <p>25 Q. Team?</p> | <p style="text-align: right;">Page 429</p> <p>1 dopaminergic neurones in the substantia nigra pars compacta</p> <p>2 brain region following paraquat administration [using]</p> <p>3 a dosing regimen of 10 mg/kg paraquat once or twice a week</p> <p>4 for three weeks (McCormack et al, 2002; Barlow et al, 2004;</p> <p>5 Cory-Slechta et al, 2005; CTL report number XM7258 ...)"</p> <p>6 Q. So the CL studies referred to here are Dr. Marks'</p> <p>7 studies, right?</p> <p>8 A. That is correct.</p> <p>9 Q. And the third party or independent scientific</p> <p>10 references are the same ones that Dr. Marks used in her</p> <p>11 studies and referenced?</p> <p>12 A. That -- that is correct.</p> <p>13 Q. Did Syngenta share this document with the</p> <p>14 United States Environmental Protection Agency?</p> <p>15 A. I don't believe we did.</p> <p>16 Q. Did Syngenta share this with any pesticide</p> <p>17 regulatory agency in the world?</p> <p>18 A. I cannot answer that. I don't know.</p> <p>19 Q. Did Syngenta ever publish these conclusions and</p> <p>20 share them with the public health committee?</p> <p>21 A. I don't believe it did.</p> <p>22 Q. Was this document restricted to internal use at</p> <p>23 Syngenta?</p> <p>24 A. It was largely intended to be our own internal</p> <p>25 evaluation, as I said, as part of our duty of care.</p> |

47 (Pages 426 - 429)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 430</p> <p>1 Q. Okay. Now let's go to the next document which will 2 be 39. 3 (Exhibit 39 marked for identification) 4 BY MR. TILLERY: 5 Q. Would you please take a minute and familiarize 6 yourself with this document? 7 A. Okay. 8 Q. Could you on the record please describe or identify 9 the document? 10 A. This is an update of the document we have just been 11 talking about. So it has the same title as the previous one 12 "A consideration of the Potential Implications for Reference 13 Doses" now dated draft July 2011. So just under two years 14 after the previous version. 15 Q. Does a final document have draft on it? They have 16 "draft" for years. They just keep putting the word "draft" 17 on it. Do you know why? 18 A. Because we were using draft to recognize that we 19 were still in the middle of a research program, so new data 20 were going to continue to emerge. 21 Q. Right. But you, as of the date of the issuance of 22 the document, it was at that point in time final? 23 A. With the information that was available at that 24 time. This was our best estimation of this situation, yes. 25 Q. All right. Okay.</p> | <p style="text-align: right;">Page 432</p> <p>1 study. 2 Q. Does this document give the position of the Syngenta 3 product safety with respect to paraquat's neurotoxic 4 potential as of July 2011? 5 A. I will qualify that if I may. It establishes as 6 I indicated a conservative position that paraquat could 7 cause the neuronal cell loss and therefore we were 8 establishing if that were the case whether we believed that 9 there were adequate margins of safety. 10 Q. Okay. The first bullet, if you read that, 11 "Executive summary." 12 A. "There is some evidence in animal studies for the 13 loss of dopaminergic neurones in substantia nigra of male 14 [C57 black 6J] mice when dosed with paraquat (at up to one 15 third of the median lethal dose) by the i.p. route." 16 Q. And the next, starting with "Recent"? 17 A. "Recent Syngenta studies have failed to consistently 18 replicate the findings reported in the literature of the 19 loss of dopaminergic neurons at doses of paraquat up to 20 10 mg/kg or at higher doses up to the maximum tolerated dose 21 (25 mg/kg). In addition, comprehensive neuropathology 22 studies have consistently indicated no evidence for neuronal 23 cell damage, cell loss or an inflammatory response following 24 paraquat exposure. It therefore remains a possibility that 25 the reported findings described in the literature on</p> |
| <p style="text-align: right;">Page 431</p> <p>1 And this document wasn't produced for litigation 2 purposes, was it? 3 A. It was not, in my understanding, no. 4 Q. Okay. Were you the head of this group? 5 A. Yes. By that time I was the head of the paraquat 6 health science team and also I had a leadership position in 7 the product safety organization. 8 Q. And the authors of this were Nick Sturgess, Kim 9 Travis, Andy Cook and you, is that right? 10 A. That is correct. 11 Q. And were others in attendance, do you remember? 12 A. By "attendance", this wasn't a meeting, this was 13 a document that was generated by that team working together. 14 Q. Okay. So there was no meeting to discuss the 15 content. It was just sent back and forth to reflect the 16 2011 -- 17 A. It was a combination of the four of us having 18 discussions and also looking at various drafts of this 19 document. 20 Q. As a matter of fact, you anticipated that 18 months 21 later you would have a next review, right? 22 A. That's right. Because as it said there, and as 23 I indicated a few moments ago, we were in the middle of 24 a research program and we were doing what we thought was 25 going to be a very relevant study, which is the 90 day data</p> | <p style="text-align: right;">Page 433</p> <p>1 neuronal cell loss are an artefact of the test system. It 2 is however prudent to assume at this stage that the reported 3 finding of neuronal cell loss is real, and that it is 4 related to paraquat treatment in this strain of mouse." 5 Q. And the recent Syngenta studies referred to in that 6 paragraph that you just read were experiments that would 7 later be published as the Breckenridge et al, 2013 study; 8 correct? 9 A. That is correct. 10 Q. What does "artefact of the test system" mean in this 11 context? 12 A. Rather like some of the discussions we were having 13 earlier today, that there may have been other explanations 14 that could have explained why there was an apparent loss of 15 dopaminergic neurons. Technical -- technical reasons, in 16 other words. 17 Q. The last sentence concludes: 18 "It is however prudent to assume at this stage that 19 the reported finding of neuronal cell loss is real, and that 20 it is related to paraquat treatment in this strain of 21 mouse." 22 Correct? 23 A. Yes. 24 Q. The next bullet reads: 25 "In the absence of evidence to the contrary, it is</p> |

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 434</p> <p>1 assumed that this finding is potentially qualitatively</p> <p>2 relevant to man for the purposes of a re-evaluation of the</p> <p>3 reference dose."</p> <p>4 Correct?</p> <p>5 Yes.</p> <p>6 Q. On page 4, if you look at that, in the product</p> <p>7 safety evaluation, is 44.0004?</p> <p>8 A. I have that.</p> <p>9 Q. Okay. It begins:</p> <p>10 "The most consistent finding from the body of animal</p> <p>11 studies reported in the literature is the loss of</p> <p>12 dopaminergic neurons in the substantia nigra pars compacta</p> <p>13 of male C57Bl6J mice, when compared to control animals."</p> <p>14 Correct?</p> <p>15 A. Correct.</p> <p>16 Q. Did Syngenta share this document with the</p> <p>17 United States Environmental Protection Agency?</p> <p>18 A. I don't know, but I don't believe so.</p> <p>19 Q. Did it share this document with any pesticide</p> <p>20 regulatory agency?</p> <p>21 A. I am not aware that it did.</p> <p>22 Q. With the public health community?</p> <p>23 A. I am not aware that it did.</p> <p>24 Q. Was it restricted to internal use?</p> <p>25 A. That is my understanding of this document.</p> | <p style="text-align: right;">Page 436</p> <p>1 A. It's -- again, I wouldn't quite put it</p> <p>2 "incorrectly". As we said this morning, this was -- and is</p> <p>3 still -- a relatively new technique. Not that many people</p> <p>4 used it. And I think the community as a whole, not just us,</p> <p>5 was still learning that you could get different results</p> <p>6 simply as an example that I was showing there how thick the</p> <p>7 sections were that you put under the microscope.</p> <p>8 Q. Right. But you did take note as the group, didn't</p> <p>9 you, that Dr. Marks' results were consistently virtually</p> <p>10 identical to laboratories doing the same test in different</p> <p>11 parts of the world; right?</p> <p>12 A. Of course, yes. Yes.</p> <p>13 Q. Okay. And presumably doing it slightly differently,</p> <p>14 following the same test protocol but different people,</p> <p>15 different -- perhaps different protocols for the test, but</p> <p>16 arriving at the same results. Did you take that into</p> <p>17 account?</p> <p>18 A. We took that into account. But I think as this also</p> <p>19 said, we were, by this time as reported in Breckenridge et</p> <p>20 al, given advice by again independent pathologists that you</p> <p>21 needed to look in addition to just measuring the TH positive</p> <p>22 cells, at other histopathological or pathological</p> <p>23 measurements that you should see if those cells were</p> <p>24 genuinely dying.</p> <p>25 Q. What is a TH positive cell?</p> |
| <p style="text-align: right;">Page 435</p> <p>1 Q. I'm going to go backwards. How does one arrive at</p> <p>2 the conclusion that an effect noted by a study result is an</p> <p>3 artefact of the test system?</p> <p>4 A. How would one conclude that it was an artefact --</p> <p>5 Q. How would you, as a scientist, conclude that?</p> <p>6 A. By finding a technical explanation that it was due</p> <p>7 to some way in which the effect was measured, for example.</p> <p>8 Q. Have you ever done that?</p> <p>9 A. We have done a lot of work to do -- to check that</p> <p>10 out, yes.</p> <p>11 Q. What was the artefact of the test system that you</p> <p>12 found?</p> <p>13 A. We -- I'm not saying that we found an artefact as</p> <p>14 such. What we found was that what the -- the results that</p> <p>15 you got when you measured the number of neurons in that part</p> <p>16 of the brain was very critically dependent on a number of</p> <p>17 factors. Not just the stereology machinery we were talking</p> <p>18 about this morning, but also the way in which you prepared</p> <p>19 the material, the brain, how you cut it, how you stained it,</p> <p>20 how -- whether you had -- were reading it blind to</p> <p>21 treatment. So a number of factors seemed to be at play</p> <p>22 here.</p> <p>23 Q. Well, did you find that any of the preparation had</p> <p>24 been done incorrectly in any of the studies of the public</p> <p>25 health community?</p> | <p style="text-align: right;">Page 437</p> <p>1 A. That is a dopaminergic cell for the purposes of the</p> <p>2 discussion here, because dopaminergic cells express on their</p> <p>3 cell surface an enzyme tyrosine hydroxylase, and that's</p> <p>4 actually what you see when you stain -- it is a marker for</p> <p>5 tyrosine hydroxylase.</p> <p>6 Q. What is the purpose of that enzyme?</p> <p>7 A. That is involved in the utilization of dopamine.</p> <p>8 Q. That is involved in using it, creating it, isn't it?</p> <p>9 A. Yes, yes.</p> <p>10 Q. That's what you need for that cell to be able to</p> <p>11 help you?</p> <p>12 A. Yes.</p> <p>13 Q. Without it, it may be there -- may or may not be</p> <p>14 showing up as dead or alive or one way or another, but</p> <p>15 without it, it is obviously not able to help you --</p> <p>16 A. If it is nonfunctioning.</p> <p>17 Q. If it is nonfunctioning?</p> <p>18 A. And there is a difference between not being able to</p> <p>19 see it with the markers we were using and it necessarily</p> <p>20 being nonfunctioning.</p> <p>21 Q. You mentioned cut as well. Did you see any evidence</p> <p>22 of a problem with the cut in Dr. Marks' studies?</p> <p>23 A. We didn't obviously go back and look at Dr. Marks'</p> <p>24 studies because the tissue was then too old to do that.</p> <p>25 Q. You never evaluated hers and thought that they were</p> |

49 (Pages 434 - 437)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 438</p> <p>1 done incorrectly?</p> <p>2 A. We were not able to do that.</p> <p>3 Q. Okay. And you didn't find her prep was wrong?</p> <p>4 A. We were not able to do that.</p> <p>5 Q. You did not find that her staining method was wrong?</p> <p>6 A. We are not saying that anything was wrong.</p> <p>7 Q. Or that anything else she did was wrong?</p> <p>8 A. We are not saying that anything she did was wrong.</p> <p>9 Q. What about McCormack or Di Monte, or any of these</p> <p>10 other people?</p> <p>11 A. I would say we would never have said that what they</p> <p>12 did was wrong.</p> <p>13 Q. Maybe that's word is too harsh. Okay, that they</p> <p>14 used an incorrect technique: did you ever find that anything</p> <p>15 done scientifically by way of technique achieved an</p> <p>16 unreliable result?</p> <p>17 A. I think the issue is that we know that the</p> <p>18 particular -- particularly the stereology technique is</p> <p>19 subject to the variability that we were able to see when we</p> <p>20 started to look at various things like the thickness of the</p> <p>21 tissues, how you looked down the microscope, at what depth.</p> <p>22 There were lots of technical detail there which started to</p> <p>23 uncover variability which maybe other researchers apart from</p> <p>24 ourselves were not aware of.</p> <p>25 Q. That's what I'm trying to find out. What are those</p> | <p style="text-align: right;">Page 440</p> <p>1 "aha" moments when you looked at it and said you have got</p> <p>2 the answer. Did you do that?</p> <p>3 MR. NARESH: I object to the form of the</p> <p>4 question. I think if you would like him to answer the</p> <p>5 question, he was offering to give you more detail.</p> <p>6 MR. TILLERY: He was offering to repeat what he</p> <p>7 said before.</p> <p>8 A. Let me say that one of the number of factors that</p> <p>9 I said before, one thing which came closest to being</p> <p>10 a critical factor -- not necessarily the critical factor but</p> <p>11 closest to it -- was actually for the pathologist to be</p> <p>12 blinded to treatment; to not know that he or she was looking</p> <p>13 at control animals not dosed with paraquat versus those that</p> <p>14 had been treated.</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. And that would be the one thing you would point to?</p> <p>17 A. No, that's why I said that very carefully. I said</p> <p>18 that that was the closest thing that we came to which could</p> <p>19 be the biggest factor. But we did not finally conclude that</p> <p>20 any of these factors was the sole definite reason for that.</p> <p>21 Q. Of all of them that you can think of, would you</p> <p>22 think not being blinded would be the most telling</p> <p>23 explanation?</p> <p>24 A. Well, when we looked at what it said in the</p> <p>25 publications of other people -- and actually what Dr. Marks</p> |
| <p style="text-align: right;">Page 439</p> <p>1 that you found to be an explanation for why these consistent</p> <p>2 independent researchers and independent laboratories</p> <p>3 published in peer-reviewed journals and Dr. Marks reached</p> <p>4 virtually identical results showing that the</p> <p>5 substantia nigra was being impacted by paraquat? What did</p> <p>6 you find that could explain the consistent results that they</p> <p>7 got?</p> <p>8 A. I would say the right thing to say is that we didn't</p> <p>9 find a specific issue which said "yes, it's that which must</p> <p>10 be responsible for the difference in the results".</p> <p>11 What I'm saying to you is that the large body of</p> <p>12 evidence that we accumulated with other external</p> <p>13 pathologists gave us some possible explanations which I have</p> <p>14 just been explaining to you, which could cause variability</p> <p>15 in results.</p> <p>16 Q. Is that the extent of your answer as far as deep as</p> <p>17 it can go and detail?</p> <p>18 A. I think that's probably adequate for now.</p> <p>19 Q. That makes me nervous. Okay, I am trying to find</p> <p>20 out if you have any specifics to answer my question.</p> <p>21 A. Well, I can repeat what I said earlier.</p> <p>22 Q. No, that's not necessary. You don't need to do</p> <p>23 that.</p> <p>24 A. Okay, fine.</p> <p>25 Q. I'm trying to find did you -- was there one of these</p> | <p style="text-align: right;">Page 441</p> <p>1 herself said -- then it seemed to be that some of those</p> <p>2 studies were certainly not read blinded to treatment.</p> <p>3 Either it said they weren't, or the matter was silent.</p> <p>4 Q. Okay.</p> <p>5 A. So that's why we wondered if that, indeed, may be an</p> <p>6 important factor.</p> <p>7 Q. And you are referring to the Minnema study, is that</p> <p>8 what you are --</p> <p>9 A. Yes, subsequently we have made that point in other</p> <p>10 papers.</p> <p>11 Q. And that's a study that you rely on, too, isn't it?</p> <p>12 A. It is, yes.</p> <p>13 Q. Okay. And that was one where a number -- the report</p> <p>14 was that a large number of the results were -- were not</p> <p>15 blinded?</p> <p>16 A. Yes, yes.</p> <p>17 Q. And that was a significant finding for you?</p> <p>18 A. I think it was certainly an important finding,</p> <p>19 I agree, yes.</p> <p>20 Q. Okay. But you never identify the flaw in the</p> <p>21 paraquat mouse model itself which could lead you to conclude</p> <p>22 that the neurotoxic effects are an artefact of the test</p> <p>23 system, right? That's right. Although if I may just speak</p> <p>24 from a toxicological principles perspective, again if the</p> <p>25 effect was real one would anticipate that had you dosed at</p> |

50 (Pages 438 - 441)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 442</p> <p>1 higher dose levels -- which we did do, we went up to 25 2 milligrams per kilogram, as high as we could possibly go 3 without killing a lot of the animals -- that you would see 4 that effect. It is another factor which says "if this is 5 really real, you would perhaps see it in a more pronounced 6 form" and we didn't. 7 Q. You mentioned you got advice from independent 8 pathologists. Are you saying that the pathologists you took 9 advice from were not in any way compensated by Syngenta? 10 A. No, I am not saying that. 11 Q. When you use the word "independent" do you include 12 people that you pay? 13 A. I do. Because although we paid them, at no time 14 were we sitting in their laboratory or sitting alongside 15 them when they were doing their microscope readings or 16 changing their reports. 17 Q. You are saying independent means that they are not 18 in your employ? 19 A. Indeed, and working independently from our 20 scientists. 21 Q. Okay. Are you aware that other people refer to 22 independents as people who do not have a pecuniary 23 relationship with Syngenta, are you aware of that? 24 A. Of course. 25 Q. Okay. And other independent people might be people</p> | <p style="text-align: right;">Page 444</p> <p>1 Q. Can you identify and describe plaintiff's 2 exhibit 40? 3 A. Just give me a minute or two to check, please. 4 Q. Of course, of course. 5 A. Okay. 6 Q. What is the document? 7 A. It is a PowerPoint presentation. 8 Q. And it is dated March 2, 2016? 9 A. That's correct. 10 Q. And it was done in Brazil? 11 A. That is correct. 12 Q. Okay. And did Syngenta sell paraquat in Brazil? 13 A. Yes. 14 Q. Okay. This is a presentation Charles Breckenridge 15 made to a expert panel at the Brazil pesticide regulator 16 ANVISA when the agency was considering banning paraquat, is 17 that right? 18 A. That is correct. 19 Q. And the topic here or the title is: "Does the animal 20 or human element support a causal relationship between 21 Paraquat use and Parkinsonism." 22 Correct? 23 A. Yes. 24 Q. You were asked to address that topic there, weren't 25 you?</p> |
| <p style="text-align: right;">Page 443</p> <p>1 who don't have a financial interest to where the results 2 could be impacted one way or another. 3 You understand that? 4 A. Of course, yes. 5 Q. That's why in peer-reviewed journal articles if any 6 part of your paying -- compensation, honorariums, anything 7 that is paid for by the company that is making the 8 product -- you have to report it? 9 A. Indeed. 10 Q. Why is that, do you think? 11 A. So that we are being transparent. 12 Q. Don't you think it might be that those editors of 13 those peer-reviewed journals want to make sure that the 14 people don't have another motive for what they found in 15 their science? 16 A. Of course. 17 Q. Okay. That's what I am thinking. 18 A. Yes. 19 Q. So when we use the word "independent", your 20 definition is that they are not sitting in your laboratory; 21 my definition is that they are not paid by you? 22 A. Okay. That's fine. 23 Q. All right. Let's go to the next one. 24 (Exhibit 40 marked for identification) 25 BY MR. TILLERY:</p> | <p style="text-align: right;">Page 445</p> <p>1 A. Yes, because the agency had asked a number of 2 questions. 3 Q. And the agency was concerned about that connection? 4 A. They were. 5 Q. And they wanted you to come there and answer 6 questions about it, right? 7 A. That is correct. 8 Q. So if turn to page 18, the top of it is "ANVISA's 9 Question on PQ as a Model for Parkinson's Disease". If you 10 can find that page, my number is cut off. 11 A. Can you just -- 12 Q. What is your page reference at the bottom? 13 A. Did you say page 18? 14 Q. I think so. 15 A. That is 116230. 16 Q. Thank you. It is actually page 14 of the 17 PowerPoint, isn't it? It looks like. But if you look at 18 the Bates number, I think it is 6223. 19 A. Yes, I am on that page. 20 Q. All right. And it's at the top of that says: 21 "ANVISA's Question on PQ as a Model for Parkinson's 22 Disease." 23 Do you see that? 24 A. I do. 25 Q. Two questions are presented and they both ask about</p> |

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 446</p> <p>1 animal studies investigating the relationship between 2 paraquat and Parkinson's disease, right? 3 A. Yes. 4 Q. And Syngenta's response is that animal studies 5 should produce a constellation of neurochemical, 6 neuropathological and motor symptoms observed in human cases 7 of PD or Parkinsonism; right? 8 A. Yes. 9 Q. And one of the neuropathological symptoms listed is 10 loss and neurodegeneration of dopaminergic neurons? 11 A. Yes. 12 Q. Now the last bullet says: 13 "Paraquat had no effect on these parameters in our 14 experiments after either [intra-peritoneal] ..." 15 And that references Breckenridge et al: 16 "... or oral administration at maximum tolerated 17 doses, (Minnema et al 2014)." 18 A. Yes. 19 Q. Did you inform ANVISA -- the expert panel of 20 ANVISA -- that Syngenta had observed the loss of 21 dopaminergic neurons in paraquat-treated mice in previous 22 studies? 23 A. I'm not sure whether that came up in the discussion 24 because I was not actually present in the meeting. 25 Q. Who did that presentation?</p> | <p style="text-align: right;">Page 448</p> <p>1 take away the overall conclusion that we were presenting. 2 Q. Right. You were trying to keep it from getting 3 banned, weren't you? 4 A. No. I would object to that. At no point did we 5 have a conversation where we said we would deliberately take 6 that information out. 7 Q. Was it banned in Brazil? 8 A. No. 9 Q. Okay. Do they know today about those studies? Have 10 you told them? 11 A. I don't know whether any further communication on 12 those studies has been made. 13 Q. Are you still selling the product in Brazil? 14 A. We are. 15 Q. Okay. What do you think the reaction will be when 16 this case comes out, all of the information comes out, that 17 you didn't tell them? 18 MR. NARESH: Objection to form, scope. 19 A. I would still maintain that what we were presenting 20 here -- as one often does in science -- was an overall 21 weight of the evidence. 22 BY MR. TILLERY: 23 Q. Okay. So the weight of evidence you presented 24 happened to be in favor of continuing to sell it; the weight 25 of the evidence you omitted would be against continuing to</p> |
| <p style="text-align: right;">Page 447</p> <p>1 A. Dr. Breckenridge. 2 Q. It looks like, if he didn't, he never told them 3 anything about Dr. Marks' studies, did he? 4 A. It is a possibility. 5 Q. Look at this document and show me where he -- 6 A. No, there is nothing on the slide, I agree. 7 Q. There is nothing there that he said one single word 8 about it. 9 Would you agree with me that making that statement 10 without producing those studies and telling those people 11 about it was absolutely a misrepresentation? 12 MR. NARESH: Objection form, foundation. 13 A. I think that there's a reasonable argument that we 14 could have actually included the word "consistent" in that. 15 Then that would have included the reality of what we found 16 no consistent effect. 17 BY MR. TILLERY: 18 Q. Were you involved in drafting this presentation? 19 A. Actually, yes, I was. And it's a point I think 20 with -- sometimes these things happen with the benefit of 21 hindsight, it is probably something that we should have 22 done. 23 Q. Would you, as a scientist, tell me right now that 24 you should have put it in there, shouldn't you? 25 A. I think that's not unreasonable. But it doesn't</p> | <p style="text-align: right;">Page 449</p> <p>1 sell it, right? 2 A. Well, weight is weight, sir. The fact that we were 3 finding effects in some of the studies, as were other 4 people, was, in the context of this larger amount of 5 information, a smaller proportion of that weight of 6 evidence. 7 Q. You knew when you drafted this -- or helped draft 8 it -- that you were omitting Louise Marks' words, right? 9 A. I think if you were inferring that we made 10 a deliberate decision to do that then -- 11 Q. No, excuse me. I move to strike that answer as 12 unresponsive. 13 A. That is fine. 14 Q. Did you know, when you drafted this, did you happen 15 to remember about Louise Marks' words? 16 MR. NARESH: Objection to form. 17 A. I think at the time these were drafted we were not 18 considering the Marks studies in our weight of evidence 19 thinking. 20 BY MR. TILLERY: 21 Q. Because they didn't reach the same conclusion, did 22 they? 23 A. At the time they were suggesting otherwise, yes. 24 Q. So you left them out? 25 A. I say again, I'm not aware that I or anybody else</p> |

52 (Pages 446 - 449)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 450</p> <p>1 deliberately left them out.</p> <p>2 Q. Did you just forget about them? Did you happen to</p> <p>3 forget about multiple studies of consistent results</p> <p>4 paralleling virtually identically the results of</p> <p>5 independent researchers in published literature, did you</p> <p>6 just forget about them?</p> <p>7 A. We were aware that ANVISA, because they had told us</p> <p>8 that, were well aware that there was published literature</p> <p>9 showing that there was an effect in this mouse model. So</p> <p>10 they were not blind to the fact that there was another part</p> <p>11 of the weight of evidence that would suggest that that</p> <p>12 should be taken into consideration.</p> <p>13 Q. So you are thinking they knew about McCormack, they</p> <p>14 knew about Di Monte's results?</p> <p>15 A. I believe they had already told us about that in</p> <p>16 some of the responses they gave us.</p> <p>17 Q. But they didn't know about the Marks studies, did</p> <p>18 they?</p> <p>19 A. I imagine that they didn't.</p> <p>20 Q. And you have never told them to this day, have you?</p> <p>21 A. I don't know. I would have to check that. I don't</p> <p>22 believe so.</p> <p>23 Q. All right.</p> <p>24 Has paraquat been phased out in Brazil?</p> <p>25 MR. NARESH: Objection, scope.</p> | <p style="text-align: right;">Page 452</p> <p>1 A. Causal means that you've got direct evidence in</p> <p>2 humans that there is a direct relationship between exposure</p> <p>3 to paraquat and the development of Parkinson's.</p> <p>4 Q. What I'm asking you is, is the Syngenta position</p> <p>5 consistent with that today, that statement?</p> <p>6 A. I would say that today we would still say that human</p> <p>7 epidemiological information would carry more weight in that</p> <p>8 weight of evidence that I was describing --</p> <p>9 Q. That is not --</p> <p>10 A. -- but animal model data are still part of that.</p> <p>11 Q. Well, then your answer to them was wrong, wasn't it?</p> <p>12 A. No, because the important word there is "causal" --</p> <p>13 Q. No, the important word is the first one. Do you see</p> <p>14 that?</p> <p>15 MR. NARESH: Steve, stop interrupting him.</p> <p>16 BY MR. TILLERY:</p> <p>17 Q. What does the sentence say? "Only", that's what I'm</p> <p>18 asking.</p> <p>19 MR. NARESH: Just please let him finish his</p> <p>20 answers to your questions --</p> <p>21 MR. TILLERY: He's not answering it.</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. Just answer my question --</p> <p>24 A. Yes.</p> <p>25 Q. Is that statement --</p> |
| <p style="text-align: right;">Page 451</p> <p>1 A. Not so far as I'm aware.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. If you look at the same page where you were under</p> <p>4 the questions that were asked by the regulatory authorities</p> <p>5 in Brazil?</p> <p>6 A. Mm-hm.</p> <p>7 Q. If you would like under "Response", the first</p> <p>8 bullet. Read that into the record, and that response is to</p> <p>9 which question?</p> <p>10 A. Well, there are two questions above:</p> <p>11 "Why could the results obtained in a study using PQ</p> <p>12 as a model for the induction of [Parkinson's disease] not be</p> <p>13 enough to consider such a substance as a potential cause of</p> <p>14 [Parkinson's disease] or Parkinsonism in humans?"</p> <p>15 Q. So your response, the first bullet, responds to all</p> <p>16 of that?</p> <p>17 A. Well, there is a second question which is:</p> <p>18 "What would be necessary to conclude that the animal</p> <p>19 model studies show evidence of [Parkinson's disease] or</p> <p>20 Parkinsonism resulting from human exposure to [paraquat]?"</p> <p>21 Q. And your first bullet, would you read that?</p> <p>22 A. "Only human epidemiological evidence can be used to</p> <p>23 conclude that a causal relationship exists between</p> <p>24 Parkinsonism in humans and paraquat exposure."</p> <p>25 Q. Is that Syngenta's position today?</p> | <p style="text-align: right;">Page 453</p> <p>1 MR. NARESH: Why don't you withdraw your prior --</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. I will withdraw it. Let me ask you, here's what it</p> <p>4 says:</p> <p>5 "Only human epidemiological evidence can be used to</p> <p>6 conclude that a causal relationship exists between</p> <p>7 Parkinsonism in humans and paraquat exposure."</p> <p>8 Is that what it says?</p> <p>9 A. That's what it says.</p> <p>10 Q. Is that Syngenta's position today?</p> <p>11 A. It is Syngenta's position that human epidemiological</p> <p>12 evidence is the only evidence, if you like, that can</p> <p>13 definitively lead to a conclusion about causality.</p> <p>14 Q. Okay.</p> <p>15 Would you go to the next page, sir, which is 116224?</p> <p>16 There's "Questions #3 and 4", do you see that, at the top?</p> <p>17 A. Yes.</p> <p>18 Q. And then down at the middle of the page, it says</p> <p>19 number 2:</p> <p>20 "Establish that the results are reproducible."</p> <p>21 A. Yes.</p> <p>22 Q. Okay. And you said:</p> <p>23 "The results from such studies must be robust and</p> <p>24 reproducible when investigators are blinded to treatment</p> <p>25 using confirmatory independent assessments both within and</p> |

53 (Pages 450 - 453)

CONFIDENTIAL

| Page 454 | Page 456 |
|---|---|
| <p>1 between labs/research groups ..."</p> <p>2 Okay?</p> <p>3 A. Right.</p> <p>4 Q. And then you say:</p> <p>5 "In our experiments we have not been able to</p> <p>6 reproduce the results reported by others."</p> <p>7 A. Yes.</p> <p>8 Q. That's an absolute misrepresentation, isn't it?</p> <p>9 A. Well, in the context of the conversation we have</p> <p>10 been having then clearly that, as we said before, did not</p> <p>11 fully include the, um, the earlier findings of our lab, yes.</p> <p>12 Q. You agree with me?</p> <p>13 A. Yes. As I said before, yes. With the benefit of</p> <p>14 hindsight, we might have put an additional clause in that to</p> <p>15 qualify.</p> <p>16 Q. Namely all of the tests --</p> <p>17 A. "Consistently" or --</p> <p>18 Q. All of the studies that Dr. Marks did which verified</p> <p>19 the results of the independent researchers, correct?</p> <p>20 A. That's something which we could have taken more</p> <p>21 consideration of, yes.</p> <p>22 Q. And put it in there and told the truth, right, okay?</p> <p>23 MR. NARESH: Objection to form.</p> <p>24 A. I still believe that the truth is based on that</p> <p>25 overall weight of evidence, but that's, I think, a point we</p> | <p>1 Q. And did you tell them that it had observed a loss of</p> <p>2 dopaminergic neurons at lower doses in the Marks studies?</p> <p>3 A. That isn't lower doses than the Marks studies.</p> <p>4 Q. One of them had 10.0, do you know that?</p> <p>5 A. Well, that's very marginal, I think, yes. Yes, yes.</p> <p>6 I think with the -- the analytical and other factors</p> <p>7 involved, 10 and 10.2 are essentially the same.</p> <p>8 Q. So they are the same?</p> <p>9 A. Yes.</p> <p>10 Q. Okay.</p> <p>11 Syngenta did not disclose to the Brazilian</p> <p>12 regulatory authorities that it had estimated a reference</p> <p>13 dose for paraquat based upon the loss of dopaminergic</p> <p>14 neurons in the substantia nigra, did it?</p> <p>15 A. It did not talk about the work we were talking about</p> <p>16 here earlier.</p> <p>17 Q. Okay.</p> <p>18 Syngenta also made a number of presentations to</p> <p>19 update the United States EPA regarding its paraquat mice</p> <p>20 research, didn't it?</p> <p>21 A. I believe it did, yes.</p> <p>22 MR. NARESH: I will object to the scope of this</p> <p>23 line of questioning.</p> <p>24 I think there is no (inaudible) about the</p> <p>25 interaction with the EPA. You can ask him in his personal</p> |
| Page 455 | Page 457 |
| <p>1 have covered before.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. Did you ever tell ANVISA that Dr. Marks' studies</p> <p>4 provided evidence that dopamine were lost -- or had lost</p> <p>5 dopamine function?</p> <p>6 A. As I said earlier, I'm not aware that we did that.</p> <p>7 Q. Did you ever disclose to the ANVISA panel in Brazil</p> <p>8 that Syngenta CTL had replicated the loss of dopaminergic</p> <p>9 neurons in the paraquat treated mouse that Di Monte's group</p> <p>10 had observed?</p> <p>11 A. I am not aware that we did that.</p> <p>12 Q. Did Syngenta disclose to ANVISA that it had observed</p> <p>13 loss of dopaminergic neurons at lower doses in the Marks</p> <p>14 studies?</p> <p>15 A. Lower doses than?</p> <p>16 Q. Than the NOEL of 10.2 milligrams per kilogram per</p> <p>17 day that you had identified?</p> <p>18 A. On which study -- which study are you referring to?</p> <p>19 Q. What is a NOEL again, sir?</p> <p>20 A. A no effect level.</p> <p>21 Q. Okay. Do you reference that here?</p> <p>22 A. The "no effect level" is in the notes, 10.2</p> <p>23 milligrams per kilogram.</p> <p>24 Q. Per day?</p> <p>25 A. Per day.</p> | <p>1 capacity --</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. Okay. In your personal capacity is fine.</p> <p>4 Did Syngenta ever disclose the full paraquat mouse</p> <p>5 research program conducted by Louise Marks in those updates</p> <p>6 to the US EPA?</p> <p>7 A. I can't answer that question. I was not involved in</p> <p>8 those presentations.</p> <p>9 Q. Okay. Did Syngenta ever disclose in those updates</p> <p>10 to the US EPA that CTL, the laboratory, had replicated the</p> <p>11 loss of dopaminergic neurons the substantia nigra seen in</p> <p>12 published literature?</p> <p>13 A. Again, I was not involved in those presentations so</p> <p>14 I don't know the answer to that question.</p> <p>15 Q. Did Syngenta ever represent to the US EPA that they</p> <p>16 could not replicate the loss of dopaminergic neurons seen in</p> <p>17 the published literature?</p> <p>18 A. So we were not able to replicate?</p> <p>19 Q. Yes.</p> <p>20 A. Yes, that --</p> <p>21 Q. Did you tell them that?</p> <p>22 A. That was the nature of the research that we've just</p> <p>23 been talking about.</p> <p>24 Q. Would that statement have been false?</p> <p>25 A. Well, it depends on the context in which it was</p> |

54 (Pages 454 - 457)

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 458</p> <p>1 said.</p> <p>2 Q. Well, in the context of having known that</p> <p>3 Louise Marks did these studies that replicated the prior</p> <p>4 results?</p> <p>5 A. Yes. But then, as we have said at some length, when</p> <p>6 these presentations were made we had done a lot more</p> <p>7 detailed research which had failed to replicate the</p> <p>8 findings.</p> <p>9 Q. So at no time did you ever tell them about</p> <p>10 Louise Marks --</p> <p>11 A. That I would need to check. Because, as I say,</p> <p>12 I have not been involved in all those communications.</p> <p>13 Q. Wouldn't transparency have required you to disclose</p> <p>14 the Marks studies and allow the agency to decide their</p> <p>15 significance or relevance?</p> <p>16 A. One thing of course we can say, because we mentioned</p> <p>17 it earlier, is that one of those Marks studies was submitted</p> <p>18 to the EPA.</p> <p>19 Q. Yes. But wouldn't transparency -- and when in case</p> <p>20 of doubt doing the right thing, in terms of being fully</p> <p>21 transparent, as you said it's your desire to do -- have</p> <p>22 pushed you to the direction of full disclosure?</p> <p>23 A. I -- as we said with Brazil, it is possible that</p> <p>24 with the benefit of hindsight, you could make that judgment</p> <p>25 It would still -- and I would repeat -- not change our</p> | <p style="text-align: right;">Page 460</p> <p>1 Dr. Louise Marks in her last week of employment.</p> <p>2 A. So you want me to read everything right from the</p> <p>3 first email?</p> <p>4 Q. No, I just want you to read it yourself.</p> <p>5 A. Okay, that's fine.</p> <p>6 Okay.</p> <p>7 Q. She issued her study reports on June 21, 2007,</p> <p>8 didn't she?</p> <p>9 A. That's the date we saw earlier.</p> <p>10 Q. This email exchange is 2007, June 22nd, the</p> <p>11 following day. Right?</p> <p>12 A. Mm-hm.</p> <p>13 Q. And in her first email, she says:</p> <p>14 "From 30th June my contact email will be ..."</p> <p>15 And she points out that that's her last week, okay?</p> <p>16 A. Yes.</p> <p>17 Q. And then she sends this to Barry Elliott. Who is</p> <p>18 that?</p> <p>19 A. Yes. Barry Elliott by that time had taken over the</p> <p>20 role that Mike Clapp had, if you remember Dr. Clapp earlier?</p> <p>21 So he was the product toxicologist for paraquat.</p> <p>22 Q. What did she send in that email?</p> <p>23 A. She sent details of the studies that we were</p> <p>24 discussing earlier and that they -- the studies had been</p> <p>25 issued, as we saw earlier, and that in accordance with</p> |
| <p style="text-align: right;">Page 459</p> <p>1 conclusion that the overall weight of the evidence suggests</p> <p>2 that their -- that paraquat does not have a reproducible</p> <p>3 effect on the substantia nigra.</p> <p>4 Q. That was not my question. I will move to strike it</p> <p>5 as unresponsive.</p> <p>6 My question is: would you agree that in the</p> <p>7 interests of full compliance in case of doubt, that it would</p> <p>8 be best to err in terms of being fully transparent with the</p> <p>9 agency, the regulatory agencies, responsible for guarding</p> <p>10 the public's interest; would you agree with that?</p> <p>11 A. Yes, but they were aware of the Marks study that we</p> <p>12 talked about earlier through the 6(a)(2) process.</p> <p>13 Q. I'm talking about all of the studies. Would you</p> <p>14 agree it would be best to err towards inclusion and to make</p> <p>15 them aware of all the findings?</p> <p>16 A. That is -- I have said several times that</p> <p>17 a different judgment could be made which would incorporate</p> <p>18 those findings as well.</p> <p>19 Q. And you actually made that judgment and recommended</p> <p>20 that course, didn't you?</p> <p>21 A. With regard to reporting under 6(a)(2), I said it</p> <p>22 might be something that was considered, yes.</p> <p>23 (Exhibit 41 marked for identification)</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. Please read this email exchange including</p> | <p style="text-align: right;">Page 461</p> <p>1 proper practice the raw material, the data and the slides</p> <p>2 had been archived.</p> <p>3 Q. And she sent along XM7229, XM7258, XM7371, XM7480,</p> <p>4 XM7552, XM7570, XR7641; correct?</p> <p>5 A. That's correct.</p> <p>6 Q. All of the study materials she sends along?</p> <p>7 A. Yes.</p> <p>8 Q. And then this information is sent on by Barry</p> <p>9 Elliott to a person named Sheldon Ros, right?</p> <p>10 A. Mm-hm.</p> <p>11 Q. And he says:</p> <p>12 "Can you check this SAMSON part please."</p> <p>13 What is a SAMSON part?</p> <p>14 A. SAMSON is essentially -- or was at that time -- our</p> <p>15 document management system.</p> <p>16 Q. And he says to Mr. Sheldon Ros --</p> <p>17 A. It is Ros Sheldon. It is a lady.</p> <p>18 Q. Okay. So says to Ros Sheldon:</p> <p>19 "We must control the accessibility of them to that</p> <p>20 usual for any such investigate reports."</p> <p>21 Right?</p> <p>22 A. Yes.</p> <p>23 Q. And Ros Sheldon says:</p> <p>24 "They are all entered as Research reports not for</p> <p>25 submission."</p> |

55 (Pages 458 - 461)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 462</p> <p>1 A. Yes.</p> <p>2 Q. What does "submission" mean?</p> <p>3 A. Submission to a regulatory authority.</p> <p>4 Q. That means they are not to be submitted to the</p> <p>5 regulatory authority. That's where they are filed?</p> <p>6 A. And this, let me say, is absolutely normal practice</p> <p>7 and was nothing specific to these reports.</p> <p>8 Q. Okay. All right, got it.</p> <p>9 But just coincidentally, they didn't get submitted</p> <p>10 to regulatory authorities, did they?</p> <p>11 A. They did not, I believe.</p> <p>12 MR. TILLERY: Thank you. We will take a break</p> <p>13 right now.</p> <p>14 THE VIDEOGRAPHER: We are going off the record at</p> <p>15 3:53.</p> <p>16 (Break taken.)</p> <p>17 THE VIDEOGRAPHER: We are back on the record as</p> <p>18 of 4:08. You may continue.</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. Earlier in the day I told you that I would come back</p> <p>21 to the topic of potentially referable findings, remember</p> <p>22 that?</p> <p>23 A. Yes.</p> <p>24 Q. Let's go back to the history of that group. When</p> <p>25 was the first time that Syngenta -- and by that I mean the</p> | <p style="text-align: right;">Page 464</p> <p>1 2009 and 2011 documents discussing the impact paraquat would</p> <p>2 have?</p> <p>3 A. No, that's different. No, we are talking about when</p> <p>4 studies are conducted, and we get the results and we have</p> <p>5 interpreted them, whether the -- they would meet the</p> <p>6 criteria of, or could potentially meet the criteria, of</p> <p>7 6(a)(2).</p> <p>8 Q. Okay. I want to start over if we can. I want to</p> <p>9 try to understand every single one of these different</p> <p>10 groups, subgroups, that impact paraquat.</p> <p>11 A. Right.</p> <p>12 Q. And I want to talk about them and then their</p> <p>13 interrelationship with America, okay?</p> <p>14 A. Okay.</p> <p>15 Q. So let's start over, if we can?</p> <p>16 A. Sure.</p> <p>17 Q. And let's go through each one of them. You have</p> <p>18 a Paraquat Parkinson's group, what is that called?</p> <p>19 A. So we have since 2008 --</p> <p>20 Q. Okay, in 2008 --</p> <p>21 A. Yes, we created the paraquat health science team.</p> <p>22 Q. And you certainly by that don't mean the health of</p> <p>23 paraquat, do you?</p> <p>24 A. No. No, we don't.</p> <p>25 Q. Okay. So the paraquat health science team?</p> |
| <p style="text-align: right;">Page 463</p> <p>1 definition we agreed to yesterday at the beginning of the</p> <p>2 deposition, to include all of the entities -- when was</p> <p>3 a potentially referable finding committee first created in</p> <p>4 the Syngenta organization or by its corporate predecessors?</p> <p>5 A. Well, again, remembering that we have to make the</p> <p>6 distinction between the US PRF committee which is the one</p> <p>7 that is accountable for fulfilling the obligations of the</p> <p>8 law, I can't speak directly as to when that committee was</p> <p>9 formed because I was never part of it. But we certainly set</p> <p>10 up the -- the approach committee within our function at the</p> <p>11 time that was required in order for us to start complying.</p> <p>12 I can't give you a date for that either off the top of my</p> <p>13 head.</p> <p>14 Q. I didn't understand what you said, approach</p> <p>15 committee?</p> <p>16 A. You remember I said this morning that the product</p> <p>17 safety organization as we now call it met to consider if</p> <p>18 findings were truly adverse as defined by the legislation,</p> <p>19 and then sent our recommendations to the US committee.</p> <p>20 Q. So the product safety committee?</p> <p>21 A. That's the product safety -- what we call the PRF</p> <p>22 approach committee.</p> <p>23 Q. Okay, the PRF approach committee?</p> <p>24 A. Yes.</p> <p>25 Q. And that's the group that you discussed about your</p> | <p style="text-align: right;">Page 465</p> <p>1 A. Yes.</p> <p>2 Q. Let's get them all down first --</p> <p>3 A. Okay.</p> <p>4 Q. -- and then let's come back and see how they</p> <p>5 inter-relate.</p> <p>6 A. Right.</p> <p>7 Q. And then what's next?</p> <p>8 A. Well, maybe the closest related to that, which we</p> <p>9 talked about yesterday, is the paraquat issues leadership</p> <p>10 team.</p> <p>11 Q. The paraquat issues leadership team.</p> <p>12 A. Correct. And that was responsible for overall</p> <p>13 governance. Remember we discussed who authorizes studies,</p> <p>14 et cetera.</p> <p>15 Q. Okay. When was that started?</p> <p>16 A. I am not -- I can't give a date, precise date to</p> <p>17 that. As I say, 2008 for the health sciences team. I can't</p> <p>18 remember when the PILT -- this is the PILT -- when that was</p> <p>19 created.</p> <p>20 Q. Before or after the paraquat health science team?</p> <p>21 A. I think it would be around about the same time,</p> <p>22 actually, yes.</p> <p>23 Q. Okay.</p> <p>24 A. Right. So they -- they were tasked with our</p> <p>25 research program on paraquat, particularly the health</p> |

56 (Pages 462 - 465)

CONFIDENTIAL

| | |
|---|---|
| <p style="text-align: right;">Page 466</p> <p>1 sciences team, with some governance from the PILT. 2 Q. Okay. 3 A. Right. Now if you then look at the product safety 4 function, which is the organization that I for a time was 5 leading, a separate committee to what we have just been 6 talking about because it dealt with any potentially -- any 7 findings from studies on any compounds that we were testing 8 or any information that we were getting from the outside 9 world, the PRF approach committee -- 10 Q. PRS approach -- 11 A. PRF, potentially referable findings, approach 12 committee was set up within what we now call product safety 13 in order to discuss whether the findings might meet the 14 criteria for a 6(a)(2) as defined in that regulation we were 15 reading out this morning. 16 And they would send on their recommendations or 17 their -- the outcome of their discussion to the US PRF 18 committee. So a separate committee -- this is coming to 19 your point -- was the United States PRF committee, and it 20 was that committee which had the accountability to make the 21 final determination of what was submitted to the US EPA. 22 Q. And so it is potentially referable -- 23 A. Findings, yes. 24 Q. -- referable findings committee? 25 A. Yes.</p> | <p style="text-align: right;">Page 468</p> <p>1 in different countries? 2 A. Correct. 3 Q. And that might include, of course, the fact that as 4 Syngenta sells paraquat in multiple different countries -- 5 A. Yes. 6 Q. -- it may include reporting or making reports in 7 those countries -- 8 A. Yes. 9 Q. -- about paraquat? 10 A. Yes, yes. 11 Q. Okay. In how many countries is paraquat sold by 12 Syngenta? 13 A. I don't have that figure in my head. 14 MR. NARESH: Object to the scope on that. 15 MR. TILLERY: Actually, it is one of his topics. 16 MR. NARESH: Which topic? 17 MR. TILLERY: I don't have the topic list. It is 18 right there in front, in your stack of papers. 19 BY MR. TILLERY: 20 Q. Does the Syngenta executive committee have anything 21 to do with this? 22 A. With what, sir? 23 Q. With any oversight of paraquat? 24 A. Well, in the sense that it has oversight of the way 25 in which paraquat is used and sold and marketed, of course,</p> |
| <p style="text-align: right;">Page 467</p> <p>1 Q. Now, so we have identified four different 2 committees? 3 A. Yes. 4 Q. Are they the only ones that could have anything to 5 do with paraquat? 6 A. Well, if we go back further in history, there were 7 other bodies -- 8 Q. I will get to those. But right now, those are in 9 existence? 10 A. Right now, those are indeed all in existence still. 11 Q. How many PRF committees are there in the entire 12 umbrella of Syngenta? 13 A. Well, there are other PRF committees in other 14 regions. I believe, for example, although I don't have any 15 direct contact, that there may still be a European PRF 16 committee that has a similar task to the US to comply with 17 the appropriate legislation in the EU. 18 Q. Well, is there one or not? 19 A. I don't know, because I no longer have any 20 interactions in that area. There certainly was for a -- 21 a period -- significant period, when I was directly involved 22 with the PRF process. I no longer am directly involved with 23 the PRF process. 24 Q. And they would then make potentially referable 25 finding conclusions about notifying regulatory authorities</p> | <p style="text-align: right;">Page 469</p> <p>1 as one of the key products in the company. 2 Q. And as a result of that, the SEC that you identified 3 this morning and described in terms of their authority, 4 would be put on notice and -- about items that might have 5 some bearing on the sales of the product? 6 A. They would, yes. 7 Q. Okay. And research concerning the product? 8 A. Any significant research they would potentially be 9 informed about, yes. 10 Q. And how many members are on the SEC? 11 A. At the moment less than ten. This has changed very 12 recently because we are now Syngenta Group so the numbers 13 change actually from one -- almost from one year to another. 14 Q. Syngenta's headquarters are in Basel, Switzerland? 15 A. They are. 16 Q. And in what parts of the world are you located? 17 A. In virtually all parts of the world. 18 Q. Okay. So you have operations in South America, and 19 the Pacific Rim, all over North America, Canada, Australia? 20 A. Yes. 21 Q. China? 22 A. Yes. 23 Q. Africa? 24 A. Yes. 25 Q. Is there an area of the world where you don't have</p> |

CONFIDENTIAL

| | |
|--|--|
| <p style="text-align: right;">Page 470</p> <p>1 a dominant position in terms of agricultural chemicals?</p> <p>2 MR. NARESH: Objection to form and scope.</p> <p>3 I am not seeing it in the -- if you can point me to</p> <p>4 something, I am just not seeing anything -- I am fine with</p> <p>5 you asking questions in his personal capacity on Syngenta</p> <p>6 sales, but I am not seeing it in the topics.</p> <p>7 MR. TILLERY: No, no, I was talking to it -- well</p> <p>8 okay.</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. Go ahead.</p> <p>11 A. Well, we are a leading crop protection company in</p> <p>12 what we call all the four main regions of the world: Asia</p> <p>13 Pacific; European -- Europe, Middle East and Africa;</p> <p>14 North America and South America.</p> <p>15 Q. Has there been any significant change in the way</p> <p>16 Syngenta is managed since the acquisition by ChemChina?</p> <p>17 MR. NARESH: Objection to scope.</p> <p>18 A. We still have the Syngenta executive committee who</p> <p>19 is responsible for the strategy and operation of Syngenta</p> <p>20 and its associated businesses.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. But in terms of its leadership teams, its hierarchy</p> <p>23 of responsibility, is it generally the same?</p> <p>24 MR. NARESH: Same objection.</p> <p>25 A. It is generally the same, although recently we have</p> | <p style="text-align: right;">Page 472</p> <p>1 Q. And they have actually made presentations?</p> <p>2 A. Yes, that's true.</p> <p>3 Q. All right. You have been there when they have made</p> <p>4 presentations?</p> <p>5 A. Yes.</p> <p>6 Q. And they are there during scientific reports and</p> <p>7 giving advice about the inclusion of information in those</p> <p>8 reports; correct?</p> <p>9 A. By reports, you mean what?</p> <p>10 Q. Well, let's say this: PowerPoints with the rest of</p> <p>11 the group, editing PowerPoints, are you aware they did that?</p> <p>12 MR. NARESH: Objection to form.</p> <p>13 A. I was not aware that external counsel were involved</p> <p>14 in editing our PowerPoints.</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. Would you think that that would be inappropriate?</p> <p>17 A. I think under some circumstances it certainly would.</p> <p>18 Q. Why? Why would you that having some outside lawyer</p> <p>19 from some private law firm in America editing your reports</p> <p>20 and telling scientists what they can and cannot say about</p> <p>21 paraquat would be inappropriate?</p> <p>22 MR. NARESH: Objection to form.</p> <p>23 A. I don't believe in my experience that has happened.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. Okay. So you certainly would not put up with it,</p> |
| <p style="text-align: right;">Page 471</p> <p>1 formed this Syngenta Group which -- where other companies</p> <p>2 are now part of that group, and the leadership team has</p> <p>3 changed as a consequence of that.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. Now the descriptions that you have given to me --</p> <p>6 you talked about the paraquat health science team -- is that</p> <p>7 comprised of members of Syngenta employees only?</p> <p>8 A. The team is -- the core team is certainly Syngenta</p> <p>9 employees. But we have consistently, since 2008, included</p> <p>10 in our teams and our team meetings external experts. Some</p> <p>11 have had a longstanding relationship with us, others have</p> <p>12 had -- come in from time to time.</p> <p>13 Q. And what is the overall responsibility of that team?</p> <p>14 A. To address the issue of whether paraquat could be</p> <p>15 a causative agent in Parkinson's disease.</p> <p>16 Q. And you have involved outside lawyers in that team</p> <p>17 as well?</p> <p>18 A. Occasionally outside lawyers have come in to talk to</p> <p>19 us.</p> <p>20 Q. But actually, more than talk to you; right?</p> <p>21 A. Perhaps you could clarify what you mean?</p> <p>22 Q. Well, have you had lawyers from Fulbright Deloitte</p> <p>23 sit in on your meetings, presentations at science</p> <p>24 committees?</p> <p>25 A. That has occurred, yes.</p> | <p style="text-align: right;">Page 473</p> <p>1 would you?</p> <p>2 A. No.</p> <p>3 Q. Okay, what would you tell them?</p> <p>4 MR. NARESH: Objection to form.</p> <p>5 A. I would tell them that they are perfectly entitled</p> <p>6 to give advice about how we are made aware of the situation</p> <p>7 that exists in terms of things like potential litigation.</p> <p>8 But we -- we would not expect them to be saying "you do this</p> <p>9 experiment and not that experiment".</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. Or that you say this about this chemical, or you say</p> <p>12 that about this chemical?</p> <p>13 A. Absolutely, absolutely.</p> <p>14 Q. You would think that that should rest within the</p> <p>15 discretion of the Syngenta scientists and leadership team</p> <p>16 within Syngenta; correct?</p> <p>17 A. That is part of the ethos of the team exactly, yes.</p> <p>18 Q. Right, right.</p> <p>19 Now, can you tell me in terms of the paraquat health</p> <p>20 science team, is it made up of people from around the world,</p> <p>21 or are you located in one particular geographic area?</p> <p>22 A. No, it's from more than one part of the world. So</p> <p>23 we have people -- or have had people, again there is history</p> <p>24 of people, some people being in it at one time and not at</p> <p>25 others -- but we generally have people from both Europe and</p> |

58 (Pages 470 - 473)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 474</p> <p>1 North America, particularly.</p> <p>2 Q. Any other parts of the world besides Europe and</p> <p>3 North America?</p> <p>4 A. Generally speaking, no. It has largely been from</p> <p>5 those two regions.</p> <p>6 Q. Has there been any specific accomplishment by the</p> <p>7 paraquat health science team in terms of the progression of</p> <p>8 your thinking about paraquat and Parkinson's disease?</p> <p>9 MR. NARESH: Objection to form.</p> <p>10 A. Well, I think you have seen that the work program</p> <p>11 that we have conducted in the form -- and how it has been</p> <p>12 published, the kind of experiments that we have done -- and</p> <p>13 we spoke yesterday about experiments which are still in</p> <p>14 progress to completion and publication.</p> <p>15 So our achievements have been to conduct a large</p> <p>16 body of research and to put that into the public domain as</p> <p>17 much as we can.</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. Have you received advice from any outside lawyers</p> <p>20 about what studies you should do?</p> <p>21 A. Not in terms of what studies we should do, no.</p> <p>22 Q. Okay. Again, would that fall into the realm of</p> <p>23 things that you would think would be inappropriate?</p> <p>24 A. Unless there was a particularly good reason to -- to</p> <p>25 give out that kind of advice then, generally speaking,</p> | <p style="text-align: right;">Page 476</p> <p>1 Let's talk about -- I don't remember the name of the</p> <p>2 group that you headed up.</p> <p>3 A. Yes, yes.</p> <p>4 Q. What was the name of that group?</p> <p>5 A. It didn't have a particular name. I think you're</p> <p>6 referring to the documents where we were talking about</p> <p>7 reference doses and so on.</p> <p>8 Q. It was you, Dr. Sturgess and --</p> <p>9 A. Dr. Travis.</p> <p>10 Q. Dr. Travis.</p> <p>11 A. And Mr. Cook.</p> <p>12 Q. And you had two documents, 2009 and 2011. What was</p> <p>13 the name of that group?</p> <p>14 A. Well, we didn't call ourselves -- there wasn't an</p> <p>15 official title. We were part of what we called a -- the</p> <p>16 paraquat technical team, which was a subset of the paraquat</p> <p>17 health science team.</p> <p>18 Q. And how do you keep all these teams, committees,</p> <p>19 groups, straight?</p> <p>20 A. That's part of my leadership responsibilities.</p> <p>21 Q. So that's the paraquat --</p> <p>22 A. Let's describe it as the paraquat technical team.</p> <p>23 Q. Technical team?</p> <p>24 A. Yes.</p> <p>25 Q. Okay.</p> |
| <p style="text-align: right;">Page 475</p> <p>1 I wouldn't want the science -- good science to be not</p> <p>2 allowed to progress.</p> <p>3 Q. Would you agree with me that an outside lawyer who</p> <p>4 is retained primarily to represent the company in terms of</p> <p>5 potential exposure from the sale of its product would have</p> <p>6 different kinds of motives than that of a scientist whose</p> <p>7 motives are to obtain an accurate objective reproducible</p> <p>8 scientific result?</p> <p>9 MR. NARESH: Objection to scope, form.</p> <p>10 A. Call it motives, different agendas, what you will,</p> <p>11 of course, yes, that's true.</p> <p>12 BY MR. TILLERY:</p> <p>13 Q. That seems pretty fundamentally correct, yes?</p> <p>14 A. Of course.</p> <p>15 Q. And that's the reason why you wouldn't want them</p> <p>16 telling you what science to do, would you?</p> <p>17 MR. NARESH: Same objection.</p> <p>18 A. And why in my experience, I don't recall ever having</p> <p>19 been told by lawyers not to do --</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. Ever saying that?</p> <p>22 A. No.</p> <p>23 Q. And if that happened, they didn't tell you about it?</p> <p>24 A. Indeed.</p> <p>25 Q. Okay.</p> | <p style="text-align: right;">Page 477</p> <p>1 And are you no longer on that team?</p> <p>2 A. No, I'm still part of that team.</p> <p>3 Q. All right. Are you the head of that team?</p> <p>4 A. I still act to head that team, yes.</p> <p>5 Q. All right. And how long has that team been in</p> <p>6 existence?</p> <p>7 A. It's been part of the modus operandi of the health</p> <p>8 science team, i.e. being a group which feeds into the health</p> <p>9 science team, for as long as the health science team has</p> <p>10 been in existence.</p> <p>11 Q. Okay. So in 2008 or thereabouts when the paraquat</p> <p>12 health science team started, you started a technical team to</p> <p>13 support it --</p> <p>14 A. We had something similar to what we've got now.</p> <p>15 Different people -- some different people, but broadly</p> <p>16 having the same --</p> <p>17 Q. Do you have marketing people on the paraquat health</p> <p>18 science team?</p> <p>19 A. No.</p> <p>20 Q. Okay. Do you have marketing people on the paraquat</p> <p>21 issues leadership team?</p> <p>22 A. Yes.</p> <p>23 Q. And who are those people?</p> <p>24 A. I -- I can't give you names off the top of my head.</p> <p>25 And today the paraquat issues leadership team is a looser</p> |

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 478</p> <p>1 association, it is a looser organization than it used to be</p> <p>2 until a few years ago.</p> <p>3 Q. Can you explain why you have marketing people on</p> <p>4 the paraquat issues leadership team?</p> <p>5 A. I believe that it was thought wise to have -- to</p> <p>6 give again transparency to the marketing organization about,</p> <p>7 um, safety and regulatory issues regarding paraquat, so it</p> <p>8 is giving them foresight of things that could affect their</p> <p>9 ability to sell paraquat.</p> <p>10 Q. Anybody from the business side on the paraquat</p> <p>11 health science team?</p> <p>12 A. No.</p> <p>13 Q. Okay. You talked about different PRF committees,</p> <p>14 and you mentioned the difference between the US and Europe.</p> <p>15 Are you aware of any other PRF committees with respect to</p> <p>16 regulatory oversight by other countries?</p> <p>17 A. No, I am not aware. I am not saying that there</p> <p>18 aren't, but I don't remember having visibility of any other</p> <p>19 PRF committees other than the one in Europe.</p> <p>20 I don't even know whether that still works.</p> <p>21 I assume it does, but I don't see evidence of that, or the</p> <p>22 North American one.</p> <p>23 Q. When an adverse effect is reported to the regulatory</p> <p>24 authority in the United States, the US EPA, is it</p> <p>25 automatically reported in other countries where the product</p> | <p style="text-align: right;">Page 480</p> <p>1 is my experience from sometimes chairing that committee as</p> <p>2 well as being part of it, if it is clear that the criteria</p> <p>3 are not fulfilled then the record is there that we had that</p> <p>4 discussion, but it does not get submitted to the US</p> <p>5 committee.</p> <p>6 If it is clear that they do meet the criteria, or if</p> <p>7 there is any doubt, then they are submitted to the US</p> <p>8 committee.</p> <p>9 Q. Okay. It goes to the PRF committee?</p> <p>10 A. To the US PRF committee, yes.</p> <p>11 Q. Okay.</p> <p>12 So along the process, the PRF approach committee has</p> <p>13 the authority to stop the advancement of an adverse effect</p> <p>14 potentially being sent on to a regulatory authority, and the</p> <p>15 PRF committee itself does, right?</p> <p>16 A. Yes.</p> <p>17 Q. And then after the PRF committee makes the</p> <p>18 recommendation, where does it go from there?</p> <p>19 A. Are you there referring to the approach committee or</p> <p>20 the US committee?</p> <p>21 Q. No, the US committee. The PRF committee in the</p> <p>22 United States?</p> <p>23 A. If the US committee believes that it meets the</p> <p>24 criteria of 6(a)(2), it will submit to the US EPA.</p> <p>25 Q. Without further ado?</p> |
| <p style="text-align: right;">Page 479</p> <p>1 is sold?</p> <p>2 A. I'm not sure if that process is in place. I don't</p> <p>3 know.</p> <p>4 Q. Was it when you were on the committee?</p> <p>5 A. I -- at the time I was on the committee I don't</p> <p>6 know, because I think as I said earlier the US PRF committee</p> <p>7 I was not a member of that, so I don't exactly know what</p> <p>8 their onwards process of communication was, other than into</p> <p>9 the EPA.</p> <p>10 Q. When a person makes -- or scientist makes --</p> <p>11 a finding and sends it to, you said, a PRF approach</p> <p>12 committee, do they get it before the PRF committee?</p> <p>13 A. Yes. Because the PRF approach committee is within</p> <p>14 the function that is generating the data doing the studies,</p> <p>15 either within the function itself or at contract research</p> <p>16 organizations. So they are the scientists who first become</p> <p>17 aware of the findings.</p> <p>18 Q. And they then do an evaluation?</p> <p>19 A. They evaluate whether the findings -- whether they</p> <p>20 are real, whether they are related to treatment, if they are</p> <p>21 adverse and if they fulfill the criteria for 6(a)(2).</p> <p>22 Q. And they say it doesn't, does that stop it? Right</p> <p>23 there it ends?</p> <p>24 A. If the committee -- if that approach committee</p> <p>25 makes -- if it is clear to that approach committee, and this</p> | <p style="text-align: right;">Page 481</p> <p>1 A. I don't believe there is another check in that</p> <p>2 process.</p> <p>3 Q. Okay. So you don't believe the Syngenta executive</p> <p>4 committee has to approve any decision or recommendation by</p> <p>5 a potentially referable finding committee about whether to</p> <p>6 report adverse effects to regulatory authorities?</p> <p>7 A. I don't believe that the Syngenta executive</p> <p>8 committee gets involved in that kind of decision.</p> <p>9 Q. Now have we identified all people outside of</p> <p>10 Syngenta in all the committees? Are there other people who</p> <p>11 are not fully employed by Syngenta who occupy roles in any</p> <p>12 of these committees other than one you've described for me?</p> <p>13 A. Right. So certainly there are no</p> <p>14 outside non-employed -- Syngenta employed -- people on</p> <p>15 either of the PRF committees. So we can exclude those.</p> <p>16 Q. Okay. All right.</p> <p>17 A. There is nobody outside of Syngenta on the PILT.</p> <p>18 Q. Okay.</p> <p>19 A. Paraquat issues leadership team.</p> <p>20 Q. All right.</p> <p>21 A. As I said earlier, when it comes to the paraquat</p> <p>22 health scientists team, we have had people, consultants,</p> <p>23 outside experts, be part of that team for in some cases</p> <p>24 quite a long period and other cases for a short period.</p> <p>25 Q. Okay. Is a notifiable effect the same as</p> |

60 (Pages 478 - 481)

CONFIDENTIAL

| | |
|---|--|
| <p style="text-align: right;">Page 482</p> <p>1 a potentially referable finding?</p> <p>2 MR. NARESH: Objection, calls for a legal</p> <p>3 conclusion.</p> <p>4 A. Possibly, yes. It sounds a reasonable conclusion.</p> <p>5 BY MR. TILLERY:</p> <p>6 Q. Have you ever heard of the term "notifiable effect"</p> <p>7 being used at Syngenta?</p> <p>8 A. Yes, it is a term which is sometimes used, yes.</p> <p>9 Q. Interchangeably with --</p> <p>10 A. Yes, my understanding of how that would be used</p> <p>11 would be interchangeable with potentially referable, yes.</p> <p>12 That's not to say that other people might have a different</p> <p>13 view, but that would be my understanding.</p> <p>14 Q. If a product is determined to be potentially</p> <p>15 neurotoxic does that indicate to you that much more care in</p> <p>16 analysis is required because of possible serious harm or</p> <p>17 death to consumers?</p> <p>18 A. We take all potential findings in studies that may</p> <p>19 have consequences for human health very seriously, so not</p> <p>20 just neurotoxicity.</p> <p>21 Q. So, in other words, you don't treat products that</p> <p>22 are determined to be potentially neurotoxic any more</p> <p>23 seriously than any other product?</p> <p>24 A. That is in no way to diminish what you -- what you</p> <p>25 are inferring, that any potential health effect is something</p> | <p style="text-align: right;">Page 484</p> <p>1 European Union has banned it?</p> <p>2 A. It was part -- well, because the decision-making is</p> <p>3 done at the EU level and we were talking about that list</p> <p>4 just now.</p> <p>5 Q. All right. So it can't be sold in the EU?</p> <p>6 A. That's the current position, yes.</p> <p>7 Q. All right. What other countries have banned it?</p> <p>8 A. Well, a number of other countries in the Far East,</p> <p>9 China for example. Again, I would have to check back on the</p> <p>10 list that -- yes, the number of countries have banned it.</p> <p>11 Q. Who keeps that list?</p> <p>12 A. The marketing organization.</p> <p>13 Q. Okay. And you say China. When did China ban it?</p> <p>14 A. Again, I can't remember the exact date.</p> <p>15 Q. And did Vietnam ban it?</p> <p>16 A. I can't remember whether that was one of the</p> <p>17 countries.</p> <p>18 Q. What other Pacific Rim countries have banned</p> <p>19 paraquat from being sold in their countries?</p> <p>20 A. Well, I think I would need notice of that question</p> <p>21 to check. It is not a list that I tend to keep in my head.</p> <p>22 Q. Do you know the rough number of countries --</p> <p>23 A. I think you indicated a number this morning --</p> <p>24 Q. I told you 32, but I think my information might be</p> <p>25 out of date.</p> |
| <p style="text-align: right;">Page 483</p> <p>1 which we need to take seriously.</p> <p>2 Q. Okay. Would you agree with me that if a product is</p> <p>3 determined to be potentially neurotoxic, it sends an</p> <p>4 indication, a red flag to you that care, deliberation,</p> <p>5 caution is required because of the potential side effects</p> <p>6 to, impact caused -- whatever you want to call it -- to the</p> <p>7 consuming public, the people who by your product?</p> <p>8 MR. NARESH: Objection to form.</p> <p>9 A. Of course. And that has always been the ethos of</p> <p>10 the approach committee which I was apart of, indeed.</p> <p>11 BY MR. TILLERY:</p> <p>12 Q. Let's talk about countries that have banned the</p> <p>13 chemical paraquat, okay?</p> <p>14 Does Switzerland allow paraquat to be used?</p> <p>15 A. I believe it does not.</p> <p>16 Q. Okay. Does England?</p> <p>17 A. No.</p> <p>18 Q. Does France?</p> <p>19 A. No.</p> <p>20 Q. Germany?</p> <p>21 A. No EU country does.</p> <p>22 Q. So I could go through the whole list --</p> <p>23 A. You could.</p> <p>24 Q. -- and none of them, okay?</p> <p>25 When you say "EU", every country ever of the</p> | <p style="text-align: right;">Page 485</p> <p>1 A. Yes, I can neither confirm nor deny that.</p> <p>2 Q. Who is -- strike that.</p> <p>3 Who owns Syngenta?</p> <p>4 MR. NARESH: Objection, scope.</p> <p>5 A. ChemChina.</p> <p>6 BY MR. TILLERY:</p> <p>7 Q. Where is Syngenta headquartered?</p> <p>8 A. Basel.</p> <p>9 Q. Okay.</p> <p>10 Was paraquat banned by any country because of its</p> <p>11 neurotoxicity, or its potential to be neurotoxic?</p> <p>12 A. I don't believe so. But I would -- again a detail</p> <p>13 that I would need to check. My understanding is most of the</p> <p>14 bans have been associated with its acute toxicity.</p> <p>15 Q. Has paraquat been banned by any country because</p> <p>16 Syngenta could not establish that it was not neurotoxic?</p> <p>17 A. I'm not aware of that, but again I would need to</p> <p>18 check the detail.</p> <p>19 Q. Has any country banned paraquat because of a risk of</p> <p>20 brain injury?</p> <p>21 A. I am not aware of that.</p> <p>22 Q. But you can't deny --</p> <p>23 A. I would need to check that.</p> <p>24 Q. Has Syngenta been asked by any country considering</p> <p>25 the registration and permission to continue to sell it to</p> |

CONFIDENTIAL

| | |
|--|---|
| <p>Page 486</p> <p>1 provide evidence that it is not neurotoxic?</p> <p>2 A. Well, we did discuss earlier on this afternoon</p> <p>3 Brazil, for example.</p> <p>4 Q. We went through that.</p> <p>5 A. They were asking questions about that matter --</p> <p>6 Q. So excluding Brazil and their request for</p> <p>7 information about neurotoxicity?</p> <p>8 A. Yes.</p> <p>9 Q. Any other country ask for that same information?</p> <p>10 A. I can think of another example in the form of</p> <p>11 Australia.</p> <p>12 Q. Have they asked for that?</p> <p>13 A. They asked for our evidence which we discussed with</p> <p>14 their regulatory authority, yes.</p> <p>15 Q. And when did they ask for that?</p> <p>16 A. I can't give you the date. It was -- I think we are</p> <p>17 talking about over the last ten years or so as they have</p> <p>18 been rereviewing.</p> <p>19 Q. And Charles Breckenridge made a presentation to them</p> <p>20 there, didn't he?</p> <p>21 A. He did, yes.</p> <p>22 Q. Just like he did to the folks in Brazil?</p> <p>23 A. Yes.</p> <p>24 Q. And in that presentation he didn't mention anything</p> <p>25 about Louise Marks, did he?</p> | <p>Page 488</p> <p>1 A. I do not believe so.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. Okay. Has Syngenta ever reported to any regulatory</p> <p>4 agency that paraquat has the potential to be neurotoxic?</p> <p>5 MR. NARESH: Objection to scope.</p> <p>6 A. Yes, because as exemplified by the discussions we</p> <p>7 had with Brazil, we said the potential was there, which was</p> <p>8 why we were conducting our research program.</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. You were telling them about the potential. Why</p> <p>11 didn't you tell them about Louise Marks?</p> <p>12 A. Well, as I say, hindsight is a wonderful thing, and</p> <p>13 we could have added the Marks studies to what these</p> <p>14 regulatory authorities already knew, which is that that</p> <p>15 mouse model has, in the hands of some researchers, shown</p> <p>16 an effect, an apparent effect, of the paraquat.</p> <p>17 Q. You don't think it makes a little bit of difference</p> <p>18 if the people involved in the manufacturing and sell --</p> <p>19 sale -- and who have an obligation to go forward with the</p> <p>20 registration of the chemical, if the same people behind that</p> <p>21 chemical had done testing within their own laboratories and</p> <p>22 made findings that are consistent with outside scientists,</p> <p>23 you don't think that's important to regulators?</p> <p>24 MR. NARESH: Objection to form.</p> <p>25 A. I don't think I have ever said that it is not</p> |
| <p>Page 487</p> <p>1 MR. NARESH: Objection to form.</p> <p>2 A. And I think the same applies to what -- to the</p> <p>3 discussion we had earlier that two things are important.</p> <p>4 First of all, Australia were well aware of the</p> <p>5 published literature suggesting that paraquat has an effect</p> <p>6 on the mouse brain, so they were starting from an assumption</p> <p>7 that that might be something of significance. But they saw</p> <p>8 from the extensive research that we have done that that was</p> <p>9 giving a different weight of the evidence.</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. Okay. I move to strike the answer as unresponsive.</p> <p>12 Could you repeat my question, please?</p> <p>13 COURT REPORTER: "And in that presentation he</p> <p>14 didn't mention anything about Louise Marks, did he?"</p> <p>15 A. I believe he did not.</p> <p>16 Q. To your knowledge, the Australians selling or</p> <p>17 allowing paraquat to be sold today know nothing about the</p> <p>18 studies that you did internally in CTL by Louise Marks,</p> <p>19 right?</p> <p>20 A. That is a possibility. But again I would need to</p> <p>21 confirm that with other colleagues.</p> <p>22 Q. Okay.</p> <p>23 Has Syngenta ever reported to any regulatory agency</p> <p>24 anywhere on this globe that paraquat is neurotoxic?</p> <p>25 MR. NARESH: Objection to scope.</p> | <p>Page 489</p> <p>1 important to regulators. And I have said, I think, several</p> <p>2 times now that in my view it would have been not an</p> <p>3 unreasonable course of action to include that, but obviously</p> <p>4 the overall weight of evidence was telling us something</p> <p>5 differently.</p> <p>6 BY MR. TILLERY:</p> <p>7 Q. Have you ever told anyone not to report an adverse</p> <p>8 effect of paraquat to any regulatory agency?</p> <p>9 A. I am not aware that I have ever done that.</p> <p>10 Q. Are you aware of anyone at Syngenta who has ever</p> <p>11 told anyone not to report the results of an adverse effect</p> <p>12 of paraquat to any regulatory agency?</p> <p>13 A. Again, I'm not aware of that being said in any -- in</p> <p>14 any direct way, no.</p> <p>15 Q. Have you ever participated in a group that discussed</p> <p>16 whether to report an adverse effect of paraquat?</p> <p>17 A. The PRF approach committee is the closest that I've</p> <p>18 got to. But again that's making recommendations, not</p> <p>19 decisions.</p> <p>20 Q. And you told us about that earlier.</p> <p>21 A. I did.</p> <p>22 Q. When you made the recommendation for the US EPA?</p> <p>23 A. That is correct.</p> <p>24 Q. Did the Syngenta executive committee ever decide not</p> <p>25 to report a potential adverse effect of paraquat to</p> |

62 (Pages 486 - 489)

CONFIDENTIAL

| | |
|--|---|
| <p style="text-align: right;">Page 490</p> <p>1 a regulatory agency?</p> <p>2 A. Again, as I have said before, in my experience</p> <p>3 I don't think the SEC have ever been involved in making</p> <p>4 those decisions.</p> <p>5 Q. Would you agree with me, sir, that companies engaged</p> <p>6 in the development and sale of a product subject to ongoing</p> <p>7 regulation like paraquat have an obligation to be truthful</p> <p>8 to those regulatory bodies and to the public when they</p> <p>9 report their scientific findings?</p> <p>10 A. I do agree with that.</p> <p>11 Q. Okay. Would you agree with me that companies</p> <p>12 engaged in the development and sale of a product subject to</p> <p>13 ongoing regulation like paraquat have an obligation to the</p> <p>14 public to share their scientific findings?</p> <p>15 A. Yes, I agree with that.</p> <p>16 Q. Would you agree with me that Syngenta scientists are</p> <p>17 ethically required to share their scientific findings about</p> <p>18 paraquat?</p> <p>19 MR. NARESH: Objection to scope.</p> <p>20 A. I do agree.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. Would you agree with me that the amount of money</p> <p>23 Syngenta makes should never, ever, be a reason or</p> <p>24 justification for concealing health risks of paraquat?</p> <p>25 A. Very much so, yes. I agree.</p> | <p style="text-align: right;">Page 492</p> <p>1 dependent on the best possible scientific evidence which,</p> <p>2 when I have been talking about weight of evidence, is what</p> <p>3 we have been engaged for many years in trying to create.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. Would you agree with me that withholding scientific</p> <p>6 findings from the public about the neurotoxic effects of</p> <p>7 paraquat would be fraudulent?</p> <p>8 MR. NARESH: Objection to form.</p> <p>9 A. If there were -- if the weight of evidence had</p> <p>10 suggested there was clear neurotoxicity, then I don't know</p> <p>11 whether you call that fraudulent, but I think it is</p> <p>12 certainly not something that we would -- we would do.</p> <p>13 BY MR. TILLERY:</p> <p>14 Q. Well, whether or not you would do it or not, would</p> <p>15 you agree with me that if you did it, it would be</p> <p>16 fraudulent?</p> <p>17 MR. NARESH: Same objections.</p> <p>18 A. I don't know that I would be happy to -- to use the</p> <p>19 word "fraudulent".</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. Okay, let's substitute a word. Let's substitute</p> <p>22 the word "dishonest"?</p> <p>23 A. I think that is probably a better --</p> <p>24 Q. Are you okay with that word?</p> <p>25 A. Yes.</p> |
| <p style="text-align: right;">Page 491</p> <p>1 Q. Okay. Can you think of any justification for</p> <p>2 concealing or withholding information about an adverse</p> <p>3 effect concerning paraquat?</p> <p>4 A. Only if the -- the definition of a PRF, for example,</p> <p>5 has not been felt to have applied, which is the case we were</p> <p>6 discussing earlier.</p> <p>7 Q. Would you agree with me that withholding scientific</p> <p>8 findings from the public about the neurotoxic effects of</p> <p>9 paraquat would be unethical?</p> <p>10 A. If we truly believed that paraquat had genuine</p> <p>11 neurotoxicity, then of course we would not wish to withhold</p> <p>12 that.</p> <p>13 Q. And do you agree with me that would be improper and</p> <p>14 unethical?</p> <p>15 A. If we genuinely believed that that was the property</p> <p>16 of paraquat.</p> <p>17 Q. But it is only when you subjectively believe it,</p> <p>18 right?</p> <p>19 MR. NARESH: Object to form, argumentative.</p> <p>20 A. Could you repeat the question?</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. It is only when you believe it, that is the</p> <p>23 standard?</p> <p>24 MR. NARESH: Objection.</p> <p>25 A. No, let me qualify that by saying that this is</p> | <p style="text-align: right;">Page 493</p> <p>1 Q. Let me start over again. Would you agree with me</p> <p>2 that withholding scientific findings from the public about</p> <p>3 the neurotoxic effects of paraquat would be dishonest?</p> <p>4 MR. NARESH: Same objection.</p> <p>5 A. Yes, and again if we had come to a conclusion on</p> <p>6 that subject based on the best science.</p> <p>7 MR. TILLERY: I am at your magic number. I will</p> <p>8 see on you the 31st.</p> <p>9 A. I understand, sir.</p> <p>10 MR. NARESH: Let me just make on the record, I do</p> <p>11 anticipate having redirect for this witness. I understand</p> <p>12 that my opportunity for redirect will come at the conclusion</p> <p>13 of your questioning and I do object to the use of this</p> <p>14 deposition until I have my opportunity for redirect.</p> <p>15 THE VIDEOGRAPHER: At that point, are we</p> <p>16 concluding for today?</p> <p>17 MR. TILLERY: We are.</p> <p>18 THE VIDEOGRAPHER: In which case we shall go off</p> <p>19 of the record at 4:49 pm concluding today's part of the</p> <p>20 deposition.</p> <p>21 (Whereupon, the deposition concluded at 4:49 p.m.)</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p> |

CONFIDENTIAL

| Page 494 | Page 496 |
|---|--|
| <p>1 CERTIFICATE OF COURT REPORTER</p> <p>2</p> <p>3 I, Laura Evans, an Accredited Real-time Reporter, hereby</p> <p>4 certify that the testimony of the witness Philip Botham in</p> <p>5 the foregoing transcript, numbered pages first page 244</p> <p>6 through last page 495, taken on this 26th day of February,</p> <p>7 2020 was recorded by me in machine shorthand and was</p> <p>8 thereafter transcribed by me; and that the foregoing</p> <p>9 transcript is a true and accurate verbatim record of the</p> <p>10 said testimony.</p> <p>11</p> <p>12</p> <p>13 I further certify that I am not a relative, employee,</p> <p>14 counsel or financially involved with any of the parties to</p> <p>15 the within cause, nor am I an employee or relative of any</p> <p>16 counsel for the parties, nor am I in any way interested in</p> <p>17 the outcome of the within cause.</p> <p>18</p> <p>19 Laura Evans</p> <p>20 Signed:</p> <p>21 Name: Laura Evans</p> <p>22 Date: February 27, 2020</p> <p>23</p> <p>24</p> <p>25</p> | <p>1 Hoffmann, Diana v. Syngenta Crop Protection LLC</p> <p>2 Dr. Philip Botham, V2 (#3984465)</p> <p>3 E R R A T A S H E E T</p> <p>4 PAGE _____ LINE _____ CHANGE _____</p> <p>5 _____</p> <p>6 REASON _____</p> <p>7 PAGE _____ LINE _____ CHANGE _____</p> <p>8 _____</p> <p>9 REASON _____</p> <p>10 PAGE _____ LINE _____ CHANGE _____</p> <p>11 _____</p> <p>12 REASON _____</p> <p>13 PAGE _____ LINE _____ CHANGE _____</p> <p>14 _____</p> <p>15 REASON _____</p> <p>16 PAGE _____ LINE _____ CHANGE _____</p> <p>17 _____</p> <p>18 REASON _____</p> <p>19 PAGE _____ LINE _____ CHANGE _____</p> <p>20 _____</p> <p>21 REASON _____</p> <p>22 _____</p> <p>23 _____</p> <p>24 Dr. Philip Botham, V2 Date _____</p> <p>25</p> |
| <p>Page 495</p> <p>1 Ragan Naresh, Esq.</p> <p>2 ragan.naresh@kirkland.com</p> <p>3 March 3, 2020</p> <p>4 RE: Hoffmann, Diana v. Syngenta Crop Protection LLC</p> <p>5 2/26/2020, Dr. Philip Botham, V2 (#3984465)</p> <p>6 The above-referenced transcript is available for</p> <p>7 review.</p> <p>8 Within the applicable timeframe, the witness should</p> <p>9 read the testimony to verify its accuracy. If there are</p> <p>10 any changes, the witness should note those with the</p> <p>11 reason, on the attached Errata Sheet.</p> <p>12 The witness should sign the Acknowledgment of</p> <p>13 Deponent and Errata and return to the deposing attorney.</p> <p>14 Copies should be sent to all counsel, and to Veritext at</p> <p>15 cs-ny@veritext.com.</p> <p>16</p> <p>17 Return completed errata within 30 days from</p> <p>18 receipt of testimony.</p> <p>19 If the witness fails to do so within the time</p> <p>20 allotted, the transcript may be used as if signed.</p> <p>21</p> <p>22 Yours,</p> <p>23 Veritext Legal Solutions</p> <p>24</p> <p>25</p> | <p>Page 497</p> <p>1 Hoffmann, Diana v. Syngenta Crop Protection LLC</p> <p>2 Dr. Philip Botham, V2 (#3984465)</p> <p>3 ACKNOWLEDGEMENT OF DEPONENT</p> <p>4 I, Dr. Philip Botham, do hereby declare that I</p> <p>5 have read the foregoing transcript, I have made any</p> <p>6 corrections, additions, or changes I deemed necessary as</p> <p>7 noted above to be appended hereto, and that the same is</p> <p>8 a true, correct and complete transcript of the testimony</p> <p>9 given by me.</p> <p>10</p> <p>11 _____</p> <p>12 Dr. Philip Botham, V2 Date _____</p> <p>13 *If notary is required</p> <p>14 SUBSCRIBED AND SWORN TO BEFORE ME THIS</p> <p>15 _____ DAY OF _____, 20____.</p> <p>16</p> <p>17</p> <p>18 _____</p> <p>19 NOTARY PUBLIC</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p> |

64 (Pages 494 - 497)

IN THE CIRCUIT COURT
TWENTIETH JUDICIAL CIRCUIT
ST. CLAIR COUNTY, ILLINOIS

DIANA HOFFMANN,)
individually and as)
Independent Administrator)
of the Estate of THOMAS R.) No. 17-L-517
HOFFMANN, Deceased, et al.,)
Plaintiff,)
v.)
SYNGENTA CROP PROTECTION,)
LLC, et al.,)
Defendants.)

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

VIDEOTAPED ZOOM DEPOSITION OF
SYNGENTA CROP PROTECTION, LLC

PHILIP BOTHAM
(Volume III, pages 498-834 inclusive)

Wednesday, June 17, 2020

Berkshire, England,
United Kingdom
(Deponent's location)

Reported by:
LEAH M. WILLERSDORF,
(AVR, MBIVR No. 166,
QRR2, International
Participating Member NCRA.)

Job No. 27625

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|---|--|
| <p style="text-align: right;">Page 499</p> <p>1 2 3 June 17, 2020 4 10:20 a.m. (British Summer Time) 5 6 7 Zoom videotaped deposition of SYNGENTA CROP 8 PROTECTION, LLC - Philip Botham, Berkshire, England, 9 United Kingdom, reported remotely via videoconference 10 before Leah Willersdorf, Accredited Verbatim Reporter, 11 Member of the British Institute of Verbatim Reporters 12 (Accreditation No. 166), Qualified Realtime Reporter 13 (Level 2), International Participating Member NCRA 14 (USA). 15 16 17 18 19 20 21 22 23 24 25</p> | <p style="text-align: right;">Page 501</p> <p>1 APPEARANCES (all via Zoom videoconference) 2 3 For the Defendant CHEVRON USA, INC.: 4 HUSCH BLACKWELL LLP 5 BY: JOSEPH ORLET, Esq. 6 190 Carondelet Plaza Suite 600 St. Louis, MO 63105 7 8 Telephone: (816) 983 8295 Email: joseph.orlet@huschblackwell.com 9 10 ALSO PRESENT: 11 Khaldoun Baghdadi - Walkup, Melodia, Kelly & Schoenberger - Plaintiff's 12 co-counsel) 13 Nicole Graham - Korein Tillery, LLC Juanita Brumitt - Korein Tillery, LLC 14 Mark Smith - Syngenta in-house counsel Wendy Viner - Videographer 15 16 17 18 19 20 21 22 23 24 25</p> |
| <p style="text-align: right;">Page 500</p> <p>1 APPEARANCES (all via Zoom videoconference) 2 3 On behalf of Plaintiffs: 4 KOREIN TILLERY, LLC 5 BY: STEPHEN M. TILLERY, Esq. ROBERT L. KING, Esq. 6 ROSEMARIE FIORILLO, Esq. 7 One US Bank Plaza 505 N. 7th Street 8 Suite 3600 St. Louis, MO 63101 9 10 Telephone: (314) 241 4844 Email: stillery@koreintillery.com rking@koreintillery.com rfiorillo@koreintillery.com 11 12 On behalf of Defendant SYNGENTA CROP PROTECTION, LLC: 13 KIRKLAND & ELLIS LLP 14 BY: RAGAN NARESH, P.C. 15 1301 Pennsylvania Avenue, N.W. Washington, DC 20004 16 Telephone: (202) 389 5267 Email: ragan.naresh@kirkland.com 17 18 For the Defendant GROWMARK, INC.: 19 STEPTOE & JOHNSON, LLP 20 BY: ANTHONY HOPP, Esq. 21 227 West Monroe Street Suite 4700 22 Chicago, IL 60606 23 Telephone: (312) 577 1249 24 Email: ahopp@steptoe.com 25</p> | <p style="text-align: right;">Page 502</p> <p>1 WITNESS INDEX 2 3 Witness: Page 4 PHILIP BOTHAM (Volume III) 5 Examination by Mr. Tillery, continued 511 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

Page 503

Page 505

| 1 | EXHIBITS INDEX | |
|----|--|-----|
| 2 | Botham Description Page | |
| 3 | Exhibit No. | |
| 4 | Exhibit 42 Handwritten notes from M.H. Litchfield to Dr. Swan with "Case No. 14/67" written at the top (SYNG-PQ-04263571) [Confidential - Paraquat Litigation] | 562 |
| 7 | Exhibit 43 Typewritten note from Dr. Litchfield to Dr. A. Swan, dated March 5, 1968 (SYNG-PQ-03720698) [Confidential - Paraquat Litigation] | 567 |
| 10 | Exhibit 44 Typewritten autopsy note from a patient in 1974 (CUSA-00206717) | 568 |
| 13 | Exhibit 45 Letter with the reference "Paraquat Poisoning," dated February 12, 1974 (CUSA-00169412 - 413) | 569 |
| 16 | Exhibit 46 Letter re postmortem analysis of Charles Lockwood to J.E. Ford from James B. Leary, dated August 22, 1978 (CUSA-00168423) | 572 |
| 19 | Exhibit 47 Typewritten autopsy report by Dr. L. Henry, dated 10/5/1976 (SYNG-PQ-04267141 - 144) [Confidential - Paraquat Litigation] | 574 |
| 22 | Exhibit 48 Letter from Mr. J.C. Gage to Dr. F.F. Snowdon, dated October 13, 1958 (SYNG-PQ-23457731) [Confidential - Paraquat Litigation] | 582 |

| 1 | EXHIBITS INDEX | |
|----|---|-----|
| 2 | Botham Description Page | |
| 3 | Exhibit No. | |
| 4 | Exhibit 56 Syngenta slide deck entitled "Paraquat and Parkinson's Disease - Update on activities to manage the potential impact of PQ being used as an academic model for PD research" (SYNG-PQ-00476929 - 946) | 648 |
| 7 | Exhibit 57 Email string, with the most recent being from Mike Clapp to Nick Sturgess, et al., dated December 8, 2004 (SYNG-PQ-04206065 - 6067) | 669 |
| 10 | Exhibit 58 Email string, with the most recent being from Jennifer Shaw to Tim Pastoor, et al., dated June 22, 2005 (SYNG-PQ-05705351 - 5352) | 675 |
| 13 | Exhibit 59 Email string, with the most recent being from Phil Botham to Jerry Wells, et al., dated June 28, 2005 (SYNG-PQ-05705349 - 5350) | 681 |
| 16 | Exhibit 60 Email from Greg Watson to Ray McAllister, dated June 29, 2005 (SYNG-PQ-05707254) | 685 |
| 19 | Exhibit 61 Email string, with the most recent being from Greg Watson to Janis McFarland, et al., dated September 20, 2005 (SYNG-PQ-00353198 - 3204) | 687 |
| 22 | Exhibit 62 Email from Greg Watson to Ray McAllister, dated September 23, 2005 (SYNG-PQ-00355434 - 435) | 694 |

Page 504

Page 506

| 1 | EXHIBITS INDEX | |
|----|---|-----|
| 2 | Botham Description Page | |
| 3 | Exhibit No. | |
| 4 | Exhibit 49 Typewritten document from D.F. Dye to T.W. Reed, dated April 23, 1969, headed "Geigy" (CUSA-00383879) | 589 |
| 7 | Exhibit 50 Letter to Mr. D.F. Dye of Chevron Chemical Company from Mr. J.J. Hood of Geigy Agricultural Chemicals, dated June 23, 1969 (CUSA-00383840) | 594 |
| 10 | Exhibit 51 The Syngenta Code of Conduct, revised version published 2019 (No Bates, 36 pages in color) | 612 |
| 13 | Exhibit 52 Study entitled "Paraquat Poisoning: An Analytical Toxicologic Study of Three Cases," by Dr. Ronal Fairshier, et al. (CUSA-00283683 - 699) | 631 |
| 16 | Exhibit 53 "Minutes and Actions from the Paraquat/Parkinson's Disease Task Team Meeting at CTL on 18th October 2001" (SYNG-PQ-00479279 - 283) | 638 |
| 19 | Exhibit 54 "Minutes of the 9th June 2003 PQ RDT - Regulatory science foresight - PD" (SYNG-PQ-01023454 - 62) | 644 |
| 22 | Exhibit 55 Syngenta slide deck headed "Paraquat & Parkinson's Disease - Techno-Regulatory Meeting, 4th November 2004" (SYNG-PQ-01655689 - 745) [Confidential - Paraquat Litigation] | 646 |

| 1 | EXHIBITS INDEX | |
|----|--|-----|
| 2 | Botham Description Page | |
| 3 | Exhibit No. | |
| 4 | Exhibit 63 Email string, with the most recent being from Charles Breckemridge to Lewis Smith, et al., dated August 3, 2010 (SYNG-PQ-22717989) | 697 |
| 7 | Exhibit 64 Email string, with the most recent being from Tim Pastoor to Dan Campbell, dated September 3, 2010, in color (SYNG-PQT-ATR-07709192 - 9194) | 698 |
| 10 | Exhibit 65 Draft letter from Barbara P. Glenn of CropLife America to Dr. Frank Sanders of the US EPA, dated September 3, 2010, in color (SYNG-PQT-ATR-06489282 - 9283) | 700 |
| 13 | Exhibit 66 Syngenta slide deck entitled "Update on Syngenta's Research Program," dated February 13, 2012, authored by Kersten Mewes, et al. (SYNG-PQ-00486987 - 7033) [Confidential - Paraquat Litigation] | 709 |
| 16 | Exhibit 67 "Minutes of the 9th June 2003 PQ RDT - Regulatory science foresight -PD" (SYNG-PQ-01662351 - 359) | 712 |
| 19 | Exhibit 68 Document headed "Paraquat Health Science Team, 17-18 September 2008, Harte and Garter Hotel, Windsor, UK" (SYNG-PQ-00034773 - 4778) | 721 |

3 (Pages 503 to 506)

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| Page 507 | | | Page 509 | | |
|----------|-----------------------|---|----------|--------------------------------------|--|
| 1 | EXHIBITS INDEX | | 1 | EXHIBITS PREVIOUSLY MARKED | |
| 2 | Botham | Description | 2 | Botham | Description |
| 3 | Exhibit No. | Page | 3 | Exhibit No. | Page |
| 4 | Exhibit 69 | Document headed "Paraquat Health Science Team - Action Minutes for Marlow Meeting 5th, 6th, 7th October 2009, The Compleat Angler, Marlow UK" (SYNG-PQ-01116217 - 6221) | 735 | Exhibit 14 | Document file with top document correspondence from J. Kent Riegel, Regulatory Affairs Department (CUS-00189736 - 190043) |
| 5 | Exhibit 70 | "Paraquat Health Science Team - Action Minutes from Marlow Meeting 20 & 21 April 2009, The Compleat Angler, Marlow UK" (SYNG-PQ-01117480 - 7484) | 742 | Exhibit 16 | Letter from M. Fletcher to Mr. M. Kanada of Imperial Chemical Industries (Japan) Limited, dated March 21, 1968 (SYNG-PQ-04263689) [Confidential - Paraquat Litigation] |
| 6 | Exhibit 71 | Summary of notes made during a presentation by Dr. Di Monte at the Syngenta Marlow meeting regarding his preliminary findings with paraquat in the squirrel monkey (SYNG-PQ-01305484 - 5486) | 749 | | |
| 7 | Exhibit 72 | Study entitled "Aging of the Nigrostriatal System in the Squirrel Monkey," authored by Alison L. McCormack, et al., as published in The Journal of Comparative Neurology 471:387-395 (2004) (SYNG-PQ-00669432 - 9440) | 761 | | |
| 8 | Exhibit 73 | Email from Nick Sturgess to Barry Elliott, et al., dated May 11, 2007 (SYNG-PQ-01739155 - 9156) | 789 | | |
| 9 | Exhibit 74 | Email string, with the most recent being from Barry Elliott to Phil Botham, dated November 22, 2007 (SYNG-PQ-20736066 - 6067) [Confidential - Paraquat Litigation] | 797 | | |
| 10 | | | | | |
| 11 | | | | | |
| 12 | | | | | |
| 13 | | | | | |
| 14 | | | | | |
| 15 | | | | | |
| 16 | | | | | |
| 17 | | | | | |
| 18 | | | | | |
| 19 | | | | | |
| 20 | | | | | |
| 21 | | | | | |
| 22 | | | | | |
| 23 | | | | | |
| 24 | | | | | |
| 25 | | | | | |
| Page 508 | | | Page 510 | | |
| 1 | EXHIBITS INDEX | | 1 | (On the record at 10:20 a.m.) | |
| 2 | Botham | Description | 2 | THE VIDEOGRAPHER: This is the | |
| 3 | Exhibit No. | Page | 3 | continued videotaped deposition of | |
| 4 | Exhibit 75 | "Syngenta Human Safety - Potentially Referable Findings Approach Committee - Minutes of a Meeting held on 19th May 2009" (SYNG-PQ-02601795 - 1796) | 807 | 4 | Dr. Philip Botham, in the matter of |
| 5 | Exhibit 76 | Document headed "Opportunity to analyse samples from Dr. Di Monte's studies," written by Kim Z. Travis, dated July 16, 2009 (SYNG-PQ-01188018 - 8020) | 816 | 5 | Diana Hoffmann, individually and as |
| 6 | Exhibit 77 | Syngenta slide deck entitled "PQ kinetic study program - June 2009 - Privileged and Confidential - Attorney Work Product" (SYNG-PQ-01116841 - 6862) | 820 | 6 | Independent Administrator of the Estate |
| 7 | Exhibit 78 | Syngenta study entitled "Paraquat - Analysis of Brain Samples from Paraquat-Exposed Squirrel Monkeys for Residues of Paraquat - Final Report," authored by Dr. William J. Ray, with a study completion date of January 21, 2011 (SYNG-PQ-00044963 - 4983) | 821 | 7 | of Thomas R. Hoffmann, Deceased, et al., |
| 8 | Exhibit 79 | Syngenta Crop Protection - Potentially Referable Findings (PRF) Form for Product Safety, with the name of study manager/originator as Kim Travis, dated June 28, 2011 (SYNG-PQ-01547528 - 7530) | 825 | 8 | versus Syngenta Crop Protection, LLC, |
| 9 | | | | 9 | et al., in the Circuit Court, Twentieth |
| 10 | | | | 10 | Judicial Circuit, St. Clair County, |
| 11 | | | | 11 | Illinois, Case No. 17-L-517. |
| 12 | | | | 12 | This deposition is being held |
| 13 | | | | 13 | remotely via Zoom on June 17, 2020 at |
| 14 | | | | 14 | 10:20 a.m. |
| 15 | | | | 15 | My name is Wendy Viner from |
| 16 | | | | 16 | TransPerfect and I am the legal video |
| 17 | | | | 17 | specialist. The court reporter today is |
| 18 | | | | 18 | Leah Willersdorf, also with TransPerfect. |
| 19 | | | | 19 | Could I ask all counsel to |
| 20 | | | | 20 | introduce themselves for the record. |
| 21 | | | | 21 | MR. TILLERY: For the plaintiff, |
| 22 | | | | 22 | Steve Tillery. |
| 23 | | | | 23 | MR. NARESH: For Syngenta, |
| 24 | | | | 24 | Ragan Naresh, Kirkland & Ellis. |
| 25 | | | | 25 | MR. TILLERY: All right. |

4 (Pages 507 to 510)

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|--|--|
| <p style="text-align: right;">Page 511</p> <p>1 Swear him in.</p> <p>2 THE VIDEOGRAPHER: Could I ask the</p> <p>3 court reporter to please swear in the</p> <p>4 witness and we can proceed.</p> <p>5 PHILIP BOTHAM,</p> <p>6 having been duly re-sworn,</p> <p>7 was examined and testified as follows:</p> <p>8 MR. TILLERY: Can we get the full</p> <p>9 screen of Dr. Botham instead of just the</p> <p>10 small icon of him. Is there a way to do</p> <p>11 that?</p> <p>12 There we go. All right. Okay.</p> <p>13 Thank you.</p> <p>14 EXAMINATION ON BEHALF OF PLAINTIFFS</p> <p>15 (continued):</p> <p>16 BY MR. TILLERY:</p> <p>17 Q. Would you state your name, please,</p> <p>18 for this record, once more.</p> <p>19 A. Dr. Philip Botham.</p> <p>20 Q. You understand, Dr. Botham, this is</p> <p>21 a continuation of a deposition that was started</p> <p>22 in London in about the third week of February</p> <p>23 of this year, correct?</p> <p>24 A. Correct.</p> <p>25 Q. And we were not able to finish that</p> | <p style="text-align: right;">Page 513</p> <p>1 a document that you thought you wanted to see</p> <p>2 more of, if you thought there was some issue</p> <p>3 about what -- the part of the document that</p> <p>4 I was showing you or whatever, you have the</p> <p>5 right to stop me and ask or to show different</p> <p>6 parts of that document or to look at it.</p> <p>7 No one wants to rush you. Given the</p> <p>8 circumstances of this communication, you have</p> <p>9 the right to take your time in looking at those</p> <p>10 exhibits any way you want. I want to make sure</p> <p>11 you have that.</p> <p>12 Right?</p> <p>13 A. Okay, thank you.</p> <p>14 Q. And as we indicated off the record,</p> <p>15 if you need to take a break, you do that at</p> <p>16 your discretion, and just so long as we're not</p> <p>17 in the middle of a deposition question. Okay?</p> <p>18 A. Thank you.</p> <p>19 Q. And before we begin the deposition,</p> <p>20 this remote deposition, I want to make clear</p> <p>21 the expectations regarding communications with</p> <p>22 the deponent.</p> <p>23 During this deposition, as I've</p> <p>24 indicated, counsel appearing with the deponent</p> <p>25 and the deponent, here you don't have counsel</p> |
| <p style="text-align: right;">Page 512</p> <p>1 deposition because of a lot of issues with the</p> <p>2 coronavirus and the deposition has been delayed</p> <p>3 until this time. We are now doing this</p> <p>4 deposition remotely, which means that lawyers</p> <p>5 from different parts of the United States are</p> <p>6 logging in to a video-audio system that allows</p> <p>7 them to watch you where you're located in</p> <p>8 England, and we are located in different</p> <p>9 positions in different parts of the United</p> <p>10 States.</p> <p>11 Do you understand all that?</p> <p>12 A. That's fine. I understand.</p> <p>13 Q. All right. And you're connected</p> <p>14 to an electronic system that allows you to look</p> <p>15 at exhibits electronically, and those exhibits</p> <p>16 are then put on a screen for you to see and you</p> <p>17 can study those exhibits, look at them,</p> <p>18 ask your own questions if you want to see more</p> <p>19 of that exhibit than as what we pull up on the</p> <p>20 screen.</p> <p>21 Did you understand that?</p> <p>22 A. Yes. Thank you, that's my</p> <p>23 understanding.</p> <p>24 Q. So for clarification, just to make</p> <p>25 sure, for that purpose, if you were to see</p> | <p style="text-align: right;">Page 514</p> <p>1 appearing physically with you, do you, sir?</p> <p>2 A. No, I don't.</p> <p>3 Q. But you'd have an opportunity at</p> <p>4 a break to have an electronic chatroom, they</p> <p>5 refer to it as, a way to communicate at a break</p> <p>6 with your counsel if you wish to. But while</p> <p>7 we're appearing on the film, while we're asking</p> <p>8 questions, it's our expectation that there's</p> <p>9 no electronic communication going on between</p> <p>10 you and anyone else.</p> <p>11 You understand that?</p> <p>12 A. Yes. That was something that</p> <p>13 I've discussed with my counsel and</p> <p>14 I clearly -- I understand those -- that</p> <p>15 requirement.</p> <p>16 Q. And you're in a deposition room by</p> <p>17 yourself, and you're physically located where</p> <p>18 at this time?</p> <p>19 A. Yes, I'm on my own. I'm physically</p> <p>20 located in the Syngenta Jealott's Hill complex</p> <p>21 and I'm in the building that is occupied by</p> <p>22 the product safety department.</p> <p>23 Q. All right. And this is in -- just</p> <p>24 so we're clear, this is in a city outside of</p> <p>25 London about how far?</p> |

5 (Pages 511 to 514)

| | |
|---|--|
| <p style="text-align: right;">Page 515</p> <p>1 A. We are in a science park, which is 2 -- where the nearest city is called Bracknell, 3 and that is around 20 miles away from London. 4 Q. All right. 5 So the first thing I want to do in 6 the deposition is to indicate to you that 7 we should clean up or clear up some open-ended 8 issues that were left open during the 9 deposition, before we move on to new topics. 10 I had been -- I wouldn't expect you 11 at this length of time of delay, unless you've 12 re-read your deposition recently, to understand 13 that we had finished -- we hadn't finished on 14 some questions because there was some issue 15 about numbers, if you remember that, okay -- 16 A. I do. 17 Q. -- in the first -- all right. 18 So we're going to go back for a few 19 questions and finish those before we move on. 20 One of the first ones I had was 21 marked as Exhibit No. 14 in your deposition, 22 in the earlier part of this deposition. That 23 is a document that we could pull up at this 24 point in time. 25 Because of the size of the document,</p> | <p style="text-align: right;">Page 517</p> <p>1 A. Yeah. I'm just downloading this, 2 I'm sorry. I didn't download every previous 3 document I had so I'll just take a minute. 4 Q. All right. No problem. 5 A. Okay. So I can now see my local 6 version here. 7 Q. And the page, if you see the 8 reference that we're looking at, there is 9 a CUSA number at the bottom. 10 Do you see that? 11 A. Yeah. Yes, I can see that. 12 Q. We're going to the last number 885, 13 and that -- it's Exhibit 14 but the Bates 14 number at the bottom of the page is 885. it's 15 CUSA-00189885. 16 A. Yeah, I'm almost there. 17 Yeah, I'm there. I can see that, 18 thank you. 19 Q. All right. All right. 20 So if you would read the paragraph 21 that starts with Mike's letter. You can read 22 it to yourself if you wish. 23 A. Yes, I -- do you want me to -- 24 you don't want me to read that out loud or 25 just to myself?</p> |
| <p style="text-align: right;">Page 516</p> <p>1 it's going to be submitted to you on the 2 screen. The document that I've shown you 3 is a document from a production by Chevron and 4 it relates to an ICI-Chevron communication. 5 MR. NARESH: Steve, not to 6 interrupt, but, Dr. Botham, do you have 7 access to an electronic version of the 8 prior exhibits that were used in the 9 deposition in February? 10 THE WITNESS: I do. 11 BY MR. TILLERY: 12 Q. Actually, that might be easier for 13 you if you wish to do that. 14 A. Yeah, okay. 15 Q. All right. So if you pull up 16 Exhibit No. 14. 17 (Botham Exhibit 14 previously 18 marked for identification.) 19 THE WITNESS: Sorry. I just need 20 to log on to the access for this. 21 BY MR. TILLERY: 22 Q. Yeah, it's that page that's on your 23 screen if you look at your screen right now. 24 A. Yeah, so -- 25 Q. Do you see where --</p> | <p style="text-align: right;">Page 518</p> <p>1 Q. Just to yourself's fine. I want 2 to ask you some questions about that. 3 A. Okay. 4 Yes, okay, I've read that 5 paragraph. 6 Q. All right. So this is a page from 7 a letter from R. Cavalli to Ken Fletcher, dated 8 July 9, 1975, and though we may have identified 9 those gentlemen at an earlier time of the 10 deposition, out of an abundance of caution 11 let's one more time explain who they were. 12 You understand R. Cavalli to be 13 a toxicologist at Chevron, correct? 14 A. I'm not sure that I did know that 15 but I certainly don't remember ever meeting 16 that person, so I can't confirm that. 17 Q. But you understand that from the 18 deposition, from the exhibits that we looked 19 at before in the earlier part of the 20 deposition, we referred many times to 21 R. Cavalli. Do you remember? 22 A. Yes, okay. 23 Q. All right. 24 And there's a Ken Fletcher as well. 25 And who is he?</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 519</p> <p>1 A. Yes. Ken Fletcher I know was a -- 2 somebody who worked at the Central Toxicology 3 Laboratory of ICI. He left before I joined. 4 Q. And Ken Fletcher then would be 5 working with the predecessor of Syngenta 6 corporation, correct? 7 A. That is correct. 8 Q. And during this period of time, 9 July 9, 1975, was when ICI and/or Syngenta and 10 Chevron were working together in terms of the 11 production of a product in -- a paraquat 12 product, and the formulation of product by 13 Chevron and the sale of product in the United 14 States that included paraquat by Chevron, 15 correct? 16 A. That's correct. 17 Q. All right. 18 Now, if you would look at this -- 19 as I ask you this question, if you look at this 20 part of the exhibit, the letter indicates that 21 a Mr. Fales, or Fales, alleged permanent CNS 22 effects from paraquat. 23 Do you see that? 24 A. Yes, I do. 25 Q. All right. CNS stands for what?</p> | <p style="text-align: right;">Page 521</p> <p>1 BY MR. TILLERY: 2 Q. The letter also indicates that 3 a pathologist who examined, a Dr. -- a Thomas 4 Paul, found a lesion in the spinal cord. 5 Do you see that? 6 A. Yes. 7 Q. The letter also says that the 8 pathologist was suspicious that it was due 9 to his exposure to paraquat. Correct? 10 A. That's correct. 11 Q. So did ICI do any study to 12 investigate whether exposure to paraquat could 13 cause a spinal cord lesion in response to this 14 report? 15 A. I'm not aware that any such study 16 was done. 17 Q. Did Chevron, to your knowledge, 18 do any study to investigate whether exposure 19 to paraquat could cause a spinal cord lesion? 20 A. I'm not aware of Chevron doing such 21 a study. 22 Q. Okay. 23 In response to this letter, did ICI 24 investigate paraquat's potential to cause the 25 kinds of central nervous system effects that</p> |
| <p style="text-align: right;">Page 520</p> <p>1 A. Central nervous system. 2 Q. And Dr. Cavalli asked Dr. Fletcher 3 for any information he may have on the question 4 of permanent injury from paraquat, doesn't he? 5 A. He does. 6 Q. He asks for any follow-up 7 evaluations several years after spraying, 8 doesn't he? 9 A. He does. 10 Q. By the time of this letter, ICI had 11 been manufacturing paraquat active ingredient 12 and selling it to Chevron for formulation and 13 distribution in the United States for just 14 about ten years, correct? 15 A. Correct. 16 Q. But the sole distributor of paraquat 17 products in the United States was asking the 18 manufacturer of the active ingredient for any 19 information it might have about whether 20 long-term use of paraquat could cause problems 21 at that time, right? Health problems. 22 MR. NARESH: Object to the form. 23 Go ahead and answer. 24 THE WITNESS: That is correct, yes. 25 ///</p> | <p style="text-align: right;">Page 522</p> <p>1 were being reported in the letter? 2 A. I'm not aware of any studies that 3 were directly related to the issues that are 4 described here. 5 Q. Well, even indirectly did they 6 do any? Whether they were a direct response 7 to this letter or generally, did they do any? 8 A. Well, generally, yes, ICI and its 9 companies which followed, of course, did do 10 a considerable amount of work on -- to look 11 at the potential for paraquat to affect the 12 nervous system, but not directly related to 13 this. 14 Q. Okay. Tell me the first long-term 15 neurological study that ICI-Syngenta did for 16 studying the impact of paraquat on the central 17 nervous system. When did they do that? 18 A. Well, we had done a number of 19 studies in the 1990s -- 1980s and 1990s 20 to address that question, and that research 21 continued until our publications in 2013 and 22 2014; so we did a considerable number of 23 studies over a 25-year period. 24 Q. Okay. Why don't you name me one. 25 The --</p> |

Page 523

Page 525

1 A. Well, the --
2 Q. Excuse me, sir. We got a lot of
3 feedback. Let me start that question over.
4 Why don't you name me a single
5 long-term neurotoxicity study that Syngenta did
6 in the 1990s for paraquat?
7 A. Well, we -- for example, the
8 studies that were conducted by Louise Marks at
9 CTL which we discussed earlier.
10 Q. You understand that those didn't
11 occur until 2003?
12 A. Yes. I'm sorry, yes, that's
13 correct.
14 Q. So you certainly did those, and
15 we're going to talk a lot about those today and
16 we're going to study -- we're going to discuss
17 them.
18 My question to you is, you said
19 you did studies at this time period, or up
20 until the '90s. Tell me one study Syngenta
21 did, or Chevron did -- let's broaden it --
22 or ICI did through the '80s or '90s to evaluate
23 the neurotoxicity of paraquat on a long-term
24 exposure basis?
25 A. Okay. So one example would be in

Page 524

1 the Nineteen -- which was definitely the
2 1990s, was a study that was published as
3 Widdowson, et al.
4 Q. Widnes?
5 A. Widdowson, et al. No, I'm sorry --
6 Q. Widdowson --
7 A. No, it was Naylor, et al.,
8 I'm sorry. Widdowson was the second author,
9 excuse me. It was Naylor, et al.
10 Q. And Naylor, et al. was one -- a
11 study that Syngenta did?
12 A. Yes. It was Zeneca at that time.
13 Q. Okay. Zeneca did a long-term
14 neurotoxicity study and -- where the primary
15 investigator was Naylor?
16 A. Yeah. It's -- it depends how you
17 define long term. This wasn't a long-term
18 toxicity study as would be understood in
19 regulatory guideline terms but it certainly
20 was looking at the potential for paraquat
21 to affect the nervous system.
22 Q. Okay. When was that study done?
23 A. It was published in 1995.
24 Q. Did Mr. Naylor work for Zeneca at
25 that time?

1 A. He did.
2 Q. And what were the study parameters?
3 A. The main investigation was looking
4 at whether paraquat could enter the brain;
5 so it was mainly a kinetic study but there
6 were some parts of it that looked at the
7 pathology of the brain.
8 Q. Was it published?
9 A. It was. It was published in Human
10 and Experimental Toxicology.
11 Q. And that was one that was funded by
12 ICI or Zeneca?
13 A. That's correct.
14 Q. What year was that study?
15 A. Published in 1995.
16 Q. Okay. And are there any other
17 studies besides that one?
18 A. I can't recall any --
19 Q. Until Marks --
20 A. I can't recall any more in the
21 1990s so we would move into the 2000s
22 to identify other studies.
23 Q. And then the first ones of those
24 would be the Louise Marks studies that we have
25 gone through in this deposition earlier,

Page 526

1 correct?
2 A. Yes. It's my understanding that
3 those -- that was the next series of studies
4 that was done.
5 Q. All right. So the only study from
6 1965 until 2003 that studied long-term
7 neurotoxicity potential of paraquat was the
8 Naylor study in 1995, correct?
9 A. I was quoting that as an example
10 that I was aware of. I think if -- if you
11 look at the reference list in Naylor, it may
12 refer to other studies that were done before
13 that time.
14 Q. Now, have you read the Naylor study
15 yourself?
16 A. I have, yes.
17 Q. When was the last time you read it?
18 A. In preparation for this deposition.
19 Q. So you had read it, what, in the
20 last couple -- two or three weeks?
21 A. I have.
22 Q. All right. Now, you know from
23 reading the Naylor study it was a rat study,
24 don't you?
25 A. That's correct.

| | |
|--|---|
| <p style="text-align: right;">Page 527</p> <p>1 Q. And you know the rats were given 2 paraquat and examined after 24 hours, right? 3 A. That's correct. 4 Q. Okay. So you just represented 5 on this record that there was a long-term 6 neurotoxicity study of paraquat in 1995 by 7 Naylor, and you understood my question to be 8 asking for a 24-hour study? Is that what you 9 thought? 10 A. No, I think I -- 11 MR. NARESH: Objection to form. 12 THE WITNESS: As I said in my 13 earlier answer, it does not conform to 14 the normal definition of long-term 15 toxicity. 16 BY MR. TILLERY: 17 Q. All right. So it was a 24-hour 18 study, right? 19 A. It was. 20 Q. All right. 21 So let's go back now and talk about 22 the work that Syngenta did or Chevron did 23 up until Louise Marks. You did a one-day, 24 24-hour rat study in 1995, correct? 25 A. Correct.</p> | <p style="text-align: right;">Page 529</p> <p>1 24-hour test; is that correct? 2 A. I think that I -- 3 MR. NARESH: Object to form. 4 THE WITNESS: -- would need to 5 re-check they -- for example, through the 6 references quoted in Naylor, but other 7 studies were done; so Naylor was not the 8 only study that was done in that time 9 period. 10 BY MR. TILLERY: 11 Q. Yeah. So we're clear, when you say 12 done, you -- I'm talking about by Chevron or 13 Syngenta. 14 A. And my answer is -- 15 Q. There were certainly -- excuse me. 16 There were certainly other studies 17 done by other people. What I'm asking is did 18 Syngenta or Chevron do any neurotoxicity 19 studies other than the 24-hour rat study in 20 1995 in the period of time between 1965 and 21 2003? 22 A. I would need to check that. 23 I can't answer that question directly today. 24 Q. Well, actually, unfortunately, 25 we can't come back to it so let's ask it this</p> |
| <p style="text-align: right;">Page 528</p> <p>1 Q. Is there any others before 2 Dr. Louise Marks's work in 2003 that Syngenta 3 or Chevron did? 4 A. I can't recall if any other work 5 was done. 6 Q. All right. You're speaking, 7 you know, on behalf of Syngenta today, right? 8 A. Correct. 9 Q. You remember at the beginning of 10 this deposition I went over and asked you and 11 told you if you knew and understood that you 12 could access any information, you could 13 research it, you could get any information that 14 you knew or learned historically from the 15 effect of all the work done by Syngenta and 16 its corporate predecessors. You understood 17 that, right? 18 A. Yes. 19 Q. All right. Now, let's go back and 20 clarify this. 21 From the 38-year period, from 1965 22 until 2003, when Dr. Marks started -- 23 started -- her paraquat neurotoxicity studies, 24 neither Syngenta or its corporate predecessors 25 or Chevron did anything more than the Naylor</p> | <p style="text-align: right;">Page 530</p> <p>1 way: Are you aware of any studies that 2 Syngenta or Chevron did studying the 3 neurotoxicity of paraquat, other than the 4 Naylor study, the 24-hour rat study, in the 5 time period between 1965 and 2003? 6 A. I'm not aware of any. 7 Q. All right. 8 Did ICI ever warn paraquat users 9 about potential nervous system effects from 10 exposure of paraquat? 11 MR. NARESH: Object to scope. 12 BY MR. TILLERY: 13 Q. To your knowledge, sir. 14 A. It certainly -- the possibility 15 that paraquat could cause Parkinson's disease 16 was included in our external communications 17 such as on paraquat.com. 18 Q. And when did you first say that 19 paraquat causes Parkinson's disease on your 20 website? 21 MR. NARESH: Object to form. 22 THE WITNESS: I can't recall the 23 precise date when that was the case, and 24 we would not have said that it causes; 25 we would have said there is evidence</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|--|--|
| <p style="text-align: right;">Page 531</p> <p>1 showing an association. 2 BY MR. TILLERY: 3 Q. And does -- is it -- strike that. 4 Is it Syngenta's position today that 5 there is good, solid scientific evidence 6 linking paraquat to Parkinson's disease? 7 A. It's our position that the evidence 8 does not lead to that conclusion. There is 9 no clear causative link. 10 Q. Okay. So why, then, did you put on 11 your website exact opposite of what you 12 believe? 13 A. Could you clarify that question, 14 please? 15 Q. You just told me you put this 16 information on your website to warn people, 17 paraquat users, about potential nervous system 18 side effects from exposure to paraquat. 19 Why'd you do that if you don't believe it? 20 A. I indicated that what we did was 21 to say that there was -- there were 22 publications, evidence that had been generated 23 that suggested that that -- there could be 24 a relationship but that we did not -- 25 Q. When did -- sorry.</p> | <p style="text-align: right;">Page 533</p> <p>1 them to the fact that if they sprayed your 2 paraquat products they might get Parkinson's 3 disease. Is that what you're saying? 4 A. No, of -- 5 MR. NARESH: Object to form. 6 THE WITNESS: No, I'm not saying 7 that. I'm saying that this was a way 8 in which we were being very open through 9 the normal process of scientific 10 discussion that this was -- 11 BY MR. TILLERY: 12 Q. And -- 13 A. -- an open research question. 14 Q. And would you say that it was open 15 to hide Dr. Marks's scientific analysis and 16 studies for 16 years? 17 MR. NARESH: Object to form. 18 THE WITNESS: Well, we didn't 19 actually hide that. If you recall, 20 we discussed that Dr. Marks did actually 21 talk about some of her research at 22 an external scientific meeting. 23 BY MR. TILLERY: 24 Q. Right. A scientific meeting with 25 Syngenta people. What I'm saying is, did you</p> |
| <p style="text-align: right;">Page 532</p> <p>1 A. -- that we did not believe that 2 there was a causal relationship. 3 Q. And when did you first say that? 4 A. I don't recall when we first said 5 that. 6 Q. Did you ever warn that in any other 7 way than putting some reference in 8 paraquat.com? 9 MR. NARESH: Object to scope. 10 THE WITNESS: That was our main 11 route of making that position available 12 to the external world. 13 BY MR. TILLERY: 14 Q. What were all the minor routes? 15 MR. NARESH: Same objection. 16 THE WITNESS: Well, for example, 17 when we were engaged in our research 18 program, particularly from 2003 onwards, 19 this issue was discussed at scientific 20 meetings. 21 BY MR. TILLERY: 22 Q. So you say that scientific meetings 23 would tell somebody like Ronald Niebruegge or 24 Freemon Schmidt or Jerry Mills or Carroll 25 Rowan, your scientific meetings would alert</p> | <p style="text-align: right;">Page 534</p> <p>1 publish the Marks studies that confirmed the 2 association between paraquat and neurotoxicity, 3 brain injury? Did you give those to the US EPA 4 until December 2019? 5 MR. NARESH: Object to form. 6 THE WITNESS: The first we did 7 describe some of Dr. Marks's work at 8 an external scientific meeting, it wasn't 9 just a Syngenta meeting, and I think 10 we discussed that the last time we met. 11 And, secondly, yes, the EPA were 12 informed about some of Dr. Marks's work 13 in 2019, but they had received 14 information about other parts of her work 15 back in 2006 or so. 16 BY MR. TILLERY: 17 Q. Yeah. The parts that you gave them, 18 however, in 2006 did not include the three 19 neurotoxicity studies that showed the 20 relationship between paraquat and damage 21 to the center part of the brain called the 22 substantia nigra, did they? 23 MR. NARESH: Objection to form. 24 THE WITNESS: That is correct. 25 Those studies were not referred to the</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 535</p> <p>1 EPA at that time.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. All right. The only study that you</p> <p>4 said you circulated in scientific literature</p> <p>5 was the first study she did, correct? And that</p> <p>6 was at a neurotoxicity meeting, correct?</p> <p>7 A. That is correct.</p> <p>8 Q. And that was the one that she later</p> <p>9 discounted and said that it was inaccurate</p> <p>10 because of the stereology technique, correct?</p> <p>11 A. That's correct.</p> <p>12 Q. And you never went back and</p> <p>13 corrected for the neurology, or the group where</p> <p>14 it was presented, to tell them that the study</p> <p>15 was incorrectly done, did you?</p> <p>16 A. No, and that is not necessarily</p> <p>17 the only thing that would accurately describe</p> <p>18 what happened, because Dr. Marks did actually</p> <p>19 have discussions with external researchers</p> <p>20 about that study and why it may -- we may need</p> <p>21 to address the way in which we do them,</p> <p>22 so certainly we were -- we continued to have</p> <p>23 an open dialogue about that.</p> <p>24 Q. Did you ever go back and have</p> <p>25 a public retraction of the first study because</p> | <p style="text-align: right;">Page 537</p> <p>1 reporting the first one which she found out</p> <p>2 later to be having had -- strike that.</p> <p>3 She only reported the first study,</p> <p>4 which had been negated in terms of results from</p> <p>5 her next three successive studies using</p> <p>6 an updated stereology technique. Is that</p> <p>7 a correct statement?</p> <p>8 A. That is correct, yes.</p> <p>9 Q. All right.</p> <p>10 Do you know if Chevron ever warned</p> <p>11 paraquat users about potential central nervous</p> <p>12 system effects from exposure to</p> <p>13 paraquat in the --</p> <p>14 MR. NARESH: Objection to scope.</p> <p>15 I'm sorry.</p> <p>16 BY MR. TILLERY:</p> <p>17 Q. -- 21 years that it marketed your</p> <p>18 products?</p> <p>19 A. I'm not aware of anything of that</p> <p>20 nature from Chevron.</p> <p>21 Q. Getting back to this report where</p> <p>22 we started this deposition today, in this</p> <p>23 letter from Mr. Cavalli to Mr. Fletcher,</p> <p>24 did either of these two companies ever</p> <p>25 do anything in response to that report?</p> |
| <p style="text-align: right;">Page 536</p> <p>1 the stereology technique was incorrectly done?</p> <p>2 A. No, we did not, and actually the</p> <p>3 study was not incorrectly done so requiring</p> <p>4 a retraction; it was done with a methodology</p> <p>5 that could be improved.</p> <p>6 MR. TILLERY: So I move to strike</p> <p>7 your answer as unresponsive.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. Did Syngenta or Dr. Marks ever go</p> <p>10 back and retract the findings and statements</p> <p>11 about the first study? Can you answer that</p> <p>12 straightforwardly, please?</p> <p>13 A. No, we did not retract.</p> <p>14 Q. All right. The external meeting</p> <p>15 where Dr. Marks discussed her work involved</p> <p>16 only her first study that reported negative</p> <p>17 results, correct?</p> <p>18 A. Correct.</p> <p>19 Q. You didn't have an external meeting</p> <p>20 where Dr. Marks discussed her next three</p> <p>21 studies that were finding positive results, did</p> <p>22 you?</p> <p>23 A. That is correct.</p> <p>24 Q. So when you just told the court and</p> <p>25 jury that she reported her work, you're only</p> | <p style="text-align: right;">Page 538</p> <p>1 A. I'm not aware of any action that</p> <p>2 was taken by either company.</p> <p>3 Q. Okay. Let's go to that same</p> <p>4 document, and this would be the second portion</p> <p>5 and its response communication. If you would</p> <p>6 look at CUSA-00189805.</p> <p>7 A. Okay. I have that on my screen</p> <p>8 now.</p> <p>9 Q. All right. This is a continuation</p> <p>10 of that same letter communication between these</p> <p>11 two scientists, correct?</p> <p>12 A. Yes, that is correct.</p> <p>13 Q. All right. If you'd look, starting</p> <p>14 at paragraph 4, and read paragraph 4 and --</p> <p>15 where it starts on the bottom of 805 and</p> <p>16 continues on to 806.</p> <p>17 Do you see that?</p> <p>18 A. Paragraph --</p> <p>19 Q. All --</p> <p>20 A. Paragraph 4 begins, "I am</p> <p>21 sorry ..."</p> <p>22 Q. That is correct, sir. If you just</p> <p>23 read that to yourself.</p> <p>24 And I'll read for the record now</p> <p>25 paragraph 4 of that letter. This is</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 539</p> <p>1 Dr. Fletcher's July 21, 1975 letter in response 2 to Mr. Cavalli's letter, isn't it, sir? 3 A. It is. 4 Q. And, again, these are 5 representatives of Chevron and Syngenta. 6 At that time, the predecessor was ICI, correct? 7 A. Correct. 8 Q. And he says in this paragraph: 9 "I am sorry not to be more helpful 10 with your legal problems. To my knowledge, 11 no-one here has followed up a case of recovered 12 paraquat poisoning for more than a few weeks. 13 I have not heard of delayed sequelae being 14 attributed, rightly or wrongly, to paraquat. 15 Due possibly to good publicity on our part, 16 very few people here believe that paraquat 17 causes any sort of problem in the field and we 18 have the support of the official side. 19 Consequently, any allegation of illness due to 20 spraying never reaches serious proportions and 21 we have never had to defend this type of 22 action. Also, since it is not believed that 23 paraquat causes damage, there has been no study 24 [of] long-term effects. This would be very 25 difficult in an uncontrolled population."</p> | <p style="text-align: right;">Page 541</p> <p>1 work on the part of ICI, most people, including 2 the government, believe that paraquat does not 3 cause problems. That's what he's saying, 4 isn't he? 5 MR. NARESH: Object to form, 6 foundation. 7 THE WITNESS: Well, that's an 8 interpretation of what it says. 9 I couldn't really comment whether that 10 was what was meant. 11 BY MR. TILLERY: 12 Q. Can you dispute that interpretation? 13 A. Well, as I say, I'm not able 14 to make a judgment on that. 15 Q. Okay. Let's say that's my 16 interpretation. Tell me where I'm wrong. 17 A. I wouldn't be able to say you were 18 wrong. I couldn't dispute your 19 interpretation, but I can't equally say that 20 something else may have been meant. 21 Q. Okay. But could you offer up what 22 that alternative would be if mine's wrong? 23 A. No, I wouldn't want to speculate. 24 Q. Okay. All right. 25 He goes on to say:</p> |
| <p style="text-align: right;">Page 540</p> <p>1 Did I read that correctly, sir? 2 A. You did. 3 Q. So Dr. Fletcher, a toxicologist at 4 ICI, told Dr. Cavalli that to his knowledge 5 no one at ICI had followed up on a case of 6 recovered paraquat poisoning for more than 7 a few weeks. Is that a fair statement? 8 A. That is fair. 9 Q. And I wanted to ask you a question. 10 He says: 11 "Due possibly to good publicity on 12 our part, very few people here believe that 13 paraquat causes any sort of problem in the 14 field and we have the support of the official 15 side." 16 Do you see that? 17 A. I do. 18 Q. And Dr. Fletcher goes on: 19 "Consequently, any allegation of 20 illness due to spraying never reaches serious 21 proportions ..." 22 Right? 23 A. Correct. 24 Q. In short, Dr. Fletcher is saying 25 that maybe because of good public relations</p> | <p style="text-align: right;">Page 542</p> <p>1 "... since it is not believed that 2 paraquat causes damage, there has been no study 3 on long-term effects." 4 So my question is, in 1975, 20 years 5 after it was discovered that paraquat could be 6 used to kill plants -- because that was in 7 1955, right? 8 A. Correct. 9 Q. -- ICI still had done no study 10 to investigate the long-term effects of 11 paraquat exposure on humans or animals. 12 Is that a correct statement? 13 A. That is correct. 14 Q. Okay. And would you agree that 15 without a long-term study of the effects of 16 paraquat exposure, a reasonable scientist could 17 not conclude that paraquat does not cause 18 long-term effects? 19 MR. NARESH: Objection to form. 20 THE WITNESS: Yes, that would be 21 a reasonable conclusion. 22 BY MR. TILLERY: 23 Q. Okay. ICI could have studied the 24 long-term effects of exposure to paraquat 25 in nonhuman primates before it began selling</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| Page 543 | Page 545 |
|--|---|
| <p>1 it for use as a weed killer, couldn't it?</p> <p>2 A. It could but, of course, before</p> <p>3 selling it we're talking about a time where</p> <p>4 perhaps this potential was not fully</p> <p>5 understood.</p> <p>6 Q. It could have done it, couldn't it?</p> <p>7 Could you directly answer my question?</p> <p>8 A. It could have been done, yes.</p> <p>9 Q. But it didn't do that, did it?</p> <p>10 A. It did not.</p> <p>11 Q. Okay. And it hadn't done that</p> <p>12 as of 1975 either, had it?</p> <p>13 A. I'm not aware of it having done any</p> <p>14 such study.</p> <p>15 Q. And Chevron, to your knowledge, had</p> <p>16 laboratories where those studies could</p> <p>17 have been done as well, didn't it?</p> <p>18 A. Chevron had laboratories. Whether</p> <p>19 they had the type of labs that would be</p> <p>20 required for a nonhuman primates study,</p> <p>21 I don't know.</p> <p>22 Q. But they could have certainly had</p> <p>23 someone else do it if they wanted to, right?</p> <p>24 A. They could.</p> <p>25 Q. ICI could have studied the long-term</p> | <p>1 A. I can't immediately recall what</p> <p>2 they may be, no.</p> <p>3 Q. Okay. So if I told you there were</p> <p>4 such, you haven't looked at them at all, have</p> <p>5 you?</p> <p>6 A. I don't recall having looked at</p> <p>7 those.</p> <p>8 Q. Okay.</p> <p>9 Now, let's go to CUSA-00189806,</p> <p>10 and that's the third page reference from</p> <p>11 this -- that same communication.</p> <p>12 A. So that was 896?</p> <p>13 Q. Yes. It's actually -- the Bates</p> <p>14 range is CUSA-00189795.</p> <p>15 A. Oh.</p> <p>16 MR. NARESH: Steve, do you have</p> <p>17 a PDF number? It's such a big document</p> <p>18 it might be easier for Dr. Botham to find</p> <p>19 if he has a PDF number.</p> <p>20 THE WITNESS: Okay. I've got --</p> <p>21 I do have 189795 in front of me.</p> <p>22 MR. TILLERY: Actually, I think</p> <p>23 we have the wrong reference page.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. If you look at 189806. It's page 71</p> |
| Page 544 | Page 546 |
| <p>1 effects of exposure to paraquat in ways other</p> <p>2 than in nonhuman primates before it began</p> <p>3 selling it for use as a weed killer, couldn't</p> <p>4 it?</p> <p>5 A. It could.</p> <p>6 Q. But it didn't do that either,</p> <p>7 did it?</p> <p>8 A. At the time when it was first put</p> <p>9 for sale, there was no reason, nor indeed</p> <p>10 no practice at that time, to do such studies.</p> <p>11 Q. Have you looked at the long-term</p> <p>12 communications -- strike that.</p> <p>13 Have you looked at the</p> <p>14 communications between Chevron and ICI and</p> <p>15 their findings of animal studies from the '60s</p> <p>16 and '70s, sir, in preparation for the</p> <p>17 deposition?</p> <p>18 A. I have not done that.</p> <p>19 Q. Okay. So you're unaware of the</p> <p>20 animal studies showing neurological effects,</p> <p>21 aren't you?</p> <p>22 MR. NARESH: Objection to form.</p> <p>23 THE WITNESS: From the 1960s?</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. Yeah, from the 1960s. Yes.</p> | <p>1 of the group of documents in the CUSA</p> <p>2 production.</p> <p>3 Let me explain something to you,</p> <p>4 sir. Chevron produced entire files in one</p> <p>5 large exhibit when they produced these to us,</p> <p>6 so we're making do with what we've been</p> <p>7 provided in production of documents, okay --</p> <p>8 A. Okay.</p> <p>9 Q. -- just so you understand.</p> <p>10 A. Yeah.</p> <p>11 Q. All right.</p> <p>12 If you'd look at that document and</p> <p>13 that page, 00189606 --</p> <p>14 A. 608, okay. I thought you said 806,</p> <p>15 I'm sorry.</p> <p>16 Q. It is 806.</p> <p>17 A. Oh, right.</p> <p>18 MR. NARESH: This is the same</p> <p>19 document that we were just looking at?</p> <p>20 Is that what you're --</p> <p>21 MR. TILLERY: Yeah. It's page 71.</p> <p>22 MR. NARESH: Okay.</p> <p>23 THE WITNESS: Yeah. Sorry, I was</p> <p>24 there; I now just need to go back again.</p> <p>25 ///</p> |

13 (Pages 543 to 546)

| | |
|---|---|
| <p style="text-align: right;">Page 547</p> <p>1 BY MR. TILLERY: 2 Q. And start with the paragraph, 3 "As you say ..." 4 A. Yes, okay. 5 Q. Do you see that, "As you say ..."? 6 A. I do. 7 Q. Read that, I think it's about eight 8 or nine lines, into the record, please. 9 If you want I can read it, if that 10 would be better. You just follow along with 11 me. As -- 12 A. I could -- yes. Please go ahead. 13 Q. Do you have the reference where it 14 says, "As you say"? 15 A. Yes, I do, and I have read that 16 paragraph. 17 Q. And this, again, just for the 18 record, is a letter between Mr. Fletcher, 19 writing it, to Dr. Cavalli; correct? 20 A. That is correct. 21 Q. And what is the date of the letter, 22 the communication? 23 A. July 21, 1975. 24 Q. Okay. So, "As you say," it says: 25 "... there are sporadic reports of</p> | <p style="text-align: right;">Page 549</p> <p>1 A. No, I am not. 2 Q. All right. Let's go now to -- this 3 is Exhibit 14 and it's page 60. And I think 4 that's -- it'd be 189795, sir. 5 A. Okay, yes. I'm there. 6 Q. All right. Do you see where he says 7 second -- "Secondly"? 8 A. Yes. 9 Q. Read that into the record. Again, 10 let's, for identification, identify which 11 document you're referring to. 12 A. Okay. This is a letter written by 13 Dr. Fletcher to Dr. Cavalli at Chevron. 14 Q. Okay. It's a Syngenta predecessor 15 company, ICI, scientist K. Fletcher, and 16 he's communicating with Dr. Cavalli. Right? 17 A. Yes, he is. 18 Q. And he has -- at the bottom of this, 19 he says -- he's Cc'ing three people. Who are 20 they? 21 A. Okay. Dr. M.S. Rose was 22 a toxicologist at Central Toxicology 23 Laboratory where Dr. Fletcher was based. 24 Dr. Calderbank was in another part of research 25 and development of ICI, based at Jealott's</p> |
| <p style="text-align: right;">Page 548</p> <p>1 CNS effects in paraquat poisoning although 2 I cannot recall any CNS pathology being found 3 in other cases, apart from brain oedema and 4 hemorrhage in a few cases." 5 Do you see that? 6 A. I do. 7 Q. And then you see the statement: 8 "My impression is that paraquat does 9 not affect the CNS to any significant extent, 10 except in ... large doses." 11 Do you see that? 12 A. I do. 13 Q. Okay. What studies did ICI and 14 Chevron have as of the date of this letter 15 to support the conclusion that paraquat does 16 not affect the CNS to any significant extent 17 except in very large doses? What studies did 18 they -- 19 A. I'm not able to answer that. 20 I don't know what studies would have been 21 available, or whether they were just here 22 referring to paraquat poisoning cases 23 in humans. 24 Q. All right. Let me ask you this: 25 Are you aware of any such studies?</p> | <p style="text-align: right;">Page 550</p> <p>1 Hill, and Mr. Waitt was in the headquarters of 2 ICI, as it was then, in Fernhurst. 3 Q. All right. Now, if you'd read that 4 into the record, please. 5 A. You'd like me to read the paragraph 6 beginning "Secondly"? 7 Q. Yes, sir, if you wouldn't mind, 8 Dr. Botham. 9 A. "Secondly, we discussed last week 10 the point you raised about possible chronic 11 effects, which you see causing legal problems. 12 This is a quite terrible problem and, frankly, 13 I do not believe a satisfactory investigation 14 can be made. However, I think some plan could 15 be made and, to be as definitive as possible, 16 any study must be as free from doubt as 17 possible. Ideally, we need a fairly large 18 coherent group (say 50) who are exposed to 19 paraquat frequently over a long period, who 20 are exposed to no other pesticide or herbicide 21 and can be followed medically for several 22 years." 23 Q. Was that ever done? 24 A. I'm not aware of such a study 25 having been done.</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 551</p> <p>1 Q. Okay. So Dr. Fletcher told 2 Dr. Cavalli that on November 11, 1975, that 3 he doesn't believe a satisfactory investigation 4 can be made into the chronic health effects of 5 paraquat exposure, correct? 6 A. That is what this says. 7 Q. All right. 8 If a manufacturer suspects possible 9 adverse health effects from chronic exposure 10 to its products, do you agree with me that 11 a satisfactory investigation of chronic health 12 effects should be made before the product is 13 sold? 14 MR. NARESH: Object to the form and 15 scope. 16 THE WITNESS: With today's 17 standards of how we would do that, that 18 is absolutely the case, but when we go 19 back in history, that was not necessarily 20 custom and practice. 21 BY MR. TILLERY: 22 Q. Okay. So it -- strike that. 23 Today you would do such studies 24 before you ever marketed paraquat, right? 25 A. We certainly would, yes. We would</p> | <p style="text-align: right;">Page 553</p> <p>1 start over. Okay. 2 BY MR. TILLERY: 3 Q. So you're saying there was 4 a different standard in 1965 where Syngenta 5 would not have to do such studies if it 6 suspected possible adverse health effects from 7 chronic exposure to paraquat at that time, 8 correct? 9 A. That is actually, if I may say so, 10 a slightly different question. What 11 I answered before was that the custom and 12 practice before, when you were marketing 13 a new chemical, would be different to what 14 it is today in terms of the standards required 15 of testing. 16 Q. Well, I asked -- 17 A. It -- 18 Q. I'm sorry. Go ahead and finish your 19 answer, sir. 20 A. Yeah. You just asked the question 21 that if you had indications that there could 22 be adverse effects would you -- would you do 23 something differently. That is a slightly 24 different question. 25 Q. No, that's -- my original question</p> |
| <p style="text-align: right;">Page 552</p> <p>1 do chronic studies, yes. 2 Q. Okay. So what you're saying is that 3 the standard in 1965 was different; you didn't 4 need to do that. Right? Is that -- 5 A. That is correct. 6 Q. -- what you're saying? 7 MR. NARESH: Objection -- 8 THE WITNESS: That is correct, yes. 9 BY MR. TILLERY: 10 Q. Okay. Could you tell me the 11 standard -- 12 (Stenographer interruption.) 13 MR. NARESH: Oh, yes. Same 14 objections as before; scope and 15 foundation. 16 THE STENOGRAPHER: Thank you. 17 And the witness, sorry, you said -- 18 you answered Yes that question? 19 THE WITNESS: Repeat the question, 20 please. 21 THE STENOGRAPHER: Yes. Yes. 22 So what -- 23 MR. TILLERY: Absolutely. 24 THE STENOGRAPHER: Sorry. 25 MR. TILLERY: Absolutely. Let's</p> | <p style="text-align: right;">Page 554</p> <p>1 to you is simply this, I'll restate it. if the 2 manufacturer suspects possible adverse health 3 effects from chronic exposure to its product, 4 here paraquat, would you agree that 5 a satisfactory investigation of chronic health 6 effects should be made before the product 7 is sold? 8 That was my original question. 9 Can you answer that? 10 MR. NARESH: Object to form, 11 foundation and scope. 12 THE WITNESS: Yes. That's -- but 13 I don't -- the issue here is that the 14 suspicion of these chronic effects only 15 became apparent sometime after marketing 16 had started. 17 BY MR. TILLERY: 18 Q. And you're basing that on the fact 19 of -- the materials you've read, which does not 20 include the 1960 animal studies, correct? 21 A. Correct. 22 Q. So if I ask you to assume that there 23 were central nervous system signs in animal 24 studies at or about the time of the launch of 25 paraquat in 1965, would you agree with me that</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|--|--|
| <p style="text-align: right;">Page 555</p> <p>1 it would have been the best course, before 2 paraquat was marketed, to evaluate the adverse 3 health effects from chronic exposure 4 to paraquat? 5 MR. NARESH: Same objections. 6 THE WITNESS: I am not able to 7 accurately ascertain whether that would 8 have been the right judgment at the time. 9 BY MR. TILLERY: 10 Q. So you can't answer my question, 11 is that what you're saying? 12 A. The way in which I would answer 13 your question would be that this is what 14 toxicologists do all the time. Adverse 15 effects are seen in animal studies, even 16 in today's world, and a judgment is made about 17 whether those findings could have relevance 18 for humans, and, in which case, whether it is 19 the right thing or not to do to market or sell 20 a product. 21 And that same judgment would 22 have been made at that time. 23 Q. So you don't see a different 24 standard when the evidence is there; 25 the standard that exists today would have</p> | <p style="text-align: right;">Page 557</p> <p>1 Q. So are you suggesting, then, that 2 if the science was in existence and the 3 regulatory standards were in existence, that 4 at that point in time, in the 1970s, that the 5 defendants, ICI-Syngenta, and Chevron, should 6 have at that time conducted studies 7 to determine adverse health effects from 8 chronic exposure to paraquat? 9 MR. NARESH: Objection to form, 10 foundation. 11 THE WITNESS: Are you referring to 12 a response to the effects that had 13 been -- that are reported in people who 14 have been exposed to paraquat? 15 BY MR. TILLERY: 16 Q. I'm referring to all of the 17 knowledge that Syngenta, through ICI, and 18 Chevron had at its disposal. Every bit of it. 19 Should they have conducted, at that time, 20 long-term neurotoxicity studies to evaluate 21 adverse health effects from chronic exposure 22 to paraquat? 23 A. They would -- they should have 24 conducted the long-term chronic toxicity 25 studies when those studies began to become</p> |
| <p style="text-align: right;">Page 556</p> <p>1 applied in 1965 based upon the evaluation 2 of available scientific evidence, correct? 3 A. The standard of making that 4 judgment, as I have just described it, 5 is indeed the same -- would have been the same 6 then as it is today. 7 Q. All right. 8 A. The difference, which is what I was 9 referring to before, is that the requirements 10 and the practice that was present in the 11 1960s, in terms of what you did, was different 12 to how it is today. 13 Q. When did it change over time? 14 A. This began to change in the 1970s 15 when -- 16 Q. Okay. 17 A. -- regulatory guidelines for 18 toxicology testing began to emerge. 19 Q. Okay. So let's assume then -- pick 20 a date in the 1970s that you think applies 21 where the standard changed. 22 A. The standard changed in the late 23 1970s when guidelines were -- international 24 guidelines were beginning to be required for 25 the conduct of toxicology testing.</p> | <p style="text-align: right;">Page 558</p> <p>1 the standard practice for toxicology 2 reinforced by regulatory guidelines. 3 Q. Okay. So by the late '70s at the 4 latest, correct? 5 A. Correct. 6 Q. All right. Thank you. 7 Had either ICI or Chevron wanted 8 to perform a study of chronic exposure, either 9 or both of them could have done long-term study 10 in nonhuman primates to determine the central 11 nervous system effects, couldn't they? 12 A. They could have. 13 Q. Yes. Did either ICI or Chevron 14 conduct those studies before they put paraquat 15 on the market? 16 A. I don't believe that they did. 17 Q. Okay. Did they do any of them in 18 the '60s? 19 A. I'm not aware of such studies in 20 the nonhuman primate in the 1960s. 21 Q. ICI and Chevron could have done 22 a long-term study on nonhuman primates when 23 they learned that -- from an evaluation of 24 people's brains in autopsies that paraquat got 25 into the brain of human beings on ingestion.</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 559</p> <p>1 They could have done it at that time, too, 2 couldn't they? 3 MR. NARESH: Objection to form. 4 THE WITNESS: They could have done 5 such a study. 6 BY MR. TILLERY: 7 Q. I'm sorry? 8 A. Yes, they could have done such 9 a study. 10 Q. And they didn't do it at that time 11 either, did they? 12 A. I'm not aware that such a study was 13 done. 14 Q. Did ICI assume that in poisoning 15 cases, paraquat got into the brain of the human 16 because of a high dose? 17 A. I -- 18 Q. Or do you know one -- 19 A. I don't know whether such an 20 assumption was made at that time. 21 Q. Okay. Did you know one way or 22 another whether your -- strike that. 23 You're the spokesperson here today 24 for Syngenta, not just 2020 but all the way 25 back to before the marketing and sale of</p> | <p style="text-align: right;">Page 561</p> <p>1 evidence would have put ICI and Chevron on 2 notice that through oral ingestion of the 3 product, at least through that source, paraquat 4 finds its way into the brain tissue. Correct? 5 MR. NARESH: Objection to form. 6 THE WITNESS: If such evidence was 7 available, then yes. 8 MR. TILLERY: All right. 9 This is Exhibit 16 for this 10 deposition. It will be referred to as 11 Botham Exhibit No. 16. 12 For counsel on this call, this is 13 SYNG-PQ-04263689. There are two parts 14 to this and we are going to show a second 15 component and, for counsel, that second 16 is going to be -- we are going to be 17 showing SYNG-PQ-04263571. 18 (Botham Exhibit 16 previously 19 marked for identification.) 20 BY MR. TILLERY: 21 Q. Now, can you pull up the first one, 22 please, for him. Is that on eDepoze for you 23 to see? Can you see it, Doctor? 24 A. I can -- yes, I could until 25 a moment ago. Yes, I can see it, yes.</p> |
| <p style="text-align: right;">Page 560</p> <p>1 paraquat in the United States 1965. Do you 2 know whether Chevron assumed that, in poisoning 3 cases, paraquat got into the brain because of 4 high dose? 5 A. I don't know. 6 Q. All right. 7 Even assuming if they did, that 8 ICI and Chevron thought that, in poisoning 9 cases, paraquat got into the brain because of 10 the high dose, it certainly put ICI and Chevron 11 on notice that there was a way through which 12 paraquat could get into the brain, correct? 13 MR. NARESH: Objection to form. 14 THE WITNESS: That is one -- 15 certainly one possibility. Again, 16 I cannot comment whether that was 17 something that was discussed at that 18 time. 19 BY MR. TILLERY: 20 Q. Well, let's simplify this. We're 21 going to go over a few studies or reports of 22 autopsies, and they will demonstrate, 23 I believe, to you that paraquat gets in the 24 brain. I'll show you these studies. 25 Now, all I'm asking you is, that</p> | <p style="text-align: right;">Page 562</p> <p>1 Q. All right. Take your time and read 2 that. 3 MR. NARESH: I think you're in 4 presentation mode, Steve. Could you take 5 it out of presentation mode so that 6 he can review? 7 MR. TILLERY: It's just one page, 8 Ragan. 9 MR. NARESH: Thanks. 10 THE WITNESS: Okay, thank you. 11 I can now see that. 12 MR. TILLERY: And can you look 13 at -- can you pull up the second exhibit 14 as well. This is Exhibit No. 17. -- is 15 that correct? This is Exhibit No. 42, 16 I'm sorry. 17 (Botham Exhibit No. 42 marked for 18 identification.) 19 THE WITNESS: Okay. I have read 20 the first exhibit, Exhibit 16. 21 BY MR. TILLERY: 22 Q. Yeah. And the first exhibit says 23 that in March 1968 a Japanese woman swallowed 24 Gramoxone and died, and her autopsy revealed 25 that 8.6 -- and that says a unit of measure,</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|---|---|
| <p style="text-align: right;">Page 563</p> <p>1 ug/g. And would you, for the ladies and 2 gentlemen of the jury, tell us what that 3 measurement is. 4 A. That says micrograms of paraquat 5 per gram of tissue, so 1 microgram is 6 a thousand -- one-thousandth of a gram. 7 Q. All right. So that's 8.6 micrograms 8 per gram of paraquat was found in her brain. 9 Do you see that? 10 A. Yes. 11 Q. And did Ken Fletcher of ICI say 12 about this that the tissue analysis results 13 were "rather higher than we would have expected 14 particularly in the brain, considering the 15 relatively small quantity that was taken"? 16 Is that what he's saying? 17 A. Yes, that's what it says. 18 Q. So in 1968, three years after this 19 product was first marketed in the United 20 States, ICI knew that swallowing a relatively 21 small amount of paraquat would result in 22 paraquat entering the brain, didn't it? 23 A. Yes. 24 Q. Several people at ICI were made 25 aware of this report, weren't they?</p> | <p style="text-align: right;">Page 565</p> <p>1 a scientific laboratory, for the scientist 2 to -- 3 A. I -- 4 Q. You would agree with -- 5 A. Yes, I would expect that. I would 6 expect that. 7 Q. All right. 8 Chevron was also made aware of this 9 since it came through them, didn't it, sir? 10 A. I can't see that Chevron were made 11 aware of this from the document I have here. 12 Q. Wouldn't it have been standard 13 practice for you during that period of time 14 to share information, scientific information, 15 with Chevron? 16 A. I'm sure it possibly was, but, 17 again, I can't confirm that that was 18 necessarily or was the case. 19 Q. If you'd look at No. 42 again, it 20 shows that this was brought to the attention of 21 Dr. Litchfield, Dr. Conning, Dr. Swan, 22 Dr. Fletcher, Dr. Gage. These are all 23 scientists at ICI, correct? 24 A. Just before we go on, which 25 document are you now looking at because</p> |
| <p style="text-align: right;">Page 564</p> <p>1 A. I don't have direct evidence for 2 that, but I assume so. 3 Q. Well, you look on -- if you look on 4 the face of the exhibit, sir? 5 A. Ah, yes, I see. Yes, copies. 6 Okay. Yes, that is true. 7 Q. And these were other scientists at 8 ICI. It wasn't sheltered information, it was 9 information that was distributed, and that 10 would be the practice at that time, too, 11 wouldn't it? 12 A. Yes, that would be practice. 13 Q. And isn't it your testimony that it 14 would be standard practice to share information 15 not only with the Chevron scientists but also 16 with all of the people who were working with 17 paraquat at ICI at the time if they thought 18 it was a significant finding? 19 A. Well, that, I can't confirm as 20 to exactly how much and which people such 21 information would be shared with. I can't 22 comment on the detail that was practiced at 23 that time. 24 Q. Well, would you at least say that 25 that would be the standard practice in</p> | <p style="text-align: right;">Page 566</p> <p>1 I'm still looking at 16. 2 Q. This is Exhibit 42. I'm sorry, it's 3 tab -- 4 MR. TILLERY: I'm sorry, can you 5 pull that document up? 6 MS. BRUMITT: Yeah. 7 MR. TILLERY: I'm going to show you 8 the next document, sir, and it's 9 Exhibit 43. This document is a summary 10 of the findings from the documents that 11 you've just looked at, of the Japanese 12 woman -- 13 MR. NARESH: Steve, I don't mean to 14 interrupt but I am genuinely confused. 15 MR. TILLERY: All right. Ragan, 16 if you wouldn't mind looking at 17 SYNG-PQ-03720698. 18 MR. NARESH: I think my concern 19 is that I don't know if your questioning 20 just now was about Exhibit 16 or 42, and 21 the questioning was -- 22 MR. TILLERY: This is -- 23 MR. NARESH: -- a little confusing 24 to me. Did you ask him questions about 25 Exhibit 42?</p> |

18 (Pages 563 to 566)

Page 567

1 MR. TILLERY: Yes, I'll -- I'll
 2 withdraw it. I'll withdraw it. Okay.
 3 MR. NARESH: Okay.
 4 MR. TILLERY: This is Exhibit
 5 No. 43 and it's, for the record, as I
 6 told you, SYNG-PQ-03720698. Okay. Can
 7 you pull that up and we can put it on the
 8 eDepoze for you.
 9 THE WITNESS: Yeah, I've just
 10 opened up a document which is a letter
 11 from Dr. Litchfield to Dr. Swan. Is that
 12 that ...
 13 MR. TILLERY: Yes, that's it.
 14 THE WITNESS: Right, okay. Yes,
 15 I have that in front of me now from
 16 eDepoze.
 17 (Botham Exhibit 43 marked for
 18 identification.)
 19 BY MR. TILLERY:
 20 Q. Okay. And this report was, from the
 21 face of that document, brought to the attention
 22 of Litchfield, Conning, Swan, Gage, Fletcher,
 23 et cetera, right?
 24 A. That is correct.
 25 Q. And they're all ICI scientists,

Page 568

1 correct?
 2 A. They are. They are, yes.
 3 Q. So all of them knew in 1968 that
 4 paraquat could enter the brain of a person
 5 exposed to the chemical, correct?
 6 A. Correct.
 7 MR. NARESH: Objection to form.
 8 BY MR. TILLERY:
 9 Q. What did they do with the knowledge
 10 of that particular fact in terms of planning
 11 studies?
 12 A. I'm not able to comment on that.
 13 I don't know what conversations or planning
 14 was done.
 15 Q. Are you aware of them using this
 16 information to plan any kind of study
 17 evaluating the health or safety of paraquat?
 18 A. I'm not aware of that, no.
 19 MR. TILLERY: Okay.
 20 Now we'll go to Exhibit 44.
 21 Counsel, this is CUSA-00206717.
 22 (Botham Exhibit 44 marked for
 23 identification.)
 24 THE WITNESS: Okay, I can see that
 25 document.

Page 569

1 BY MR. TILLERY:
 2 Q. Would you take a minute
 3 to familiarize yourself with the document,
 4 please.
 5 A. Okay, I've read that document.
 6 Q. All right. This is an analysis of
 7 tissue performed after autopsy of a patient who
 8 ingested 15 to 20 milliliters of paraquat in
 9 1974. Correct?
 10 A. Correct.
 11 Q. The patient died after four days.
 12 Correct?
 13 A. Correct.
 14 Q. Paraquat was found in the
 15 cerebellum, which is part of the brain.
 16 Correct?
 17 A. Correct.
 18 Q. Paraquat was found in the cerebrum,
 19 which is also part of the brain. Correct?
 20 A. Correct.
 21 MR. TILLERY: Now let's go,
 22 if we can, to Exhibit 45 and this is
 23 CUSA-00169412, and we're going to be
 24 looking at that and that front page.
 25 (Botham Exhibit 45 marked for

Page 570

1 identification.)
 2 THE WITNESS: Okay, the document is
 3 received so I can see that.
 4 BY MR. TILLERY:
 5 Q. If you'd read that and the second
 6 page, Doctor.
 7 A. Okay, I've done that.
 8 Q. Okay. And if you wouldn't mind,
 9 direct your attention to the paragraph on the
 10 first page where it says:
 11 "In their letter Merck emphasise
 12 that these results confirm earlier findings on
 13 the distribution of paraquat and they also
 14 mention that obviously the lungs are not a
 15 particular target organ, as the paraquat
 16 concentration was only slightly higher than in
 17 some other organs that are also well supplied
 18 with blood."
 19 Do you see that?
 20 A. I do.
 21 Q. This is a recitation of a letter
 22 received from Merck about a woman who had
 23 died possibly from suicidal poisoning using
 24 paraquat, correct?
 25 A. Yes.

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|---|---|
| <p style="text-align: right;">Page 571</p> <p>1 Q. The communication is dated 2 February 12, 1974; is that correct, Dr. Botham? 3 A. Yes, it is. 4 Q. In their letter they emphasize that 5 the lungs are not necessarily a target organ, 6 correct? 7 A. Yes. 8 Q. So after Syngenta and Chevron knew 9 that the lungs were not a specific target 10 organ, did you do studies to determine the 11 effects of paraquat on organs that were 12 well supplied with blood? 13 MR. NARESH: Objection to form, 14 foundation. 15 THE WITNESS: To clarify, when the 16 word -- when the term "target organ" 17 is used, normally that is meant to 18 indicate an organ that may be susceptible 19 to damage by a toxicant, not necessarily 20 to indicate how much of a toxicant gets 21 to that tissue. So it's -- the use of 22 that term here, which is based on how 23 much got into the lung, is not what 24 we would normally have expected to see. 25 ///</p> | <p style="text-align: right;">Page 573</p> <p>1 Q. All right. And this is a postmortem 2 analysis of someone named Charles Lockwood, 3 correct? 4 A. That's correct. 5 Q. He ingested 35 milliliters of 6 paraquat CL on June 10, 1978; is that correct? 7 A. That is correct. 8 Q. A plasma sample taken on June 14 had 9 0.06 part per million paraquat, correct? 10 A. Paraquat, I think, was 0.04. 11 Q. 04, okay. Subsequent plasma samples 12 were taken on the 15th and 16th. 13 Do you see that? 14 A. I'm sorry, excuse me. I was 15 looking further down the letter. You're quite 16 right; first, it was initially 0.06. 17 Q. Okay. And subsequent plasma samples 18 were taken on the 15th and 16th and were found 19 to be below the detection limit, right? 20 A. That's correct. 21 Q. Now, postmortem analysis was 22 performed of the brain, lung, liver, kidney, 23 right? 24 A. Yes. 25 Q. And only the brain had a 0.04 part</p> |
| <p style="text-align: right;">Page 572</p> <p>1 BY MR. TILLERY: 2 Q. Well, let me ask you this: Does that 3 report from Merck indicate that, again, they 4 found that paraquat gets into the brain of 5 people who get it in their system, if you'd 6 look at where -- 7 A. That is correct, yes. Yes. 8 Q. It does. They had findings of 9 paraquat in the brain, didn't they? 10 A. They did. 11 Q. The concentration of paraquat found 12 in the woman's brain was 0.17 part per million, 13 correct? 14 A. That's correct. 15 Q. All right. 16 MR. TILLERY: Let's go to 17 Exhibit No. 46 and this is CUSA-00168423. 18 (Botham Exhibit 46 marked for 19 identification.) 20 THE WITNESS: Okay. That's 21 received here. I can see that. 22 BY MR. TILLERY: 23 Q. Why don't you take a look at it 24 really quickly. 25 A. Okay, I've read that.</p> | <p style="text-align: right;">Page 574</p> <p>1 per million of paraquat, right? 2 A. Yes. 3 Q. So the brain was the target organ 4 for paraquat here, correct? 5 A. Again, the use of the term "target 6 organ" is not really a correct one. It simply 7 indicates that that level of paraquat was 8 in the brain at that time. 9 Q. Was there any level of paraquat 10 found in any other organ, other than the brain, 11 at that time? 12 A. This indicates that that was -- 13 that there was no paraquat, detectable 14 paraquat, in other tissues. 15 Q. The only detectable paraquat from 16 this ingestion was in the brain, correct? 17 A. That is correct. 18 Q. And from the time period here, had 19 paraquat accumulated in the brain as opposed 20 to other tissues? Would you agree with that? 21 A. It had certainly entered the brain. 22 MR. TILLERY: Now let's go to -- 23 sorry. Number 47, Exhibit 47. 24 (Botham Exhibit 47 marked for 25 identification.)</p> |

20 (Pages 571 to 574)

| | |
|--|---|
| <p style="text-align: right;">Page 575</p> <p>1 MR. TILLERY: This is -- I'm sorry, 2 it's SYNG-PQ-0467141. 3 THE WITNESS: Okay, I can see 4 the document. 5 BY MR. TILLERY: 6 Q. All right. Take a look at it, 7 please, and tell me when you're ready to talk 8 about it. 9 A. Okay, I've looked at that. 10 Q. And this was a document that was 11 disclosed to us by Syngenta, so it was in 12 Syngenta's files. 13 Have you seen this before? 14 A. No, I have not. 15 Q. All right. And this is a 1976 16 autopsy report by Dr. L. Henry from Sheffield, 17 UK. Is that right? 18 A. Yes, certainly Dr. L. Henry. 19 I can't see the Sheffield attribution but 20 I think that's because I may not be able to 21 see the very top of the page. 22 Q. All right. And this is a report of 23 an autopsy of a farmworker who ingested 24 paraquat, right? 25 A. Correct.</p> | <p style="text-align: right;">Page 577</p> <p>1 isn't it? 2 A. That is correct. 3 Q. And loss of dopamine production 4 causes motor symptoms in Parkinson's disease 5 I think you told me earlier in this deposition? 6 A. I did. Yes, that is correct. 7 Q. Purkinje cells are neurons located 8 in the cerebellum, aren't they? 9 A. Yes. 10 Q. They release a neurotransmitter 11 called G-A-B-A, GABA. Do you know what that 12 stands for? 13 A. GABA? I'd -- 14 Q. GABA. 15 A. Yeah, GABA. Yeah. It's -- I can't 16 immediately give you the -- 17 Q. I'll -- yeah, yeah, it's not a test, 18 and I have trouble even pronouncing the 19 scientific term. I'm going to do my best and 20 let you correct me, okay. But I think GABA 21 stands for gamma-aminobutyric acid, okay -- 22 A. Yes, that is correct. 23 Q. Does that sound right? 24 A. That is correct. 25 Q. All right. And they release</p> |
| <p style="text-align: right;">Page 576</p> <p>1 Q. If you turn to page 3, and that's 2 SYNG-04267143, does the report there say that 3 the histological examination of his brain 4 revealed degenerative changes "present in the 5 cells of the substantia nigra and the Purkinje 6 cells of the [cerebrum]." 7 Does it say that? 8 A. Yes, it does say that. 9 Q. Just for purposes of the court and 10 jury, what does histological examination mean? 11 A. It means that you take a tissue, 12 in this case the brain, and you cut very fine 13 slices of that brain and you look at those 14 slices under the microscope, usually with the 15 tissue being stained so you can see the cells, 16 the architecture, and that is called 17 histological analysis. 18 Q. And Dr. Henry concludes that: 19 "The gross and microscopic findings 20 in [the] case are consistent with those found 21 following the ingestion of paraquat." 22 Right? 23 A. Yes, it does. 24 Q. The substantia nigra is the part of 25 the midbrain that controls dopamine production.</p> | <p style="text-align: right;">Page 578</p> <p>1 a neurotransmitter called GABA that regulate 2 and coordinate motor movements; is that right? 3 A. That is correct, yes. 4 Q. All right. 5 Given ICI's knowledge of reports 6 that applicators exposed to paraquat had 7 central nervous system problems, and its 8 knowledge from this report that damage to the 9 substantia nigra had been found after paraquat 10 ingestion and that paraquat hadn't been ruled 11 out as a cause of that damage, would you agree 12 with me that a reasonable manufacturer of 13 paraquat would have studies done to investigate 14 the effects of paraquat exposure on the central 15 nervous system, including the substantia nigra, 16 at that time? 17 MR. NARESH: Objection to form, 18 foundation, scope. 19 THE WITNESS: So if I may just 20 point out that on the final page of that 21 letter from Dr. Henry, he suggested that 22 the degenerative changes in the brain 23 that we have just been describing are 24 consistent with anoxia, which is 25 a technical term meaning the lack of</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 579</p> <p>1 oxygen; in other words --</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. All right.</p> <p>4 A. So there are -- there was an</p> <p>5 explanation for why these effects could have</p> <p>6 occurred.</p> <p>7 Q. He also said, the results -- "The</p> <p>8 gross and microscopic findings in this case are</p> <p>9 consistent with those found following the</p> <p>10 ingestion of paraquat," doesn't he?</p> <p>11 A. That is correct, and --</p> <p>12 Q. All right.</p> <p>13 A. -- especially so in the lungs, yes.</p> <p>14 Q. So let me go back to my question.</p> <p>15 Armed with this knowledge, would it have been</p> <p>16 reasonable at that time for the only</p> <p>17 manufacturer of paraquat to undertake studies</p> <p>18 to determine whether or not this indicated</p> <p>19 central nervous system damage from paraquat?</p> <p>20 MR. NARESH: Same objections as</p> <p>21 to the prior question.</p> <p>22 THE WITNESS: Given that the more</p> <p>23 likely explanation at that time was that</p> <p>24 the effect on the brain was an indirect</p> <p>25 result of damage to the lungs, which was</p> | <p style="text-align: right;">Page 581</p> <p>1 particular situation here or is that a general</p> <p>2 statement?</p> <p>3 Q. General statement.</p> <p>4 A. Paraquat is -- I agree that</p> <p>5 paraquat is able to enter the brain.</p> <p>6 Q. All right. And can accumulate</p> <p>7 in the brain, right?</p> <p>8 A. It can accumulate in the brain,</p> <p>9 yes.</p> <p>10 Q. Okay.</p> <p>11 MR. NARESH: Steve, I don't know if</p> <p>12 you -- you're on mute as far as I can</p> <p>13 tell.</p> <p>14 MR. TILLERY: Yeah. Give us about</p> <p>15 two minutes off record. Thank you.</p> <p>16 MR. NARESH: Yeah, sure.</p> <p>17 MR. TILLERY: I'm moving to a new</p> <p>18 subject matter. Could we take about</p> <p>19 a less-than-five-minute break, just</p> <p>20 a couple minutes, okay?</p> <p>21 MR. NARESH: Sure. Yeah, I think,</p> <p>22 if my clock is correct, it's, what, about</p> <p>23 11:50 a.m. your time, Dr. Botham?</p> <p>24 THE WITNESS: It is, yes.</p> <p>25 MR. NARESH: So we would also break</p> |
| <p style="text-align: right;">Page 580</p> <p>1 the well-known findings that are referred</p> <p>2 to here, that would not necessarily have</p> <p>3 led to a conclusion that a direct effect</p> <p>4 on the brain would needed to have been</p> <p>5 investigated.</p> <p>6 BY MR. TILLERY:</p> <p>7 Q. Okay. So are you telling the ladies</p> <p>8 and gentlemen of the jury that that's what</p> <p>9 ICI decided, that it was --</p> <p>10 A. No, I'm giving you my</p> <p>11 interpretation of what could have happened in</p> <p>12 response to this letter.</p> <p>13 Q. And there's other alternative</p> <p>14 explanations; that they just didn't do the</p> <p>15 study, right?</p> <p>16 A. Of course I can't rule out other</p> <p>17 explanations.</p> <p>18 Q. And you don't know which one of them</p> <p>19 it was, do you?</p> <p>20 A. No, of course.</p> <p>21 Q. Okay. By comparing paraquat</p> <p>22 concentrations in the brain and blood, can you</p> <p>23 say whether paraquat had accumulated in the</p> <p>24 brain?</p> <p>25 A. Are you referring to this</p> | <p style="text-align: right;">Page 582</p> <p>1 for lunch in about half an hour or so,</p> <p>2 so I don't know how that affects your</p> <p>3 planning, Steve.</p> <p>4 MR. TILLERY: Like I said, he can</p> <p>5 break whenever he wishes. I'm only</p> <p>6 looking for a couple of minutes.</p> <p>7 Thank you.</p> <p>8 MR. NARESH: Thank you.</p> <p>9 THE VIDEOGRAPHER: We are going off</p> <p>10 the record. The time is 11:49.</p> <p>11 (Off the record.)</p> <p>12 THE VIDEOGRAPHER: We are back on</p> <p>13 the record. The time is 11:57.</p> <p>14 MR. TILLERY: I'm going to refer</p> <p>15 you to Plaintiff's Deposition</p> <p>16 Exhibit No. 48. For the record, this is</p> <p>17 SYNG-PQ-23457731.</p> <p>18 (Botham Exhibit 48 marked for</p> <p>19 identification.)</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. Please take a look at that document.</p> <p>22 Tell me when you're ready to address</p> <p>23 questions about the document.</p> <p>24 A. Okay, I've read that.</p> <p>25 Q. And would you, for the court and</p> |

Page 583

1 jury, please identify the document.
 2 A. This is a letter from Dr. Gage,
 3 in the medical department of ICI,
 4 to Dr. Snowdon, who was described as the
 5 division toxicological liaison officer at ICI.
 6 Q. And he's in the technical
 7 department, Billingham division, Durham, right?
 8 A. That's right.
 9 Q. Okay. And the date of the letter
 10 is October 13, 1958?
 11 A. That's correct.
 12 Q. And what is the subject matter of
 13 the letter at the top?
 14 A. The toxicity of 2,2-prime
 15 dipyridyl.
 16 Q. Okay. And J.C. Gage, what was his
 17 role in the medical department?
 18 A. I'm not sure what his precise role
 19 was, I'm sorry.
 20 Q. Okay. And Dr. Snowdon, do you know
 21 what his role was?
 22 A. Well, a division toxicological
 23 liaison officer, I'm aware that that was
 24 the -- a generic job description for a person
 25 in a particular part of the company, ICI in

Page 584

1 that case, who had responsibility for
 2 toxicology.
 3 Q. Okay. He would have been
 4 a high-ranking official at that point in time
 5 in the company, wouldn't he, in terms of the
 6 science development?
 7 A. Well, yes, he would certainly have
 8 been a senior person in the department at that
 9 time, yes.
 10 Q. Okay.
 11 In an earlier part of this
 12 deposition, you confirmed that ICI recognized
 13 paraquat's herbicidal characteristics in 1955,
 14 correct?
 15 A. Correct.
 16 Q. And that's when you had the patent
 17 issued, correct?
 18 A. Correct.
 19 Q. And paraquat was first sold in the
 20 United States in 1965, right?
 21 A. Correct.
 22 Q. What was the very first date on
 23 which ICI or Syngenta learned that paraquat had
 24 a toxicity affecting the central nervous
 25 system?

Page 585

1 A. I --
 2 MR. NARESH: Objection to form.
 3 Go ahead.
 4 THE WITNESS: I don't know what
 5 that date might have been.
 6 BY MR. TILLERY:
 7 Q. Okay. Certainly by October 1958
 8 ICI knew this, didn't it?
 9 A. Well, this letter indicates that,
 10 as it says here, dipyridyl appears to have
 11 a moderate toxicity mainly by affecting the
 12 central nervous system.
 13 Q. Okay. And the date of this letter
 14 is 1958, isn't it?
 15 A. That's correct.
 16 Q. Okay. So this is a letter from
 17 J.C. Gage of the ICI medical department
 18 to Dr. F.F. Snowdon as division toxicological
 19 liaison officer at ICI, right?
 20 A. Right.
 21 Q. The letter indicates that the ICI
 22 medical department has studied the toxicity of
 23 2,2 dipyridyl by injection and by application
 24 to the eye, correct?
 25 A. Correct.

Page 586

1 Q. And this -- he indicated that before
 2 issuing a toxicological -- strike that.
 3 He indicated that before issuing
 4 a toxicological report, Mr. Gage was inquiring
 5 whether there would be exposure to vapors
 6 during distillation, correct?
 7 A. Yeah, I think what it actually says
 8 is that on the toxicological inquiry form that
 9 came from Dr. Snowdon, that it was a question
 10 about exposure to vapor during distillation.
 11 Q. Okay. And this particular chemical
 12 is what that they're looking at?
 13 A. This is paraquat.
 14 Q. All right. And he concludes the
 15 first paragraph by saying that if fumes are
 16 likely, his laboratory would attempt further
 17 investigations, correct?
 18 A. Correct.
 19 Q. All right. And the final paragraph,
 20 he informs Dr. Snowdon that 2,2 dipyridyl has
 21 a moderate toxicity because it affects the
 22 central nervous system, correct?
 23 A. Correct.
 24 MR. NARESH: Object to the form.
 25 Go ahead, sorry.

| | |
|--|---|
| <p style="text-align: right;">Page 587</p> <p>1 BY MR. TILLERY: 2 Q. And this is about -- 3 MR. TILLERY: Sorry, you were -- 4 MR. NARESH: I -- 5 MR. TILLERY: -- finished, Ragan? 6 MR. NARESH: Yes. 7 BY MR. TILLERY: 8 Q. This was about seven years before 9 paraquat was first sold in the United States, 10 right? 11 A. Correct. 12 Q. Now, after hearing that paraquat was 13 toxic by affecting the central nervous system 14 in 1958, what studies were undertaken 15 to determine just how it caused toxicity to the 16 central nervous system? 17 A. I -- 18 MR. NARESH: Objection to form. 19 THE WITNESS: I don't know the 20 answer to that question. 21 BY MR. TILLERY: 22 Q. Were any neurotoxicity studies ever 23 undertaken before paraquat was sold in the US 24 seven years later? 25 A. I'm not sure what studies were</p> | <p style="text-align: right;">Page 589</p> <p>1 CUSA-00383879. 2 (Botham Exhibit 49 marked for 3 identification.) 4 MR. TILLERY: It's this one here. 5 THE WITNESS: Okay, I can see that 6 document. 7 BY MR. TILLERY: 8 Q. All right. I don't -- I want 9 to make sure you understand the context, and 10 if you need more context I have other documents 11 surrounding this. 12 But the question I would have 13 would be that Chevron and ICI were working 14 to develop paraquat as a no-till product for 15 soyabeans relatively soon after it was sold 16 in the United States, correct? That's your 17 understanding? 18 A. I'm -- you may be right. I can't 19 confirm that but I take your word for it. 20 Q. Yeah, I've got other documents 21 around this. It will just delay this 22 deposition and they are of no account. Please 23 let me represent to you that's what the 24 documents show -- 25 A. Okay.</p> |
| <p style="text-align: right;">Page 588</p> <p>1 conducted. 2 Q. Do you know if ICI ever took this 3 information from their own medical laboratories 4 to investigate neurotoxicity before this 5 product was launched for sale in the United 6 States? 7 A. No, I don't know whether that was 8 the case. 9 Q. Were the inhalation analyses 10 mentioned by Mr. Gage, or Dr. Gage, ever done 11 then? 12 A. I don't know. 13 MR. TILLERY: Now if we could move 14 on to -- and this is -- that last exhibit 15 that we just mentioned, I hope 16 I referenced on the record, was 17 Plaintiff's Deposition Exhibit No. 48, 18 Dr. Botham, okay? 19 THE WITNESS: Right, okay. 20 MR. TILLERY: All right. Now we're 21 going to go to Deposition Exhibit No. 49, 22 and if you would pull this up, please, 23 for him. 24 This, whilst he's doing that, 25 is a document that's marked</p> | <p style="text-align: right;">Page 590</p> <p>1 Q. -- that ICI and Chevron were 2 attempting to sell the product to other 3 companies as an additive to other chemicals, 4 like atrazine, simazine, Lorox, Lasso, that 5 were manufactured by other chemical companies, 6 like Geigy or DuPont or Monsanto, and this was 7 a time period where they were trying to market 8 that. 9 I'll ask you to assume it but 10 I'm happy to show you documents to verify that 11 if you wish to see them. Okay? 12 A. No, I'm happy to accept that. 13 Q. All right, okay. 14 Now, Chevron and ICI sold paraquat 15 at times for mixing with other products sold by 16 other chemical companies, didn't they? 17 A. Yes. 18 Q. All right. They wanted to be able 19 to market paraquat for use as a residual -- 20 with residual herbicides from other companies, 21 as far as you know? 22 A. Yes. 23 Q. Now, if you would look at this 24 April 23, 1969 memo from Chevron's Don F. Dye 25 to -- and he was the supervisor of product</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 591</p> <p>1 registration, to T.W. Reed, manager technical 2 coordination, and, again, the reference number 3 is CUSA-00383879. 4 A. Okay. 5 Q. All right. Here Mr. Dye is 6 reporting to Mr. Reed about a conversation 7 he had about paraquat with J. Hood [sic], vice 8 president of Geigy Agricultural Chemicals, 9 correct? 10 A. Correct. 11 Q. And he is giving a memo back to his 12 boss, it looks like, where he is saying that 13 he's reporting what he learned on 14 a conversation with Geigy about their 15 acceptance or use of paraquat along with their 16 product. That's what this is about, isn't it? 17 A. Yes. I haven't full -- read all 18 the way through yet. I don't know if you want 19 me to make sure that I -- 20 Q. Oh, no, no, no, I want you to -- no, 21 I want you to read it all the way through. 22 Take your time, sir. 23 A. Okay. 24 Q. You tell me when you're ready. 25 A. Thank you.</p> | <p style="text-align: right;">Page 593</p> <p>1 encourage the use of Paraquat in any way." 2 Do you see that? 3 A. I do. 4 Q. Okay. And then if you skip down 5 here, you'll look -- and the second paragraph, 6 last sentence. Do you see that? 7 A. Yes. 8 Q. "Geigy felt ... they had sufficient 9 liability with their own products and did not 10 want to increase their liability by having 11 Paraquat included [in] these products." 12 Do you see that? 13 A. I do. 14 Q. Okay. 15 Now, did you understand, then, that 16 Geigy refused to buy or use or promote the sale 17 of paraquat because they considered it to be 18 hazardous? 19 MR. NARESH: Objection to form. 20 THE WITNESS: This is -- this is 21 certainly what this implies, yes. 22 BY MR. TILLERY: 23 Q. All right. And were you aware that 24 they wrote a follow-up letter where they said 25 exactly that? And I can show you this, where</p> |
| <p style="text-align: right;">Page 592</p> <p>1 Okay. I've read that now, 2 thank you. 3 Q. All right. 4 So in this memo, do you understand 5 it to be a memo from Mr. Dye where he's 6 reporting on a conversation he had with 7 vice president of research from Geigy 8 Agricultural Chemicals -- 9 A. Yes. 10 Q. -- and that was a Dr. John J. Wood, 11 right? 12 A. Yes. 13 Q. All right. And he's reporting 14 to his boss what that conversation was, 15 correct, and that's about whether or not Geigy 16 would start buying paraquat to add to their own 17 chemicals that they sold to farmers around the 18 country, correct? 19 A. Correct. 20 Q. And here he says: 21 "John [who he's reporting to] said 22 that Geigy felt that Paraquat was a potentially 23 hazardous chemical and they were not doing any 24 research on this product. Their Marketing 25 people have been advised to not promote or</p> | <p style="text-align: right;">Page 594</p> <p>1 Mr. Wood said: 2 "Following a thorough review of all 3 the information we have on paraquat, including 4 the Industrial Hygiene and Toxicology Bulletin 5 distributed ... and other information supplied 6 by Chevron, we have made the decision [not to] 7 label or promote the combination of paraquat 8 with the various triazine herbicides at this 9 time." 10 Were you aware of that document -- 11 A. No, I was not aware of that. 12 MR. NARESH: I'll object to the 13 form. If you'd like to show him the 14 document, please feel free. 15 MR. TILLERY: Okay, let's put that 16 up. We'll pull up 50 so we can verify 17 for counsel that comment. 18 MR. NARESH: Thank you. 19 MR. TILLERY: And that's 20 CUSA-00383840, Plaintiff's Exhibit 21 No. 50. 22 (Botham Exhibit 50 marked for 23 identification.) 24 BY MR. TILLERY: 25 Q. If you could take a look at that,</p> |

| Page 595 | Page 597 |
|---|--|
| <p>1 Dr. Botham. Do you have it in front of you?</p> <p>2 A. I do.</p> <p>3 Q. I was reading directly from</p> <p>4 paragraph 2.</p> <p>5 A. Yes, I agree that that is what you</p> <p>6 said previously.</p> <p>7 Q. It is precisely as I reported it on</p> <p>8 the record, isn't it, sir?</p> <p>9 A. It is.</p> <p>10 Q. And that is a June 23, 1969 letter</p> <p>11 from J.J. Hood, vice president, Geigy</p> <p>12 Agricultural Chemicals, to Mr. D.F. Dye,</p> <p>13 supervisor, product registration, ortho</p> <p>14 division. Correct?</p> <p>15 A. Correct.</p> <p>16 Q. And that's Chevron Chemical Company.</p> <p>17 A. Correct.</p> <p>18 Q. They were not going to use paraquat,</p> <p>19 were they, and they didn't?</p> <p>20 Now, when you -- you have to answer</p> <p>21 out loud.</p> <p>22 A. I'm sorry. Yes, I assume that they</p> <p>23 didn't, yes, but this certainly indicates they</p> <p>24 had no intention to.</p> <p>25 Q. Let me ask you, after you received</p> | <p>1 know from them upon what information or</p> <p>2 scientific findings they based their decision?</p> <p>3 A. I would have expected that to be</p> <p>4 true, yes.</p> <p>5 Q. Okay. Have you, in all your</p> <p>6 evaluation of records, ever seen one indication</p> <p>7 that ICI did any of that?</p> <p>8 A. I don't recall any of that, no.</p> <p>9 Q. Okay. Are you aware of Chevron ever</p> <p>10 doing that?</p> <p>11 A. I am not.</p> <p>12 Q. Okay.</p> <p>13 Do you know if any other companies</p> <p>14 refused to apply a product to paraquat, your</p> <p>15 product?</p> <p>16 A. I'm not aware of any other</p> <p>17 examples.</p> <p>18 Q. Okay.</p> <p>19 MR. NARESH: Steve, if you're</p> <p>20 shifting topics, I would suggest that now</p> <p>21 might be a good time for a lunch break,</p> <p>22 given the time difference.</p> <p>23 MR. TILLERY: It's your call,</p> <p>24 Ragan, I told you.</p> <p>25 THE WITNESS: Just whilst we had</p> |
| Page 596 | Page 598 |
| <p>1 information that another major chemical company</p> <p>2 in the United States thought paraquat was too</p> <p>3 hazardous to use with their own products, did</p> <p>4 you initiate any further evaluation,</p> <p>5 investigation studies to verify what their</p> <p>6 concerns were?</p> <p>7 A. I'm not aware of what might have</p> <p>8 been done directly in response to this, no.</p> <p>9 Q. Did you ask them to turn over their</p> <p>10 laboratory findings to determine what they</p> <p>11 based their decision on?</p> <p>12 A. I don't know whether that was done.</p> <p>13 Q. Okay. Would that have been</p> <p>14 a prudent thing to do?</p> <p>15 A. It could have been, yes.</p> <p>16 MR. NARESH: Objection; form.</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. I don't know if the reporter got</p> <p>19 your answer?</p> <p>20 A. I said it could have been the</p> <p>21 prudent thing to do, certainly.</p> <p>22 Q. I mean, if you're selling a product</p> <p>23 to another company and the company sees</p> <p>24 laboratories come back and say this stuff is</p> <p>25 too hazardous to sell, wouldn't you want to</p> | <p>1 the previous break, I confirmed that my</p> <p>2 lunch will be here at 12:30. We can go</p> <p>3 for another 15 minutes if that's okay.</p> <p>4 MR. TILLERY: That's fine. That's</p> <p>5 perfectly fine with me. Hold on one</p> <p>6 second.</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. Dr. Botham, would you agree with me</p> <p>9 that companies like Chevron and Syngenta,</p> <p>10 who are in the business of manufacturing and</p> <p>11 distributing pesticides like paraquat, have</p> <p>12 a duty to act responsibly to ensure the health</p> <p>13 and safety of the consumers of their products?</p> <p>14 MR. NARESH: Objection; foundation.</p> <p>15 THE WITNESS: I would agree with</p> <p>16 that.</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. And would you agree with me that</p> <p>19 companies like Chevron and Syngenta, who are</p> <p>20 in the business of manufacturing and</p> <p>21 distributing pesticides like paraquat, have</p> <p>22 a duty to be truthful in dealing with</p> <p>23 regulatory agencies?</p> <p>24 MR. NARESH: Same objection.</p> <p>25 THE WITNESS: I would certainly</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 599</p> <p>1 agree with that.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. Okay. Would you agree with me that</p> <p>4 companies like Chevron and Syngenta, who are in</p> <p>5 the business of manufacturing and distributing</p> <p>6 pesticides like paraquat, have a duty</p> <p>7 to disclose lists of serious harm from their</p> <p>8 products to the consumers of their products?</p> <p>9 MR. NARESH: Objection; scope.</p> <p>10 THE WITNESS: I agree.</p> <p>11 BY MR. TILLERY:</p> <p>12 Q. Okay. Would you agree with me that</p> <p>13 companies like Chevron and Syngenta, who are</p> <p>14 in the business of manufacturing and</p> <p>15 distributing pesticides like paraquat, have</p> <p>16 a duty to conduct scientific research with the</p> <p>17 highest standards of professionalism and good</p> <p>18 science?</p> <p>19 MR. NARESH: Same objections.</p> <p>20 THE WITNESS: I agree.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. Okay. Would you agree with me that</p> <p>23 companies like Chevron and Syngenta, who are</p> <p>24 in the business of manufacturing and</p> <p>25 distributing pesticides like paraquat, have</p> | <p style="text-align: right;">Page 601</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Okay.</p> <p>3 What does "state of the art" mean in</p> <p>4 the context of paraquat as you referred to it</p> <p>5 earlier?</p> <p>6 A. Do you mean state of the art</p> <p>7 in terms of the scientific assessments that</p> <p>8 would be done?</p> <p>9 Q. Yes.</p> <p>10 A. Well, that was meant to indicate</p> <p>11 that you -- the state of the art would be</p> <p>12 governed by, first of all, the science and</p> <p>13 understanding of potential toxicity that</p> <p>14 a compound like paraquat might have, so how</p> <p>15 much scientific understanding is there,</p> <p>16 combined with what the regulatory requirements</p> <p>17 might be, which, I think as I said earlier,</p> <p>18 have moved forward over the period that we're</p> <p>19 talking about.</p> <p>20 Q. Do you believe that paraquat was</p> <p>21 designed and manufactured according to the</p> <p>22 state of the art at the time existing in 1965?</p> <p>23 A. Manufactured rather than sold?</p> <p>24 Q. I'll say sold. Let's modify it and</p> <p>25 say sold.</p> |
| <p style="text-align: right;">Page 600</p> <p>1 a duty to be transparent regarding their</p> <p>2 research findings and to publicly disclose</p> <p>3 research results of significance in an</p> <p>4 objective and accurate way?</p> <p>5 MR. NARESH: Same objections.</p> <p>6 THE WITNESS: I agree.</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. Okay. Would you agree with me that</p> <p>9 companies like Syngenta and Chevron, who are in</p> <p>10 the business of manufacturing and distributing</p> <p>11 pesticides like paraquat, have a duty</p> <p>12 to communicate information concerning health,</p> <p>13 safety and toxicity in a timely and responsible</p> <p>14 manner?</p> <p>15 MR. NARESH: Same objections.</p> <p>16 THE WITNESS: I agree.</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. Would you agree with me that these</p> <p>19 general principles guiding corporate duties and</p> <p>20 responsibilities have remained generally</p> <p>21 constant from the first development of</p> <p>22 paraquat?</p> <p>23 MR. NARESH: Same objections.</p> <p>24 THE WITNESS: Yes.</p> <p>25 ///</p> | <p style="text-align: right;">Page 602</p> <p>1 A. It's hard for me to answer that</p> <p>2 precisely because I can't, sort of, recreate</p> <p>3 all of the historical information in my head</p> <p>4 to answer that.</p> <p>5 Q. All right.</p> <p>6 Would you say that there was any</p> <p>7 time after 1965 when it was sold where the</p> <p>8 state of the art for chemical manufacturers</p> <p>9 changed?</p> <p>10 A. Yes, absolutely. The state of the</p> <p>11 art changed over time.</p> <p>12 Q. And you said it changed in the late</p> <p>13 '70s with the introduction of regulatory</p> <p>14 matters, right?</p> <p>15 A. That's when there was a greater</p> <p>16 requirement for what are now the standard</p> <p>17 toxicological studies that are done.</p> <p>18 Q. And when you say toxicological</p> <p>19 studies, you're saying a requirement that's</p> <p>20 imposed by regulatory bodies to do minimal</p> <p>21 toxicological studies, correct?</p> <p>22 A. These are the requirements imposed</p> <p>23 by regulatory authorities to do the</p> <p>24 package/the toxicity tests that regulatory</p> <p>25 authorities deem to be needed to assure the</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 603</p> <p>1 safety of a product.</p> <p>2 Q. Does FIFRA, or the EPA, provide the</p> <p>3 only guidance or standards for pesticide</p> <p>4 manufacturers in the United States?</p> <p>5 MR. NARESH: Objection; foundation,</p> <p>6 scope.</p> <p>7 THE WITNESS: FIFRA certainly is</p> <p>8 the one area where the requirements are</p> <p>9 -- can be found but they are also based</p> <p>10 on international requirements,</p> <p>11 guidelines, governed, for example, by</p> <p>12 the OECD.</p> <p>13 BY MR. TILLERY:</p> <p>14 Q. OECD?</p> <p>15 A. Yes, the Organisation for Economic</p> <p>16 Co-operation and Development, OECD.</p> <p>17 Q. Tell us about that. What is the</p> <p>18 OECD?</p> <p>19 A. The OECD is an international</p> <p>20 organization which includes a branch which is</p> <p>21 responsible for standards of safety testing,</p> <p>22 toxicological testing that we're now talking</p> <p>23 about, and they, over the years, have issued</p> <p>24 specific guidelines about how to conduct</p> <p>25 toxicology studies.</p> | <p style="text-align: right;">Page 605</p> <p>1 the science, the state of the art changed</p> <p>2 over a period of time, so before these</p> <p>3 guidelines appeared, a different standard</p> <p>4 was applied.</p> <p>5 BY MR. TILLERY:</p> <p>6 Q. Okay.</p> <p>7 What safety testing of pesticides</p> <p>8 did US regulators require pre market in 1965?</p> <p>9 A. I --</p> <p>10 MR. NARESH: Objection; scope,</p> <p>11 foundation.</p> <p>12 THE WITNESS: I haven't got a list</p> <p>13 of the requirements at that time.</p> <p>14 BY MR. TILLERY:</p> <p>15 Q. Would the answer be you don't know?</p> <p>16 A. Which -- so, in other words,</p> <p>17 I don't know.</p> <p>18 Q. All right.</p> <p>19 Has the United States EPA ever</p> <p>20 required Syngenta to conduct neurotoxicity</p> <p>21 studies of paraquat?</p> <p>22 MR. NARESH: Objection; scope.</p> <p>23 THE WITNESS: Yes, it has. We have</p> <p>24 conducted a specific neurotoxicity study</p> <p>25 according to FIFRA and OECD guidelines.</p> |
| <p style="text-align: right;">Page 604</p> <p>1 Q. So besides OECD and FIFRA, are there</p> <p>2 any other standards that provide guidance for</p> <p>3 pesticide manufacturers in the United States?</p> <p>4 A. Those are the main ones in the</p> <p>5 United States. There are -- other countries</p> <p>6 also have their own regulations.</p> <p>7 Q. Does OECD apply to the United</p> <p>8 States?</p> <p>9 A. Yes --</p> <p>10 MR. NARESH: Objection to form.</p> <p>11 THE WITNESS: -- the United States</p> <p>12 has signed up to what's called the Mutual</p> <p>13 Acceptance of Data, which means that</p> <p>14 studies should be done according to OECD</p> <p>15 guidelines.</p> <p>16 BY MR. TILLERY:</p> <p>17 Q. And are you saying that you had</p> <p>18 a lesser standard of care before FIFRA, EPA and</p> <p>19 OECD guidelines were applicable?</p> <p>20 A. I wouldn't --</p> <p>21 MR. NARESH: Objection; scope,</p> <p>22 form, foundation.</p> <p>23 THE WITNESS: I wouldn't put it</p> <p>24 that way. I think -- what I'm saying is</p> <p>25 that, as you said earlier, the state of</p> | <p style="text-align: right;">Page 606</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. And what was the first year that was</p> <p>3 done?</p> <p>4 A. I don't recall when that was --</p> <p>5 exactly when that was done. That would be</p> <p>6 certainly after 1980, probably in the 1990s,</p> <p>7 but I would have to check.</p> <p>8 Q. What was the study?</p> <p>9 A. It was a 90-day dosing study, a</p> <p>10 neurotoxicity study according to the</p> <p>11 guideline, where a chemical like paraquat is</p> <p>12 given to rats.</p> <p>13 Q. Was it fed to a rat?</p> <p>14 A. Correct.</p> <p>15 Q. And who was the author or principal</p> <p>16 investigator of that study?</p> <p>17 A. I don't recall who that would be,</p> <p>18 who that was.</p> <p>19 Q. Was it published?</p> <p>20 A. It was submitted to the regulatory</p> <p>21 agencies as required.</p> <p>22 Q. Okay. Was it published?</p> <p>23 A. Not as an external peer-reviewed</p> <p>24 publication, no.</p> <p>25 Q. Okay. Were there any others?</p> |

Page 607

1 A. Well, the other FIFRA studies,
2 which are required since the 1980s, include
3 other toxicological studies which incorporate
4 investigations of the nervous system. So the
5 one I've just described is a specialist
6 neurotoxicity studies -- study, but many other
7 of the required studies include investigations
8 of part of the nervous system.
9 Q. Are there any other sources of
10 standards or customs in the pesticide industry
11 besides the ones you have mentioned, OECD and
12 FIFRA?
13 MR. NARESH: Objection; foundation,
14 scope.
15 THE WITNESS: There are -- as
16 I indicated, every region and country
17 has -- publishes their own requirements
18 and guidelines, which are mostly based on
19 OECD guidelines.
20 BY MR. TILLERY:
21 Q. So you're saying the United States
22 has published guidelines as well?
23 A. Yes, which is FIFRA, yes.
24 Q. Can you give examples of the studies
25 that incorporate neurotoxicity that were done?

Page 608

1 A. Yes. So, for example, the chronic
2 toxicity study where a paraquat or another
3 chemical is given to a rodent, usually a rat,
4 over a lifetime, would include investigations
5 of the nervous system.
6 Q. What was that study?
7 A. That would be a two-year
8 chronic/carcinogenicity study in the rat and
9 usually also in the mouse.
10 Q. And that particular study was done
11 when?
12 A. Again, I don't -- can't give you an
13 exact date.
14 Q. And that was a study not testing
15 neurotoxicity but was really designed to test
16 whether or not paraquat caused cancer, wasn't
17 it?
18 A. Cancer and also other chronic
19 toxicities, any other target-organ toxicities.
20 Q. The brains weren't even evaluated in
21 that study of the animals, were they?
22 A. The brain is certainly one of the
23 tissues that one needs to perform some
24 investigations on.
25 Q. Well, let's answer my question

Page 609

1 directly. Do you know whether or not, in that
2 particular study, the brains were even
3 evaluated?
4 A. I would need to check exactly what
5 was done on the brain.
6 Q. Okay. You don't know whether --
7 A. Not at this moment in time.
8 Q. -- in a cancer study, whether or not
9 they even evaluated the brain, do you?
10 A. As I say, normally speaking, the
11 brain would have some investigation. I can't,
12 right now, tell you exactly what was done.
13 Q. And what investigations of the
14 nervous system were made?
15 A. Normally, and, again, this is part
16 of the state-of-the-science question because
17 these guidelines continue -- have continued
18 to evolve, and normally today one would look
19 at not just the brain but also the peripheral
20 nervous system, nerves elsewhere in the body,
21 including histologically, as we were talking
22 about earlier.
23 MR. TILLERY: Yeah. I move
24 to strike the answer as unresponsive.
25 ///

Page 610

1 BY MR. TILLERY:
2 Q. What I'm asking you is the studies
3 you mentioned, you talked about
4 a lifetime-feeding study of rats. What
5 investigations of the nervous system were made?
6 A. I would need to check that report.
7 Q. All right. You don't know, do you?
8 A. Not right now, no.
9 Q. Was dopamine measured?
10 A. I think that's very unlikely.
11 Q. Any other neurotransmitter?
12 A. I think that's unlikely.
13 Q. To your knowledge, were neurons
14 measured in those carcinogenicity studies?
15 A. Neurons measurement, as such, not
16 likely.
17 Q. Okay.
18 So you indicated that based upon
19 FIFRA/OECD, there were codes or standards
20 applicable to the chemical industry generally
21 or pesticide manufacturers in particular.
22 Can you give us examples of those that are
23 applicable to Syngenta in the United States?
24 A. Could you clarify that question?
25 Examples of precisely what, please.

| | |
|---|---|
| <p style="text-align: right;">Page 611</p> <p>1 Q. Of codes or standards that you</p> <p>2 indicated would be based upon OECD or FIFRA.</p> <p>3 A. So these are the definitions of the</p> <p>4 study types that would be required to be</p> <p>5 conducted, so the sort of examples that we've</p> <p>6 just been discussing.</p> <p>7 Q. Yeah. What I was asking is,</p> <p>8 is there any other code or standard other than</p> <p>9 what the regulatory body orders you</p> <p>10 to undertake as a minimum standard for use of</p> <p>11 a product.</p> <p>12 A. Right. Well, a company of course</p> <p>13 always has the right, and will often do</p> <p>14 additional studies that are not necessarily</p> <p>15 required by regulatory authorities in order</p> <p>16 to investigate potential human-health issues.</p> <p>17 Q. And a company like Syngenta would</p> <p>18 enact a code of conduct, too, right?</p> <p>19 A. Of course.</p> <p>20 Q. And when did Syngenta or its</p> <p>21 corporate predecessors launch a code of</p> <p>22 conduct?</p> <p>23 A. I --</p> <p>24 MR. NARESH: Objection to scope.</p> <p>25 THE WITNESS: -- couldn't comment</p> | <p style="text-align: right;">Page 613</p> <p>1 that.</p> <p>2 MR. NARESH: Steve, can I have</p> <p>3 a standing objection to this line of</p> <p>4 questioning on scope and foundation?</p> <p>5 MR. TILLERY: You sure can, sir.</p> <p>6 This, for the record, is going to be</p> <p>7 relatively brief.</p> <p>8 Then we can take our lunch break</p> <p>9 for you, sir, okay?</p> <p>10 THE WITNESS: Okay, thank you.</p> <p>11 Yes, I can see this document.</p> <p>12 BY MR. TILLERY:</p> <p>13 Q. All right. If you go to page 24 of</p> <p>14 the document. Just for the record, this is</p> <p>15 the Syngenta Code of Conduct, correct?</p> <p>16 A. Correct.</p> <p>17 Q. Okay.</p> <p>18 A. I'm just having difficulties in</p> <p>19 locating page numbers on my screen here.</p> <p>20 Q. Okay. I'm sorry it's not</p> <p>21 Bates-numbered that I can direct you to.</p> <p>22 But it starts at the top of the page, "Science,</p> <p>23 products and property rights."</p> <p>24 A. Yes, okay, I've got that.</p> <p>25 Q. Of that, I think it's 26. Okay.</p> |
| <p style="text-align: right;">Page 612</p> <p>1 on that. I don't know.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. You've been with the company since</p> <p>4 it started, haven't you?</p> <p>5 A. I've been with the company since</p> <p>6 1980.</p> <p>7 Q. Okay. So for 40 years.</p> <p>8 A. Correct.</p> <p>9 Q. Now, was there always a code of</p> <p>10 conduct?</p> <p>11 A. I believe that there was. I think</p> <p>12 I've been more aware of that, say, in the last</p> <p>13 20 years than before then.</p> <p>14 Q. Okay.</p> <p>15 MR. TILLERY: So let's pull up that</p> <p>16 exhibit. And which one would that be?</p> <p>17 MS. BRUMITT: 51.</p> <p>18 MR. TILLERY: Sorry?</p> <p>19 MS. BRUMITT: 51.</p> <p>20 MR. TILLERY: We're going to call</p> <p>21 this Plaintiff's Deposition Exhibit</p> <p>22 No. 51.</p> <p>23 (Botham Exhibit 51 marked for</p> <p>24 identification.)</p> <p>25 MR. TILLERY: We'll move forward on</p> | <p style="text-align: right;">Page 614</p> <p>1 You have it?</p> <p>2 A. Yes, I have that.</p> <p>3 Q. Would you mind looking at those two</p> <p>4 pages, 18 and 19, or the page and its following</p> <p>5 page.</p> <p>6 A. Yes, I've read that.</p> <p>7 Q. All right.</p> <p>8 Now, I'm going to direct these</p> <p>9 questions primarily to that Syngenta Code of</p> <p>10 Conduct. Do you agree that one of the</p> <p>11 principles in the Syngenta Code of Conduct is:</p> <p>12 "We will investigate all credible</p> <p>13 reports of previously unknown short and</p> <p>14 long-term effects associated with the correct</p> <p>15 use of our products and take appropriate</p> <p>16 actions."</p> <p>17 Do you see that?</p> <p>18 A. Yes, I do.</p> <p>19 Q. All right. Do you agree with that?</p> <p>20 A. I do.</p> <p>21 Q. Okay. You agree that another</p> <p>22 principle of the Syngenta Code of Conduct is:</p> <p>23 "We will publicly disclose research</p> <p>24 and development results of significance in an</p> <p>25 objective and accurate way"?</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 615</p> <p>1 A. Yes.</p> <p>2 Q. Do you see that? Do you agree with</p> <p>3 that, too?</p> <p>4 A. Yeah. I do, yeah.</p> <p>5 Q. Do you see that? Okay. And does</p> <p>6 the Syngenta Code of Conduct also state:</p> <p>7 "We will carefully identify hazards,</p> <p>8 assess risks associated with the use and alert</p> <p>9 users of consequences from misuse of a product</p> <p>10 on the product package, leaflet and label.</p> <p>11 Products carry clear end user instructions</p> <p>12 concerning safe storage, use and disposal."</p> <p>13 Do you see that?</p> <p>14 A. Yes.</p> <p>15 Q. Is that on the Syngenta Code of</p> <p>16 Conduct?</p> <p>17 A. Yes.</p> <p>18 Q. Does another principle of the</p> <p>19 Syngenta Code of Conduct say:</p> <p>20 "Syngenta employees will apply the</p> <p>21 highest ethical and scientific standards and</p> <p>22 adopt robust processes and controls. They will</p> <p>23 be alert to wider societal concerns about</p> <p>24 technology and its impacts, as well as applying</p> <p>25 rigorous scientific risk assessment."</p> | <p style="text-align: right;">Page 617</p> <p>1 conducted.</p> <p>2 Q. So this -- that how you feel it's</p> <p>3 been conducted, meaning that these codes</p> <p>4 have been applicable, right, throughout that</p> <p>5 40-year period, right?</p> <p>6 A. Yes.</p> <p>7 Q. In other words, whether you or</p> <p>8 I agree or disagree about whether or not</p> <p>9 there's been compliance with these principles,</p> <p>10 you're telling me that these principles</p> <p>11 have been in existence and been there for</p> <p>12 people to follow for the last 40 years at</p> <p>13 Syngenta or its predecessor entities, correct?</p> <p>14 A. They undoubtedly were not written</p> <p>15 down exactly as they have been done here in</p> <p>16 this more modern version, but certainly</p> <p>17 in my experience the principles I recognize as</p> <p>18 having been present throughout my career.</p> <p>19 Q. All right.</p> <p>20 Syngenta was the original</p> <p>21 manufacturer of paraquat and held a patent</p> <p>22 on it, right?</p> <p>23 A. Correct.</p> <p>24 Q. How long was Syngenta the only</p> <p>25 manufacturer of paraquat?</p> |
| <p style="text-align: right;">Page 616</p> <p>1 Do you see that?</p> <p>2 A. Yes.</p> <p>3 Q. And does the code also state:</p> <p>4 "Syngenta ensures the quality and</p> <p>5 state of its products and services by applying</p> <p>6 state of the art science and technology</p> <p>7 standards throughout a product life cycle and</p> <p>8 ensuring adequate training for our employees</p> <p>9 and customers."</p> <p>10 Do you see that?</p> <p>11 A. I do.</p> <p>12 Q. Now, whether or not these were the</p> <p>13 specific words used in the Syngenta Code of</p> <p>14 Conduct as of 2000 when the company formed,</p> <p>15 or through its predecessors' existence, which</p> <p>16 would include Zeneca and ICI when you were</p> <p>17 there, okay, has this been, in large measure,</p> <p>18 a good, solid reflection of the code that</p> <p>19 Syngenta has followed during the course of your</p> <p>20 employment at Syngenta?</p> <p>21 A. Yes. In my personal experience,</p> <p>22 working in the part of the company that I have</p> <p>23 done, the essence of what has been codified</p> <p>24 in this more recent code of conduct is,</p> <p>25 I feel, exactly how things have been</p> | <p style="text-align: right;">Page 618</p> <p>1 MR. NARESH: Objection; scope.</p> <p>2 THE WITNESS: I can't remember the</p> <p>3 exact number of years.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. Okay.</p> <p>6 Do you agree that a company that</p> <p>7 holds a patent, and by that I mean has a legal</p> <p>8 monopoly for the manufacture, sale and</p> <p>9 distribution of a product, has a responsibility</p> <p>10 to make a scientific inquiry into all the</p> <p>11 dangers associated with use of that product?</p> <p>12 MR. NARESH: Objection; scope,</p> <p>13 form.</p> <p>14 THE WITNESS: I think that any</p> <p>15 company that is in that situation should</p> <p>16 certainly have that, yes.</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. Well, what I'm saying is when</p> <p>19 no other company has the lawful right to sell</p> <p>20 your product because you hold the patent, does</p> <p>21 that impose upon you a standard of ensuring</p> <p>22 that people who buy your product and apply</p> <p>23 it don't get hurt by it?</p> <p>24 MR. NARESH: Objection; scope,</p> <p>25 form, foundation.</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 619</p> <p>1 THE WITNESS: I would expect that 2 any company that buys a product from 3 a patent-holder would, as part of that, 4 be given the necessary information to 5 make that judgment. 6 BY MR. TILLERY: 7 Q. Well, what I'm saying is, is whether 8 it's a company or it's a farmer, like 9 Mr. Schmidt or Mr. Rowan or Mr. Niebruegge, 10 Mr. Mills, what I'm saying is, is that when 11 you're the only manufacturer of that product 12 and there is no other competitor, do you agree 13 with me that you have an obligation to make 14 sure that the scientific aspects of that 15 product are explored thoroughly to determine 16 whether or not there's any dangerous or 17 hazardous component to the use of the product? 18 MR. NARESH: Same objections. 19 THE WITNESS: Yeah, yes, when it -- 20 a scientific -- when it's scientifically 21 known that there is a hazard associated 22 with a product, that, indeed, should be 23 made known. 24 BY MR. TILLERY: 25 Q. And in 1958, your own laboratories</p> | <p style="text-align: right;">Page 621</p> <p>1 Q. Okay. 2 Would you agree with me that 3 a company that holds a patent and has a lawful 4 monopoly on the manufacture, sale and 5 distribution of a hazardous product has the 6 responsibility to spearhead scientific research 7 to make the product safer? 8 MR. NARESH: Same objections as 9 before. 10 THE WITNESS: If a hazard has been 11 identified, then I think it is the 12 responsibility of a company to properly 13 manage that hazard, and that could take 14 a number of different ways forward. 15 BY MR. TILLERY: 16 Q. Well, I mean, one of those ways of 17 management is not to do -- stand by and do 18 nothing. Would you agree with me? 19 A. It depends on the nature of the 20 hazard, how critical it is believed to be 21 in terms of human health. 22 Q. Well, let's say you get a report 23 from your medical department that says that 24 it can cause central nervous system effects. 25 Is it okay just to sit back and say, "I'm going</p> |
| <p style="text-align: right;">Page 620</p> <p>1 told you that this chemical product caused 2 central nervous system effects by virtue of 3 the document I just showed you in this 4 deposition, didn't it? 5 MR. NARESH: Objection; form. 6 THE WITNESS: My interpretation of 7 that is that it was a -- believed that 8 that could be the case. What I don't 9 know is what further investigations were 10 done at that time which said that that 11 was clearly the case. 12 BY MR. TILLERY: 13 Q. Okay. So you don't know because you 14 don't know that any were ever undertaken, 15 correct? 16 A. I don't know what else was done 17 to follow up from that letter you've 18 described. 19 Q. Well, just so the jury and the judge 20 is not in any way left in doubt, in all those 21 documents you've seen in 40 years with this 22 company, have you ever seen evidence that any 23 scientist ever followed up on that 1958 report? 24 A. I can't say that I've seen any 25 evidence for a direct follow-up to that.</p> | <p style="text-align: right;">Page 622</p> <p>1 to do nothing"? 2 MR. NARESH: Objection; foundation, 3 scope. 4 THE WITNESS: Well, with the -- 5 looking at that from today's perspective, 6 the answer to that question would be you 7 would want to -- first of all, you'd want 8 to confirm if that was the case. You 9 would not always rely on one piece 10 of information. This is, again, the 11 scientific method. 12 BY MR. TILLERY: 13 Q. Okay. Let me ask you something. 14 Did you ever see evidence that there was 15 follow-up scientific analysis to determine 16 whether J.C. Gage's conclusion that "dipyridyl 17 appears to have moderate toxicity mainly by 18 affecting the central nervous system"? Did you 19 ever see evidence -- 20 A. No, I've not seen evidence to -- 21 no, I've not seen that evidence, no. 22 Q. And that was 1958, wasn't it, sir? 23 A. Yes. 24 Q. That was seven years before this 25 chemical was even sold in America, wasn't it?</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 623</p> <p>1 A. Yes.</p> <p>2 Q. All right.</p> <p>3 Does industry regulation or custom</p> <p>4 require you to perform any testing on</p> <p>5 pesticides before selling them in the United</p> <p>6 States?</p> <p>7 MR. NARESH: Objection; scope,</p> <p>8 foundation.</p> <p>9 THE WITNESS: You temporarily just</p> <p>10 cut out there. Could you repeat that</p> <p>11 question, please?</p> <p>12 MR. TILLERY: Actually, I'm going</p> <p>13 to withdraw it.</p> <p>14 BY MR. TILLERY:</p> <p>15 Q. In 1965, could Syngenta have done</p> <p>16 a behavioral study using animals exposed</p> <p>17 to paraquat for different periods of time,</p> <p>18 at different doses and by different methods of</p> <p>19 exposure to see whether paraquat caused any</p> <p>20 detectable central nervous system effects?</p> <p>21 MR. NARESH: Objection to form.</p> <p>22 THE WITNESS: The technology would</p> <p>23 have been available to do an</p> <p>24 investigation of that sort, yes.</p> <p>25 ///</p> | <p style="text-align: right;">Page 625</p> <p>1 done, yes.</p> <p>2 Q. That's done by being reported back</p> <p>3 to Chevron of people who were poisoned and</p> <p>4 killed, right?</p> <p>5 A. Yes, correct.</p> <p>6 Q. Did Syngenta or Chevron do any</p> <p>7 on their own other than evaluating brain matter</p> <p>8 that was sent to them by coroners from around</p> <p>9 the country because these people had ingested</p> <p>10 paraquat?</p> <p>11 MR. NARESH: Objection; foundation.</p> <p>12 THE WITNESS: I don't know.</p> <p>13 BY MR. TILLERY:</p> <p>14 Q. Okay.</p> <p>15 In 1965, was the technology</p> <p>16 available to count neuronal cell loss in people</p> <p>17 exposed to paraquat?</p> <p>18 A. I think that's not very likely.</p> <p>19 Q. Okay. What do you base that opinion</p> <p>20 on?</p> <p>21 A. Well, there are two parts to that</p> <p>22 question. One is you've indicated in people,</p> <p>23 so that would mean in humans, and -- so that</p> <p>24 would require very specialized histological</p> <p>25 techniques to be done on human brain tissue.</p> |
| <p style="text-align: right;">Page 624</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Was that done -- was that done --</p> <p>3 A. I'm not aware that that was done.</p> <p>4 No, I'm not aware that that was done.</p> <p>5 Q. In 1965, was the technology</p> <p>6 available to analyze brain tissues to see</p> <p>7 if paraquat was present in the brain of test</p> <p>8 animals exposed to it?</p> <p>9 A. The technology was certainly</p> <p>10 available to detect paraquat, yes.</p> <p>11 Q. Was that done, to your knowledge?</p> <p>12 A. In animals, I'm not sure whether</p> <p>13 that was done at that time.</p> <p>14 Q. Have you ever seen a study</p> <p>15 indicating that it was done by Syngenta at that</p> <p>16 time?</p> <p>17 A. I don't recall studies from that</p> <p>18 time.</p> <p>19 Q. In 1965, was Syngenta able</p> <p>20 to analyze postmortem brain tissues to see</p> <p>21 if paraquat was present in paraquat-poisoning</p> <p>22 cases?</p> <p>23 A. Yes, I believe that's right.</p> <p>24 Q. Were those studies done?</p> <p>25 A. I think there were some studies</p> | <p style="text-align: right;">Page 626</p> <p>1 and I'm not sure that the state of the science</p> <p>2 at that time would have allowed that.</p> <p>3 Q. Well, then let me change the</p> <p>4 question a little bit. In 1965, was the</p> <p>5 technology available to count neuronal cell</p> <p>6 loss in animals exposed to paraquat?</p> <p>7 A. Again, I think it would be -- it</p> <p>8 would have been quite difficult to do that</p> <p>9 because the technology and the stains and</p> <p>10 microscopy were not available at that time.</p> <p>11 Q. Okay. Were you practicing at that</p> <p>12 time?</p> <p>13 A. No, I was not. I was still at</p> <p>14 school.</p> <p>15 Q. Okay.</p> <p>16 In 1964, were in vitro studies of</p> <p>17 neuronal cell lines feasible?</p> <p>18 A. Again, I can't accurately say but</p> <p>19 I think it's not very likely because the</p> <p>20 technology came somewhat later.</p> <p>21 Q. When did it come?</p> <p>22 A. You start to see studies in the</p> <p>23 1970s and 1980s.</p> <p>24 Q. Were nonhuman primate studies</p> <p>25 feasible in 1965?</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 627</p> <p>1 A. They were.</p> <p>2 Q. Were other chemical manufacturers</p> <p>3 testing their products on nonhuman primates</p> <p>4 at that time, to your knowledge?</p> <p>5 MR. NARESH: Objection. Objection;</p> <p>6 foundation.</p> <p>7 THE WITNESS: To my knowledge --</p> <p>8 to my knowledge, certainly there were</p> <p>9 some testing in nonhuman primates.</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. Did Syngenta or its predecessors do</p> <p>12 any nonhuman primate studies with paraquat</p> <p>13 at that time?</p> <p>14 A. They did. They were more</p> <p>15 to investigate the acute toxicity of paraquat.</p> <p>16 Q. Okay. So what study are you</p> <p>17 referring to in 1965 on nonhuman primates that</p> <p>18 Syngenta did?</p> <p>19 A. Well, there were studies done</p> <p>20 to look at how toxic, acutely toxic, paraquat</p> <p>21 was in the nonhuman primate, and that</p> <p>22 eventually led, after that date, to studies</p> <p>23 to look at whether paraquat could be made</p> <p>24 safer with regard to its acute toxicity.</p> <p>25 Q. What I'm asking are the specific</p> | <p style="text-align: right;">Page 629</p> <p>1 were done in around 1965, how many were done</p> <p>2 later. Some were done later than that, sir.</p> <p>3 Q. And were these neurotoxicity</p> <p>4 studies?</p> <p>5 A. Not at all.</p> <p>6 Q. What were they?</p> <p>7 A. They were to look at the acute</p> <p>8 toxicity, so the acute poisoning, people who</p> <p>9 might ingest a single dose of paraquat and</p> <p>10 what the toxicity of that is.</p> <p>11 Q. Okay. So these weren't studies</p> <p>12 to determine whether or not paraquat got into</p> <p>13 the brain of the nonhuman primate, correct?</p> <p>14 A. Not at all, no.</p> <p>15 Q. These were studies where you loaded</p> <p>16 up a squirrel monkey or some other nonhuman</p> <p>17 primate and saw how long it took to kill them,</p> <p>18 right?</p> <p>19 A. Essentially, yes.</p> <p>20 Q. Okay.</p> <p>21 MR. NARESH: Steve, is it a good</p> <p>22 time to break?</p> <p>23 MR. TILLERY: We'll take a break</p> <p>24 now. That's fine. How long did you want</p> <p>25 to take? A half an hour, thereabouts?</p> |
| <p style="text-align: right;">Page 628</p> <p>1 studies in 1965 of nonhuman primates you're</p> <p>2 referring to.</p> <p>3 A. Yeah, I can't give you that level</p> <p>4 of detail today.</p> <p>5 Q. Well, can you think of a single one</p> <p>6 of them?</p> <p>7 A. Not right now, no.</p> <p>8 Q. Okay. Can you tell me who might</p> <p>9 have done such studies? Were they done</p> <p>10 internally or externally?</p> <p>11 A. My recollection is that they were</p> <p>12 mainly done externally by contract research</p> <p>13 organizations.</p> <p>14 Q. And you don't know who that</p> <p>15 contractor is or was?</p> <p>16 A. I can't accurately recall the name.</p> <p>17 It will be a speculation to give the name</p> <p>18 right now.</p> <p>19 Q. And do you have a copy of that study</p> <p>20 available so we can look at it maybe?</p> <p>21 A. There are --</p> <p>22 Q. You can direct counsel to --</p> <p>23 A. Yeah, there are certainly studies</p> <p>24 available, yes. And, again, I can't give you</p> <p>25 an accurate date as to whether -- how many</p> | <p style="text-align: right;">Page 630</p> <p>1 THE WITNESS: That's fine by me.</p> <p>2 Thirty minutes will be good.</p> <p>3 MR. TILLERY: If you need -- thirty</p> <p>4 minutes is fine. We'll break at this</p> <p>5 point and resume at 7:25 local time,</p> <p>6 Central time.</p> <p>7 Okay. Thank you.</p> <p>8 THE VIDEOGRAPHER: We are going off</p> <p>9 the record. The time is 12:51.</p> <p>10 (Lunch break taken.)</p> <p>11 THE VIDEOGRAPHER: We are back on</p> <p>12 the record. The time is 1:36.</p> <p>13 BY MR. TILLERY:</p> <p>14 Q. Dr. Botham, when we were discussing</p> <p>15 these documents that involved autopsies in the</p> <p>16 earlier part today, I omitted one particular</p> <p>17 document which is marked now as Plaintiff's</p> <p>18 Deposition Exhibit No. 52. It's CUSA-00283683</p> <p>19 and it runs through 699.</p> <p>20 If you would look at that, and I'm</p> <p>21 going to be asking you questions about -- for</p> <p>22 the first page, page 4, page 13. I believe</p> <p>23 that's it. First page, page 4 and page 13.</p> <p>24 So if you could take some time and</p> <p>25 look at it, please, before I ask you some</p> |

| Page 631 | Page 633 |
|--|---|
| <p>1 questions.</p> <p>2 A. Okay, I will do that.</p> <p>3 (Botham Exhibit 52 marked for</p> <p>4 identification.)</p> <p>5 THE WITNESS: Did you say page 13,</p> <p>6 because that is a page of references in</p> <p>7 the copy I'm looking at.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. Well, let me give you the specific</p> <p>10 CUSA number. Page 4, last three digits are</p> <p>11 686, and page -- the next one would be 695.</p> <p>12 A. Okay. That's helpful, thank you.</p> <p>13 Okay, yeah, I've had a look at</p> <p>14 those.</p> <p>15 Q. All right.</p> <p>16 Plaintiff's Deposition Exhibit 52</p> <p>17 is a paper entitled "Paraquat Poisoning:</p> <p>18 An Analytical Toxicologic Study of Three</p> <p>19 Cases." Correct?</p> <p>20 A. Correct.</p> <p>21 Q. Okay. The handwritten print says</p> <p>22 "Accepted toxicology 5/1/79." Correct? If you</p> <p>23 see it on the front page.</p> <p>24 A. Yes, I do.</p> <p>25 Q. Okay. And one of the authors is</p> | <p>1 post-ingestion from respiratory failure."</p> <p>2 Do you see that?</p> <p>3 A. I do.</p> <p>4 Q. Now, if you now go to page 695, and</p> <p>5 Table 1 is what I want to direct your attention</p> <p>6 to.</p> <p>7 A. Yeah, I'm there.</p> <p>8 Q. All right. Table 1 says "Tissue</p> <p>9 Paraquat Levels," doesn't it?</p> <p>10 A. It does.</p> <p>11 Q. And it says "Time Until Death,"</p> <p>12 "Patient 1 - 22 days post-ingestion."</p> <p>13 Do you see that?</p> <p>14 A. Yes.</p> <p>15 Q. Tissue assayed includes brain,</p> <p>16 heart, kidney, liver, lung and spleen, right?</p> <p>17 A. Yes.</p> <p>18 Q. And the paraquat levels are measured</p> <p>19 in micrograms per gram, correct?</p> <p>20 A. Correct.</p> <p>21 Q. And what is the highest level of any</p> <p>22 of them?</p> <p>23 A. It's 0.089, which is in the brain.</p> <p>24 Q. The brain was higher than the heart,</p> <p>25 it was higher than the kidney, higher than the</p> |
| Page 632 | Page 634 |
| <p>1 James Leary. Do you know that he is an</p> <p>2 employee, or was at that time an employee, of</p> <p>3 Chevron Corporation?</p> <p>4 A. No, I did not know that.</p> <p>5 Q. I'll ask you to accept that or</p> <p>6 assume that, that James B. Leary, one of the</p> <p>7 authors, if you look at the title --</p> <p>8 A. Yes.</p> <p>9 Q. -- was a Chevron employee. Okay.</p> <p>10 Now, please turn to page 4, which is</p> <p>11 686, and this is a reference to Patient 1.</p> <p>12 Tell me when you're there, please.</p> <p>13 A. Yeah, I'm there.</p> <p>14 Q. All right. And it referenced</p> <p>15 Patient 1, who accidentally ingested a mouthful</p> <p>16 of 29 percent paraquat. Correct?</p> <p>17 A. Correct.</p> <p>18 Q. And the patient history says:</p> <p>19 "A 17-year-old caucasian male was</p> <p>20 transferred to the University of California,</p> <p>21 Irvine Medical Center ... five days after</p> <p>22 accidentally ingesting a mouthful of 29%</p> <p>23 paraquat."</p> <p>24 And then at the end, it says:</p> <p>25 "The patient died 22 days</p> | <p>1 liver, higher than the lung and higher than the</p> <p>2 spleen, wasn't it? It was the highest of any</p> <p>3 of the organs, correct?</p> <p>4 A. That is correct.</p> <p>5 Q. Okay. Twenty-two days after</p> <p>6 ingesting paraquat, among all of the tissues of</p> <p>7 Patient #1 that were measured, the highest</p> <p>8 concentration was found in the brain. Correct?</p> <p>9 A. That is correct.</p> <p>10 Q. So over time, paraquat accumulated</p> <p>11 in Patient 1's brain, would you agree?</p> <p>12 A. The paraquat's certainly got into</p> <p>13 the brain. You can't use the word accumulate</p> <p>14 just on the basis of one day's assay; you</p> <p>15 don't know whether it was higher or lower</p> <p>16 before and after.</p> <p>17 Q. Okay.</p> <p>18 Now, let's go back to 686. Tell me</p> <p>19 when you're there, and I'm referencing</p> <p>20 Patient #2.</p> <p>21 A. Okay, I'm there.</p> <p>22 Q. Okay. Patient #2, who is</p> <p>23 a 16-year-old Caucasian male was admitted</p> <p>24 to the same medical facility 30 minutes after</p> <p>25 purposefully ingesting a mouthful of 29%</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 635</p> <p>1 paraquat. Correct?</p> <p>2 A. Correct.</p> <p>3 Q. "... the patient died 23 days after</p> <p>4 ingestion from severe pulmonary fibrosis."</p> <p>5 A. Correct.</p> <p>6 Q. Now, let's go back to that chart</p> <p>7 again, if you wouldn't mind, and that's on,</p> <p>8 I think, CUSA, the last three digits 695.</p> <p>9 A. Yeah, I'm there.</p> <p>10 Q. Okay. And like Patient 1, what was</p> <p>11 the highest level measured of the organs</p> <p>12 measured in Patient #2?</p> <p>13 A. 0.07 in the brain.</p> <p>14 Q. In the brain. So they measured</p> <p>15 adipose tissue, they measured brain, they</p> <p>16 measured kidney, they measured liver, and the</p> <p>17 brain was the highest for paraquat found of all</p> <p>18 those parts of the human body, correct?</p> <p>19 A. Correct.</p> <p>20 Q. Okay. Thank you.</p> <p>21 Now, there was a techno-regulatory</p> <p>22 team or group formed within Syngenta in the</p> <p>23 early 2000s, wasn't there?</p> <p>24 A. Yes, I believe there was.</p> <p>25 Q. And when did you become involved</p> | <p style="text-align: right;">Page 637</p> <p>1 techno-regulatory team was prompted by recent</p> <p>2 literature linking paraquat to Parkinson's</p> <p>3 disease, wasn't it?</p> <p>4 A. I believe that was the case.</p> <p>5 Q. All right.</p> <p>6 Now, would you agree that one</p> <p>7 purpose of the techno-regulatory team, after</p> <p>8 it had formed following literature in the late</p> <p>9 '90s and early part of the 2000s, was to get,</p> <p>10 sort of, into the game about the literature and</p> <p>11 the reaction to the literature, linking</p> <p>12 paraquat to Parkinson's disease?</p> <p>13 MR. NARESH: Object to the form.</p> <p>14 THE WITNESS: Yes, that was</p> <p>15 certainly one of the main factors to --</p> <p>16 in recognition of the publications on</p> <p>17 this, in this area, for us to better</p> <p>18 understand and determine what else</p> <p>19 we might be able to do to contribute</p> <p>20 to that science.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. And to actually engage in</p> <p>23 affirmative behavior as well, correct?</p> <p>24 A. If you mean by affirmative being</p> <p>25 proactive rather than just reactive, yes.</p> |
| <p style="text-align: right;">Page 636</p> <p>1 with that group?</p> <p>2 A. I had some involvement because of</p> <p>3 my role as a senior leader in the 2000s.</p> <p>4 I had some involvement between the years 2003</p> <p>5 to 2006/2007, but not as a permanent member</p> <p>6 of the team.</p> <p>7 Q. And who was the leader of the team?</p> <p>8 A. I think at the time it would be</p> <p>9 people like Dr. Mike Clapp and then Dr. Barry</p> <p>10 Elliott.</p> <p>11 Q. And Nick Sturgess?</p> <p>12 A. Nick would certainly have been</p> <p>13 a member of that team, yes.</p> <p>14 Q. And Nicola Wallis?</p> <p>15 A. She would -- yes, again, I can't</p> <p>16 remember if she was a permanent member of the</p> <p>17 team but she would have been involved at some</p> <p>18 point, I agree.</p> <p>19 Q. Okay. And was the techno-regulatory</p> <p>20 team proposed in October 2001 at a paraquat</p> <p>21 Parkinson's disease task meeting?</p> <p>22 A. I don't remember that precisely but</p> <p>23 that makes -- it makes sense that that may</p> <p>24 have been the case.</p> <p>25 Q. And the reason for the</p> | <p style="text-align: right;">Page 638</p> <p>1 Q. And that would include -- one of the</p> <p>2 affirmative actions would include influencing</p> <p>3 academia, regulatory and non-governmental</p> <p>4 organization environments, correct?</p> <p>5 A. It would be to ensure that we were</p> <p>6 having appropriate dialogue with some of those</p> <p>7 stakeholders. I think influencing is perhaps</p> <p>8 not quite the right word.</p> <p>9 MR. TILLERY: Okay. Well, then</p> <p>10 let's pull up this 543.</p> <p>11 What exhibit number is this?</p> <p>12 MS. BRUMITT: 53.</p> <p>13 MR. TILLERY: 53. We're going to</p> <p>14 show you number 53.</p> <p>15 (Botham Exhibit 53 marked for</p> <p>16 identification.)</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. Please read this, and then I'm going</p> <p>19 to direct your attention to paragraph 11, which</p> <p>20 is on the next page. That's actually page 5,</p> <p>21 I believe, so if you could take a look at the</p> <p>22 document.</p> <p>23 Okay. Have you read it?</p> <p>24 A. Yes, I'm just finishing now.</p> <p>25 I'm just on the last page.</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 639</p> <p>1 Q. Okay, thank you.</p> <p>2 A. Okay, thank you, I've done that.</p> <p>3 Q. All right. If we go to the first</p> <p>4 page of the document, okay. If you look under</p> <p>5 number 2, third paragraph, do you see that?</p> <p>6 A. Yes.</p> <p>7 Q. Follow along with me if you wouldn't</p> <p>8 mind and tell me if I'm reading this correctly.</p> <p>9 And before I do, present at this meeting was</p> <p>10 Mike Clapp. George Krinke, what was his role?</p> <p>11 A. He was a neuropathologist based in</p> <p>12 Switzerland.</p> <p>13 Q. Okay. And Ted Lock, what did he do</p> <p>14 at Syngenta?</p> <p>15 A. An investigative toxicologist based</p> <p>16 at CTL.</p> <p>17 Q. Okay. And Chris Sheard?</p> <p>18 A. A product toxicologist working</p> <p>19 alongside Mike Clapp.</p> <p>20 Q. And Nick Sturgess?</p> <p>21 A. An investigative toxicologist</p> <p>22 working with Ted Lock.</p> <p>23 Q. And Nicola Wallis?</p> <p>24 A. A pathologist based in CTL.</p> <p>25 Q. And these are minutes and actions</p> | <p style="text-align: right;">Page 641</p> <p>1 pesticides.</p> <p>2 Q. Would that be roughly the equivalent</p> <p>3 of the EPA in the United States?</p> <p>4 A. It's a much narrower remit, but,</p> <p>5 yes, a similar function.</p> <p>6 Q. All right.</p> <p>7 "... has advised PSD ... there is no</p> <p>8 basis to link PQ to neurotoxicity. The ACP</p> <p>9 has, however, recommended an epidemiological</p> <p>10 study to look at the possibility of a link</p> <p>11 between pesticides and PD."</p> <p>12 What does that stand for?</p> <p>13 A. PD stands for Parkinson's disease.</p> <p>14 Q. Okay.</p> <p>15 Now, if you go to the end of this,</p> <p>16 the last page, you'll see a reference to</p> <p>17 number 11.</p> <p>18 Do you see that?</p> <p>19 A. I do.</p> <p>20 Q. All right. And it says "Proposal</p> <p>21 for" what? What word do they use?</p> <p>22 A. Yeah, they use the term</p> <p>23 "influencing strategy."</p> <p>24 Q. Okay. So this is the word you</p> <p>25 didn't like a few minutes ago when I was</p> |
| <p style="text-align: right;">Page 640</p> <p>1 from the paraquat/Parkinson's disease task</p> <p>2 meeting at CTL on October 18, 2001, correct?</p> <p>3 A. Correct.</p> <p>4 Q. Okay. If we go down to 2, third</p> <p>5 paragraph, it says:</p> <p>6 "It is known that PQ .."</p> <p>7 Is that referencing paraquat?</p> <p>8 A. PQ is paraquat.</p> <p>9 Q. Okay.</p> <p>10 "It is known that PQ can give rise</p> <p>11 to non-specific brain lesions in suicide cases</p> <p>12 but even in such patients (who are [currently]</p> <p>13 suffering irreversible multi-organ failure)</p> <p>14 there is no evidence of functional</p> <p>15 neurotoxicity. Diquat is known to cause brain</p> <p>16 item infarcts but, again, only following high,</p> <p>17 suicidal doses."</p> <p>18 And then if we skip down, it says:</p> <p>19 "On a 'weight of evidence' basis,</p> <p>20 the Advisory Committee on Pesticides has</p> <p>21 advised PSD ..."</p> <p>22 What is that?</p> <p>23 A. PSD is the Pesticides Safety</p> <p>24 Directorate which was the regulatory authority</p> <p>25 in the United Kingdom responsible for</p> | <p style="text-align: right;">Page 642</p> <p>1 questioning you, right?</p> <p>2 A. That's right, and I can explain</p> <p>3 that if you wish.</p> <p>4 Q. Okay. So this committee says</p> <p>5 "influencing strategy" and here's what it says:</p> <p>6 "A science-based approach to an</p> <p>7 influencing strategy was proposed. This should</p> <p>8 be supported by position statements. Position</p> <p>9 statements should support this. Any</p> <p>10 development of the strategy must consider how</p> <p>11 best to influence academia, and regulatory and</p> <p>12 NGO 'environments'."</p> <p>13 Correct?</p> <p>14 A. Correct.</p> <p>15 Q. "It was agreed that a techno-</p> <p>16 regulatory team is required that can identify</p> <p>17 the threats to paraquat from ... [Parkinson's</p> <p>18 disease] [or] PD [referenced] hazard models.</p> <p>19 The team should promote a science-based</p> <p>20 understanding of the issues surrounding the</p> <p>21 implication of paraquat in [a] PD-like effects</p> <p>22 in man in order to maintain and safeguard</p> <p>23 paraquat registrations."</p> <p>24 Is that what it says?</p> <p>25 A. It does.</p> |

| Page 643 | Page 645 |
|--|--|
| <p>1 Q. Okay. Let's make sure we're clear 2 for the court and jury "maintain and safeguard 3 paraquat registration" means your ability to 4 continue to sell the chemical, doesn't it? 5 A. That's right. 6 Q. Because if you don't maintain and 7 safeguard the paraquat registrations, it 8 becomes unlawful to sell the product in the 9 country, correct? 10 A. Correct. 11 Q. Okay. And so the action that was 12 going to be taken from this document: MGLC 13 [sic], what is that? 14 A. MJLC are the initials of Mike 15 Clapp. 16 Q. So MJLC, Mike Clapp: 17 "... would set up an initial meeting 18 with appropriate techno-regulatory input from 19 individuals before the year-end, to discuss the 20 key issues and start to formulate PQ 21 influencing strategy." 22 Is that what it says? 23 A. It does. 24 Q. All right. 25 MR. TILLERY: Now, let's go to the</p> | <p>1 minutes this says the objective, and this -- 2 let's identify the exhibit first. This is 3 minutes of a June 9, 2003, what's that -- is it 4 PO RDT? 5 A. PQ that is, paraquat. 6 Q. PQ, paraquat. "RDT - Regulatory 7 science foresight," Parkinson's disease, right? 8 A. That's correct. 9 Q. All right. It has attendees and 10 it lists a large number of people and 11 identifies where they're from? 12 A. That's correct. 13 Q. These people are all -- these are 14 all scientists associated with Syngenta, 15 correct? 16 A. They are indeed, yes. 17 Q. Okay. Here we go to the minutes and 18 if you look about halfway through the minutes, 19 it says: 20 "The objective is to move from a 21 situation where we were predominantly 22 [reactive] in discrete scientific disciplines 23 to a situation where we have a coherent 24 strategy across all disciplines focussing on 25 external influencing, that proactively diffuses</p> |
| Page 644 | Page 646 |
| <p>1 next exhibit. Which is? 2 MS. BRUMITT: 54. 3 MR. TILLERY: 54? 4 MS. BRUMITT: 54. 5 MR. TILLERY: Number 54, 6 Plaintiff's Exhibit No. 54 for counsel. 7 This is SYNG-PQ-01023454. Plaintiff's 8 Deposition Exhibit 54. 9 (Botham Exhibit 54 marked for 10 identification.) 11 BY MR. TILLERY: 12 Q. Okay. Take a look at this. Let me 13 know when you're ready to discuss it, sir. 14 A. Okay. 15 Q. Are you ready now? 16 A. I'm looking at it now. 17 Q. Okay, I'm sorry. 18 A. Do you want me to read all the way 19 through this? 20 Q. This page, and I'm going to ask you 21 one question in the minutes section. 22 A. Okay. 23 Q. Ready? 24 A. Yeah, ready. 25 Q. All right. Do you see there in the</p> | <p>1 the potential threats that we face." 2 Correct? 3 A. Correct. 4 Q. Would you agree with me that the 5 threat they face that they were mentioning 6 was not being able to sell paraquat? 7 A. That would certainly be one of the 8 threats that was being implied here, yes. 9 Q. Actually, that was the primary 10 threat that was being implied here, wasn't it? 11 A. Well, it may have been. I wasn't 12 in this meeting, but it's -- I don't disagree 13 with your interpretation. 14 Q. All right. 15 MR. TILLERY: Now let's go to 16 Exhibit 55. 17 That's this one here. 18 MS. BRUMITT: Mmm-hmm. 19 MR. TILLERY: And this is 20 SYNG-PQ-01655689. 21 (Botham Exhibit 55 marked for 22 identification.) 23 BY MR. TILLERY: 24 Q. This would have been at a time when 25 you were connected to the techno-regulatory</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 647</p> <p>1 group?</p> <p>2 A. Yes, I would have certainly been</p> <p>3 closer to this team by that point.</p> <p>4 Q. And is it likely you would have been</p> <p>5 in attendance at the presentation of this</p> <p>6 PowerPoint for this meeting?</p> <p>7 A. I may have been. I can't recall.</p> <p>8 Q. Okay. Are you able to tell us who</p> <p>9 was present during this presentation?</p> <p>10 A. Well, I can only tell you from the</p> <p>11 agenda who some of the people were. Unless</p> <p>12 there's a list somewhere.</p> <p>13 Q. It is a meeting dated November 4,</p> <p>14 of the techno-regulatory group, or meeting</p> <p>15 group that we just talked about, right?</p> <p>16 A. I believe that this would have been</p> <p>17 the group that was set up as indicated in the</p> <p>18 last-but-one document, yes.</p> <p>19 Q. Right. So the earlier email we just</p> <p>20 referenced a few minutes ago was a prelude</p> <p>21 to the creation of this formalized group</p> <p>22 to deal with these issues. Is that a fair</p> <p>23 statement?</p> <p>24 A. I think that's a fair statement,</p> <p>25 yes.</p> | <p style="text-align: right;">Page 649</p> <p>1 identification.)</p> <p>2 THE WITNESS: Okay, I've received</p> <p>3 this.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. And if you go to 941 of that,</p> <p>6 page 13.</p> <p>7 A. Yes, I can now see a page which</p> <p>8 says "Influencing."</p> <p>9 Q. All right. And under that topic,</p> <p>10 it says:</p> <p>11 "Generate data - Allow vehicle for</p> <p>12 entering the debate through presentation and</p> <p>13 discussion."</p> <p>14 Correct?</p> <p>15 A. Correct.</p> <p>16 Q. Attend conferences, correct?</p> <p>17 A. Correct.</p> <p>18 Q. "Present data; challenge others,"</p> <p>19 and "Network."</p> <p>20 Correct?</p> <p>21 A. Correct.</p> <p>22 Q. Okay. Now if we go to -- now if</p> <p>23 we go back to 73 -- I'm sorry, it's Exhibit 55,</p> <p>24 the one you had before. I apologize for the</p> <p>25 mix-up in the references. If you go back</p> |
| <p style="text-align: right;">Page 648</p> <p>1 Q. All right. Do you know who the</p> <p>2 presenters were?</p> <p>3 A. Okay. So I'm looking at the</p> <p>4 agenda, page 3. So BE is Barry Elliott,</p> <p>5 NS and LM are Nick Sturgess and Louise Marks.</p> <p>6 IW is Ian Wheals.</p> <p>7 Q. Okay. Now, if you go to</p> <p>8 SYGN-000476941, do you see the topic</p> <p>9 "Influencing"?</p> <p>10 A. Excuse me, I'm just getting there.</p> <p>11 MR. NARESH: Sorry, what was that</p> <p>12 page number again? 941?</p> <p>13 MR. TILLERY: Yes. I have it at</p> <p>14 00476941.</p> <p>15 THE WITNESS: That doesn't make any</p> <p>16 sense.</p> <p>17 MR. NARESH: Yeah.</p> <p>18 MR. TILLERY: Actually, let's go to</p> <p>19 SYNG-00476929, is it a different exhibit?</p> <p>20 And we'll call --</p> <p>21 MR. NARESH: Okay, it must be</p> <p>22 a different exhibit.</p> <p>23 MR. TILLERY: Yeah, we'll call that</p> <p>24 Exhibit No. 56.</p> <p>25 (Botham Exhibit 56 marked for</p> | <p style="text-align: right;">Page 650</p> <p>1 to that and take a look at the last numbers</p> <p>2 1655706.</p> <p>3 A. So we're going back to Exhibit 55?</p> <p>4 Q. Right. She'll pull that up.</p> <p>5 A. Okay. I'm just opening it now,</p> <p>6 actually, from the -- yeah, so I'm now back on</p> <p>7 the techno-regulatory meeting, 4 November</p> <p>8 2004.</p> <p>9 Q. It's page 18 and the Bates number is</p> <p>10 706, the last three numbers.</p> <p>11 A. Tell me the Bates number again,</p> <p>12 please.</p> <p>13 Q. Yeah, it's 706 is --</p> <p>14 A. Okay.</p> <p>15 Q. It would be 01655706.</p> <p>16 A. Okay, just getting there. Sorry,</p> <p>17 I have to scroll up and down to see the Bates</p> <p>18 number all the time, that's why I'm -- yes,</p> <p>19 I'm now on 706.</p> <p>20 Q. And what's the topic of that page,</p> <p>21 the title?</p> <p>22 A. "Recent Literature Developments Of</p> <p>23 Concern."</p> <p>24 Q. Okay. And, if you would, read in</p> <p>25 the first paragraph?</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 651</p> <p>1 A. "Two US based research groups have 2 produced a series of publications since 1999 3 implicating paraquat in a Parkinson's disease 4 animal model - work still on going." 5 Q. And the name below that? 6 A. Cory-Slechta group, Rutgers, 7 New Jersey, University of Rochester, New York, 8 and Di Monte group, Parkinson's Institute, 9 Sunnyvale, California. 10 Q. And then it says: 11 "Using the C57Bl6 mouse model and 12 i.p. dosing..." 13 That's intraperitoneal, correct? 14 A. Correct. 15 Q. And "i.p. dosing of PQ," that's 16 paraquat, right? 17 A. Correct. 18 Q. 1-30 milligrams per kilogram, 19 typically 3 weekly doses of 10 milligrams per 20 kilogram. 21 Am I reading it correctly? 22 A. You are. 23 Q. "Looking at three biological 24 endpoints as markers of toxicity: 25 neuropathological - loss of neurones from</p> | <p style="text-align: right;">Page 653</p> <p>1 black mouse after injecting the mice with 2 paraquat; is that right? 3 A. Symptoms in some cases. Pathology 4 and other findings as well, yes. 5 Q. Incidentally, as you said earlier in 6 the deposition, their work is what prompted 7 Louise Marks's research with the Charles River 8 black mouse. I think we covered that when 9 we had the earlier part of the deposition in 10 February. 11 A. We did. 12 Q. All right. You agree with that? 13 A. Yes, I do. 14 Q. All right. 15 So at this point in time, we're 16 talking November 2004, Dr. Marks's research was 17 still ongoing, wasn't it? 18 A. Yes, it was. 19 Q. Syngenta considered the work of 20 Dr. Cory-Slechta and Dr. Di Monte groups to be 21 threats, didn't it? 22 A. It was certainly important for us 23 to understand whether those findings were 24 reproducible and, hence, of concern, 25 absolutely.</p> |
| <p style="text-align: right;">Page 652</p> <p>1 substantia nigra ([based on] sterology); 2 neurochemical - loss of dopamine from the 3 striatum [of the substantia nigra portion of 4 the brain]; and neurobehavioural - reduction in 5 locomotor activity." 6 Is that what was produced and 7 discussed at the meeting in reference to 8 Dr. Cory-Slechta and Dr. Di Monte? 9 A. Yes, that is correct. 10 Q. Okay. And these were a series of 11 publications from 1999 that were of concern 12 to Syngenta, right? 13 A. That's correct. 14 Q. Okay. 15 Now, the Cory-Slechta group, that's 16 really a reference to Dr. Deborah Cory-Slechta 17 at the University of Rochester, New York, 18 right? 19 A. Yes, it is. 20 Q. And the Di Monte group that's 21 referenced here was a group at the Parkinson's 22 Institute in California, correct? 23 A. That is correct. 24 Q. Specifically, both had found 25 Parkinson's-like symptoms in the Charles River</p> | <p style="text-align: right;">Page 654</p> <p>1 Q. Of concern in that if those groups 2 are right in their results, you shouldn't be 3 selling paraquat because it caused people to 4 get Parkinson's disease, right? 5 MR. NARESH: Objection to form. 6 THE WITNESS: If I may just add, 7 as in the previous exhibit where we saw 8 that approach that we were taking, ----- 9 we were entering the scientific 10 discussion and debate more proactively 11 to try to understand that situation. 12 MR. TILLERY: Well, I move to 13 strike your answer as unresponsive. 14 BY MR. TILLERY: 15 Q. And if you'd answer my question. 16 I said it would be of concern because if those 17 groups were correct in their scientific 18 analysis of the link between paraquat and 19 Parkinson's disease, you shouldn't be selling 20 the product. Would that be a fair statement? 21 MR. NARESH: Same objection. 22 THE WITNESS: If that was a real 23 effect, we would then need to understand 24 whether that -- the effects that they've 25 seen, and possibly we also see, in the</p> |

Page 655

1 animal model were likely to have
2 relevance to human beings. So this is
3 just step one in a two-step process;
4 animal toxicology, then looking for human
5 relevance.
6 BY MR. TILLERY:
7 Q. Well, let's look to -- I think this
8 is the same one. Let's go to 17 of that
9 exhibit and this is headed "Paraquat &
10 Parkinson's Disease," and that's at 705.
11 A. Yes. Sorry, it took me a while
12 to get there. I'm there now.
13 Q. And that's entitled "Paraquat &
14 Parkinson's Disease," right?
15 A. Yes, it is.
16 Q. And let's look at bullet number 2,
17 read that into the record. What did the
18 techno-regulatory team deem Dr. Cory-Slechta's
19 research to present? What did they call it?
20 A. "Threats to paraquat from the
21 recent scientific literature."
22 Q. All right, thank you.
23 Then if you go on to 708, which is
24 three pages later in that same document,
25 "Recent Literature Developments Of Concern."

Page 656

1 A. Yes.
2 Q. "Cory-Slechta very vocal in her
3 calls for the risk to humans from paraquat
4 exposure be reassessed owing to: The use of
5 [paraquat] as a desiccant on cotton;
6 Occupational exposure leading to contamination
7 of workers and their families; Exposure to
8 paraquat in residential areas from spray drift.
9 Cory-Slechta connections with NGOs such as
10 PAN."
11 What does that mean?
12 A. That means that at that time there
13 was a belief that Dr. Cory-Slechta had some
14 kind of relationship with a non-governmental
15 organization, which is called Pesticide Action
16 Network.
17 Q. Okay.
18 If you'd go now to 690, under
19 "Objectives," and before you -- if you'd just
20 identify it first.
21 A. Okay. So I'm going back here.
22 Excuse me, I'm just trying to get
23 there. So it's 690. Yeah, sorry, I was one
24 behind, I've just got one more to click.
25 Yes, I'm there now, thank you.

Page 657

1 Q. All right. If you take a look at
2 that, please. The purpose of the
3 techno-regulatory meeting in November 2004 was
4 to lay out a strategy for responding to the
5 emerging threat posed by the
6 paraquat/Parkinson's research of the
7 Cory-Slechta and Di Monte groups, wasn't it?
8 A. Yes.
9 Q. All right. And the objectives was
10 to confirm the RDT definition of issue and
11 threat, right?
12 A. Yes.
13 Q. Now, what is RDT an abbreviation
14 for?
15 A. I'm pretty sure it was regulatory
16 development team.
17 Q. Right. And the issue/threat is the
18 one we've already talked about, correct?
19 A. That's correct.
20 Q. And that issue/threat posed by the
21 paraquat/Parkinson's research of Cory-Slechta
22 and Di Monte, correct?
23 A. That was certainly one important
24 part of it, yes.
25 Q. And the potential for regulatory

Page 658

1 action or even a ban on paraquat from the
2 US EPA, correct?
3 A. That will be one thing that was
4 considered, certainly, yes.
5 Q. So another objective of the meeting
6 was to confirm the RDT proposed management
7 tactics, correct?
8 A. Yes.
9 Q. Were those tactics ever amended?
10 A. Well, I don't know that I can
11 accurately answer that question. I would,
12 though, take the opportunity to say that
13 the tactics, as it says here, were
14 increasingly, from this time onwards, to
15 engage, as we said earlier, more proactively
16 in understanding the science.
17 Q. Well, let's look specifically at the
18 tactics, management tactics, in this Exhibit 55
19 and go to 692.
20 A. Yeah, I'm here.
21 Q. What is the title of that topic?
22 A. "Management Tactics."
23 Q. So one of those tactics was
24 to develop a database of neurotoxicity studies
25 to support the continued regulatory approval of

| | |
|--|--|
| <p style="text-align: right;">Page 659</p> <p>1 paraquat, wasn't it?</p> <p>2 A. That's right.</p> <p>3 Q. Another tactic was to influence</p> <p>4 ongoing academic Parkinson's disease research,</p> <p>5 correct?</p> <p>6 A. Correct.</p> <p>7 Q. Another was to influence ongoing</p> <p>8 academic Parkinson's disease research, right?</p> <p>9 And that meant --</p> <p>10 A. That's what it says here, yes.</p> <p>11 Q. -- influence it in a way that</p> <p>12 supported the continued registration and use of</p> <p>13 paraquat. That's what it meant, wasn't it?</p> <p>14 A. I think this is where the term</p> <p>15 "influence" is one which can be -- it can be</p> <p>16 defined in different ways. To me, and I think</p> <p>17 being part of this team, influence was --</p> <p>18 is more about being able to engage with people</p> <p>19 like the academic community so that we can</p> <p>20 better understand what is actually happening</p> <p>21 here with paraquat and potential Parkinson's</p> <p>22 disease.</p> <p>23 It was not meant to say we're</p> <p>24 trying to suppress or bad-mouth the research</p> <p>25 that has been done.</p> <p style="text-align: right;">Page 660</p> <p>1 Q. You wouldn't try to influence --</p> <p>2 I got a lot of feedback. I'm sorry, let's</p> <p>3 withdraw that.</p> <p>4 You wouldn't try to influence,</p> <p>5 as you say, or silence people who had academic</p> <p>6 differences with you, would you?</p> <p>7 A. No, I would not.</p> <p>8 Q. You'd never do that, would you?</p> <p>9 A. No, sir.</p> <p>10 Q. That wouldn't be part of your</p> <p>11 influencing team, right? You personally</p> <p>12 wouldn't approve that?</p> <p>13 A. Personally, I would not approve of</p> <p>14 that.</p> <p>15 Q. Okay. So let's go back to make sure</p> <p>16 we're clear. Let's go to that same page,</p> <p>17 number 2, and let's read into the record word</p> <p>18 for word, why don't you do it, and let's let</p> <p>19 the court and jury decide what is meant by that</p> <p>20 term back in 2004. Read number 2.</p> <p>21 A. So number 2:</p> <p>22 "Monitor, understand and influence</p> <p>23 ongoing academic PD research and manage the</p> <p>24 impact on paraquat registrations by putting</p> <p>25 published findings in context of the use of</p> | <p style="text-align: right;">Page 661</p> <p>1 paraquat as a herbicide."</p> <p>2 Q. Okay. Number 3, you want to read</p> <p>3 that?</p> <p>4 A. "Support regulatory authorities in</p> <p>5 dismissing the hypothesis that paraquat is a</p> <p>6 risk factor for Parkinson's Disease in</p> <p>7 humans."</p> <p>8 Q. So part of your management tactics</p> <p>9 is to make sure that regulatory authorities</p> <p>10 don't connect paraquat with Parkinson's</p> <p>11 disease. Is that a fair statement?</p> <p>12 A. Yes, but based on number 1, which</p> <p>13 is making sure that we actually have the data</p> <p>14 to show whether or not that is appropriate.</p> <p>15 Q. And number 4, it says:</p> <p>16 "Seek to demonstrate the lack of</p> <p>17 independent regulatory expert [report] for the</p> <p>18 hypothesis that occupational paraquat exposure</p> <p>19 is a risk factor for [Parkinson's disease] in</p> <p>20 the sub-population of people exposed to [it]."</p> <p>21 Did I read that correctly?</p> <p>22 A. You did.</p> <p>23 Q. And then the last one of your</p> <p>24 management tactics:</p> <p>25 "Create an international scientific</p> <p style="text-align: right;">Page 662</p> <p>1 consensus against the hypothesis that paraquat</p> <p>2 is a risk factor for Parkinson's Disease in</p> <p>3 humans."</p> <p>4 Right?</p> <p>5 A. Correct.</p> <p>6 Q. And this is all to counter the</p> <p>7 threat, correct?</p> <p>8 A. Correct.</p> <p>9 Q. And that threat was that paraquat</p> <p>10 would no longer be able to be sold, correct?</p> <p>11 A. If the final scientific consensus</p> <p>12 was that there is a relationship or</p> <p>13 a causative link, then yes.</p> <p>14 Q. Now, you just told me a minute ago</p> <p>15 you wouldn't do anything to sort of silence the</p> <p>16 scientific discussion or opposition. That's</p> <p>17 not what was meant; isn't that what you just</p> <p>18 told me?</p> <p>19 A. I think I understand that that --</p> <p>20 Q. You --</p> <p>21 A. I think I said that that was my</p> <p>22 personal view, that I wouldn't -- not</p> <p>23 contemplate silencing people.</p> <p>24 Q. You wouldn't, for example, take any</p> <p>25 action to make sure certain scientists were not</p> |
|--|--|

| | |
|--|---|
| <p style="text-align: right;">Page 663</p> <p>1 appointed to regulatory positions, right?</p> <p>2 A. No, I would not do that.</p> <p>3 Q. Okay.</p> <p>4 Now, I want to direct your attention</p> <p>5 to another topic. What is the FIFRA Scientific</p> <p>6 Advisory Panel?</p> <p>7 A. FIFRA scientific advisory panels</p> <p>8 are panels set up by the United States</p> <p>9 Environmental Protection Agency, EPA. They</p> <p>10 are panels of independent experts, and also</p> <p>11 members of the EPA will be part of the</p> <p>12 process, to investigate issues of potential</p> <p>13 concern in human or environmental safety.</p> <p>14 Q. I copied something from the website</p> <p>15 of the US EPA and I want to read it to you and</p> <p>16 ask you if you agree with it, okay.</p> <p>17 "The Federal Insecticide, Fungicide</p> <p>18 & Rodenticide Act (FIFRA) Scientific Advisory</p> <p>19 Panel (SAP) provides independent scientific</p> <p>20 advice to the EPA on health and safety issues</p> <p>21 related to pesticides. The FIFRA SAP is</p> <p>22 comprised of biologists, statisticians,</p> <p>23 toxicologists, and other experts."</p> <p>24 Would you agree with that</p> <p>25 definition?</p> | <p style="text-align: right;">Page 665</p> <p>1 It would certainly be to provide</p> <p>2 a science-based -- independent science-based</p> <p>3 position to the EPA.</p> <p>4 Q. And would you agree that very</p> <p>5 important for Syngenta to make sure people who</p> <p>6 have critical feelings on paraquat are not</p> <p>7 allowed on the SAP?</p> <p>8 MR. NARESH: Objection to form.</p> <p>9 THE WITNESS: I certainly wouldn't</p> <p>10 want to put it that way. Again, speaking</p> <p>11 personally, I don't think it would be</p> <p>12 something that we should be attempting</p> <p>13 to do, to say who or who should not be on</p> <p>14 a panel of that sort.</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. Right. In other words, you would</p> <p>17 agree with me that a chemical company like</p> <p>18 Syngenta should not be involved in working</p> <p>19 behind the scenes to make sure certain people</p> <p>20 aren't appointed to the scientific advisory</p> <p>21 panel. Would you agree with that?</p> <p>22 A. I mean, I, again, personally would</p> <p>23 feel that that's an action that I wouldn't</p> <p>24 feel comfortable with.</p> <p>25 Q. Actually, you wouldn't feel</p> |
| <p style="text-align: right;">Page 664</p> <p>1 A. I certainly would. I think</p> <p>2 it elaborated very well what I just indicated.</p> <p>3 Q. So is that panel also referred to,</p> <p>4 then, as the SAP? And when we refer to in the</p> <p>5 deposition as SAP we know we're talking about</p> <p>6 the scientific advisory panel of the US EPA.</p> <p>7 Correct?</p> <p>8 A. Yes, yes.</p> <p>9 Q. And there's five members of that</p> <p>10 group, aren't there?</p> <p>11 A. I don't know. I can't remember.</p> <p>12 Q. So that panel would be responsible</p> <p>13 for giving scientific advice to the EPA on</p> <p>14 chemicals like paraquat, wouldn't it?</p> <p>15 A. Yes, it would.</p> <p>16 Q. For example, if paraquat's</p> <p>17 registration to be sold in the United States</p> <p>18 were being reconsidered, the SAP would review</p> <p>19 the scientific evidence about paraquat and make</p> <p>20 recommendations about whether it should be</p> <p>21 sold, correct?</p> <p>22 A. I don't know whether it's the</p> <p>23 responsibility of the SAP to make</p> <p>24 recommendations as to whether a compound</p> <p>25 should be sold. I'm not certain about that.</p> | <p style="text-align: right;">Page 666</p> <p>1 comfortable with it because you would consider</p> <p>2 it to be, if not illegal, certainly highly</p> <p>3 unethical, wouldn't you?</p> <p>4 MR. NARESH: Objection to form,</p> <p>5 foundation, scope.</p> <p>6 THE WITNESS: I believe that it</p> <p>7 is not -- it is certainly not within the</p> <p>8 spirit of the code of conduct,</p> <p>9 for example.</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. The Code of Conduct of Syngenta,</p> <p>12 it wouldn't be consistent with that, would it?</p> <p>13 A. No, that's my interpretation of it</p> <p>14 certainly.</p> <p>15 Q. Well, do you know of any laws that</p> <p>16 might be violated for a company, subject to the</p> <p>17 regulation of a federal regulator, to try</p> <p>18 to influence the membership of the advisory</p> <p>19 panel that oversees their products? Do you</p> <p>20 know anything about that?</p> <p>21 MR. NARESH: Objection; form,</p> <p>22 scope.</p> <p>23 THE WITNESS: I'm not sufficiently</p> <p>24 familiar with United States law to be</p> <p>25 able to answer that question.</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 667</p> <p>1 BY MR. TILLERY: 2 Q. Okay. Well, I want, before we get 3 into the next line of questions, to clarify 4 something about the status of certain employees 5 of Syngenta. 6 Greg Watson, what is his job in 2005 7 at Syngenta? 8 MR. NARESH: Objection; foundation, 9 scope. 10 THE WITNESS: Greg Watson was in 11 the United States, in the Syngenta United 12 States regulatory team. He was the -- 13 I believe he was the lead regulatory 14 expert for herbicides. 15 BY MR. TILLERY: 16 Q. Mike Clapp? 17 MR. NARESH: Steve, just for the 18 record, I don't have a problem with you 19 asking these questions in his personal 20 capacity. I just have a standing 21 objection on scope. 22 MR. TILLERY: You do, sir. 23 BY MR. TILLERY: 24 Q. Go ahead. 25 A. Thank you.</p> | <p style="text-align: right;">Page 669</p> <p>1 A. He was an investigative 2 toxicologist based at CTL. 3 Q. And John Doe? 4 A. John Doe took over from Lewis Smith 5 as the head of CTL. John was already in 6 another leadership position in CTL before 7 that. 8 Q. Okay. 9 MR. TILLERY: I want to direct your 10 attention to -- and this is the first 11 one -- 12 MS. BRUMITT: 57. 13 MR. TILLERY: -- which would be 14 number 607. 15 MS. BRUMITT: Yeah, Exhibit 57. 16 MR. TILLERY: I'm sorry? 17 MS. BRUMITT: 57. 18 MR. TILLERY: This is Plaintiff's 19 Deposition Exhibit 57, and this is 20 SYNG-PQ-04206065 through 067. 21 If you'd take a look at these, 22 please. 23 (Botham Exhibit 57 marked for 24 identification.) 25 ///</p> |
| <p style="text-align: right;">Page 668</p> <p>1 Mike Clapp was the product 2 toxicologist at the Central Toxicology 3 Laboratory in the United Kingdom. The product 4 toxicologist for paraquat. 5 Q. Barry Elliott? 6 A. He succeeded Mike Clapp in that 7 same role. 8 Q. Tim Pastoor, what was his job in 9 2005? 10 A. Tim Pastoor at that time would 11 have been the head of human safety in the 12 United States Syngenta health assessment 13 organization. 14 Q. And Ian Wheals? 15 A. Ian at that time was in -- either 16 in the global or the European regulatory team, 17 based either in the UK or in Switzerland. 18 I'm not sure exactly where at that time. 19 Q. Lewis Smith, what was his job? 20 A. Lewis Smith had been the head of 21 CTL, and at some point he then transferred 22 to the head of development in Basel; and, 23 again, the dates, precise dates, I can't 24 recall. 25 Q. And then Nick Sturgess?</p> | <p style="text-align: right;">Page 670</p> <p>1 BY MR. TILLERY: 2 Q. And when you're finished looking at 3 them, I'm going to ask you some questions about 4 them. 5 A. Okay, go ahead. 6 Q. Okay. This is a series of emails 7 between the individuals that I had you 8 identify, correct? 9 A. Yes, it is. 10 Q. And 042065 -- I'm sorry. 0426065, 11 if you look at that, I think that's the first 12 page. 13 A. Yes. 14 Q. Do you see it? 15 Greg Watson sent an email to some of 16 the other men about Dr. Deborah Cory-Slechta, 17 correct? 18 A. Correct. 19 Q. And that was dated December 7, 2005 20 [sic]? 21 A. Correct. 22 Q. Okay, do you see that? 23 A. Yes, I do. 24 Q. And Mike Clapp responded to Nick 25 Sturgess, Lewis Smith and John Doe, right?</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 671</p> <p>1 A. Yes, he did.</p> <p>2 Q. Read his email into the record,</p> <p>3 please.</p> <p>4 A. So Mike Clapp said:</p> <p>5 "In case you have not seen ..." --</p> <p>6 Sorry, I think the document is ...</p> <p>7 MR. NARESH: Yeah. Steve, I think</p> <p>8 you have it in presentation mode and it's</p> <p>9 moving up and down, at least from my</p> <p>10 perspective. It might be easier for the</p> <p>11 witness if it's not in presentation mode.</p> <p>12 MR. TILLERY: Okay. I didn't</p> <p>13 understand what it was you're saying.</p> <p>14 If it's not in presentation mode?</p> <p>15 THE WITNESS: Yeah, because I can't</p> <p>16 see the top of the document now.</p> <p>17 MR. TILLERY: Okay --</p> <p>18 MR. NARESH: Yeah, he can't control</p> <p>19 the document in the --</p> <p>20 MR. TILLERY: Oh, I'm sorry.</p> <p>21 Yeah, can you let him have access</p> <p>22 to it? Yeah. I'm sorry.</p> <p>23 BY MR. TILLERY:</p> <p>24 Q. Do you have it now, sir?</p> <p>25 A. Yeah, okay, I can see the top of</p> | <p style="text-align: right;">Page 673</p> <p>1 right?</p> <p>2 A. Yes.</p> <p>3 Q. And he references subject, "Inside</p> <p>4 EPA story on last week's SAP - comments ..." right?</p> <p>5 A. Yes.</p> <p>6 Q. And he says:</p> <p>7 "Folks, Wanted to pass your way ...</p> <p>8 given that Cory-Slechta was on the ..." And how do you pronounce that word?</p> <p>9 A. Dimethoate.</p> <p>10 Q. "... dimethoate SAP FQPA Science</p> <p>11 Review Board, raises her paraquat studies [in]</p> <p>12 a different level with EPA. Brings stronger</p> <p>13 focus on the need to have our follow-up on</p> <p>14 developing our technical influencing plan,</p> <p>15 tox panel/external expertise, & a potential</p> <p>16 future SAP - as I believe Ian stated at our</p> <p>17 last meeting at CTL needs to be a priority for</p> <p>18 our next meeting."</p> <p>19 Correct?</p> <p>20 A. That's correct, that's what that</p> <p>21 says.</p> <p>22 Q. That's what it says. And then the</p> <p>23 top, it has an email response from Mike Clapp</p> |
| <p style="text-align: right;">Page 672</p> <p>1 the document now. So Mike Clapp wrote,</p> <p>2 "Gentlemen ..." --</p> <p>3 Q. Actually, Mike Clapp is at the</p> <p>4 beginning, right?</p> <p>5 A. Yes, he is.</p> <p>6 Q. Okay. So it starts down a little</p> <p>7 ways, doesn't it?</p> <p>8 MR. NARESH: It's still showing up</p> <p>9 for me in presentation mode. I can no</p> <p>10 longer -- oh, here we go. It's out now.</p> <p>11 BY MR. TILLERY:</p> <p>12 Q. So if you'd look at the earlier one.</p> <p>13 The way emails read, the earlier ones are at</p> <p>14 the bottom and they go closer to the top</p> <p>15 time-wise as we copy, don't they?</p> <p>16 You understand that?</p> <p>17 A. Yeah, yeah. Yeah.</p> <p>18 Q. The same the way they would on</p> <p>19 a computer screen, if you had a long exchange</p> <p>20 with your friend, they would -- your earliest</p> <p>21 emails would be at the top.</p> <p>22 So if we look down here to the one</p> <p>23 from December 7, 2004 from Greg Watson,</p> <p>24 he sends that to Mike Clapp, Barry Elliott,</p> <p>25 Tim Pastoor and Ian Wheals, Cc's Jerry Wells,</p> | <p style="text-align: right;">Page 674</p> <p>1 to Nick Sturgess, Lewis Smith and John Doe,</p> <p>2 referencing "Cory-Slechta now on EPA SAP":</p> <p>3 "Gentlemen ... Cory-Slechta now EPA</p> <p>4 SAP. Not good news - but no indication of</p> <p>5 activity on paraquat yet. Nick, Barry and</p> <p>6 I will consider the next steps. Mike."</p> <p>7 Were you aware of this?</p> <p>8 A. No. As you can see, I wasn't</p> <p>9 copied into this, so I don't --</p> <p>10 Q. You weren't -- you weren't part of</p> <p>11 this exchange, right?</p> <p>12 A. No.</p> <p>13 Q. Is this the first you're hearing</p> <p>14 about any of this?</p> <p>15 A. Well, directly, yes, but, I mean,</p> <p>16 indirectly, I know that there were discussions</p> <p>17 about the potential for an SAP on paraquat.</p> <p>18 Q. Okay.</p> <p>19 MR. TILLERY: So let's do this,</p> <p>20 if we can, let's go to -- and this is</p> <p>21 exhibit ...</p> <p>22 What number?</p> <p>23 MS. BRUMITT: 58.</p> <p>24 MR. TILLERY: 58, Plaintiff's</p> <p>25 Deposition Exhibit 58, and this is</p> |

1 SYNG-PQ-05705351 through 5352.
 2 (Botham Exhibit 58 marked for
 3 identification.)
 4 MR. TILLERY: We release that
 5 to you to look at.
 6 THE WITNESS: Okay, that's come.
 7 Okay. So I've read that.
 8 BY MR. TILLERY:
 9 Q. All right. This is a two mail --
 10 strike that.
 11 This is a two-page email string
 12 involving even more people, isn't it? Even
 13 more Syngenta people.
 14 A. Yes.
 15 Q. It starts at June 22, 2005, correct?
 16 A. Yes.
 17 Q. And let's identify those people.
 18 Charles Breckenridge, Janis McFarland. Who is
 19 Charles Breckenridge at that point?
 20 A. Charles Breckenridge was a senior
 21 toxicologist in the Syngenta health assessment
 22 group in North America.
 23 Q. And Janis McFarland, right?
 24 A. Was the head of regulatory affairs
 25 in North America for Syngenta.

1 A. Well, I wouldn't --
 2 MR. NARESH: Objection to form.
 3 THE WITNESS: -- put it that way.
 4 He was certainly very actively engaged
 5 in professional activities with us.
 6 BY MR. TILLERY:
 7 Q. Okay. And Mr. McAllister was
 8 warning Syngenta that Debbie Cory-Slechta had
 9 been nominated to fill a vacancy on the EPA
 10 Scientific Advisory Panel, correct?
 11 A. Correct.
 12 Q. He followed the formal notice of her
 13 nomination?
 14 A. Correct.
 15 Q. By the Science Foundation.
 16 Did you know that she was nominated by the
 17 Science Foundation?
 18 A. Well, I don't recall that but,
 19 again, as I said earlier, I was aware of these
 20 activities going on.
 21 Q. Okay.
 22 And the first email is 05705351,
 23 Charles Breckenridge advises Janis McFarland
 24 and Tim Pastoor of this development. That's
 25 the first one, okay?

1 Q. And Tim Pastoor?
 2 A. The head of health assessment in
 3 the Syngenta US team.
 4 Q. Jennifer Shaw?
 5 A. I believe Jennifer was in the
 6 corporate affairs group of Syngenta in the US.
 7 Q. Beth Carroll?
 8 A. Likewise, same as Jennifer.
 9 Q. And then Phil Botham. Is that you?
 10 A. Yeah. That's me.
 11 Q. You're involved in this personally,
 12 right?
 13 A. I was certainly copied into this,
 14 and this is why, as I said earlier, I was
 15 aware of the SAP debate.
 16 Q. This email exchange started because
 17 of an email Charles Breckenridge received from
 18 Ray McAllister which starts on the page before.
 19 Can you look at that?
 20 A. Yes.
 21 Q. And Ray McAllister was with CropLife
 22 America, wasn't he?
 23 A. He was.
 24 Q. Very close friends with Syngenta,
 25 right?

1 You see that?
 2 A. Yes.
 3 Q. And what does he say?
 4 A. Charles says:
 5 "This is important. We do not want
 6 to have Cory-Slechta on the SAP core panel.
 7 What action can be taken."
 8 Q. Okay. And then there is a response.
 9 From whom? Tim Pastoor?
 10 A. That's right.
 11 Q. And he says:
 12 "We should move on this, but I'm not
 13 sure how best to do so. Suggestions?
 14 Phil ...," he says.
 15 And that's referencing you, right?
 16 A. That's correct.
 17 Q. "... we are mindful of the
 18 sensitivities and need to feed our objections
 19 in through effective channels."
 20 Do you see that?
 21 A. Yes, I see that.
 22 Q. What's he mean by that, do you know?
 23 A. Well, I -
 24 Q. What did you take that -- you got
 25 that email. What were your effective channels

| | |
|--|---|
| <p style="text-align: right;">Page 679</p> <p>1 you were going to use to feed in your 2 objections to Dr. Cory-Slechta's appointment 3 to the scientific advisory panel of the United 4 States EPA? What were they? 5 A. Well -- 6 MR. NARESH: Objection; form, 7 foundation. 8 THE WITNESS: -- this was 9 absolutely the discussion that I recall 10 because I was mindful, and when he says 11 there "mindful of sensitivities" 12 I likewise was mindful of the fact that 13 we should not be trying to directly 14 influence the appointment of people to 15 the science advisory panel. But as 16 I did not understand the process by which 17 that happened, this was starting 18 a discussion about whether we could 19 better understand that. 20 BY MR. TILLERY: 21 Q. Okay. So we'll go -- let me just 22 explain to you, there's more emails coming, 23 okay. 24 So let's go to the top. There's 25 a Jennifer Shaw response, Wednesday, June 22,</p> | <p style="text-align: right;">Page 681</p> <p>1 BY MR. TILLERY: 2 Q. And then CLA is CropLife America, 3 right? 4 A. That's correct. 5 Q. So you were going to use Ray and 6 CropLife America to send information to the EPA 7 against the nomination of Dr. Cory-Slechta, 8 weren't you? 9 A. That was what was being considered 10 here, yes. 11 Q. Right. And that was being 12 considered. Now -- hold on a second. 13 MR. TILLERY: What is the next one? 14 That's 548. 15 MS. BRUMITT: 59. 16 MR. TILLERY: Let's go to 17 Exhibit 59. This is SYNG-05705349 18 through 50, Exhibit 59. 19 (Botham Exhibit 59 marked for 20 identification.) 21 BY MR. TILLERY: 22 Q. Again, it starts off at the bottom, 23 so if you'd look at that. 24 A. Yes. Okay, I've read that. 25 Q. All right.</p> |
| <p style="text-align: right;">Page 680</p> <p>1 2005. Do you see that? 2 A. Yes. 3 Q. And she's To Tim Pastoor and Beth 4 Carroll. And to who Cc? You. 5 A. To me. Yes, to me. 6 Q. Right? 7 A. Mmm-hmm. 8 Q. Okay. And she says what? Read her 9 entire comment into this record. 10 A. "Ray has a tough job to do in 11 providing comments that don't come back to 12 haunt CLA [CropLife America] and be used 13 against us. My suggestion would be provide 14 Ray with comments that are not seen to be 15 critical of the person but rather objectively 16 focus on the person's work products and 17 experience in the context of quality and 18 relevancy." 19 Q. So she had hatched a plan and she 20 was going to use Ray. And that's Ray 21 McAllister, right? 22 MR. NARESH: Objection to form. 23 THE WITNESS: Ray is Ray 24 McAllister, correct. 25 ///</p> | <p style="text-align: right;">Page 682</p> <p>1 So the following day, on -- is it 2 June 29 that you're looking at? 3 A. I'm looking at June 28. 4 Q. 28th, Tuesday? 5 A. Yeah. 6 Q. And you respond to everybody, don't 7 you? 8 A. I do. 9 Q. And on Tuesday, June 28, you respond 10 to Jerry Wells, Jonathan Akins, Tim Pastoor, 11 Donna Houghton, John Doe, Mike Clapp, Elliott 12 Barry, Beth Carroll, John Street, Greg Watson 13 on "Comments on SAP nominations" and you mark 14 it urgent. 15 Do you see where you did? 16 A. Yeah, I didn't make that mark 17 urgent. That was just a copy from somebody 18 else who put "urgent" further down. 19 Q. Okay. Okay. And you say: 20 "I've been trying to find out the 21 best way of doing this. The attached e-mail 22 from Jenny Shaw last week seemed like a 23 sensible approach ... I'm assuming you're now 24 trying to provide such comments to Ray?" 25 Is that what it says?</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 683</p> <p>1 A. Yes, that's what it said.</p> <p>2 Q. So you endorsed Jennifer Shaw's</p> <p>3 approach of using Ray McAllister through</p> <p>4 CropLife America to effectively ghost this for</p> <p>5 Syngenta with the US EPA, right?</p> <p>6 MR. NARESH: Objection to form.</p> <p>7 THE WITNESS: So, as I said</p> <p>8 earlier, I was trying to explore if --</p> <p>9 the process through which nominations</p> <p>10 on to this SOP -- SAP were approved, and</p> <p>11 the advice that I was getting from my US</p> <p>12 team is that it would be a legitimate way</p> <p>13 forward, if we wished to do so, to</p> <p>14 question whether a person had the</p> <p>15 appropriate background to be a member of</p> <p>16 the SAP.</p> <p>17 MR. TILLERY: I move to strike your</p> <p>18 answer as unresponsive.</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. Did you or did you not approve</p> <p>21 Jennifer Shaw's recommendation that we just</p> <p>22 went over?</p> <p>23 MR. NARESH: I'll object --</p> <p>24 THE WITNESS: I said --</p> <p>25 MR. NARESH: I'll object to the</p> | <p style="text-align: right;">Page 685</p> <p>1 I might have said anything more.</p> <p>2 Q. Okay.</p> <p>3 MR. TILLERY: Now, let's go to</p> <p>4 Exhibit 60, and that is SYNG-PQ-05707254.</p> <p>5 (Botham Exhibit 60 marked for</p> <p>6 identification.)</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. This is an email from Greg Watson.</p> <p>9 Do you see it?</p> <p>10 A. I do.</p> <p>11 Q. It's dated June 29, 2005, and it's</p> <p>12 to Ray McAllister. And he says, "Confidential</p> <p>13 - Comments on SAP Nominations. Importance:</p> <p>14 High."</p> <p>15 Do you see that?</p> <p>16 A. Yes.</p> <p>17 Q. And he says, "Dear Ray."</p> <p>18 Let me read this in, the first</p> <p>19 sentence, into the -- the first paragraph into</p> <p>20 the record. You tell me if I'm reading it</p> <p>21 correctly.</p> <p>22 "I would ask that you handle our</p> <p>23 comments with care & in such a way that they</p> <p>24 cannot be attributed to Syngenta. Ray, I am</p> <p>25 not aware of the common practice in these cases</p> |
| <p style="text-align: right;">Page 684</p> <p>1 form.</p> <p>2 Go ahead. Sorry. I'm sorry.</p> <p>3 THE WITNESS: Yeah. What I said</p> <p>4 here is it seemed like a sensible</p> <p>5 approach. This is not constituting an</p> <p>6 approval.</p> <p>7 MR. TILLERY: Okay.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. So you said:</p> <p>10 "I've been trying to find out the</p> <p>11 best way of doing this. The attached e-mail</p> <p>12 from Jenny Shaw last week seemed like a</p> <p>13 sensible approach. Tim - I'm assuming you're</p> <p>14 now trying to provide such comments to Ray?"</p> <p>15 And that was to Tim who?</p> <p>16 A. Tim Pastoor.</p> <p>17 Q. Tim Pastoor. So you say it's</p> <p>18 a sensible approach and say now to Tim,</p> <p>19 "I'm assuming you're putting those comments</p> <p>20 together for Ray?"</p> <p>21 That's your response, right?</p> <p>22 A. That's right.</p> <p>23 Q. Did you say any more to them at that</p> <p>24 time?</p> <p>25 A. I've got no recollection of whether</p> | <p style="text-align: right;">Page 686</p> <p>1 - but it seems to me that this should be</p> <p>2 submitted informally & NOT placed on the public</p> <p>3 docket."</p> <p>4 Is that what it says?</p> <p>5 A. Yeah, it does say that.</p> <p>6 Q. Okay. So let's make sure everybody</p> <p>7 knows what that means. That means your</p> <p>8 Syngenta representative is suggesting that this</p> <p>9 comment go to the US EPA informally and not be</p> <p>10 publicly filed, correct? Is that what it says?</p> <p>11 A. That is what this is suggesting,</p> <p>12 yes.</p> <p>13 Q. All right.</p> <p>14 And then, if you look on, it says --</p> <p>15 he says:</p> <p>16 "I enclose some comments on</p> <p>17 Dr. Cory-Slechta from the perspective of</p> <p>18 researchers who are close to ... Parkinson's</p> <p>19 disease ... which has been a major focus of her</p> <p>20 research effort."</p> <p>21 Then he says -- if you look on,</p> <p>22 he proposes a language to her. If you look</p> <p>23 down in the third paragraph, he proposes the</p> <p>24 language that CropLife America's Ray</p> <p>25 McAllister's going to use to send in in this</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 687</p> <p>1 private communication with the US EPA that's 2 not placed on the public docket, doesn't he? 3 MR. NARESH: Objection to form. 4 THE WITNESS: Yes, that's correct. 5 MR. TILLERY: Exactly what he did. 6 Now, let's move on to the next, and 7 this is 61. This is SYNG-PQ-00353198 8 through 3204. 9 (Botham Exhibit 61 marked for 10 identification.) 11 BY MR. TILLERY: 12 Q. We'll give you control of this 13 document. You take a look at it, please. 14 A. Okay. I can see what it is now. 15 Q. All right. Now if you go to the 16 very last page of that string, and that's 17 3204 -- actually, the last two pages. In the 18 bottom of the page before, it says a reference 19 to Stephen Knott. Do you see that? 20 A. Not quite there yet. Oh yes -- 21 Q. Stephen Knott 22 A. Yeah. Stephen Knott, yes, US EPA. 23 Q. Okay. So this is an assistant 24 executive secretary, FIFRA Scientific Advisory 25 Panel, and he's with the United States EPA,</p> | <p style="text-align: right;">Page 689</p> <p>1 similarities, I agree. 2 Q. So that's the -- he did exactly what 3 you wanted; Greg Watson sent this letter on to 4 the US EPA, the language you wanted about 5 Deborah Cory-Slechta, and what does he say at 6 the very last sentence: 7 "... Cory-Slechta is not an 8 appropriate candidate for the scientific 9 advisory panel, based on these reservations." 10 Is that what he says? 11 A. That's what it says. 12 Q. He did exactly what you asked him 13 to do, right? 14 MR. NARESH: Object to form. 15 THE WITNESS: Well, not me 16 personally, no. 17 BY MR. TILLERY: 18 Q. So are you now in this or out of 19 this? Which way are you now? 20 MR. NARESH: Objection to form, 21 argumentative. 22 THE WITNESS: If you remember, 23 my question was if we -- can we 24 understand the process through which 25 members of the SAP are appointed, and</p> |
| <p style="text-align: right;">Page 688</p> <p>1 isn't he? 2 MR. NARESH: Objection; form. 3 THE WITNESS: This is what this 4 suggests, yes. 5 BY MR. TILLERY: 6 Q. Yeah, and it's US EPA Headquarters, 7 Ariel Rios Building, 1200 Pennsylvania Avenue, 8 N.W., Washington, DC. Right? 9 A. Yes. 10 Q. "Dear Steve ..." 11 Now, who signed that letter? 12 A. Ray McAllister. 13 Q. From CropLife America, right? 14 A. Yeah. 15 Q. And he's got a section on Deborah 16 Cory-Slechta, doesn't he? 17 A. That's right. 18 Q. And read that because you'll find it 19 to be very, very, very familiar, I believe, 20 Dr. Botham. 21 A. Yes. 22 Q. That is the language that Greg 23 Watson told him to write, isn't it? Do you 24 want to compare it? 25 A. It's -- yeah, no, it has</p> | <p style="text-align: right;">Page 690</p> <p>1 I was seeking advice about whether there 2 was a way in which we could provide input 3 to that, and the way in which that was 4 interpreted by my US colleagues was 5 to take this route. But, of course, as 6 you've seen, actually I was never copied 7 into the final versions of this so 8 I didn't see the final product, so that's 9 why I said, you know, it wasn't me that 10 was involved in the final part of this 11 process. 12 BY MR. TILLERY: 13 Q. Okay. So you just told them to do 14 it? 15 A. No, I said -- I repeat. I was 16 seeking advice from my US team for what the 17 process might be to query the membership of 18 SAPs, and that resulted in -- that was 19 a question to Tim Pastoor and the chain of 20 emails that followed resulted from that. 21 Q. So when -- in fact, when this was 22 sent in to the US EPA after a national science 23 foundation nominated her, there was a little 24 bit of pushback, wasn't there, okay, and they 25 were asking -- they came back to -- if you read</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 691</p> <p>1 this exchange, they came back to Mr. McAllister 2 and said could you give us some more 3 information about Debbie Cory-Slechta, didn't 4 they? 5 MR. NARESH: Objection to form. 6 THE WITNESS: Yes, that's correct. 7 BY MR. TILLERY: 8 Q. Okay. And he came back to his 9 friends at Syngenta and said can you give me 10 more information about her, right? 11 MR. NARESH: Objection to form. 12 THE WITNESS: That is correct. 13 BY MR. TILLERY: 14 Q. Okay. And that's all laid out in 15 this exchange. And they went to different 16 people within the organization to find out what 17 could be said. 18 Now, if you'd go to 3200 of same 19 exhibit and look at the bottom where it says 20 Nick Sturgess. 21 Do you see that? 22 A. Yes. Yes, I'm there, yeah. 23 Q. Nick Sturgess writes on 24 September 16, 2005, "Guys," and he's referring 25 to Barry Elliott, Mike Clapp, Greg Watson,</p> | <p style="text-align: right;">Page 693</p> <p>1 Honolulu in February 2004. 2 Is that what he references? 3 A. Yes. 4 Q. And her -- the objection to her 5 was -- he puts it in quotes, from listening 6 to what she said, "Our data support the need 7 for the PQ [that's paraquat] human health risk 8 assessment to be re-evaluated." 9 Right? 10 A. Correct. 11 Q. And she says: 12 "Our data are in support of 13 anecdotal evidence from e-mail communications I 14 have had with farmers and their families 15 [which] have used PQ and have subsequently 16 developed Parkinson's disease." 17 Is that what Mr. Sturgess says? 18 A. It is. 19 Q. And he also comments similar things 20 she said in other presentations, correct? 21 A. Correct. 22 Q. Okay. 23 MR. TILLERY: Now, let's go to the 24 next exhibit, and that's to -- 25 MR. NARESH: Steve, do you have</p> |
| <p style="text-align: right;">Page 692</p> <p>1 "Comments on SAP nominations." 2 Do you see that? 3 A. Yes. 4 Q. He says: 5 "Guys, It is going to be very 6 difficult to pin something really specific on 7 D C-S ..." 8 That means Deborah Cory-Slechta, 9 doesn't it? 10 A. It does. 11 Q. "... since it is more of an overall 12 perception in her presentation style and 13 language which is not strictly objective and 14 lacks the complete story which would actually 15 put her findings [in] a more relevant 16 perspective. That said there may be some 17 angles as follows ..." 18 And then, if you go to the page -- 19 next page of his email, he says she has made 20 verbal comments when presenting and answering 21 questions following her presentation at 22 different scientific meetings, one of which was 23 at the 20th International Neurotoxicology 24 Conference in Little Rock, and the 21st 25 International Neurotoxicology Conference in</p> | <p style="text-align: right;">Page 694</p> <p>1 a while longer on this line of 2 questioning or are you reaching the end 3 of it? 4 MR. TILLERY: I'm just about at the 5 end of this, okay. 6 MR. NARESH: All right. 7 MR. TILLERY: All right. 8 MR. NARESH: Can we take a break 9 after this line of questioning? 10 MR. TILLERY: Yeah. I'm about -- 11 right at the very end of this, with three 12 or four more minutes and we're done, then 13 we'll take a break, okay? 14 MR. NARESH: Okay. 15 MR. TILLERY: Okay. All right. 16 What is this one? 17 MS. BRUMITT: 62. 18 MR. TILLERY: 62? 19 MS. BRUMITT: Yes. 20 MR. TILLERY: All right. Let's go 21 to Plaintiff's Deposition Exhibit 62, 22 if you could look at that. 23 (Botham Exhibit 62 marked for 24 identification.) 25 THE WITNESS: Yeah, okay, I can see</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 695</p> <p>1 that.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. In this email, Watson summarizes</p> <p>4 what Syngenta has asked McAllister to write</p> <p>5 in response to this as a follow-up inquiry from</p> <p>6 the US EPA, right?</p> <p>7 A. Yes.</p> <p>8 Q. He says Debbie Cory-Slechta, this is</p> <p>9 what we've done, and he tells them exactly what</p> <p>10 to write. Okay.</p> <p>11 Now, Syngenta was successful, wasn't</p> <p>12 it?</p> <p>13 MR. NARESH: Objection; form.</p> <p>14 THE WITNESS: Successful in what?</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. Well, were you aware that</p> <p>17 Dr. Deborah Cory-Slechta's SAP nomination was</p> <p>18 defeated?</p> <p>19 A. To be honest with you, I may well</p> <p>20 have known that at the time, but I'd forgotten</p> <p>21 that.</p> <p>22 Q. Oh, you'd forgotten, okay.</p> <p>23 By defeating her nomination,</p> <p>24 Syngenta removed a threat to their continued</p> <p>25 sale of paraquat, didn't they?</p> | <p style="text-align: right;">Page 697</p> <p>1 MR. TILLERY: Okay, let's go to --</p> <p>2 this is exhibit what? Sorry?</p> <p>3 MS. BRUMITT: 63.</p> <p>4 MR. TILLERY: 63. Pull that up.</p> <p>5 (Botham Exhibit 63 marked for</p> <p>6 identification.)</p> <p>7 MR. TILLERY: And that's 552,</p> <p>8 right?</p> <p>9 MS. BRUMITT: Yes.</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. If you'd look at this exhibit.</p> <p>12 A. Okay, I can see that.</p> <p>13 Q. All right. If you see that, what</p> <p>14 this is is a August 3, 2010 email from Charles</p> <p>15 Breckenridge to Lewis Smith. And to who else</p> <p>16 on that line?</p> <p>17 A. To me, yes. So this --</p> <p>18 Q. Phil Botham.</p> <p>19 A. This is indeed --</p> <p>20 Q. Phil Botham.</p> <p>21 A. Yes, that's right.</p> <p>22 Q. Phil Botham, right. And to Alan</p> <p>23 Nadel, who is the head of the legal department</p> <p>24 for Syngenta Crop Protection, LLC, right?</p> <p>25 MR. NARESH: Objection; form.</p> |
| <p style="text-align: right;">Page 696</p> <p>1 A. They -- we believe that, as this</p> <p>2 says, Dr. Cory --</p> <p>3 Q. Can you answer my question. Can you</p> <p>4 answer my question, sir? I know you want</p> <p>5 to answer something else but -- I'll ask it</p> <p>6 again.</p> <p>7 A. Mmm.</p> <p>8 Q. I'm asking a specific question.</p> <p>9 By defeating Dr. Debbie Cory-Slechta's</p> <p>10 nomination to the scientific advisory panel of</p> <p>11 the US EPA, Syngenta removed a threat to the</p> <p>12 continued sale of paraquat, didn't it?</p> <p>13 MR. NARESH: I'll object to the</p> <p>14 form.</p> <p>15 THE WITNESS: A potential threat,</p> <p>16 yes.</p> <p>17 MR. TILLERY: Okay.</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. Now, that really wasn't the end of</p> <p>20 the chapter about Dr. Debbie Cory-Slechta's</p> <p>21 appointment, was it?</p> <p>22 A. Please elaborate.</p> <p>23 Q. Well, she was nominated to the</p> <p>24 advisory panel five years later by the National</p> <p>25 Science Foundation.</p> | <p style="text-align: right;">Page 698</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. And for Jonathan Sullivan, who is</p> <p>3 a lawyer from Basel, right?</p> <p>4 A. That is correct.</p> <p>5 Q. All right. And what's it reference?</p> <p>6 "SAP nominees - comment period," FIFRA SAP</p> <p>7 nominations. What's he say? Read what he</p> <p>8 said.</p> <p>9 A. "Note that Cory-Slechta has been</p> <p>10 nominated to become a permanent member of</p> <p>11 the USEPA SAP. We should discuss whether we</p> <p>12 wish to comment."</p> <p>13 Q. Okay. And did you comment?</p> <p>14 A. I've got -- I cannot remember</p> <p>15 whether we did or not.</p> <p>16 Q. Okay. Well, let me refresh your</p> <p>17 recollection.</p> <p>18 MR. TILLERY: Let's go to -- what</p> <p>19 would this exhibit be?</p> <p>20 MS. BRUMITT: 64.</p> <p>21 MR. TILLERY: 64. Pull that up for</p> <p>22 him.</p> <p>23 (Botham Exhibit 64 marked for</p> <p>24 identification.)</p> <p>25 ///</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 699</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Go ahead and refresh yourself there,</p> <p>3 too. The print is very small, Dr. Botham, but</p> <p>4 it's -- I think you can probably adjust it on</p> <p>5 your device to read it. This is how it was</p> <p>6 presented to us.</p> <p>7 A. Okay.</p> <p>8 Q. All right. And if you look on that</p> <p>9 exhibit at 192, which is the front page,</p> <p>10 there's an email that's dated September 3,</p> <p>11 2010, correct?</p> <p>12 A. Yes.</p> <p>13 Q. And that's from Dan Campbell, right?</p> <p>14 A. Correct.</p> <p>15 Q. And it's referencing comments on SAP</p> <p>16 nominations. He sends that to Tim Pastoor,</p> <p>17 right?</p> <p>18 A. Yes.</p> <p>19 Q. And that -- he says:</p> <p>20 "Do you agree with this?"</p> <p>21 And he writes:</p> <p>22 "CropLife America recommends that</p> <p>23 Dr. Deborah Cory-Slechta not be selected to</p> <p>24 serve on the FIFRA SAP. Dr. Cory-Slechta</p> <p>25 generally projects an anti-pesticide advocacy</p> | <p style="text-align: right;">Page 701</p> <p>1 Q. And it's directed to Dr. Frank</p> <p>2 Sanders, US EPA, right?</p> <p>3 A. Correct.</p> <p>4 Q. It says, "Docket ID EPA...</p> <p>5 Nominations to the FIFRA Scientific Advisory</p> <p>6 Panel; Request for Comments," and he references</p> <p>7 those numbers. August 4, 2010.</p> <p>8 Do you see that?</p> <p>9 A. Correct.</p> <p>10 Q. And if you look on the second page</p> <p>11 in the fourth paragraph, why don't you look at</p> <p>12 that and tell me if that isn't exactly word for</p> <p>13 word what Syngenta's Dan Campbell drafted and</p> <p>14 sent out, saying, "Do you agree with this? ...</p> <p>15 I think it works."</p> <p>16 A. Yes.</p> <p>17 Q. This is word for word.</p> <p>18 A. Yes.</p> <p>19 Q. So --</p> <p>20 A. May I add at this point that</p> <p>21 this is a perfectly --</p> <p>22 Q. No, I'm asking --</p> <p>23 MR. TILLERY: Excuse me, I move to</p> <p>24 strike --</p> <p>25 MR. NARESH: Steve. Steve --</p> |
| <p style="text-align: right;">Page 700</p> <p>1 through her research program. Her research</p> <p>2 interpretations and views inappropriately</p> <p>3 identify causal effects without quality data,</p> <p>4 between pesticides and various diseases,</p> <p>5 notably neurodevelopmental diseases</p> <p>6 including ... "</p> <p>7 What's that last word?</p> <p>8 A. Parkinson's.</p> <p>9 Q. Parkinson's disease, right, okay.</p> <p>10 And he says:</p> <p>11 "I think it works. Thanks. Dan."</p> <p>12 Right?</p> <p>13 A. Correct.</p> <p>14 Q. All right.</p> <p>15 MR. TILLERY: Now let's go to the</p> <p>16 next exhibit. Which one?</p> <p>17 MS. BRUMITT: 65.</p> <p>18 MR. TILLERY: Number 65.</p> <p>19 (Botham Exhibit 65 marked for</p> <p>20 identification.)</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. Take a look at that. This is</p> <p>23 a CropLife America letter, September 3, 2010.</p> <p>24 Correct?</p> <p>25 A. Yes, correct.</p> | <p style="text-align: right;">Page 702</p> <p>1 MR. TILLERY: Your counsel can</p> <p>2 raise it --</p> <p>3 MR. NARESH: You can move to strike</p> <p>4 it --</p> <p>5 MR. TILLERY: Your counsel can</p> <p>6 raise it --</p> <p>7 MR. NARESH: He wants to --</p> <p>8 MR. TILLERY: No, he cannot.</p> <p>9 It's my deposition.</p> <p>10 MR. NARESH: You can move to</p> <p>11 strike --</p> <p>12 MR. TILLERY: Not your deposition.</p> <p>13 It isn't --</p> <p>14 MR. NARESH: You can move to strike</p> <p>15 it --</p> <p>16 MR. TILLERY: It isn't. No,</p> <p>17 it isn't. No, it isn't. You're not</p> <p>18 doing it. Not with me.</p> <p>19 MR. NARESH: Steve --</p> <p>20 MR. TILLERY: I'm too old. Listen,</p> <p>21 we are not.</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. What's going to happen is you're</p> <p>24 going to answer my questions and then he can</p> <p>25 raise them. That's what you do.</p> |

| Page 703 | Page 705 |
|--|--|
| <p>1 MR. NARESH: Steve -- Steve, you've 2 cut off the witness multiple times -- 3 MR. TILLERY: No -- well, listen, 4 I'm not -- 5 MR. NARESH: Steve, you're not 6 even letting me talk. 7 MR. TILLERY: Make your objection. 8 MR. NARESH: You're not even 9 letting me talk. 10 MR. TILLERY: Make your objection. 11 MR. NARESH: My objection is you 12 keep cutting the witness off. If you 13 keep doing it -- 14 MR. TILLERY: Well -- 15 MR. NARESH: -- we'll have issues. 16 Let the witness answer the questions. 17 If you don't like the answers, then ask 18 a different question or move to strike 19 it, but you cannot cut the witness off 20 time and time again. 21 MR. TILLERY: We've got an -- we've 22 got an issue right now. 23 BY MR. TILLERY: 24 Q. Now I asked you a question. 25 My question to you is very simple: Is that</p> | <p>1 Q. That's the fact -- 2 A. No. No, not at all. 3 Q. And what -- 4 A. I -- 5 Q. And what was your -- and were you 6 successful again? 7 MR. NARESH: I'll object to this 8 and the last three or four questions. 9 I don't know what the pending question 10 is. Could you please just ask whatever 11 the pending question is so he can answer. 12 BY MR. TILLERY: 13 Q. Were you successful again? Was her 14 nomination defeated? After the National 15 Science Foundation of the United States of 16 America nominated this scientist once again, 17 did you defeat her nomination a second time? 18 MR. NARESH: Objection to form. 19 THE WITNESS: Well, I'm going to 20 say the same as I did before because it's 21 the truth. I can't remember, and I think 22 that reflects that I was not directly 23 involved in this process. 24 BY MR. TILLERY: 25 Q. All right. So let's abbreviate the</p> |
| Page 704 | Page 706 |
| <p>1 letter from CropLife America, on page 2, word 2 for word what Syngenta drafted? That 3 Mr. Campbell from Syngenta drafted, was that 4 what they sent to the US EPA? Was it or not? 5 A. Well, I've not done a document 6 compare but it is very similar, yes. 7 Q. You don't have any reason to suggest 8 it isn't, do you? 9 A. No, but that was the whole part of 10 the process; Syngenta was providing 11 information for CropLife America legitimately 12 to comment on recommendations to membership 13 of the SAP. 14 Q. Why didn't you do it yourself? 15 Why didn't Phil Botham write a letter, that 16 letter, to the US EPA? 17 A. Because this was not my 18 accountability. I was leading the Health 19 Science Team, the science part of this, 20 so that was not something that I was 21 responsible for. 22 Q. You went through a third party so 23 your identity could be hidden; that's the 24 truth, isn't it? 25 A. Not at all.</p> | <p>1 third one. Were you aware of the fact that she 2 was nominated five years later to the SAP of 3 the United States Environmental Protection 4 Agency? 5 A. Clarify, please, five years later 6 than when? 7 Q. 2015. Nominated again. Were you 8 aware of that, four years -- 9 A. I may have been but, as I say, it's 10 not an area that I've focused on at all in my 11 role. 12 Q. Did CropLife America get involved 13 for you again? 14 A. They may have done but I can't -- 15 I can't recall that. 16 Q. Did you successfully beat back 17 a threat to paraquat once more -- 18 MR. NARESH: Objection to form. 19 BY MR. TILLERY: 20 Q. -- over a period of 2005, 2010, 21 2015, defeat a scientist nominated by the 22 National Science Foundation? You defeated her 23 nomination to one of the most important 24 scientific panels in the United States. Would 25 you agree with that, sir?</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 707</p> <p>1 MR. NARESH: Objection to form. 2 THE WITNESS: We were -- we were 3 entitled, I think, to provide an input 4 to that process on the basis, as it says 5 here, of the way in which we feel -- 6 we felt Dr. Cory-Slechta projected the 7 implications of her research program. 8 MR. TILLERY: So we'll take a break 9 right now, okay. 10 THE VIDEOGRAPHER: We are going off 11 the record. The time is 3:13. 12 (Off the record.) 13 THE VIDEOGRAPHER: We are back on 14 the record. The time is 3:33. 15 BY MR. TILLERY: 16 Q. Dr. Botham, who is Dr. Donato 17 Di Monte? 18 A. He is a researcher in neurology who 19 was formerly based at the Parkinson's 20 Institute in North America. He is now at the 21 German center for neurotoxicological research. 22 Q. Okay. When he was at the 23 Parkinson's Institute in California he was the 24 director of fundamental research for the 25 institute, correct?</p> | <p style="text-align: right;">Page 709</p> <p>1 we'll refer to is SYNG-PQ-00486987. 2 This is entitled "Update on Syngenta's 3 Research Program." 4 THE WITNESS: Yes, I can see that. 5 (Botham Exhibit 66 marked for 6 identification.) 7 BY MR. TILLERY: 8 Q. Okay. If you'd go to, on this 9 document, 992 which is page 6 of the 47 pages. 10 Do you have control of the document, 11 sir? 12 A. I do, thank you. 13 Q. All right. When you find it and get 14 to that point, let us know so we can take it 15 back off of that control so it can be 16 videotaped. 17 A. Yeah, I'm there, thank you. 18 Q. All right. 19 Okay. This is a document I think 20 we've referenced earlier. If we didn't, 21 we looked at something very close to it. 22 That is, if we look at this, it says "Several 23 active research groups working on the paraquat 24 mouse model." 25 Do you see that?</p> |
| <p style="text-align: right;">Page 708</p> <p>1 A. That's correct. 2 Q. Dr. Di Monte has run research 3 programs into paraquat in the Charles River 4 black mouse at both the Parkinson's Institute 5 and the German Center for Neurodegenerative 6 Diseases, correct? 7 A. That's correct, yes. 8 Q. Dr. Di Monte's group at the 9 Parkinson's Institute published several studies 10 finding paraquat caused loss of dopaminergic 11 neurons in the substantia nigra of the Charles 12 River black mouse, didn't he? 13 A. He did. 14 Q. And his group also found a loss of 15 striatal dopamine in paraquat-treated mice, 16 correct? 17 A. That's correct. 18 MR. TILLERY: Can we go off the 19 record on a technical thing, real quick. 20 You don't have to stop the video. 21 (Off-the-record discussion.) 22 MR. TILLERY: Let's go to the next 23 exhibit. Which is? 24 MS. BRUMITT: 66. 25 MR. TILLERY: 66. The next exhibit</p> | <p style="text-align: right;">Page 710</p> <p>1 A. Yes. 2 Q. And it says: 3 "Two US based research groups have 4 produced a series of publications since 1999 5 using paraquat in a Parkinson's disease animal 6 model - their work is still on going." 7 We've referenced Dr. Cory-Slechta 8 before, but here we refer to Dr. Di Monte, 9 formerly Parkinson's Institute, Sunnyvale 10 California. 11 Do you see that? 12 A. I do. 13 Q. Then it says, at the very bottom: 14 "Other research groups are also 15 actively working with PQ [or paraquat] in 16 rodents." 17 Now, would you agree with me that 18 part of the influencing strategy that we've 19 talked about and that we've shown exhibits on 20 includes reaching out to members of the 21 scientific community and discussing this issue 22 with them and trying to convince them of the 23 position that Syngenta took? Would you agree 24 with that? 25 A. I wouldn't put it that way.</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|---|---|
| <p style="text-align: right;">Page 711</p> <p>1 It's certainly reaching out to the scientific 2 community to see if we can come to a better 3 understanding of what the research is telling 4 us. 5 Q. And in some ways to learn from those 6 people in the scientific community, correct? 7 A. Absolutely, yes, yes. 8 Q. So a person like Dr. Di Monte, who 9 you viewed as being an expert in this area, 10 would that be correct? 11 A. That is correct. 12 Q. Okay, that you would reach out to 13 her -- I'm sorry, strike that. 14 You would reach out to him and ask 15 to consult with him and learn what his research 16 has developed in terms of any correlation or 17 connection or relationship between paraquat and 18 Parkinson's disease; would that be fair? 19 A. That is a very fair way of stating 20 it, yes. 21 Q. All right. 22 MR. TILLERY: Now, let's go to 74. 23 Has that been marked? No, it hasn't. 24 Next exhibit. What number is that? 67? 25 MS. BRUMITT: 67.</p> | <p style="text-align: right;">Page 713</p> <p>1 administratively later. 2 MR. NARESH: Okay. 3 MR. TILLERY: Okay. 4 BY MR. TILLERY: 5 Q. Can you look at this, sir. 6 A. Yes, I'm looking at it. 7 Q. Okay. These are minutes of the 8 Syngenta paraquat regulatory development team, 9 right? 10 A. That's correct. 11 Q. And it's June 9, 2003? 12 A. Correct. 13 Q. And the meeting focused on 14 regulatory science foresight with respect 15 to paraquat and Parkinson's disease, didn't it? 16 A. It did. 17 Q. What does regulatory science 18 foresight mean? 19 A. It's having an ability to predict 20 what scientific developments may have 21 implications for future regulation and also 22 future regulatory status of substances. 23 Q. Okay. And who recorded the minutes, 24 do you know? 25 A. I'm not sure who that might</p> |
| <p style="text-align: right;">Page 712</p> <p>1 MR. TILLERY: Plaintiff's 2 Exhibit 67 I don't think you'll need to 3 look at yourself. 4 (Botham Exhibit 67 marked for 5 identification.) 6 MR. TILLERY: Just for the record 7 for counsel, this is SYNG-PQ-01662351. 8 BY MR. TILLERY: 9 Q. It's entitled, is it, sir, Minutes 10 of the June 9, 2003, "PQ RDT - Regulatory 11 science foresight - PD." 12 Would you translate that, what that 13 means, with all these abbreviations? 14 MR. NARESH: Steve, sorry to 15 interrupt. Is this the same exhibit as 16 Exhibit 54? 17 MR. TILLERY: I can't tell you the 18 answer to that. It deals with -- 19 MR. NARESH: I don't have a problem 20 with you doing it a second time. Just 21 for the record, I do think it's the same 22 as an exhibit that you've already 23 introduced. 24 MR. TILLERY: Okay, it might be. 25 If it is, you know, we can deal with it</p> | <p style="text-align: right;">Page 714</p> <p>1 have been. 2 Q. If you turn to -- is it page -- 3 excuse me. I'm sorry. Let's go to page 4 of 4 that document, which is 354. 5 A. Yeah, okay. Yeah, so you have 6 control at the moment so I ... 7 Q. Yeah. Do you see that? 8 A. I see what you're showing me, yes. 9 Q. Yes. And there's a reference to -- 10 it says: 11 "Good contact with, and evaluation 12 of, the key research groups have been 13 established. Their predicted future research 14 activity is being mapped." 15 Now, do you see the first one? 16 A. Yes. 17 Q. Syngenta characterized 18 Dr. Di Monte's group as currently advocating 19 paraquat as an academic, not a causative model, 20 right? 21 A. Yes, I see that. 22 Q. And Syngenta characterized that the 23 Di Monte group has "high scientific 24 creditability," right? 25 A. Yes.</p> |

55 (Pages 711 to 714)

| | |
|---|---|
| <p style="text-align: right;">Page 715</p> <p>1 Q. The second research group on that 2 list was Dr. Cory-Slechta at Rutgers 3 University, right? 4 A. Yes. 5 Q. And Dr. Cory-Slechta is 6 characterized by Syngenta as "implicitly 7 advocating paraquat as a potentially causative 8 model of Parkinson's disease." That's what it 9 says. 10 A. That's correct. 11 Q. Syngenta characterized her group as 12 "considered to be making excessive claims from 13 the available data." 14 A. Yes, that's correct, and that's 15 what our concern was in our previous 16 discussion about the SAP. 17 Q. Now let's look at page -- 18 MR. TILLERY: I move to strike your 19 answer as unresponsive, sir. 20 BY MR. TILLERY: 21 Q. Can we ask you again the question: 22 Syngenta characterized Dr. Cory-Slechta's group 23 as "considered to be making excessive claims 24 from the available data." 25 Is that what it says?</p> | <p style="text-align: right;">Page 717</p> <p>1 BY MR. TILLERY: 2 Q. We're at SYNG-PQ-01662356. 3 A. Okay. 4 Q. And there's a section that says: 5 "The comments were made that it is 6 in Syngenta's interests ..." 7 Do you see that? Find that? 8 A. Yes, I'm there, thank you. 9 Q. Okay. Do you see that? 10 A. Yes. 11 Q. All right. Now, let's go back on 12 wherever that is. 13 Yeah, back down. Yeah, there we go, 14 fine. Okay. If you see -- I'm having trouble 15 with that one. I think we don't have it -- 16 I see it. "The comments were made that it was 17 in Syngenta's interest," do you see that at the 18 middle of the page? The third bullet point 19 says: 20 "If a third party emerged to 21 figuratively act as a referee between the 22 Di Monte and Cory-Slechta groups different 23 perspective of PQ (academic model [versus] 24 potentially ... contributory agent)." 25 Do you see that?</p> |
| <p style="text-align: right;">Page 716</p> <p>1 A. That's what it says. 2 Q. All right. 3 Now, let's turn to page 6, if you 4 wouldn't mind, please, and that's 356. 5 A. I'm in your hands here because 6 I don't have control of the document. 7 Q. Yeah. We'll give it to you in just 8 a second. 9 MR. NARESH: And Dr. Botham, if you 10 feel like you need to review any portion 11 of the document in order to answer 12 a question, obviously just please feel 13 free to ask Mr. Tillery that. 14 MR. TILLERY: We're happy to do 15 that and start over if you want and then 16 direct you. Did you want to do that now? 17 MR. NARESH: It's your call, 18 Dr. Botham. 19 THE WITNESS: Well, I think it 20 will be good to make sure I can see all 21 of this document so if you wouldn't mind. 22 MR. TILLERY: All right. 23 All right. No problem. 24 Give it back to him, please. 25 ///</p> | <p style="text-align: right;">Page 718</p> <p>1 A. I do. 2 Q. What was meant by that? 3 A. Well, what was meant by that is 4 that we wanted, as part of our exploration of 5 what the science was really telling us, to be 6 able to -- to get a common view if possible 7 from two very key and important research 8 groups, namely those of Di Monte and 9 Cory-Slechta, because we felt that they were 10 really, as it says here, giving quite 11 a different perspective on whether there was 12 a clear relationship between paraquat exposure 13 and Parkinson's disease. 14 Q. Right. My question, though, is who 15 is the third party referenced? What's the 16 third party? 17 A. I don't know who was intended 18 because I don't believe I was in this meeting, 19 so I can't comment exactly who may have been 20 in mind, whether it was just a general 21 description of the type of person or whether 22 they had a particular person in mind. 23 Q. And what's the difference between 24 an academic versus a causative model? 25 A. Well, a causative model is one</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 719</p> <p>1 where the researcher has as their hypothesis 2 that a chemical agent, in this case paraquat, 3 is responsible for/causative in a disease 4 state, in this case Parkinson's disease. 5 So they're generating data to confirm the 6 hypothesis. 7 An academic model would be one 8 where either that was a much more open 9 hypothesis, in other words it's not clear what 10 effect paraquat may have on the nervous 11 system, including whether it could cause 12 Parkinson's disease, or, alternatively, 13 whether using the model as a way of describing 14 the disease of Parkinson's disease, not 15 necessarily saying that paraquat is causative 16 in Parkinson's. 17 Q. Okay. Did Syngenta ask Dr. Di Monte 18 to publicly comment on any aspect of 19 Dr. Cory-Slechta's work with paraquat? 20 A. I don't recall having 21 a conversation that said that. 22 Q. At the bottom of the page that we're 23 looking at now -- and this, for the record, 24 is SYNG-PQ-01662356 -- there are two action 25 items coming out of the meeting, correct?</p> | <p style="text-align: right;">Page 721</p> <p>1 there; understanding the ACP review was part 2 of the input to what was described as the 3 influencing strategy for the EU. 4 MR. TILLERY: Okay. Let's go 5 to 14. What number will that be, the 6 exhibit number? 7 MS. BRUMITT: 68. 8 MR. TILLERY: We're going to 9 Plaintiff's Exhibit 68 and that's 10 SYNG-PQ-00034773. 11 (Botham Exhibit 68 marked for 12 identification.) 13 BY MR. TILLERY: 14 Q. If you would take a look at this 15 exhibit, sir. The title is "Paraquat Health 16 Science Team." It's 17-18 September 2008, 17 isn't it? 18 A. That's correct. 19 Q. And this was a meeting at the Harte 20 and Garter Hotel, Windsor, UK, right? 21 A. That's correct. 22 Q. And present was Lewis Smith, Charles 23 Breckenridge, Martin Wilkes, Kim Travis, 24 Nick Sturgess, Andy Cook, Kersten Mewes and 25 Dave Berry, right?</p> |
| <p style="text-align: right;">Page 720</p> <p>1 A. Correct. 2 Q. One is to develop and implement a PD 3 influencing strategy in the United States. 4 Right? 5 A. Correct. 6 Q. "To include definition of the 7 targets of the influencing program." Okay? 8 A. Right. 9 Q. Do you see that? 10 A. Yes. 11 Q. And it says: 12 "To clarify status of the ACP review 13 and to work with Diane Castle to produce 14 influencing strategy for the EU." 15 So what's the ACP there again? 16 A. That's the Advisory Committee on 17 Pesticides which was the independent committee 18 responsible for advising the Pesticides Safety 19 Directorate, PSD, that we mentioned earlier on 20 aspects of safety of pesticides in the UK. 21 Q. So these were the two targets of the 22 influencing strategy coming out of this 23 meeting, correct? 24 A. In the latter case it wasn't 25 a direct influencing on the ACP as it says</p> | <p style="text-align: right;">Page 722</p> <p>1 A. Correct. 2 Q. Clive Campbell and John Tomensen 3 participated by phone; and then Professor Colin 4 Berry, Professor Nicotera were there as well, 5 right? 6 A. That's correct. 7 Q. And then there's a guest speaker 8 referenced, a Professor Donato Di Monte, right? 9 A. That's right. 10 Q. And the presentations were from 11 Professor Di Monte and Dr. Martins, right? 12 A. That's right. 13 Q. Okay. Now, what function does the 14 Paraquat Health Science Team serve? 15 A. Well, this was a team that was set 16 up in 2007/08 to be responsible for generating 17 a science program to look at the way in which 18 paraquat may influence the ability for it 19 to cause Parkinson's disease; so it was 20 specifically a research program team. 21 Q. And Syngenta invited Dr. Di Monte 22 to speak, correct? 23 A. Correct, yes. 24 Q. And actually paid him to come and 25 speak, correct?</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 723</p> <p>1 A. I can't recall whether that was the 2 case. 3 Q. Would that be the usual situation 4 when you ask an outside scientist to come, 5 you pay them an honorarium for their day? 6 A. We sometimes pay an honorarium, 7 we sometimes just pay travel expenses. 8 I don't know what happened on this occasion. 9 Q. And Dr. Di Monte gave a presentation 10 on his research into paraquat in the mouse, 11 right? 12 A. Yes, that's correct. 13 Q. And if we go to page 2, which is 14 34774, we can look at the main conclusions of 15 Dr. Di Monte's presentation. 16 A. Yes. 17 Q. Okay. The first one is the 18 administration of paraquat to mice causes 19 a significant loss of nigral dopaminergic 20 neurons, correct? 21 A. Correct. 22 Q. The loss is selective -- I'm looking 23 at point number 2: 24 "[The] loss is selective and affects 25 neuronal cell populations that are also</p> | <p style="text-align: right;">Page 725</p> <p>1 Q. He also said: 2 "Sensitivity to oxidative stress 3 could explain vulnerability of sub-populations 4 of dopaminergic neurons to [paraquat]." 5 Right? 6 A. Yes. 7 Q. Let's talk about that for a second. 8 Sensitivity to oxidative stress, what does that 9 mean? 10 A. What I think this meant was that 11 not every cell in the body, not every neurone 12 in the body would necessarily have the same 13 sensitivity to or response to oxidative 14 stress, or reactive oxygen species, as other 15 cells may do. 16 So what was behind this is that 17 it was -- we knew we needed to try 18 to understand why it appears that not every 19 dopaminergic neurone appears to be affected 20 in some of these mouse models. It was only 21 certain dopaminergic neurones. So that was 22 a speculation that it might be their relative 23 sensitivity to stress. 24 Q. So you reached out to an expert who 25 had been studying this for a number of years --</p> |
| <p style="text-align: right;">Page 724</p> <p>1 targeted in PD." 2 That's Parkinson's disease, correct? 3 A. That's right. 4 Q. Okay. Then third is: 5 "The PQ model represents a valuable 6 experimental tool for studying mechanisms of 7 dopaminergic cell degeneration and 8 neuroprotective strategies." 9 Do you see that? 10 That was another point. 11 A. Yes. 12 Q. And then it says ROS formation. 13 What is ROS again? We talked about it earlier. 14 A. We did. This is reactive oxygen 15 species, so this is the -- what paraquat does 16 when it gets into cells, it generates 17 something called a reactive oxygen species 18 which can cause damage to the cell. 19 Q. Right. 20 "[Reactive oxygen species] formation 21 is likely to play an important role in 22 [paraquat]-induced neurodegeneration." 23 That's what he told you at that 24 meeting, correct? 25 A. Correct.</p> | <p style="text-align: right;">Page 726</p> <p>1 (Stenographer interruption.) 2 MR. TILLERY: Of course. 3 BY MR. TILLERY: 4 Q. So Syngenta reached out to an expert 5 who had been studying this correlation between 6 paraquat and Parkinson's for years and in his 7 presentation, his very first presentation, 8 he told you that sensitivity to oxidative 9 stress could explain the vulnerability of 10 certain subpopulations of dopaminergic neurons 11 to paraquat, correct? 12 A. That's correct, yes. 13 Q. Then he says: 14 "An initial toxic exposure 15 pre-disposes to damage by subsequent 16 challenges." 17 Correct? 18 A. Yes. 19 Q. And then there's: 20 "Microglial activation 21 (neuroinflammation) is a mechanism by which 22 dopaminergic neurons could be 'primed' to toxic 23 damage." 24 So when that term "microglial 25 activation" is used, what does it mean?</p> |

Page 727

1 A. It means -- microglia are other
2 cells that are found in the brain,
3 for example, where -- which -- so this is not
4 the dopaminergic neurones. They are cells
5 that could be found surrounding those neurones
6 and that it could be that the damage to the
7 dopaminergic neurone comes because there is
8 an inflammation due to an effect on these
9 microglial cells.
10 Q. So the paraquat causes the --
11 I'll start that over. We got a lot of
12 feedback.
13 So the paraquat causes an
14 inflammation of the microglial cells which,
15 in turn, causes damage to the dopaminergic
16 neurons, correct?
17 A. It's not quite as simple as that.
18 What he was saying is that the activation of
19 the microglial cells, neuroinflammation,
20 is known to occur, for example, in infectious
21 disease. So bacteria can cause microglial
22 activation. And he was indicating that
23 if that was occurring, that's what he's
24 calling a priming effect. It means that that
25 could make neurones more susceptible to things

Page 728

1 like paraquat.
2 Q. Well, actually, what he says is that
3 -- it does say "primed," sir. He says:
4 "Microglial activation ... is a
5 mechanism by which dopaminergic neurons could
6 be 'primed' to toxic damage."
7 A. Yeah, that's --
8 Q. That's exactly what he says.
9 You agree with that --
10 A. I think that's what I was trying to
11 say as well, yeah.
12 Q. You agree that's what he told you,
13 right?
14 A. Yes, it is. Yes.
15 Q. Did Syngenta know that before
16 he came?
17 A. I'm not sure that we had really
18 fully understood that this -- it's more
19 complicated than a direct -- necessarily
20 a direct effect on dopaminergic neurones, no.
21 I think this was part of the academic
22 background, if you wish, that Dr. Di Monte was
23 bringing us.
24 Q. So he knew more about this than you
25 did is what you're saying, right?

Page 729

1 A. Absolutely. That was one reason
2 why we wanted to talk to him.
3 Q. Because he'd been studying it.
4 He'd been doing the studies, right?
5 A. You --
6 Q. Without doing the studies -- without
7 doing -- I'm sorry, I interrupted you.
8 Go ahead.
9 A. No, no, I -- no problem.
10 No, absolutely, he -- but bear in mind that
11 some of what he was saying was not necessarily
12 fully experimentally proven. Some of this was
13 hypothesis. But, yes, he certainly had --
14 he added to our body of knowledge.
15 Q. But he had done the studies that
16 Syngenta hadn't done, right?
17 A. No, he'd done some -- he'd done
18 some studies which were similar to the ones
19 that Syngenta had done, but, yes, he had taken
20 it somewhat further and, in turn, led us to do
21 more work in this area ourselves.
22 Q. Did Syngenta know that sensitivity
23 to oxidative stress could explain vulnerability
24 of sub-populations of dopaminergic neurons
25 to paraquat before Dr. Di Monte came?

Page 730

1 A. Yes, that piece we knew. I think
2 we discussed that last time.
3 Q. Okay. And did Syngenta know that
4 reactive oxygen species formation is likely
5 to play an important role in paraquat-induced
6 neurodegeneration before Di Monte appeared
7 and spoke to you?
8 A. Yes. Yes, we did.
9 Q. Right. How long had you known that?
10 A. Oh, many years, probably ten years
11 before that at least. Probably longer.
12 Q. Okay. And did Syngenta know that
13 the loss of dopaminergic neurons is selective
14 and affects neuronal cell populations that are
15 also targeted in Parkinson's disease before
16 Dr. Di Monte came to speak to you?
17 A. Yes, we did.
18 Q. How long had you known that?
19 A. Again, ten years or more.
20 Q. Okay. Did Syngenta know that the
21 administration of paraquat to mice causes
22 a significant loss of micro dopaminergic
23 neurons before he came to speak to you?
24 A. Yes, we did.
25 Q. And how long have you known that?

| | |
|---|---|
| <p style="text-align: right;">Page 731</p> <p>1 A. Same again; this was because the 2 literature had started to appear in the late 3 1990s. 4 Q. Okay. 5 Now, he also told you that 6 microglial could play a critical role in 7 triggering paraquat redox cycling in promoting 8 oxidative damage, didn't he? 9 A. Yes. 10 Q. You knew that, too, didn't you, 11 before he came? 12 A. I don't know that we did. 13 It may be that there's something on record 14 which suggests that but I don't immediately 15 recall that. 16 Q. So how did he learn that? Did he 17 tell you in the presentation how he knew that 18 microglial could play a critical role in 19 triggering paraquat redox cycling and promoting 20 oxidative damage? 21 MR. NARESH: Objection to form. 22 THE WITNESS: So, again, I don't 23 recall the exact details of whether 24 he had got experimental data which showed 25 that or whether this was, at that stage,</p> | <p style="text-align: right;">Page 733</p> <p>1 didn't you? 2 A. Well, we knew that, yes, NADPH 3 oxidase is important in the cycle of events 4 that can lead to damage. I think this was 5 perhaps a new dimension in that this was NADPH 6 oxidase in the microglia rather than in the 7 neurones themselves. 8 Q. And he knew that because he had done 9 the studies, right? 10 A. That's the bit that I can't 11 remember exactly what the nature of his 12 experimental evidence was at that time. 13 Q. Okay. And he also told you that 14 alpha-synuclein pathology is another important 15 feature of the paraquat model. Did he tell you 16 that? 17 A. Yes, he did. 18 Q. And you knew that as well, didn't 19 you? I think you told me from the -- 20 you knew that -- 21 A. Yes, how we -- 22 Q. How long had you known that? 23 A. Again, over the previous few years 24 as publications were beginning to point this 25 out, particularly including in human</p> |
| <p style="text-align: right;">Page 732</p> <p>1 still a hypothesis that he was working 2 on. 3 BY MR. TILLERY: 4 Q. Okay. Well he said -- he made this 5 statement, if you look at the top of the page, 6 it says, "[Dino Di Monte] main conclusions from 7 the presentation." 8 Do you see that? 9 A. I can't actually -- yes, I can see 10 that. But that's right, yes, that's what that 11 says, yes. 12 Q. Okay. So did Syngenta know that or 13 not before he came? 14 A. No, I don't know the answer 15 to that. As I say, when it comes to the 16 microglial activation, this was certainly 17 an issue which became more prominent in our 18 thinking -- not necessarily immediately after 19 this meeting but as part of our ongoing 20 research program. 21 Q. And he also told you that redox 22 cycling of paraquat could be catalyzed by 23 microglial NADPH oxidase, didn't he? 24 A. Yes. 25 Q. And you knew that before, too.</p> | <p style="text-align: right;">Page 734</p> <p>1 Parkinson's disease. 2 Q. And then he said that paraquat 3 induced alpha-synuclein up-regulation, 4 paraquat-alpha-synuclein interactions and 5 reactive oxygen species formation could 6 all contribute to alpha-synuclein pathology. 7 Did Syngenta know that before 8 Dr. Di Monte came and made his presentation? 9 A. Well, this was something which 10 we'd certainly discussed in outline but 11 without, really, a clear understanding of this 12 area. So alpha-synuclein was certainly one 13 part of the science story which we were trying 14 to explore and get a better understanding of. 15 Q. Well, did you -- so the answer is 16 no or yes? I'm trying to understand; did 17 you know it or not? 18 A. Well, we didn't have a full 19 understanding of the role of alpha-synuclein. 20 Q. Have you ever done specific studies 21 to measure alpha-synuclein as a reaction 22 to paraquat? 23 A. No, we haven't. 24 Q. Okay. And that's up to June 2020, 25 correct?</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| Page 735 | Page 737 |
|--|---|
| <p>1 A. That's correct.</p> <p>2 Q. Okay.</p> <p>3 MR. TILLERY: Let's go to --</p> <p>4 What's the next page number -- or</p> <p>5 exhibit number?</p> <p>6 MS. BRUMITT: 69.</p> <p>7 MR. TILLERY: 69, Exhibit 69, which</p> <p>8 is SYNG-PQ-01116217.</p> <p>9 (Botham Exhibit 69 marked for</p> <p>10 identification.)</p> <p>11 THE WITNESS: I think you may still</p> <p>12 have control so I can't see that yet.</p> <p>13 MR. TILLERY: We'll get it over</p> <p>14 to you in just a second, please.</p> <p>15 THE WITNESS: Okay, thank you.</p> <p>16 MR. TILLERY: Just a second.</p> <p>17 Pull that up and then turn it over</p> <p>18 to him.</p> <p>19 THE WITNESS: Thank you. Got that.</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. Okay. If you'd just look through</p> <p>22 that document, please. And I'm going to just</p> <p>23 initially direct your attention to 691 --</p> <p>24 I'm sorry, 991 on one reference.</p> <p>25 A. I'm not sure what 991 --</p> | <p>1 German Institute of Neurodegenerative Disease.</p> <p>2 Q. All right. And then there's</p> <p>3 Tomensen?</p> <p>4 A. John Tomensen is an epidemiologist</p> <p>5 who -- formerly of Syngenta/ICI, by that time</p> <p>6 an independent consultant.</p> <p>7 Q. And then there is D. Di Monte.</p> <p>8 Who is that? Is that the same Di Monte?</p> <p>9 A. That's the same Dino Di Monte, yes.</p> <p>10 Q. So he is now an external consultant</p> <p>11 to Syngenta?</p> <p>12 A. Yes, he was at that time.</p> <p>13 Q. Okay. Is he still?</p> <p>14 A. No, he's not.</p> <p>15 Q. When did he cease being a consultant</p> <p>16 to Syngenta?</p> <p>17 A. I'm afraid I can't give you a date</p> <p>18 of that just now.</p> <p>19 Q. And then the last person there is</p> <p>20 Jeff C. Wolf. Who's he?</p> <p>21 A. He is an external expert in</p> <p>22 pathology.</p> <p>23 Q. Okay. All right.</p> <p>24 So we're going to go back</p> <p>25 to Exhibit 42 which is a document that --</p> |
| Page 736 | Page 738 |
| <p>1 Q. The last page.</p> <p>2 A. It's not a number that's on this</p> <p>3 document.</p> <p>4 Q. I'm sorry. I'm directing your</p> <p>5 attention to 6217. Do you see that first page?</p> <p>6 A. Yeah, just going back. Hold on.</p> <p>7 Yes, thank you, I've got that.</p> <p>8 Q. All right. Now, the only point</p> <p>9 I'm referencing here is if you look at this,</p> <p>10 this is a "Paraquat Health Science Team -</p> <p>11 Action Minutes for Marlow Meeting, 5, 6, 7,</p> <p>12 October 2009 - The Compleat Angler, Marlow,</p> <p>13 UK."</p> <p>14 And it references the Health Science</p> <p>15 Team: Smith; Breckenridge; you, Mr. Botham,</p> <p>16 were there; Sturgess; Travis; Cook; McFarland,</p> <p>17 Berry, Mewes.</p> <p>18 "External," it has a few other</p> <p>19 people. It mentions C.L. Berry. Who is that?</p> <p>20 A. That's Sir Colin Berry, a retired</p> <p>21 independent pathologist who was a consultant,</p> <p>22 still is a consultant, to Syngenta.</p> <p>23 Q. Okay. And then there's a Nicotera.</p> <p>24 Who is he?</p> <p>25 A. That's Pierluigi Nicotera from the</p> | <p>1 I'm sorry, Exhibit 66, which is, let me give</p> <p>2 you the Bates number, SYNG-PQ-00486987.</p> <p>3 I think this is one you've seen already.</p> <p>4 A. Do you want me to open that up here</p> <p>5 or are you sending me that again?</p> <p>6 Q. We'll send it to you for you to look</p> <p>7 at.</p> <p>8 A. Okay, I have that.</p> <p>9 Q. This was previously identified on</p> <p>10 the record as 66.</p> <p>11 Okay. Do you see that it is an</p> <p>12 update on Syngenta's research program,</p> <p>13 13 February, 2012?</p> <p>14 A. Yes.</p> <p>15 Q. If you'd go to 991 of that.</p> <p>16 My point is this -- here, if we could take it</p> <p>17 back now.</p> <p>18 So if you look at this second bullet</p> <p>19 point, the title of this page is "Understanding</p> <p>20 of mechanisms of nigrostriatal degeneration -</p> <p>21 the MPTP animal model."</p> <p>22 The second bullet point says:</p> <p>23 "Use of non-human primates (NHP)</p> <p>24 (marmosets & macaques) can include behavioural</p> <p>25 studies and considered more relevant to study</p> |

61 (Pages 735 to 738)

| | |
|--|--|
| <p style="text-align: right;">Page 739</p> <p>1 PD in humans." 2 Why are they more relevant? 3 A. Because they're genetically more 4 similar to human beings. 5 Q. And is it a good plan to try to get 6 as predictive as you can be if you're really 7 legitimately trying to assess the hazards of 8 a chemical to the genetic profile of a human 9 being? 10 A. In an ideal world, yes. You know, 11 you might think you would do all your 12 toxicology in that way in the nonhuman 13 primate, but the reality is that's not 14 a particularly ethical use of nonhuman 15 primates to do it routinely, so it's reserved 16 for where that's seen to be necessary for 17 scientific or medical reasons. 18 Q. But when you're trying to determine 19 whether or not a chemical could cause extreme 20 harm, illness, injury or death to a human, 21 using a nonhuman primate gives you probably 22 the more accurate information about potential 23 effects on a human, correct? 24 A. The experience from the 25 pharmaceutical world says that that is</p> | <p style="text-align: right;">Page 741</p> <p>1 in general terms, be considered the best 2 animals for studying toxicity to humans, 3 correct? 4 A. Potentially the most relevant but 5 not necessarily best when it comes to ethical 6 use of animals. 7 Q. Well, of course, and that's the same 8 thing; that's the reason we can't use human 9 beings to test paraquat -- 10 A. Yes. Yes, indeed. 11 Q. -- of course not. 12 A. Yes. 13 Q. But to the extent that you can use 14 primates, you would agree that primates, like 15 monkeys, are considered the best animals for 16 studying toxicity in humans, correct? The most 17 predictive? 18 A. They certainly can be, yes. 19 Not a hundred percent of the time. There are 20 exceptions to that, but that's why I say, 21 as a general statement, I wouldn't disagree 22 with that. 23 MR. TILLERY: Let's go to -- have 24 we marked 57? What number is this? 25 MS. BRUMITT: 70.</p> |
| <p style="text-align: right;">Page 740</p> <p>1 sometimes the case, yes. Not always but 2 sometimes. 3 Q. So have we established that the more 4 species in which the adverse toxicological 5 effects of a chemical are observed, the greater 6 concern about that chemical's toxicity, 7 particularly its toxicity to humans? 8 A. Yes, that could be the case. 9 Q. And does it matter in which species 10 adverse effects are observed? 11 A. It doesn't always matter. 12 The critical thing in toxicology is whether 13 you can understand mechanistically whether 14 the effects you have seen, in whatever species 15 they may be, could be of relevance to man. 16 Q. The more biologically and 17 physiologically similar a study animal is 18 to humans, the more likely it is that any 19 effects observed in that species are to be 20 indicative of a similar effect in humans, 21 correct? 22 A. As a general statement, that is 23 true. 24 Q. Humans are primates and their 25 nonhuman cousins, like monkeys, would,</p> | <p style="text-align: right;">Page 742</p> <p>1 MR. TILLERY: 7-0? Now we're 2 moving to Plaintiff's Deposition Exhibit 3 No. 70. This is SYNG-PQ-01117480. 4 Open that and turn that over 5 to him. 6 (Botham Exhibit 70 marked for 7 identification.) 8 THE WITNESS: Okay, I've received 9 that. 10 MR. TILLERY: All right. 11 Let's turn that over to him for him 12 to look at. 13 BY MR. TILLERY: 14 Q. You may have to try to work 15 to enlarge it. 16 MR. NARESH: Dr. Botham, do you 17 know how to do that? If not, we can go 18 off the record for a moment and -- 19 THE WITNESS: No, I can do that. 20 I'm doing it now. That's fine. 21 MR. NARESH: Okay. 22 THE WITNESS: Okay. I can read it 23 clearly now, thank you. 24 BY MR. TILLERY: 25 Q. If you wouldn't mind going down</p> |

| Page 743 | Page 745 |
|--|--|
| <p>1 to the section -- it would be the fourth column 2 over, counting the date, and it will be under 3 the heading of "Minute/Actions" and under the 4 "Slides not available," "Comments from ... 5 Di Monte." 6 Do you see that? If you'd read that 7 section. 8 A. Right. I'm just struggling to find 9 that, sorry. 10 Q. Where it says "Comments from 11 Prof Di Monte." 12 A. Is that on the first page or 13 further down? 14 Oh, yes, I've got it now. 15 I'm sorry, it's on -- yes, I can see it now. 16 Thank you very much, got it. 17 Q. No problem. 18 A. Okay, yes, I've read that. 19 Thank you. 20 Q. All right. So these are another set 21 of minutes -- she's going to take this back. 22 MR. TILLERY: If you'd enlarge the 23 paragraph to right there. Yeah, right 24 there. Yeah, that one. Yeah. Perfect. 25 Perfect. Thank you.</p> | <p>1 paraquat in squirrel monkeys, didn't he? 2 A. He did, yes. 3 Q. Were you there for that? 4 A. Yes, I was. 5 Q. Okay. And actually, it lists you 6 at the top of the page, that you were present 7 for this as part of the Health Science Team, 8 along with L.L. Smith, Breckenridge, Sturgess, 9 McFarland, Mewes, and others, right? 10 A. That's right. 11 Q. Okay. And there were some guest 12 speakers that were there as well. That 13 included Joan Abbott, David Brooks and Jeff 14 Wolf. Correct? 15 A. Correct. 16 Q. Who was Jeff Wolf again? 17 A. He was a consultant pathologist. 18 Q. Okay. Let's take a look at what 19 Syngenta recorded about Dr. Di Monte's 20 presentation in the meeting minutes. 21 Dr. Di Monte treated four squirrel 22 monkeys with paraquat, correct? 23 A. Correct. 24 Q. He gave the monkeys paraquat first 25 at 5 milligrams per kilogram of their body</p> |
| Page 744 | Page 746 |
| <p>1 BY MR. TILLERY: 2 Q. Do you see that? 3 A. Yes, I do, thank you. 4 MR. NARESH: I think it's getting 5 cut off. At least on my screen, it's 6 getting cut off on the very far right. 7 MR. TILLERY: Okay. She'll have 8 to shrink it now a little bit. 9 BY MR. TILLERY: 10 Q. Can you still read it, Dr. Botham? 11 A. Yes, that's fine for me, thank you. 12 Q. Okay, sure. 13 These are another set of minutes 14 from the Paraquat Health Science Team, correct? 15 A. Yes, they are. 16 Q. And this is from a meeting on 17 April 20-21, 2009, right? 18 A. That's correct. 19 Q. And on the fourth row, and this is 20 SYNG-01117480, there is an agenda item for 21 paraquat study updates, correct? 22 A. Correct. 23 Q. Okay. 24 Dr. Di Monte gave a presentation 25 of preliminary results from his studies with</p> | <p>1 weight, correct? 2 A. Yes. 3 Q. But at the 5 milligram doses, 4 monkeys died due to lung toxicity after the 5 second or third doses, correct? 6 A. That's right. 7 Q. Okay. 8 Now, lab mice and rats have 9 tolerated doses greater than 5 milligrams per 10 kilogram of body weight, haven't they? 11 A. Yes, they have. Yes. 12 Q. In your studies, lab mice have 13 tolerated paraquat up to 25 milligrams per 14 kilogram of their body weight; is that correct? 15 A. Yes, that's correct. 16 Q. But Dr. Di Monte's squirrel monkeys 17 died at one-fifth of that dose, didn't they? 18 A. Yes, they did. 19 Q. So Dr. -- 20 A. Can I say, it was a different route 21 of administration. So this was subcutaneous. 22 The other -- the studies you're referring 23 to were intraperitoneal. That may have had 24 an effect. 25 Q. We're going to talk about that</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 747</p> <p>1 later. But you would agree with me that 2 Dr. Di Monte's squirrel monkeys died at 3 one-fifth of the dose that the lab mice could 4 tolerate, correct? 5 A. Yes. 6 Q. Okay. So Dr. Di Monte's squirrel 7 monkeys were substantially more sensitive 8 to paraquat's toxicity than rodents, weren't 9 they? 10 A. Yes. 11 Q. And squirrel monkeys are primates, 12 right? 13 A. Yes. 14 Q. You and I are primates, right? 15 A. Yes. 16 Q. Dr. Di Monte reported, as a 17 preliminary assessment of his results, that 18 primates are more sensitive to systemic toxic 19 effects of paraquat. Correct, sir? 20 A. Correct. 21 Q. Dr. Di Monte lowered the dose to the 22 squirrel monkeys to 2.5 milligrams per kilogram 23 of body weight to keep the monkeys from dying? 24 A. That's what he did, yes. 25 Q. Okay. And no difference in the</p> | <p style="text-align: right;">Page 749</p> <p>1 Syngenta ever undertaken a study of 2 alpha-synuclein with respect to paraquat? 3 A. Yes, that is correct. 4 Q. All right. 5 MR. TILLERY: Now let's go to the 6 next exhibit, please. What number is 7 that? 8 MS. BRUMITT: 71. 9 MR. TILLERY: 71, as she is loading 10 this document, is SYNG-PQ-01305484. 11 (Botham Exhibit 71 marked for 12 identification.) 13 BY MR. TILLERY: 14 Q. We're going to give this to you. 15 It's three pages. And then, please, if you 16 wouldn't mind, take a look at it. 17 A. Yeah, okay, I've done that. 18 Thank you. 19 MR. TILLERY: Take it back. 20 Right there would be good enough, if you 21 can hold it. 22 BY MR. TILLERY: 23 Q. So this particular exhibit, 24 number 71, is a summary of the notes made 25 during a presentation by Dr. Di Monte at the</p> |
| <p style="text-align: right;">Page 748</p> <p>1 numbers of dopaminergic neurons was observed; 2 is that what he said? 3 A. That's what he told us, yes. 4 Q. But alpha-synuclein was up-regulated 5 in paraquat-treated animals, correct? 6 A. That's what he told us, yes. 7 Q. And you knew at that time the role 8 of alpha-synuclein in Parkinson's disease, 9 didn't you? 10 A. We certainly knew that 11 alpha-synuclein was up-regulated in 12 Parkinson's, yes. 13 Q. But as you told us I think a few 14 minutes ago, Syngenta has never, at any time 15 in its history, corporate history, ever 16 undertaken an alpha-synuclein study with 17 respect to paraquat, correct? 18 A. That is correct because we've never 19 been clear exactly what the role of 20 alpha-synuclein actually is. 21 MR. TILLERY: I move to strike your 22 answer as unresponsive. 23 BY MR. TILLERY: 24 Q. Would you agree with me that at 25 no time in Syngenta's corporate history has</p> | <p style="text-align: right;">Page 750</p> <p>1 Marlow meeting regarding his findings with 2 paraquat in the squirrel monkey. Correct? 3 A. Correct. 4 Q. It says the notes here were taken 5 in the presence of Syngenta's legal counsel. 6 We'll get back to that. 7 Do you see that? 8 A. I do. 9 Q. All right. Now, these notes were 10 made by Nick Sturgess in April 2009, correct? 11 A. That's correct. 12 Q. And if you see the third paragraph, 13 it says: 14 "Studies with [paraquat] PQ 15 conducted to replicate the mouse PQ dosing 16 regimen (3 x weekly doses of 5mg/kg PQ s.c.)" 17 What's the s.c. stand for? 18 A. Subcutaneous. 19 Q. Subcutaneous. 20 "... resulted in [at least] 50% 21 [and it says] lethality." 22 It means death, right? 23 A. It means that the animals died, 24 yes. 25 Q. So if you gave them that much,</p> |

| Page 751 | Page 753 |
|---|---|
| <p>1 you'd kill them?</p> <p>2 A. Yes.</p> <p>3 Q. "Loss of striatal dopamine was noted</p> <p>4 in the dead animals, but this was not</p> <p>5 quantified."</p> <p>6 Do you see that?</p> <p>7 A. Yes, I do.</p> <p>8 Q. That was not recorded in the Health</p> <p>9 Science Team minutes, was it?</p> <p>10 A. No, that's correct.</p> <p>11 Q. Okay. In the monkeys who were given</p> <p>12 the lower dose of paraquat, Dr. Sturgess noted</p> <p>13 that Di Monte did not observe a change in total</p> <p>14 TH+ neurons, right?</p> <p>15 A. Yes.</p> <p>16 Q. Where does it say that?</p> <p>17 "No effect on PQ on the number of</p> <p>18 TH+ neurones was observed ..."</p> <p>19 Do you see that?</p> <p>20 A. Yes.</p> <p>21 Q. Okay. But Dr. Di Monte did report</p> <p>22 that detailed histochemical analysis indicated</p> <p>23 a change in neuromelanin-staining phenotype of</p> <p>24 some neurons when examined post -- examined</p> <p>25 four weeks post dose.</p> | <p>1 I meant. Yeah. It does now.</p> <p>2 MR. TILLERY: Okay.</p> <p>3 THE WITNESS: You need to go --</p> <p>4 it's on the next page as well.</p> <p>5 MR. TILLERY: Okay. Let's go to</p> <p>6 the next page.</p> <p>7 (Off-the-record discussion</p> <p>8 regarding electronic feedback.)</p> <p>9 MR. TILLERY: So we're back on the</p> <p>10 record.</p> <p>11 BY MR. TILLERY:</p> <p>12 Q. Have you had a chance to look at</p> <p>13 this document as you would wish, sir, or is</p> <p>14 there more that you want to see?</p> <p>15 A. No, I think we were in the middle</p> <p>16 of answering the question about the effect</p> <p>17 in the squirrel monkey. Do you want to repeat</p> <p>18 your question so that I can answer it fully?</p> <p>19 Q. Yes, sir, I'll go back through</p> <p>20 it for you.</p> <p>21 Dr. Di Monte reported a detailed</p> <p>22 histochemical analysis, indicated a change in</p> <p>23 neuromelanin-staining phenotype of some neurons</p> <p>24 when examined four weeks post dose.</p> <p>25 A. Yes, he did, and -- so what you've</p> |
| Page 752 | Page 754 |
| <p>1 Do you see that?</p> <p>2 A. Yes. Yes. I can't see it at the</p> <p>3 moment but I --</p> <p>4 Q. That --</p> <p>5 A. I'm sorry, I can't see it at the</p> <p>6 moment, but, having looked at the document,</p> <p>7 I know it's there. Sorry. You're still on</p> <p>8 page 1.</p> <p>9 Q. Yeah. And that finding wasn't</p> <p>10 reported in the action/minutes either, was it?</p> <p>11 A. No, the action/minutes don't go</p> <p>12 into the level of detail that's here.</p> <p>13 Q. Okay.</p> <p>14 Dr. Di Monte observed a change in</p> <p>15 the type of dopaminergic neurons in the</p> <p>16 substantia nigra pars compacta in</p> <p>17 paraquat-treated monkeys, didn't he?</p> <p>18 A. He saw --</p> <p>19 MR. NARESH: Steve --</p> <p>20 THE WITNESS: Yeah. He saw a --</p> <p>21 MR. NARESH: Sorry to interrupt</p> <p>22 but can you -- I don't know that the</p> <p>23 exhibit presentation is matching your</p> <p>24 questions.</p> <p>25 THE WITNESS: Yeah, that's what</p> | <p>1 got in front of you now is part of that.</p> <p>2 So there are two more lines on the next page.</p> <p>3 So, yes, he reported to us that the</p> <p>4 TH-positive cells, which are the ones that are</p> <p>5 routinely measured in the mouse model, were</p> <p>6 unchanged, so the dopaminergic neurones that</p> <p>7 are normally assumed to be the focus in</p> <p>8 Parkinson's disease were unchanged.</p> <p>9 The change was in --</p> <p>10 Q. Right --</p> <p>11 A. -- neuromelanin staining, and there</p> <p>12 was no clear conclusion about what the</p> <p>13 relevance of that was.</p> <p>14 Q. Well, it says at the top of the</p> <p>15 page:</p> <p>16 "The ratio of TH+ & neuromelanin</p> <p>17 staining neurons to neuromelanin only staining</p> <p>18 neurons changed in the group dosed with PQ and</p> <p>19 assessed 4 weeks post dose."</p> <p>20 Do you see that?</p> <p>21 A. Yes, that's right, and that's</p> <p>22 because --</p> <p>23 Q. That's what he said?</p> <p>24 A. Yeah.</p> <p>25 Q. I'm asking if you see that.</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 755</p> <p>1 A. Yes.</p> <p>2 Q. Is this what he reports to you?</p> <p>3 A. That's correct, yes.</p> <p>4 Q. Okay?</p> <p>5 A. Yes.</p> <p>6 Q. Dr. Di Monte observed a change in</p> <p>7 the type of dopaminergic neurons in the</p> <p>8 substantia nigra in paraquat-treated monkeys,</p> <p>9 right?</p> <p>10 A. I think -- what I'm not -- I don't</p> <p>11 think we were clear about at the time, and</p> <p>12 I'm still not clear about now, is whether the</p> <p>13 cells stained with neuromelanin were actually</p> <p>14 dopaminergic neurones and whether they were</p> <p>15 different.</p> <p>16 Q. Okay. In paraquat-treated monkeys</p> <p>17 there was an increase in neurons that contained</p> <p>18 neuromelanin only --</p> <p>19 A. Yes, that's correct.</p> <p>20 Q. -- correct?</p> <p>21 A. That's correct.</p> <p>22 Q. Those neurons were not</p> <p>23 immunoreactive for TH, correct?</p> <p>24 A. That's right, yes.</p> <p>25 Q. Okay. Which means they cannot</p> | <p style="text-align: right;">Page 757</p> <p>1 Q. Compared to controls,</p> <p>2 paraquat-treated monkeys had fewer of these</p> <p>3 neurons that contained both TH+ and</p> <p>4 neuromelanin, correct?</p> <p>5 A. Yes.</p> <p>6 Q. Now, if you turn to the first</p> <p>7 paragraph on page 2, do you see that?</p> <p>8 A. Yes.</p> <p>9 Q. Dr. Di Monte reported that the ratio</p> <p>10 of neurons that contained both TH+ and</p> <p>11 neuromelanin, that the number of neurons that</p> <p>12 only contained neuromelanin changed with</p> <p>13 paraquat treatment, didn't he?</p> <p>14 A. Yes.</p> <p>15 Q. The ratio went down, didn't it?</p> <p>16 A. Yes, because the number of cells</p> <p>17 which were neuromelanin only went up, so the</p> <p>18 ratio therefore went down.</p> <p>19 Q. In the third paragraph,</p> <p>20 Dr. Di Monte's preliminary conclusions are</p> <p>21 noted, right?</p> <p>22 A. Yes.</p> <p>23 Q. Please read that paragraph.</p> <p>24 A. To myself or out loud?</p> <p>25 Q. The conclusion. Read it out loud.</p> |
| <p style="text-align: right;">Page 756</p> <p>1 produce dopamine; is that right?</p> <p>2 A. Which is what I was inferring, yes,</p> <p>3 and therefore it says, as it says there,</p> <p>4 "The toxicological significance of this</p> <p>5 apparent ... change is unclear." So that's</p> <p>6 exactly what my memory was telling me as well.</p> <p>7 Q. Well, it means they can't produce</p> <p>8 dopamine, right?</p> <p>9 A. It means that it doesn't -- yes,</p> <p>10 first of all, they don't produce dopamine,</p> <p>11 and, secondly, partly as a consequence of</p> <p>12 that, their relevance to Parkinson's pathology</p> <p>13 is not clear.</p> <p>14 Q. Well, as a scientist, you're aware</p> <p>15 that -- those that you would consider</p> <p>16 neuromelanin cells are considered -- strike the</p> <p>17 question.</p> <p>18 There's a decrease in neurons that</p> <p>19 contain both TH+ and neuromelanin, right?</p> <p>20 A. Yes.</p> <p>21 Q. Neurons that contain both TH+ and</p> <p>22 neuromelanin can produce dopamine, correct?</p> <p>23 A. That's possibly true, yes. I mean,</p> <p>24 I need to remind myself exactly of the detail</p> <p>25 here but I think that's right.</p> | <p style="text-align: right;">Page 758</p> <p>1 I can read it:</p> <p>2 "The conclusion [Dr.] Di Monte drew</p> <p>3 from these experiments was that at the MTD in</p> <p>4 the Squirrel monkey, [paraquat] did not induce</p> <p>5 a lesion that resulted in neuronal cell loss in</p> <p>6 the SNpc [the pars compacta] (quite different</p> <p>7 [from] the mouse model), but that it may induce</p> <p>8 a change in histochemical phenotype in some of</p> <p>9 the neuromelanin containing cells. The</p> <p>10 toxicological significance of this apparent</p> <p>11 phenotypic change is unclear."</p> <p>12 Do you see that?</p> <p>13 A. Yes, and that's what I was</p> <p>14 mentioning earlier on, that he was indicating</p> <p>15 he did not understand the relevance of this</p> <p>16 finding, if indeed there is any relevance,</p> <p>17 to paraquat potentially causing Parkinson's</p> <p>18 disease pathology.</p> <p>19 Q. Dr. Di Monte concluded that paraquat</p> <p>20 treatment caused a change in</p> <p>21 neuromelanin-containing neurons, right?</p> <p>22 MR. NARESH: Objection to form.</p> <p>23 THE WITNESS: A change does not</p> <p>24 necessarily mean that it's of</p> <p>25 toxicological significance, so you have</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 759</p> <p>1 to read that with the sentence that</p> <p>2 follows it.</p> <p>3 BY MR. TILLERY:</p> <p>4 Q. Well, did he suggest and conclude</p> <p>5 that paraquat treatment caused a change in</p> <p>6 neuromelanin-containing neurons?</p> <p>7 A. Yes, that's clear.</p> <p>8 MR. NARESH: Objection to form.</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. And paraquat treatment reduced the</p> <p>11 number of neurons that contained both TH+ and</p> <p>12 neuromelanin, and increased the number of</p> <p>13 neurons that contained only neuromelanin,</p> <p>14 correct?</p> <p>15 A. That's correct.</p> <p>16 Q. The last sentence in that paragraph</p> <p>17 is:</p> <p>18 "The toxicological significance of</p> <p>19 this apparent phenotypic change is unclear."</p> <p>20 Right?</p> <p>21 A. Yes, because what you also have</p> <p>22 to look at with the left-hand column --</p> <p>23 Q. I --</p> <p>24 A. -- which is that the total number</p> <p>25 of TH-positive cells remained constant.</p> | <p style="text-align: right;">Page 761</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. So there are fewer neurons that</p> <p>3 contain TH+, right?</p> <p>4 A. No, there are the same number that</p> <p>5 contain TH+ -- there are fewer which contain</p> <p>6 both TH and neuromelanin.</p> <p>7 Q. And where did -- where did you get</p> <p>8 that conclusion, sir?</p> <p>9 A. From the data that are above there,</p> <p>10 so you need to go to the previous page.</p> <p>11 You'll see that the total TH+ count does not</p> <p>12 change from the 61,000 that you can see there.</p> <p>13 Q. Okay. We'll get to that in</p> <p>14 a second.</p> <p>15 MR. TILLERY: Let's go to the next</p> <p>16 exhibit. And this is --</p> <p>17 MS. BRUMITT: 72.</p> <p>18 MR. TILLERY: This is 00669432?</p> <p>19 MS. BRUMITT: Yeah. 72.</p> <p>20 MR. TILLERY: 72.</p> <p>21 (Botham Exhibit 72 marked for</p> <p>22 identification.)</p> <p>23 BY MR. TILLERY:</p> <p>24 Q. We can turn this document over</p> <p>25 to you.</p> |
| <p style="text-align: right;">Page 760</p> <p>1 So it wasn't -- there was no effect on the</p> <p>2 primary type of cell of concern, which is the</p> <p>3 cell expressing TH.</p> <p>4 MR. TILLERY: I move to strike your</p> <p>5 answer as unresponsive.</p> <p>6 BY MR. TILLERY:</p> <p>7 Q. The last sentence in that paragraph</p> <p>8 is:</p> <p>9 "The toxicological significance of</p> <p>10 this apparent phenotypic change is unclear."</p> <p>11 Right?</p> <p>12 A. Yes.</p> <p>13 Q. But Syngenta certainly knew that the</p> <p>14 toxicological significance of decreasing TH+</p> <p>15 neurons and increasing neuromelanin-only-</p> <p>16 containing neurons is a loss of</p> <p>17 dopamine-producing neurons, correct?</p> <p>18 MR. NARESH: Objection to form.</p> <p>19 THE WITNESS: No, I don't think</p> <p>20 we do know that. I repeat what I've just</p> <p>21 said. There was no loss of the total</p> <p>22 TH-positive cells in this experiment,</p> <p>23 so I think it's really not possible</p> <p>24 to make the conclusion you just said.</p> <p>25 ///</p> | <p style="text-align: right;">Page 762</p> <p>1 Are you familiar with this document,</p> <p>2 sir?</p> <p>3 A. Yes, I've seen it in the past.</p> <p>4 I've not read it recently.</p> <p>5 Okay.</p> <p>6 Q. This is a study conducted by</p> <p>7 Dr. Di Monte, by Alison McCormack and others</p> <p>8 in his lab, right?</p> <p>9 A. That's correct.</p> <p>10 Q. And this study was published in</p> <p>11 2004, correct?</p> <p>12 A. That's right.</p> <p>13 Q. And that's five years before he made</p> <p>14 his squirrel monkey presentation to the Health</p> <p>15 Science Team --</p> <p>16 A. Yes.</p> <p>17 Q. -- is that right? You're familiar</p> <p>18 with this study. You read it at the time,</p> <p>19 I presume?</p> <p>20 A. Yes, we did.</p> <p>21 Q. All right. And here, Dr. Di Monte</p> <p>22 was studying dopaminergic neurons in the</p> <p>23 substantia nigra pars compacta of squirrel</p> <p>24 monkeys, right?</p> <p>25 A. Yes.</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 763</p> <p>1 Q. The same kind of animals that he was 2 using for his analysis when he made the 3 presentation, correct? 4 A. That's correct. 5 Q. Okay. And the results are given on 6 page 390 of the original journal, and that's at 7 SYNG-669435, if you would go to that section of 8 the article, the Results section. 9 A. Okay. You've taken control, so ... 10 Q. We'll give it back to you and you 11 can look at this. And that's 00669435. 12 Are you -- tell me when you're ready 13 to answer questions. 14 A. Well, I'm sorry, I'm still waiting 15 to receive the document. Nothing's happened. 16 Q. Oh, I didn't know that. 17 A. Sorry, I thought you were having 18 problems at your end. 19 Q. No, no, no, we're not. 20 MS. BRUMITT: Do you want me to -- 21 MR. TILLERY: I'm sorry? 22 MS. BRUMITT: Do you want me to 23 present? 24 MR. TILLERY: Yes, present it. 25 Yes.</p> | <p style="text-align: right;">Page 765</p> <p>1 section, it says, "Age-related changes in 2 nigral dopaminergic neurons." 3 Do you see that? 4 A. Yes, I do. 5 Q. The first paragraph starts off with: 6 "TH immunoreactivity and NM content 7 were used as criteria for the characterization 8 of dopaminergic neurons in the monkey 9 substantia nigra. Based on these criteria, 10 three distinct subpopulations were identified: 11 (1) dopaminergic neurons with TH-positive cell 12 bodies and neurites, but without [neuromelanin] 13 NM (TH only cells)." 14 A. Yes. 15 Q. Number (2): 16 "Neurons characterized by both TH 17 immunoreactivity and the presence of 18 [neuromelanin] NM ..." 19 And then it says, "(TH/NM cells)." 20 Do you see that? 21 A. Yes. 22 Q. And (3): 23 "Nigral cells that contained 24 [neuromelanin] but were not TH-immunoreactive 25 (NM only cells)."</p> |
| <p style="text-align: right;">Page 764</p> <p>1 All right. If you give it back 2 to him and let him ... 3 BY MR. TILLERY: 4 Q. Sorry, we didn't understand each 5 other, Dr. Botham. 6 A. No, okay. That's fine. 7 Q. What I was directing you to is 8 the Results section, which is on that 9 page right there. 10 A. Okay. 11 So I'm seeing the page that you're 12 showing me but that's all. 13 MR. TILLERY: If you show him the 14 next page, please, and go down a little 15 further, at the bottom of that page. 16 Yeah, there we go. 17 BY MR. TILLERY: 18 Q. If you'd take a look at that 19 "Age-related neurochemical changes," that 20 paragraph, as well. 21 Okay? 22 A. Yes, okay. 23 Q. All right. Let's go back to the 24 preceding page. 25 Now, if you look at the Results</p> | <p style="text-align: right;">Page 766</p> <p>1 Okay? 2 A. Yes. 3 Q. "Neurons were counted in monkeys of 4 different ages using the optical fractionator, 5 and the counts of TH only, TH/NM, and NM only 6 cells were either added together to estimate 7 the total number of nigral dopaminergic 8 neurons, or considered separately in order to 9 assess selective changes in these neuronal 10 subpopulations." 11 A. Yes. 12 Q. Do you see that? 13 A. Yes, I do. 14 Q. Do you need more time to study that? 15 A. No, no. Go ahead. I think 16 I'm okay so far. 17 Q. All right. All right. 18 So this paragraph tells us that 19 Dr. Di Monte calculated total dopaminergic 20 neurons to include three types: TH+ only 21 neurons; TH+ and neuromelanin-containing 22 neurons; and neuromelanin-only-containing 23 neurons. Correct? 24 A. Yes. 25 Q. So when Dr. Di Monte uses the words</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 767</p> <p>1 "dopaminergic neurons," he's including 2 neuromelanin-only-containing neurons as 3 dopaminergic neurons, right? 4 A. Right. 5 MR. NARESH: Objection. Objection; 6 foundation. 7 Go ahead. 8 BY MR. TILLERY: 9 Q. In these monkeys, the total number 10 of dopaminergic neurons remained the same, 11 right? 12 A. Well, the total number -- yes, with 13 age, remained the same. That is correct, yes. 14 Q. But the number of each type of 15 dopaminergic neuron changed with age, correct? 16 A. That's right. 17 Q. And the overall loss of TH+ neurons 18 in these monkeys, right -- and there was an 19 overall -- strike that. 20 And there was an overall loss of TH+ 21 neurons in these monkeys, correct? 22 A. Yes. 23 Q. The very next page that I ask you 24 to look at and we'll go to now -- 25 A. Just before you do, I think it's</p> | <p style="text-align: right;">Page 769</p> <p>1 dopaminergic neurons remained the same, 2 the loss of TH+ neurons caused a significant 3 reduction in dopamine levels, correct? 4 A. Yes. 5 Q. Dr. Di Monte reported to the 6 Paraquat Health Science Team that no difference 7 in dopaminergic neurons was observed, right? 8 A. He said that there was no reduction 9 in total TH-positive cells, yes. 10 Q. Okay. So let's go back to 57 -- 11 MS. BRUMITT: 70. 12 MR. TILLERY: And that's 13 Exhibit No. 70. I'm sorry. Plaintiff's 14 Exhibit No. 70. 15 If you'd pull that up for him, 16 please. If you just go to these groups 17 and they're on -- yeah. Let him have the 18 document and I'll direct his attention to 19 them. And turn that over to Dr. Botham, 20 please. 21 MS. BRUMITT: Okay. He needs to 22 pull it up at his end. It's already been 23 introduced. 24 BY MR. TILLERY: 25 Q. It should be introduced to you,</p> |
| <p style="text-align: right;">Page 768</p> <p>1 important that we recognize that that decline 2 was specifically due to a decline of 3 TH-positive only cells. If you just go back. 4 Q. The very next page, do you see 5 there, "Age-related neurochemical changes"? 6 Do you see that? 7 A. Yes. 8 Q. "The overall loss of 9 TH-immunoreactive neurons in the substantia 10 nigra was accompanied by a significant decline 11 of dopamine in the putamen of aging squirrel 12 monkeys." 13 Do you see that? 14 A. Yes. 15 Q. So in this study, the overall loss 16 of TH+ neurons was associated with 17 a significant decline in dopamine -- 18 (Stenographer interruption.) 19 BY MR. TILLERY: 20 Q. In this study, the overall loss TH+ 21 neurons was associated with a significant 22 decline in the dopamine in the putamen of the 23 squirrel monkeys, correct? 24 A. That's what that says, yes. 25 Q. So even though the total number of</p> | <p style="text-align: right;">Page 770</p> <p>1 Dr. Botham. 2 A. Yeah, I've got it but it's still in 3 presentation -- ah, yeah, it's coming now, 4 thank you. 5 Q. Where I'm going to direct your 6 attention is to the table of neuron counts 7 on pages 1 and 2 of Dr. Sturgess's notes. 8 A. Yeah, yeah. Okay. 9 Q. Bottom of page 1, top of page 2. 10 Do you see it? 11 A. Yes. Yes, I've got them. 12 MR. NARESH: Hang on, I think we're 13 -- are you talking about Exhibit 70, 14 because Exhibit 70 is not Dr. Sturgess's 15 notes. 71 is Sturgess's notes and 70 is 16 the minutes. 17 THE WITNESS: Yeah, I'm on 18 Dr. Sturgess's notes. I think I was 19 ahead of you there. 20 MR. TILLERY: Oh, it's 71, okay. 21 MS. BRUMITT: That's 70. 22 That's 71. 23 MR. TILLERY: Okay, I'm sorry, 71. 24 I thought it was ... 25 THE WITNESS: Yeah, so I'm now</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 771</p> <p>1 looking at Dr. -- at Nick Sturgess's 2 notes. 3 MR. TILLERY: Let's make sure we're 4 all using the same. Okay. Yes. 5 Thank you, Mr. Naresh, for 6 correcting that for the record. 7 BY MR. TILLERY: 8 Q. We should be looking at Plaintiff's 9 Exhibit 71. I made a mistake, I'm sorry. 10 Are you looking at the tables? 11 A. Yes, I am. 12 Q. You tell me when you're ready 13 to talk, Doctor. 14 A. Yeah, I'm ready. Please go ahead. 15 Sorry. 16 Q. Sorry. Okay. 17 Let us take back and turn on this 18 document and you'll see a box at the bottom of 19 the first page. That one right there, okay. 20 Here, when Dr. Sturgess -- these are 21 his notes -- referred to total TH counts, do 22 you see that? 23 A. Yes. 24 Q. Total TH counts neurons here, 25 he meant total dopaminergic neurons as</p> | <p style="text-align: right;">Page 773</p> <p>1 primate, a primate becomes more susceptible 2 to Parkinson's disease. 3 Q. Well, it depends upon how you're 4 counting them, and we went through at great 5 detail how Dr. Di Monte counted them in his 6 study with Dr. McCormack, didn't we? 7 A. Yes. 8 Q. And if you look at the definition 9 that he gave and how he counted them in the 10 paraquat-treated monkeys that were here in the 11 study, or that he presented, and in the aging 12 monkeys we discussed from his 2004 paper, 13 the total number of dopaminergic neurons 14 remained the same, according to his analysis, 15 correct? 16 A. I'd need to look at that again. 17 I think -- as I say, I was reading the 18 piece -- with you having control of the 19 document, I wasn't able to fully and 20 completely read that, but I'm sure I read that 21 total TH-positive counts went down with age. 22 Q. Well, he -- Dr. Di Monte saw 23 a reduction in TH+ and NM to NM only; 24 isn't that what you understood? 25 A. I would need to look at the paper</p> |
| <p style="text-align: right;">Page 772</p> <p>1 Dr. Di Monte used that term, correct? 2 A. Yes, I think that's right. 3 Q. All right. In the paraquat-treated 4 monkeys here, just like in the aging monkeys 5 we discussed, the total number of dopaminergic 6 neurons remained the same, didn't they? 7 A. I think this is where we need 8 to look carefully because I thought that the 9 paper we've just looked at in aging monkeys 10 said that the total TH-positive cell count 11 went down with age, whereas here it's remained 12 constant. 13 Q. Well, are you saying that -- 14 you read it to mean that. Did you read it 15 to mean that when you based your conclusions 16 on this report? Is this what you understood? 17 A. I think this is where we were, 18 overall, not necessarily very clear about the 19 significance of these results because, yes, 20 now that I've looked again at that paper, then 21 I think -- and I think I've just re-read that, 22 you would expect perhaps to see a reduction 23 in total TH-positive counts, which is what 24 happens in an aging animal where, of course, 25 you become more susceptible to -- in a</p> | <p style="text-align: right;">Page 774</p> <p>1 again, I'm sorry. 2 Q. Okay. So you can't answer my 3 questions? 4 A. Well, not without having the 5 McCormack paper in front of me again. 6 Q. Well, let me ask you this: Let's 7 assume that my interpretation of what that 8 paper says is correct. Let's assume that's 9 correct. Then the total of -- the total number 10 of dopaminergic neurons would remain the same, 11 wouldn't they? 12 MR. NARESH: Objection to form, 13 foundation. 14 BY MR. TILLERY: 15 Q. 61,000, that number would stay the 16 same? 17 A. I think this is where we may have 18 some confusion. As I say, without having the 19 paper in front of me, I think -- 20 Q. Well, let's -- let's go back to it. 21 You can look at the paper. 22 MR. TILLERY: Pull the paper back 23 up for him. 24 MS. BRUMITT: Do you want me 25 to give it back to him?</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 775</p> <p>1 MR. TILLERY: No. Take the paper 2 from the preceding exhibit, which is 3 SYNG-669435. 4 THE WITNESS: Yes, if I could just 5 have a look at the results bit that you 6 were focusing on in the paper last time, 7 that would be helpful, thank you. 8 BY MR. TILLERY: 9 Q. We'll do that. 10 There's the Results section -- 11 A. Yeah, okay, I'm -- if I may just 12 say where I was reading before so that you're 13 clear where I was going with this. 14 So at the bottom of page 4, you can 15 see the bit that says: 16 "The number of TH-immunoreactive 17 neurones (TH only plus TH/NM) significantly 18 declined with age ..." 19 Q. Excuse me, sir. Excuse me. 20 Pardon me, sir, where are you reading from? 21 A. From the bottom of the page that 22 I can see now, on the left-hand side. 23 The paragraph that begins, "The total cell 24 number did not change ..." 25 Q. Okay. Okay, fine. Thank you.</p> | <p style="text-align: right;">Page 777</p> <p>1 to fully interpret them. 2 Q. Well, if the result is the same 3 irrespective of the cause, whether it's 4 paraquat or aging, would you agree with me, 5 sir, that -- in other words, if it's either 6 in the paraquat-treated monkeys in his 7 presentation to you in 2009 or the aging 8 monkeys he references in his 2004 paper that 9 he wrote, the total number of dopaminergic 10 neurons remained the same as he described and 11 defined those in that first paragraph of his 12 paper under Results? 13 A. Yes. The total number, yes. 14 Q. All right. And the total number of 15 each type of neuron changed with paraquat 16 treatment, the character? 17 A. I don't know that it did because 18 we don't -- there isn't a column which says 19 total dopaminergic neurones. The columns are 20 total TH+ cells, total TH+ and neuromelanin+ 21 cells, and neuromelanin only. There isn't a 22 column which says total dopaminergic neurons 23 in the McCormack study. 24 Q. You mean in the paper that 25 Dr. Sturgess created, right?</p> |
| <p style="text-align: right;">Page 776</p> <p>1 Go ahead. 2 A. And it says, at the bottom of that 3 first paragraph, the number of 4 TH-immunoreactive neurones significantly 5 declined with age from 56,000 to 40,000 [sic], 6 and then in the next sentence it says: 7 "This decline was due to a loss of 8 TH only neurones." 9 That's what I was picking up. You 10 would expect -- if this -- if the effect of 11 paraquat was mirroring what happens in aging, 12 then you would see a decline in TH-positive 13 cells -- TH-positive-only cells, and you 14 don't. 15 Q. So what you're saying is that your 16 interpretation of Dr. Di Monte's cell analysis 17 or count is inconsistent with what he put in 18 this study, correct? 19 A. No, I'm not saying that. I think, 20 actually, my memory serves me correctly; 21 I think, actually, Dr. Di Monte and ourselves, 22 in 2009, were saying the same thing, that the 23 effects that he saw with paraquat were not the 24 same as you see in animals that were aging, 25 and therefore it wasn't necessarily possible</p> | <p style="text-align: right;">Page 778</p> <p>1 A. That's right. So in other words -- 2 Q. Okay. 3 A. -- there's no equivalent to the 4 60,000 number here. 5 Q. But if you look at the Results 6 section of his paper that he wrote, you can see 7 exactly how he counts them. 8 If that's the case, the number of 9 neuromelanin-only-containing neurons more than 10 doubled, and that means the number of TH+ 11 dopamine-producing neurons fell; correct? 12 MR. NARESH: Objection to form. 13 THE WITNESS: Well, we don't have 14 those data so I don't know. 15 BY MR. TILLERY: 16 Q. And the loss of TH+ 17 dopamine-producing neurons in the substantia 18 nigra is an adverse finding, isn't it? 19 MR. NARESH: Objection to form, 20 foundation, scope. 21 THE WITNESS: Well, I'll repeat: 22 We don't have, as far as -- unless 23 I'm missing something, we don't have, 24 in Dr. Sturgess's notes, the data on 25 total dopaminergic neurones.</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 779</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. If you go back to his study,</p> <p>3 the effects in the aging animal were due to</p> <p>4 a reduction in TH-only neurons, correct?</p> <p>5 A. Yes. Correct, yes.</p> <p>6 Q. But in the paraquat-treated monkey,</p> <p>7 there was a reduction in TH+ and NM neurons,</p> <p>8 right?</p> <p>9 A. There was a reduction in TH and NM,</p> <p>10 yes, that sub-type of cells. Those that</p> <p>11 expressed TH and neuromelanin, that's where</p> <p>12 the reduction was seen.</p> <p>13 Q. And the effect of both would be</p> <p>14 a result of a loss of TH+ function; isn't that</p> <p>15 correct?</p> <p>16 A. That's a possibility --</p> <p>17 Q. Yes.</p> <p>18 A. -- but it would be a relatively</p> <p>19 small reduction.</p> <p>20 Q. Well, you said you can't calculate</p> <p>21 it, sir.</p> <p>22 A. No, I mean --</p> <p>23 Q. Would you --</p> <p>24 A. No, you can't, you can't.</p> <p>25 Q. Would you agree with me -- would you</p> | <p style="text-align: right;">Page 781</p> <p>1 the simple impact on dopaminergic cells.</p> <p>2 So all we're pointing out is if you</p> <p>3 did this over and over and it happened more and</p> <p>4 more frequently, the total number would get</p> <p>5 to that 60 or 65 percent number that you think</p> <p>6 you need in order for the physical onset of</p> <p>7 symptoms of Parkinson's; isn't that correct,</p> <p>8 sir?</p> <p>9 MR. NARESH: Objection; form.</p> <p>10 THE WITNESS: Well, that is</p> <p>11 a possibility and, absolutely, that was</p> <p>12 the discussion we had with Dr. Di Monte,</p> <p>13 and the discussion was that, you know,</p> <p>14 he was not in a position in 2009 to come</p> <p>15 to a conclusion that you could</p> <p>16 extrapolate from those figures that we've</p> <p>17 seen in Nick Sturgess's notes to that</p> <p>18 conclusion.</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. Well, let me ask you this, sir:</p> <p>21 Did you ever undertake any study at any time</p> <p>22 to sort of flesh out this confusion that you</p> <p>23 and the other scientists say you had?</p> <p>24 A. No, we did not progress this route.</p> <p>25 We chose to focus on our rodent model because,</p> |
| <p style="text-align: right;">Page 780</p> <p>1 agree with me that the effect would be a result</p> <p>2 of a loss of TH+ function, correct?</p> <p>3 A. You are reducing the number of</p> <p>4 cells which express TH by a small amount, yes.</p> <p>5 Q. And that means less production of</p> <p>6 dopamine, doesn't it?</p> <p>7 A. It could translate to that, yes.</p> <p>8 Q. Even though the total number of</p> <p>9 dopaminergic neurons remained exactly the same,</p> <p>10 per the way he counts them in his study, right?</p> <p>11 A. Well, that, I think, is where the</p> <p>12 scientific debate still is, and remembering</p> <p>13 that in Parkinson's disease you need to see</p> <p>14 a significant reduction in dopaminergic cells,</p> <p>15 more than 70 percent reduction, before you see</p> <p>16 Parkinson's disease, and this is nowhere near</p> <p>17 that.</p> <p>18 Q. So you're saying that this -- you're</p> <p>19 trying to compare this to the loss of</p> <p>20 dopamine-producing neurons in Parkinson's</p> <p>21 disease, and this --</p> <p>22 A. Yes, in order to try and under --</p> <p>23 excuse me.</p> <p>24 Q. This, as you know, wasn't studying</p> <p>25 the onset of Parkinsonianism, it was studying</p> | <p style="text-align: right;">Page 782</p> <p>1 of course, that was the work that we were</p> <p>2 investing in and which we've subsequently</p> <p>3 published.</p> <p>4 Q. Well, were you confused at the time</p> <p>5 of your meeting with Dr. Di Monte?</p> <p>6 A. Confused is not the right word.</p> <p>7 I think we were -- it was part of the</p> <p>8 scientific inquiry which we've frequently</p> <p>9 spoken about, and I think it was -- I think</p> <p>10 everybody left that meeting, Dr. Di Monte</p> <p>11 included, not yet clear about what the</p> <p>12 significance of the findings were.</p> <p>13 Q. Okay. So why didn't you do your</p> <p>14 study, then, at that time of squirrel monkeys</p> <p>15 to determine exactly what the confusion was,</p> <p>16 try to solve it for yourself? You had the</p> <p>17 capability, didn't you?</p> <p>18 A. Yeah, well, one thing that we were</p> <p>19 expecting, because Dr. Di Monte told us, was</p> <p>20 that he was going to continue his research in</p> <p>21 the nonhuman primate, and don't forget he was</p> <p>22 a consultant to us, and so our anticipation,</p> <p>23 whilst we were focusing on the rodent model,</p> <p>24 is that he would continue to answer, try to</p> <p>25 answer that very question that you've posed.</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 783</p> <p>1 Q. So you were expecting a third party 2 to do this work, right? 3 A. He made it very clear -- he made 4 it very clear that this was an area that 5 he was still very interested in and that 6 he would intend at some point in the future 7 to publish the finding. 8 Q. And he, to your knowledge, was not 9 a worldwide manufacturer or distributor of 10 paraquat, was he? 11 A. No, of course not. 12 Q. Syngenta was, right? 13 A. Yes. 14 Q. And is. Okay. 15 So you were speculating that maybe 16 he would go on and do some more work and 17 studies and yet you didn't take it upon 18 yourself to do the study that would be 19 necessary to clarify if there was any -- truly 20 any confusion about these results, correct? 21 MR. NARESH: Objection; scope. 22 THE WITNESS: No, I -- sorry. 23 No, I wouldn't put it that way. 24 We, as I said, believed that because 25 we were collaborating, if you wish,</p> | <p style="text-align: right;">Page 785</p> <p>1 Q. Okay. Okay. 2 A. -- this work of Dr. Di Monte's, and 3 the rodent work which we were then starting 4 to be engaged in, had not come to a conclusion 5 that paraquat was causative in Parkinson's 6 disease. 7 Q. But you were continuing to sell the 8 product. So you were waiting on some 9 speculative test done by some other scientist 10 who was a consultant. Was that test ever done 11 or that study ever done? 12 A. Well, we believe that if it was, 13 that it never reached the public domain 14 because it was never published. There were 15 some subsequent discussions with Dr. Di Monte 16 and it's -- they didn't -- they did indicate 17 that his arrival at the German Center for 18 Neurodegenerative Disease had meant that his 19 research interests had had to be modified. 20 MR. TILLERY: I move to strike your 21 answer as unresponsive. 22 BY MR. TILLERY: 23 Q. Was the additional study ever done? 24 A. Well, that was my way of answering 25 it. As far as we know, it was not.</p> |
| <p style="text-align: right;">Page 784</p> <p>1 to use the right word, that he would be 2 continuing to take that line of research 3 himself. 4 And given -- and that's why 5 I mentioned it earlier. Given that you 6 had to be very clear about the ethical 7 use of animals, we wouldn't want to 8 replicate -- use more nonhuman primates 9 when they were going to be used, which 10 we thought at the time, by Dr. Di Monte. 11 BY MR. TILLERY: 12 Q. So do you think, instead, it was 13 okay to allow Carroll Rowan, Freemon Schmidt, 14 Mr. Mills and Mr. Niebruegge to be the test 15 animals? 16 MR. NARESH: Objection to form. 17 THE WITNESS: No, of course not. 18 That's -- 19 BY MR. TILLERY: 20 Q. Okay. Well, weren't you -- 21 A. That's not -- 22 Q. Well, weren't you continuing to sell 23 it? 24 A. We were continuing to sell it 25 because --</p> | <p style="text-align: right;">Page 786</p> <p>1 Q. All right. And you never did it 2 either, did you? 3 A. No, we did not. 4 Q. Syngenta never did it? 5 A. No, we did not. 6 Q. Okay. But you continued to sell it, 7 the product, while this ambiguity existed in 8 your own minds, right? 9 MR. NARESH: Objection to form. 10 BY MR. TILLERY: 11 Q. Is that right? 12 A. Ambiguity is something which you 13 have to work with in science all the time and 14 you take a judgment -- 15 Q. Okay. 16 A. -- like you do with COVID-19 at the 17 moment, for example. Scientific judgments are 18 made, and our judgment at the time was that 19 the overall weight of the evidence was still 20 not pointing to a clearer causation and 21 therefore we did not believe that it was the 22 right course of action to withdraw paraquat 23 from the market. 24 Q. Okay. 25 Dr. Sturgess noted in the header of</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 787</p> <p>1 that presentation that we've been discussing</p> <p>2 now for some period of time, that the</p> <p>3 presentation was given in the presence of</p> <p>4 Syngenta legal counsel.</p> <p>5 Do you remember that?</p> <p>6 A. Yes, I do.</p> <p>7 Q. Is it the practice at Syngenta that</p> <p>8 a presentation given by an outside researcher</p> <p>9 in the presence of Syngenta's legal counsel</p> <p>10 is considered attorney work product?</p> <p>11 MR. NARESH: Objection; scope,</p> <p>12 form, foundation.</p> <p>13 You can answer in your personal</p> <p>14 capacity.</p> <p>15 THE WITNESS: I'd have to be</p> <p>16 reminded exactly what the definition of</p> <p>17 attorney work product is. So would you</p> <p>18 mind just giving me your description of</p> <p>19 what you think that is?</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. Well, let me just ask you this: Is</p> <p>22 it the practice at Syngenta to conceal adverse</p> <p>23 results, scientific results, about paraquat as</p> <p>24 attorney work product?</p> <p>25 A. No, that's not the case.</p> | <p style="text-align: right;">Page 789</p> <p>1 MR. TILLERY: The next exhibit is</p> <p>2 Plaintiff's Deposition Exhibit No. 73.</p> <p>3 This is SYNG-PQ-01739155.</p> <p>4 (Botham Exhibit 73 marked for</p> <p>5 identification.)</p> <p>6 MR. TILLERY: And if you'd hand</p> <p>7 this to the witness, please.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. Do you have it, sir?</p> <p>10 A. I do have it, thank you.</p> <p>11 Okay, thank you. I've read that.</p> <p>12 Q. Okay. What is this?</p> <p>13 A. This is the -- an email from</p> <p>14 Dr. Sturgess to Dr. Barry Elliott, recording</p> <p>15 a discussion with an organization called MOTAC</p> <p>16 Neuroscience.</p> <p>17 Q. What is MOTAC Neuroscience?</p> <p>18 A. To be honest with you, I can't</p> <p>19 remember exactly what the nature of MOTAC</p> <p>20 Neuroscience is, so -- I suspect that this was</p> <p>21 an organization -- a contract research</p> <p>22 organization.</p> <p>23 MR. TILLERY: If you hand that</p> <p>24 document, if we take this document back.</p> <p>25 ///</p> |
| <p style="text-align: right;">Page 788</p> <p>1 Q. Okay. So you're telling us that</p> <p>2 you've never been part of any effort to conceal</p> <p>3 scientific knowledge or information using</p> <p>4 attorney work product as an excuse, right?</p> <p>5 A. The way in which we've been advised</p> <p>6 is to -- not to conceal work, no, we've never</p> <p>7 been instructed -- to answer your question</p> <p>8 directly, we've never been asked to conceal.</p> <p>9 We've been asked to carefully consider the way</p> <p>10 in which our work is presented and when it's</p> <p>11 presented.</p> <p>12 Q. Okay. And you've never been asked</p> <p>13 to run your work through a lawyer to try to</p> <p>14 keep it from public scrutiny, right?</p> <p>15 MR. NARESH: Objection to form.</p> <p>16 THE WITNESS: Not to keep it from</p> <p>17 public scrutiny. Simply, as I said, to</p> <p>18 get advice on the best way of when and</p> <p>19 where to present.</p> <p>20 MR. TILLERY: Okay. Pull up the</p> <p>21 next exhibit --</p> <p>22 THE WITNESS: And how. And how</p> <p>23 I should say as well.</p> <p>24 MR. TILLERY: What number is this?</p> <p>25 MS. BRUMITT: 73.</p> | <p style="text-align: right;">Page 790</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. The first bullet, do you see that</p> <p>3 one --</p> <p>4 A. Yeah.</p> <p>5 Q. -- where it says:</p> <p>6 "Erwan has spoken this year to</p> <p>7 Prof Di Monte from the PI and has heard from</p> <p>8 him that paraquat resulted in neuronal cell</p> <p>9 loss (he assumes this was the end point) in</p> <p>10 monkeys, comparable to that seen in the C57B16</p> <p>11 mouse. Figures of 30-40% loss were mentioned.</p> <p>12 Erwan did not know the specifics of the study</p> <p>13 design, but it appears that there may have been</p> <p>14 very few animals (1 or 2), and overt toxicity</p> <p>15 seen (... the usual toxicities expected with</p> <p>16 PQ). We should bear in mind that the lack of</p> <p>17 detail here, and not necessarily take this</p> <p>18 'hearsay' (despite its source) as fact at</p> <p>19 present."</p> <p>20 Did I read that correctly?</p> <p>21 A. Yes, you did.</p> <p>22 Q. All right. In May 2007, Syngenta</p> <p>23 learned that Dr. Di Monte had experimented with</p> <p>24 paraquat in squirrel monkeys and that his</p> <p>25 results were potentially adverse, correct?</p> |

| Page 791 | Page 793 |
|--|--|
| <p>1 A. Yes, that's correct.</p> <p>2 Q. Syngenta brought him in to speak at</p> <p>3 the 2008 Paraquat Health Science Team. We</p> <p>4 talked about that, right?</p> <p>5 A. Yes.</p> <p>6 Q. And over a year after Syngenta</p> <p>7 learned Dr. Di Monte was experimenting with</p> <p>8 paraquat in squirrel monkeys, right?</p> <p>9 A. Yes.</p> <p>10 Q. That was a year later. And so we</p> <p>11 know from this email, this is at 2007, that</p> <p>12 they were aware of it in 2007. We know that</p> <p>13 they'd brought him in 2008, right?</p> <p>14 A. Yes.</p> <p>15 Q. Now, Dr. Di Monte spoke on paraquat</p> <p>16 in the mouse at the September 2008 Health</p> <p>17 Science Team. We know that.</p> <p>18 Who decided the topic of</p> <p>19 Dr. Di Monte's presentation?</p> <p>20 A. This was a discussion between some</p> <p>21 members of the health -- internal Syngenta</p> <p>22 members of the Health Science Team and</p> <p>23 Dr. Di Monte.</p> <p>24 Q. Okay. It wasn't until April 2009</p> <p>25 that Dr. Di Monte presented to Syngenta the</p> | <p>1 contact Dr. Di Monte regarding his squirrel</p> <p>2 monkey study with paraquat?</p> <p>3 A. I can't give you a date off the top</p> <p>4 of my head, I'm afraid.</p> <p>5 Q. When did Syngenta first learn that</p> <p>6 Dr. Di Monte observed loss of striatal dopamine</p> <p>7 in paraquat-treated monkeys?</p> <p>8 A. That would be at the Marlow health</p> <p>9 scientist team meeting.</p> <p>10 Q. That was the first time?</p> <p>11 A. It was the first time that</p> <p>12 I recall, and where it was properly -- if you</p> <p>13 like, properly presented. There may have been</p> <p>14 informal discussions before that with</p> <p>15 colleagues, but I'm -- I don't know whether</p> <p>16 that is the case.</p> <p>17 Q. And when did Syngenta first learn</p> <p>18 that Dr. Di Monte observed up-regulation of</p> <p>19 alpha-synuclein in paraquat-treated monkeys?</p> <p>20 A. I believe that was at the same</p> <p>21 time, at the Marlow meeting.</p> <p>22 Q. When did Syngenta first learn that</p> <p>23 Dr. Di Monte observed a change in neuromelanin</p> <p>24 in paraquat-treated monkeys?</p> <p>25 A. Again, I believe that it was at the</p> |
| Page 792 | Page 794 |
| <p>1 preliminary results of his study of paraquat on</p> <p>2 the squirrel monkey, correct?</p> <p>3 A. Yes.</p> <p>4 Q. And that's about two years after</p> <p>5 Dr. Sturgess's email that's marked as an</p> <p>6 exhibit here, right?</p> <p>7 A. Yes. And I think I might be able</p> <p>8 to explain that.</p> <p>9 Q. You know, if you can just bear with</p> <p>10 me. We're going to get to the end of this --</p> <p>11 A. Mmm.</p> <p>12 Q. -- in just a second.</p> <p>13 But that's about two years after</p> <p>14 Dr. Sturgess's email about a potentially</p> <p>15 adverse result with paraquat in Dr. Di Monte's</p> <p>16 squirrel monkeys, correct? That's what the</p> <p>17 email says?</p> <p>18 A. That's what this says, yes.</p> <p>19 Q. And who decided the topic of</p> <p>20 Dr. Di Monte's April 2009 presentation. Do you</p> <p>21 know?</p> <p>22 A. It would be the same. It would be</p> <p>23 a conversation between one or two members of</p> <p>24 the Syngenta team and Dr. Di Monte.</p> <p>25 Q. Okay. When did Syngenta first</p> | <p>1 Marlow Paraquat Health Science Team meeting.</p> <p>2 Q. Okay.</p> <p>3 MR. TILLERY: Let's go to the next,</p> <p>4 and this would be exhibit what?</p> <p>5 MS. BRUMITT: 74.</p> <p>6 MR. TILLERY: 74.</p> <p>7 THE VIDEOGRAPHER: Mr. Tillery,</p> <p>8 this is Wendy here. We're getting quite</p> <p>9 low on media time so would it be possible</p> <p>10 to take a two-minute break while I change</p> <p>11 it, please?</p> <p>12 MR. TILLERY: Yes, you sure can.</p> <p>13 We'll go off the record at this point.</p> <p>14 THE VIDEOGRAPHER: Perfect. We are</p> <p>15 going off the record. The time is 5:26.</p> <p>16 (Off the record.)</p> <p>17 THE VIDEOGRAPHER: We are back on</p> <p>18 the record. The time is 5:35.</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. Before we get to this new exhibit,</p> <p>21 I just want to clarify something that I thought</p> <p>22 of as we took a break here and I wanted</p> <p>23 to clarify some questions for you on the issue</p> <p>24 of Dr. Di Monte's presentation and his study</p> <p>25 in 2004.</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 795</p> <p>1 In terms of the presentation he made 2 to you based upon the study of the squirrel 3 monkeys, this was a short-term sub-acute study 4 of the impact of paraquat on dopaminergic 5 neurons in the primate substantia nigra, 6 correct? 7 A. Yes. 8 Q. All right. You could extrapolate 9 from this data that there was a potential loss 10 of TH+ function, correct? 11 A. Well, I think you can extrapolate 12 a number of things, that included, but that's 13 not the only extrapolation you could make. 14 Q. But would you agree that you could 15 extrapolate from the data that there was 16 a potential loss of TH+ function? 17 A. Yeah, but don't forget that this 18 was at a very high dose of paraquat, and in 19 toxicology, you know, you often use very high 20 doses as a surrogate for longer dosing. 21 So I -- 22 MR. TILLERY: I'm sorry but I have 23 to move to strike your answer as 24 unresponsive. 25 ///</p> | <p style="text-align: right;">Page 797</p> <p>1 on to number 74, I believe. 2 (Botham Exhibit 74 marked for 3 identification.) 4 (Off-the-record discussion.) 5 BY MR. TILLERY: 6 Q. Can you look at this. It covers 7 two pages so feel free to take charge of it and 8 look at the document. 9 For the record, this is 10 SYNG-PQ-20736066 and it's Exhibit ... 11 MS. BRUMITT: 74. 12 BY MR. TILLERY: 13 Q. ... 74. 14 A. Yes, okay. Thank you. 15 Q. All right. What is this? 16 A. This is an exchange of emails which 17 report some scientific findings which were 18 presented at a neurotoxicology meeting in 19 2007. 20 Q. This is a chain between various 21 people at Syngenta in the regulatory affairs 22 and product safety section, right? 23 A. Yes -- 24 Q. The first -- 25 A. -- it was largely within -- yes,</p> |
| <p style="text-align: right;">Page 796</p> <p>1 BY MR. TILLERY: 2 Q. Can you just answer me: You could 3 extrapolate from this data that there was 4 a potential loss of TH+ function, correct? 5 A. You could. I would not. 6 Q. But you could, couldn't you? 7 A. Well, as I've just said, you could, 8 but my judgment -- 9 Q. Yes. 10 A. -- would be that you wouldn't -- 11 that I wouldn't do that. 12 Q. And a loss of TH function could 13 result in a loss of dopamine production, 14 correct? 15 A. Yes. 16 Q. All right. Is a loss of TH function 17 a potentially adverse result? 18 A. In and of itself, it could be, but 19 it's -- you would normally want to see other 20 effects, including loss of dopamine. 21 Q. But it could be, couldn't it, in and 22 of itself? 23 A. Yes. If you believe that that was 24 a clear finding, then yes. 25 MR. TILLERY: All right. Now we're</p> | <p style="text-align: right;">Page 798</p> <p>1 it was. Yes, it did include regulatory 2 affairs, that's correct. 3 Q. The first email in the chain was 4 sent on October 23, 2007; is that correct? 5 MR. TILLERY: Do you have that back 6 yet? 7 MS. BRUMITT: No. Do you want me 8 to take it? 9 BY MR. TILLERY: 10 Q. We'll go to the back of this 11 document and open it up and we'll take it. 12 Okay. You see the first one is 13 Eileen Kennedy? 14 A. Yes. 15 Q. Okay. All right. So this article 16 claims a link between PQ, paraquat, and higher 17 incidence of Parkinson's, right? 18 A. Yes. 19 Q. Okay. And then the next one is 20 referencing "scary stuff." 21 And then there's Monty Dixon. 22 What's his job at Syngenta? 23 A. Monty Dixon was, and still is, a 24 regulatory expert in the North America 25 regulatory team.</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 799</p> <p>1 Q. He sends this to Dennis Hackett at 2 USGR. What's that stand for? 3 A. USGR is the United States 4 Greensboro. It's their physical location. 5 Q. Okay. All right. What's his job 6 there, Mr. Hackett's? 7 A. At that time, I'm not quite sure 8 what role Dennis Hackett had, but certainly 9 he was very much involved in, for example, 10 the potentially referable findings process. 11 Q. Okay. So he says: 12 "Eileen Kennedy just forwarded this 13 information to me. Can you please look at 14 [this] information on page 5. I want to ensure 15 proper steps are taken if this is reportable." 16 What does that mean? 17 A. Reportable under 6(a)(2) as 18 a potentially referable finding. 19 Q. 6(a)(2), what you're saying is, 20 is reportable to the United States 21 Environmental Protection Agency as something 22 that would be required under FIFRA to be 23 disclosed to them as some adverse issue they 24 should know about the chemical, correct? 25 A. Correct, yes.</p> | <p style="text-align: right;">Page 801</p> <p>1 recommendation about disclosing this 2 information? 3 A. Well, we discussed it in the PRF 4 Approach Committee. I was a member of that. 5 I may have even been the chair at the time. 6 I would need to be reminded of that. 7 I suspect I was. And we discussed what we had 8 heard at the time. But I don't have the 9 record in front of me. This is in 2007; 10 I can't remember exactly how that went. 11 Q. Okay. 12 Yes, go up. Okay. That's the last 13 entry on the document. Do you see that? 14 A. Yes. 15 Q. Okay. And it doesn't tell you what 16 happened to this, and whether there was 17 a report made, does it? 18 A. No, it doesn't. No. 19 Q. Okay. 20 Tim Pastoor was a member of the 21 PRF Approach Committee, right? 22 A. Yes, I think he was at that time. 23 Q. The news article reported that: 24 "Three new studies presented earlier 25 this month at the Collaborative Centers for</p> |
| <p style="text-align: right;">Page 800</p> <p>1 Q. All right. Okay. And how does this 2 email exchange progress? 3 A. So you'll need to scroll up for me. 4 Q. Sure. 5 A. Okay. So Dennis Hackett, in that 6 capacity as the technical secretary for the 7 PRF Committee in the United States, sent it 8 to Bob Parr-Dobrzanski, who was the technical 9 secretary for the approach committee that 10 discussed findings to make recommendations 11 as to whether they could be reportable under 12 6(a)(2). 13 Q. And did he make a recommendation, 14 do you know? 15 A. Well, if you scroll up. 16 Yes, that confirms what I already 17 knew, that it was agreed that this should be 18 discussed by the PRF Approach Committee that 19 I've just mentioned. 20 Q. And you're listed on these emails, 21 aren't you? 22 A. On some of them, I suspect, yes. 23 Q. Yeah, along with Lewis Smith? 24 A. Mmm. 25 Q. I'm wondering, what was your</p> | <p style="text-align: right;">Page 802</p> <p>1 Parkinson's Disease Environmental Research ... 2 meeting combined information from human and 3 animal studies, thus strengthening the alleged 4 link between the disease and exposure to 5 pesticides, scientists say." 6 Right? 7 A. Yeah, I'm not sure where you're 8 reading that from, but -- that is from further 9 down the document -- 10 Q. We can lower the -- actually go down 11 a little further on the article, if you want. 12 A. Yeah, okay. Yeah, I've got that, 13 thank you. 14 Q. Okay. It also says: 15 "One study examined 80,000 licensed 16 pesticide applicators and their spouses and 17 found that farm workers exposed to the 18 pesticide paraquat had twice the expected risk 19 of developing Parkinson's than others." 20 Right? 21 A. Yes. 22 Q. It also says: 23 "A second study found that rodents 24 exposed to paraquat had a build-up of protein 25 alpha-synuclein in their brains. The protein</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 803</p> <p>1 has been linked to Parkinson's in the past, and 2 the third study found that the build-up of 3 alpha-synuclein ... destroys dopamine producing 4 cells - the same cells that die in people 5 afflicted with Parkinson's." 6 Right? 7 A. That's what that says, yes. 8 Q. And the article had a quote from 9 Dr. Di Monte of the Parkinson's Institute, 10 right? 11 A. Yes. 12 Q. And Dr. Di Monte said: 13 "This increase in alpha-synuclein 14 in the brain could be the missing link between 15 the exposure to this agent and how this agent 16 causes the disease." 17 Right? 18 A. Yes. 19 Q. And that was when, 2007? 20 A. Yes. 21 Q. Thirteen years ago? 22 A. Yes. 23 Q. So the missing link is the 24 alpha-synuclein, and you told us here today 25 in this deposition that the -- unequivocally,</p> | <p style="text-align: right;">Page 805</p> <p>1 information and statements from the Parkinson's 2 Institute." 3 He goes on to say: 4 "The new information which was given 5 at the Neurotoxicology meeting is that non 6 human primates given toxic doses of PQ show 7 increases in alpha synuclein in the brain." 8 So Syngenta knew by, at the latest, 9 November 2007 that Dr. Di Monte had observed 10 up-regulation of alpha-synuclein in 11 paraquat-treated monkeys, correct? 12 A. Yes. 13 Q. And Syngenta knew that the 14 Parkinson's Institute had reported that the 15 buildup of alpha-synuclein was shown to destroy 16 dopamine-producing neurons, correct? 17 A. That's what was in that report, 18 yes. 19 Q. And Syngenta knew Dr. Di Monte had 20 suggested that the increase in alpha-synuclein 21 could be the missing link between how paraquat 22 exposure causes Parkinson's disease, correct? 23 A. Correct, and thus explaining why 24 we thought it was very important for us 25 to engage in conversations with Dr. Di Monte.</p> |
| <p style="text-align: right;">Page 804</p> <p>1 that in the history of your company you have 2 never investigated alpha-synuclein deposits, 3 protein deposits in the midbrain secondary 4 to paraquat, have you? 5 MR. NARESH: Objection to form. 6 THE WITNESS: No, we haven't. 7 And the important word here is "could 8 be," the "could," and that -- so that was 9 purely hypothesis at that time. 10 BY MR. TILLERY: 11 Q. So you've -- can I get a clear 12 answer: Have you ever done it? 13 A. No. 14 Q. All right. 15 And the agent that Dr. Di Monte was 16 referring to is paraquat, correct? 17 A. Yes. 18 Q. That's what he was referring to? 19 Yeah. 20 So Lewis Smith responds to Tim 21 Pastoor's email and he said there: 22 "This appears to be the same as the 23 press release some [time] ago." 24 I think, yes, you have it. 25 "As far as I can see it is the</p> | <p style="text-align: right;">Page 806</p> <p>1 Q. But not important enough to ever do 2 alpha-synuclein studies yourself, correct? 3 A. Because we chose not to go down 4 that route, for the reasons I explained 5 earlier, in 2009 -- 6 Q. Because -- 7 A. -- we believed that was the 8 research that he was doing. 9 Q. So on the supposition -- strike the 10 question. 11 What did the PRF Approach Committee 12 decide to do with this information after Dennis 13 Hackett forwarded this email in November 2007? 14 A. I don't know what happened in 2007. 15 I know that there was a further discussion 16 in 2009. That, I do remember. But in 2007, 17 I'm not sure what the PRF committee did. 18 Q. Well, let's find -- what did they do 19 in 2009? 20 A. Well, we discussed what we'd heard 21 at the Marlow meeting. 22 Q. Okay. 23 MR. TILLERY: And let's look at 24 that 2009. Could you pull that document 25 up. It's -- exhibit now?</p> |

| Page 807 | Page 809 |
|---|---|
| <p>1 MS. BRUMITT: 75. 2 MR. TILLERY: 75. This is 3 SYNG-PQ-02601795. Exhibit No. 75 for 4 this deposition. 5 (Botham Exhibit 75 marked for 6 identification.) 7 BY MR. TILLERY: 8 Q. We'll give you that document, sir, 9 and it's two pages, and let you read it and 10 then she'll take it back for the questions, 11 okay? 12 A. Okay. 13 Q. Do you have it yet, sir? 14 A. Yes, I've got it and I'm just 15 getting to the bottom of the appropriate 16 section. So, yeah, fine, I've read that now, 17 thank you. 18 Q. All right. 19 MR. TILLERY: Let's take it back 20 and put it on the screen. 21 BY MR. TILLERY: 22 Q. Okay. This is the Syngenta Human 23 Safety, Potentially Referable Findings Approach 24 Committee, correct? 25 A. That's correct.</p> | <p>1 A. Mmm-hmm. 2 Q. And then A. Cook, is that Andy Cook? 3 A. That's Andy Cook, yes. 4 Q. And then Mr. Davies? 5 A. Yeah. 6 Q. And then Mr. Parr-Dobrzanski, right? 7 A. That's right, correct. 8 Q. All right. Okay. 9 Dr. Di Monte made his squirrel 10 monkey presentation in April 2009, right? 11 A. Yes. 12 Q. This committee met the next month, 13 right? 14 A. Yes. 15 Q. You were the chairman? 16 A. Correct. 17 Q. One of the items the committee 18 took up was the information provided by 19 Dr. Di Monte, right? 20 A. Yes. 21 Q. The third item taken up by the 22 committee that month was review of verbal 23 presentation by Dr. Di Monte regarding 24 preliminary findings from experimental studies 25 with paraquat and MPTP in nonhuman primate</p> |
| Page 808 | Page 810 |
| <p>1 Q. Minutes of a meeting held on 19 May 2 2009 and it's marked confidential, right? 3 A. That's correct. 4 Q. Who was the chairman of that 5 meeting? 6 A. It was me. 7 Q. That committee? 8 A. Me. 9 Q. And who is Peter Hertl at that time? 10 A. He was the head of product safety 11 in North America. 12 Q. And he was also a member of the 13 Syngenta executive committee, wasn't he? 14 A. No, he was not. 15 Q. Was he ever? 16 A. No, he was not. 17 Q. Okay. And who was J. Akins? 18 A. He was a toxicologist in the human 19 safety team reporting to Peter Hertl. 20 Q. Okay. And R. Lewis? 21 A. A toxicologist in the Europe human 22 safety team reporting to me. 23 Q. And then there's N. Sturgess that 24 we've talked about many, many, many, many 25 times.</p> | <p>1 squirrel monkeys, right? 2 A. Right. 3 Q. Okay. Nick Sturgess presented 4 Dr. Di Monte's findings to the committee, 5 right? 6 A. Correct. 7 Q. And the conclusion of the committee 8 is presented here, isn't it, in this document? 9 A. Yes. You need to go to page 2. 10 Q. Okay. Would you do that, please. 11 Do you see the conclusion in front of you, sir? 12 A. Yes, I do. If I can just -- 13 Q. Okay. 14 A. Yeah, I can see that. 15 Q. Okay. And the committee concluded: 16 "The brain findings in the non-human 17 primate were unanimously agreed as constituting 18 new data." 19 Correct? 20 A. Correct. 21 Q. The up-regulation of alpha-synuclein 22 in the squirrel monkey, that was one of them, 23 right? 24 A. Correct. 25 Q. And the reduction in the ratio of</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 811</p> <p>1 neurons containing TH+ in neuromelanin 2 to neurons containing only neuromelanin, right? 3 A. Correct. 4 Q. The committee goes on to say: 5 "The participants noted that the 6 study had not yet been completed, peer reviewed 7 or published and that the data, by 8 Dr. Di Monte's own admission, required further 9 verification." 10 Right? 11 A. Yes, indeed. As I indicated 12 earlier. 13 Q. Okay. And it's your understanding 14 that the preliminary data need not be reported 15 as a potentially adverse finding under FIFRA 16 6(a)(2)? 17 A. No, that's not the definition of 18 non-reporting, just the fact that it's 19 preliminary data. 20 The definition is whether the new 21 findings were interpretable in terms of 22 whether they were adverse. And because of the 23 uncertainties, which we've been discussing, 24 the conclusion was that they were not 25 reportable.</p> | <p style="text-align: right;">Page 813</p> <p>1 it says, doesn't it? 2 A. Yeah, and then the participants 3 also noted that the toxicological significance 4 of the apparent phenotypic changes, which is 5 what I've just been -- that's what I've just 6 said in a longer form, is unclear. 7 Q. Okay. Because it was unclear to you 8 and the other scientists and because it 9 required further verification, you did what? 10 You made a decision not to report it, right? 11 MR. NARESH: Objection to form. 12 THE WITNESS: We did, and I would 13 like to reinforce that that was largely 14 on the basis of what we had heard from 15 Dr. Di Monte himself. 16 BY MR. TILLERY: 17 Q. And you didn't report it? I want 18 to make sure the court and jury knows you 19 did not report this to the US Government. 20 Is that correct? 21 A. I'm pretty sure that we did not. 22 Q. Okay. 23 The committee then concluded: 24 "On the basis of the preliminary 25 nature of the findings and the lack of obvious</p> |
| <p style="text-align: right;">Page 812</p> <p>1 Q. And let's be very specific on this. 2 The exact reason for why they weren't 3 reportable, tell us again. Very important. 4 A. Because they -- as it says there, 5 for example, because there was no obvious 6 adverse consequence of the findings in the 7 brain, like we were discussing before, of was 8 there clear evidence that dopamine levels had 9 changed, that there were changes in motor 10 function in the animals or other such 11 outcomes, and because Dr. Di Monte himself -- 12 and, more importantly, because Dr. Di Monte 13 himself had told us he could not fully 14 interpret those findings that we were 15 discussing earlier. 16 Q. Now, where do you find that in the 17 conclusion of this committee? Look -- 18 A. It's at the -- 19 Q. -- at the document where it says 20 that and then read from this where it says what 21 you just said? 22 A. Well, it said that, by 23 Dr. Di Monte's own admission, required further 24 verification and -- 25 Q. That's what it says. That's what</p> | <p style="text-align: right;">Page 814</p> <p>1 adverse consequence of the findings in the 2 brain the data do not meet the necessary 3 technical criteria for a referral." 4 Is that what it says? 5 A. That's what it says. 6 Q. The last sentence? Okay. 7 The potential referable findings 8 committee decided not to refer it to the US EPA 9 or other regulatory bodies throughout the 10 world, correct? 11 A. Yes. We -- but don't forget the 12 ultimate decision was not taken by this 13 approach committee, it was taken by the -- 14 I believe that would be taken by the US PRF 15 committee, which, you remember, we discussed 16 that in the last deposition. 17 Q. And did they follow that 18 recommendation? 19 A. That's what I think I said earlier. 20 Yes, I believe that was the outcome. 21 Q. Okay. 22 The potentially referable findings 23 committee concluded that a paraquat-induced 24 reduction in dopamine-producing neurons and 25 increase in neurons -- I'm going to move</p> |

Page 815

1 to strike that question.

2 The potential referable findings

3 committee concluded that a paraquat-induced

4 reduction in dopamine-producing neurons and

5 increase in neurons that don't produce dopamine

6 was not adverse. Correct?

7 A. That was our judgment from what

8 we had seen and heard from Dr. Di Monte,

9 correct.

10 Q. The Parkinson's Institute reported

11 increased alpha-synuclein had been observed

12 to destroy dopamine-producing neurons, correct?

13 You knew that at the time?

14 A. That was back in 2007, yes.

15 Q. Right. So you'd known for two years

16 at that time?

17 A. Yes. Interestingly, I don't recall

18 that particular finding being discussed with

19 us in our Marlow meeting.

20 Q. Right. But the Syngenta potentially

21 referable findings committee concluded that

22 paraquat's up-regulation of alpha-synuclein

23 was not adverse, correct?

24 A. That's correct.

25 Q. Okay.

Page 816

1 When Dr. Di Monte made his squirrel

2 monkey presentation to the Paraquat Health

3 Science Team he agreed to share brain tissue

4 with Syngenta to perform a residue analysis,

5 didn't he?

6 A. He did.

7 Q. And the purpose of the residue

8 analysis was to confirm the presence and

9 concentration of paraquat in the squirrel

10 monkeys' brains, wasn't it?

11 A. That's right.

12 MR. TILLERY: So if we can quickly

13 pull up number -- this one here,

14 number ...

15 MS. BRUMITT: 76.

16 MR. TILLERY: ... 76. This is

17 SYNG-PQ-01188018.

18 (Botham Exhibit 76 marked for

19 identification.)

20 BY MR. TILLERY:

21 Q. If you'd take a look at that, I have

22 just a few questions about it.

23 Do you have it, sir?

24 A. I do, yes, thank you. Mmm-hmm.

25 Q. Okay.

Page 817

1 Instead of taking this back,

2 I'm going to let you keep this for these

3 questions, okay?

4 A. Okay.

5 Q. So you can follow along with me.

6 It might make things go quicker because I know

7 we have to let you out in ten minutes to get

8 out of there, okay?

9 A. Yeah, that's fine. Please go

10 ahead.

11 Q. All right.

12 This is a memorandum by Kim Travis,

13 this is Plaintiff's Deposition Exhibit 76,

14 proposing to collaborate with Dr. Di Monte in

15 the analysis of paraquat concentrations in the

16 brains of squirrel monkeys, right?

17 A. That's correct.

18 Q. Dr. Travis proposed that Syngenta

19 analyze paraquat concentrations in brain tissue

20 provided by Dr. Di Monte from his

21 paraquat-treated squirrel monkeys, right?

22 A. That's right, and that was

23 discussed at the Marlow meeting.

24 Q. Okay. In the last sentence of the

25 second paragraph on page 1, if you have that.

Page 818

1 A. Mmm-hmm.

2 Q. Okay. Dr. Travis describes

3 Dr. Di Monte's work with paraquat as:

4 "In essence, Dr. di Monte has

5 established a primate analogue of the C57Bl6j

6 mouse model ..."

7 Correct?

8 A. Yes.

9 Q. All right. The fourth paragraph

10 begins:

11 "Dr. di Monte is interested in

12 understanding [the] mechanisms [of action], and

13 so of course are we."

14 Right?

15 A. Yes.

16 Q. Do you see that? All right.

17 And that refers to mechanism of action of

18 paraquat on dopaminergic neurons in the

19 substantia nigra portion of the brain, correct?

20 A. Correct.

21 Q. Dr. Travis goes on to say:

22 "The squirrel monkey model is

23 clearly more relevant to man than the C57Bl6j

24 mouse model, due to genetic relatedness."

25 Correct?

| | |
|--|---|
| <p style="text-align: right;">Page 819</p> <p>1 A. Yes, indeed, as we discussed 2 earlier today. 3 Q. And that's consistent with what 4 we've already talked about in this deposition? 5 A. Yes. 6 Q. And you agree that that's true? 7 A. Yes. 8 Q. Right? 9 A. Yes. 10 Q. Dr. Travis says: 11 "Therefore the results will help 12 shed light on whether paraquat could partially 13 result in effects in the brain [of] man ..." 14 Right? 15 A. Yes. 16 Q. And you agree with that as well, 17 correct? 18 A. Yes. 19 Q. The last paragraph on page 2 says: 20 "Dr. di Monte indicated to me ... he 21 was intending to publish the results." 22 Right? 23 A. Yes. 24 Q. Later in that same paragraph, 25 Dr. Travis says:</p> | <p style="text-align: right;">Page 821</p> <p>1 the analysis of paraquat in the brains from 2 Dr. Di Monte's nonhuman primates studies. 3 Q. Okay. So slide 18 is analysis of 4 paraquat in the brain from Di Monte's nonhuman 5 primate studies. The slide notes, Syngenta has 6 the option to analyze paraquat in the brain 7 samples, correct? 8 A. Yes. 9 Q. And it says results would be shared 10 with Dr. Di Monte. In the middle of the slide, 11 the author says: 12 "If we did, the objective would be: 13 To establish if a significant [paraquat] 14 concentration was present in the brains ..." 15 Right? 16 A. Yes. 17 MR. TILLERY: Now, if we go to the 18 next exhibit. Which is what number? 19 MS. BRUMITT: 78. 20 MR. TILLERY: 78. If you'd look at 21 this one. 22 (Botham Exhibit 78 marked for 23 identification.) 24 THE WITNESS: Okay, received and 25 I'm familiar with this.</p> |
| <p style="text-align: right;">Page 820</p> <p>1 "If we conduct the paraquat analyses 2 for him, then we must provide him with the full 3 results, and we must expect him to publish 4 them." 5 Right? 6 A. Yes. 7 Q. Okay. 8 MR. TILLERY: Now, let's go to this 9 document, which is number 77, Plaintiff's 10 Exhibit No. 77. 11 (Botham Exhibit 77 marked for 12 identification.) 13 BY MR. TILLERY: 14 Q. If you would take a look at that 15 exhibit, sir. Specifically, I'm going to look 16 and ask you questions on page 18 of that 17 document, 18 out of 22, and that's 858, after 18 you've familiarized yourself with it. 19 And just for the reference, this is 20 SYNG-PQ-01116841. It's Plaintiff's Exhibit 77. 21 A. Okay. And I've gone to page 18. 22 Q. Okay. So what is this document? 23 A. This is a set of slides broadly 24 around the kinetics of paraquat in animal 25 models, and this specific slide is about</p> | <p style="text-align: right;">Page 822</p> <p>1 BY MR. TILLERY: 2 Q. You're familiar with this study, 3 aren't you? 4 A. Yes. 5 Q. This is the study of paraquat 6 residues in the brain tissue of Di Monte's 7 squirrel monkeys, isn't it? 8 A. Yes. 9 Q. And the report was dated January 21, 10 2011, right? 11 A. Correct. 12 Q. The study initiation date's reported 13 as September 13, 2010. 14 A. Yes. 15 Q. That's more than a year after 16 Dr. Travis requested permission to conduct the 17 study. The study completion date is 18 October 29, 2010, right? 19 A. That's correct. 20 Q. Okay. About three months before 21 the final report was issued, right? 22 A. Yes. 23 Q. In the executive summary, it says: 24 "The study objective was to analyze 25 paraquat residues in the brain tissues [of]</p> |

| Page 823 | Page 825 |
|--|---|
| <p>1 Squirrel Monkeys exposed to paraquat in a 2 laboratory setting. A total of 15 treated 3 tissue samples and 1 control tissue sample 4 [was] received from SRI International ... under 5 the direction of Dr. Di Monte." 6 That's in the executive summary of 7 this study, right? 8 A. That's correct, yes. 9 Q. The second paragraph in that section 10 says: 11 "The monkey brain tissue samples 12 exhibited paraquat residues which ranged from 13 0.007 micrograms per gram to 0.256 micrograms 14 per gram, except samples 664, 666 and 732 which 15 were [less than the Level of Quantification]." 16 A. That's correct. 17 Q. Correct? 18 A. That's correct. 19 Q. So Syngenta confirmed that paraquat 20 was present in the brains of Dr. Di Monte's 21 squirrel monkeys, didn't they? 22 A. They did. 23 Q. Okay. 24 If you look at the first page of 25 that study -- I think you're looking at it --</p> | <p>1 Q. Well, let's go to the last page -- 2 the last exhibit for this day and then we'll 3 call it a day, okay? 4 A. Okay. 5 MR. TILLERY: And what number is 6 this? 7 MS. BRUMITT: 79. 8 MR. TILLERY: 79 we'll pull up. 9 (Botham Exhibit 79 marked for 10 identification.) 11 BY MR. TILLERY: 12 Q. Do you see it? 13 A. Yes, I do, thank you. 14 Q. I just have a couple of questions 15 and then we'll finish, Dr. Botham, okay? 16 A. Okay. 17 Q. This is an internal Syngenta form 18 Dr. Travis used to refer a potentially adverse 19 finding with paraquat to the Syngenta 20 Potentially Referable Findings Approach 21 Committee for consideration of disclosure 22 to regulatory authorities, including the 23 US EPA, isn't it? 24 A. That's correct. 25 Q. And did you get a copy of this?</p> |
| Page 824 | Page 826 |
| <p>1 the section marked "Data Requirements: EPA 2 Guidelines," does that mean that the study was 3 performed in accordance with data requirements 4 for residue chemistry studies issued by the 5 US EPA? 6 A. Yeah, I guess that's right, yes, 7 I wasn't involved in the -- 8 (Stenographer interruption.) 9 BY MR. TILLERY: 10 Q. Does that mean that this study was 11 performed in accordance with the data 12 requirements for residue chemistry studies 13 issued by the United States EPA? 14 A. Yes, I believe that's the case. 15 Q. But this study was never submitted 16 to the US EPA, was it? 17 A. I don't know. I can't confirm 18 that. 19 Q. And the results of the study were 20 never disclosed, to this day, to the United 21 States EPA, were they? 22 A. I can't confirm that. 23 Q. Or any other regulatory agency for 24 that matter, right? 25 A. Again, I can't confirm that.</p> | <p>1 A. Yes, I would have done, yes. 2 Q. And what is the subject matter of 3 this potentially referable matter? 4 A. So this -- we're talking about the 5 data that we've just been describing in the 6 squirrel monkey brain samples that we received 7 from Professor Di Monte. 8 Q. Yes, it's exactly that we just 9 talked about. 10 A. It is. 11 Q. And in the subject matter, it says: 12 "We have also analysed samples of 13 squirrel monkey frontal cortex from a study 14 conducted independently by [Dr.] di Monte, 15 which shows that the paraquat concentration did 16 not measurably decline between samples ... to 17 have been taken 2 and 8 weeks after a fixed 18 program of paraquat dosing ..." 19 Then he says: 20 "Reported findings of increases in 21 alpha-synuclein in the brains of squirrel 22 monkeys dosed with paraquat in the same study 23 referred above ... have also been considered by 24 this committee." 25 Do you see that?</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 827</p> <p>1 A. Yes, I do. 2 Q. Okay. 3 And then on the next page, if you 4 look at that, "PRF Committee Comments": 5 "Studies of the kinetics of paraquat 6 in the brain ... were considered. The 7 committee considered that the findings do not 8 represent an adverse effect or a pre-cursor to 9 an adverse event. Therefore the findings 10 do not meet the technical criteria for 11 referral... in the Product Safety PRF 12 criteria ..." 13 So you didn't send it to the US EPA, 14 did you? 15 A. That's correct, because the 16 judgment would have been simply the presence 17 of paraquat in the brain was (a) not a new 18 finding and (b) not necessarily indicative of 19 any adversity. 20 Q. So despite the references and 21 findings on alpha-synuclein, despite the 22 presence of paraquat in the brain of our 23 closest genetic cousin, a squirrel monkey, 24 or a nonhuman primate, your committee chose 25 not to send this to the US EPA, correct?</p> | <p style="text-align: right;">Page 829</p> <p>1 turn it in? 2 A. No, no, we -- 3 MR. NARESH: Object to form. 4 THE WITNESS: Let's restate. 5 We discussed the findings in the brain, 6 including alpha-synuclein, in another 7 PRF committee, which -- the one in 2009 8 that we'd looked at earlier, and in part 9 because Dr. Di Monte himself had told us 10 that the up-regulation of alpha- 11 synuclein, interestingly, when it was 12 caused by MPTP, actually resulted in a 13 protection of effects caused by paraquat, 14 which was an interesting finding. 15 It was part of him himself, 16 Dr. Di Monte, saying he was not able to 17 fully ascertain whether that finding was 18 adverse. 19 MR. TILLERY: I move to strike your 20 answer as unresponsive. 21 BY MR. TILLERY: 22 Q. Let's finish the deposition for 23 today with this question: Did you report these 24 findings to the US EPA or to any other 25 regulatory body in the world?</p> |
| <p style="text-align: right;">Page 828</p> <p>1 A. I just want to -- need to clarify 2 that. The consideration here did not 3 include -- although it was mentioned, 4 it did not include the alpha-synuclein. 5 This was specifically, as it says here, about 6 the kinetics of paraquat in the brain. So the 7 presence in the brain was the subject matter 8 here. 9 Q. But you also noticed previously 10 the reference to alpha-synuclein on the front 11 page, right? 12 A. Yeah, it was mentioned but that was 13 not the essence of the reason for the 14 questions to the committee. 15 Q. Yes, but you understand the 16 significance of something in a general sense 17 whether it causes people to get sick. 18 The question is whether or not you're dealing 19 with a product that's potentially very 20 dangerous to people. A regulatory body is 21 looking out for the welfare of people like 22 Carroll Rowan, Freemon Schmidt, Jerry Mills, 23 Ronald Niebruegge. 24 Are you saying that just because 25 it didn't fit in the right box you shouldn't</p> | <p style="text-align: right;">Page 830</p> <p>1 A. Could you please define which 2 findings specifically? 3 Q. That were -- all of those findings 4 set out in that PRF report. Did you report 5 them? 6 A. In this report, this was regarding 7 the kinetics, and I do not believe that these 8 were reported to regulatory authorities. 9 Q. Have they ever been reported? 10 A. I'm not -- I don't believe they 11 were. 12 Q. Okay. Up to this day they haven't, 13 right? 14 A. Well, I think we would need to 15 double-check that. I think that is the case 16 but we would need to check. 17 Q. Is it Syngenta's position that 18 paraquat is neuroprotective and it actually 19 prevents problems in the brain? 20 A. No, no. And if you were referring 21 to my previous comment, what I was saying 22 there was that it appeared -- that it was MPTP 23 that might have been neuroprotective, not 24 paraquat. 25 Q. All right. Thank you, sir.</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

Page 831

Page 833

MR. TILLERY: No further questions for today. We will resume tomorrow at the time that your counsel tells us. Would that be 4:00 a.m. Central time, counsel?

MR. NARESH: That's fine. Same as today's fine with us.

MR. TILLERY: Thank you very much. Thank you, sir.

THE VIDEOGRAPHER: We are going off the record. The time is 6:17. (The deposition concluded for the day.)

ERRATA SHEET
TRANSPERFECT DEPOSITION SERVICES
216 E. 45th Street, Suite 903
NEW YORK, NY 10017
(212) 400-8845
CASE: Diana Hoffmann, et al., versus Syngenta Crop Protection, LLC, et al.
DATE: June 17, 2020
WITNESS: Philip Botham REF: 27625

| PAGE | LINE | FROM | TO |
|------|------|------|----|
| 8 | | | |
| 9 | | | |
| 10 | | | |
| 11 | | | |
| 12 | | | |
| 13 | | | |
| 14 | | | |
| 15 | | | |
| 16 | | | |
| 17 | | | |
| 18 | | | |
| 19 | | | |

Philip Botham
Subscribed and sworn to before me
this ____ day of _____, 20____
Notary Public

Page 832

Page 834

CERTIFICATE OF WITNESS

I, PHILIP BOTHAM, declare that I have read the entire transcript of Volume III of my deposition testimony, or the same has been read to me, and certify that it is a true, correct and complete record of my testimony given on Wednesday, June 17, 2020, save and except for changes and/or corrections, if any, as indicated by me on the attached Errata Sheet, with the understanding that I offer these changes and/or corrections as if still under oath.

Signed _____
Philip Botham

Signed and subscribed to before me.
this ____ day of _____, 20____

Notary Public

REPORTER CERTIFICATE

I, LEAH WILLERSDORF, Accredited Verbatim Reporter, Member of the British Institute of Verbatim Reporters (Accreditation No. 166) and Qualified Realtime Reporter (Level 2), International Participating Member NCRA (USA), do hereby certify that: PHILIP BOTHAM appeared remotely before me via Zoom on Wednesday, June 17, 2020, was sworn by me, and was thereupon examined by counsel; that the foregoing is true and accurate to the best of my knowledge, skill and ability; that the testimony of said witness was taken and reduced to stenotype writing before me; that I am neither counsel for, related to, nor employed by any of the parties to the action in which this deposition was taken; and further, that I am not a relative or employee of any attorney or counsel employed by the parties thereto; nor financially or otherwise interested in the outcome of the action.

IN WITNESS WHEREOF I have hereunto set my hand this June 26, 2020.

LEAH M. WILLERSDORF
Accredited Verbatim Reporter,
Member of the British Institute
of Verbatim Reporters - Accreditation No. 166,
Qualified Realtime Reporter (Level 2),
International Participating Member NCRA (USA)

85 (Pages 831 to 834)

IN THE CIRCUIT COURT
TWENTIETH JUDICIAL CIRCUIT
ST. CLAIR COUNTY, ILLINOIS

DIANA HOFFMANN,)
individually and as)
Independent Administrator)
of the Estate of THOMAS R.) No. 17-L-517
HOFFMANN, Deceased, et al.,)
)
Plaintiff,)
)
v.)
)
SYNGENTA CROP PROTECTION,)
LLC, et al.,)
)
Defendants.)

- - - -

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

VIDEOTAPED ZOOM DEPOSITION OF
SYNGENTA CROP PROTECTION, LLC

PHILIP BOTHAM
(Volume IV - pages 835-1119 inclusive)

Thursday, June 18, 2020

Berkshire, England,
United Kingdom
(Deponent's location)

Reported by:
LEAH M. WILLERSDORF,
(AVR, MBIVR No. 166,
QRR2, International
Participating Member NCRA.)

Job No. 27627

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|---|--|
| <p style="text-align: right;">Page 836</p> <p>1 2 3 4 5 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25</p> <p style="text-align: center;">June 18, 2020</p> <p style="text-align: center;">10:15 a.m. (British Summer Time)</p> <p>Zoom videotaped deposition of SYNGENTA CROP PROTECTION, LLC - Philip Botham, Berkshire, England, United Kingdom, reported remotely via videoconference before Leah Willersdorf, Accredited Verbatim Reporter, Member of the British Institute of Verbatim Reporters (Accreditation No. 166), Qualified Realtime Reporter (Level 2), International Participating Member NCRA (USA).</p> | <p style="text-align: right;">Page 838</p> <p>1 2 3 4 5 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25</p> <p style="text-align: center;">APPEARANCES (all via Zoom videoconference)</p> <p>For the Defendant CHEVRON USA, INC.:</p> <p style="text-align: center;">HUSCH BLACKWELL LLP</p> <p>BY: JOSEPH ORLET, Esq.</p> <p style="text-align: center;">4801 Main Street Suite 1000 Kansas City, MO 64112</p> <p>Telephone: (816) 983 8295 Email: joseph.orlet@huschblackwell.com</p> <p>ALSO PRESENT:</p> <p>Khalidoun Baghdadi - Walkup, Melodia, Kelly & Schoenberger - Plaintiff's co-counsel)</p> <p>Nicole Graham - Korein Tillery, LLC Juanita Brumitt - Korein Tillery, LLC Mark Smith - Syngenta in-house counsel Wendy Vincir - Videographer</p> |
| <p style="text-align: right;">Page 837</p> <p>1 2 3 4 5 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25</p> <p style="text-align: center;">APPEARANCES (all via Zoom videoconference)</p> <p>On behalf of Plaintiffs:</p> <p>KOREIN TILLERY, LLC BY: STEPHEN M. TILLERY, Esq. ROBERT L. KING, Esq. ROSEMARIE FIORILLO, Esq. One US Bank Plaza 505 N. 7th Street Suite 3600 St. Louis, MO 63101</p> <p>Telephone: (314) 241 4844 Email: stillery@koreintillery.com rking@koreintillery.com rfiorillo@koreintillery.com</p> <p>On behalf of Defendant SYNGENTA CROP PROTECTION, LLC:</p> <p>KIRKLAND & ELLIS LLP BY: RAGAN NARESH, P.C. 1301 Pennsylvania Avenue, N.W. Washington, DC 20004 Telephone: (202) 389 5267 Email: ragan.naresh@kirkland.com</p> <p>For the Defendant GROWMARK, INC.:</p> <p>STEPTOE & JOHNSON, LLP BY: ANTHONY HOPP, Esq. 227 West Monroe Street Suite 4700 Chicago, IL 60606 Telephone: (312) 577 1249 Email: ahopp@steptoe.com</p> | <p style="text-align: right;">Page 839</p> <p>1 2 3 4 5 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25</p> <p style="text-align: center;">WITNESS INDEX</p> <p>Witness: Page PHILIP BOTHAM (Volume IV)</p> <p>Examination by Mr. Tillery, continued 861</p> |

2 (Pages 836 to 839)

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| Page 840 | | | Page 842 | | |
|----------|----------------|---|----------|---|---|
| 1 | EXHIBITS INDEX | | 1 | EXHIBITS PREVIOUSLY MARKED | |
| 2 | Botham | Description | 2 | Botham | Description |
| 3 | Exhibit No. | Page | 3 | Exhibit No. | Page |
| 4 | Exhibit 80 | Wording of FIFRA section 6(a)(2), 7 U.S.C. section 136d(a)(2) (No Bates, 1 page) | 4 | Exhibit 38 | Product Safety Technical Evaluation - Claimed Links Between Exposure to Paraquat and Development of Parkinson's Disease - A Consideration of the Potential Implications for Reference Doses," dated September 2009 (502(d)-000416.001 - .0018) [Confidential - Paraquat Litigation] |
| 5 | Exhibit 81 | Wording of 7 U.S.C. section 136(bb) - Unreasonable adverse effects on the environment (No Bates, 1 page) | 5 | | |
| 6 | Exhibit 82 | Wording of 18 U.S.C. section 1001(a) | 6 | | |
| 7 | | | 7 | | |
| 8 | Exhibit 83 | Wording of 40 C.F.R. section 159.158(a) - What information must be submitted (No Bates, 1 page) | 8 | | |
| 9 | Exhibit 84 | Wording of 40 C.F.R. section 159.153(b) - Qualified expert (No Bates, 1 page) | 9 | Exhibit 36 | Syngenta slide deck entitled "Paraquat & Parkinson's disease - Atlanta meeting Feb 13th-14th 2008" (SYNG-PQ-00105713 - 754) |
| 10 | Exhibit 85 | Wording of 40 C.F.R. section 159.165(a)(1) and (2) - Toxicological and ecological studies (No Bates, 1 page) | 10 | | |
| 11 | Exhibit 86 | Wording of 40 C.F.R. section 159.195(a) and (b) - Reporting of other information (No Bates, 1 page) | 11 | | |
| 12 | Exhibit 87 | Wording of 40 C.F.R. section 159.165(d)(1), (2) and (3) - Toxicological and ecological studies (No Bates, 1 page) | 12 | | |
| 13 | | | 13 | | |
| 14 | | | 14 | | |
| 15 | | | 15 | | |
| 16 | | | 16 | | |
| 17 | | | 17 | | |
| 18 | | | 18 | | |
| 19 | | | 19 | | |
| 20 | | | 20 | | |
| 21 | | | 21 | | |
| 22 | | | 22 | | |
| 23 | | | 23 | | |
| 24 | | | 24 | | |
| 25 | | | 25 | | |
| Page 841 | | | Page 843 | | |
| 1 | EXHIBITS INDEX | | 1 | (On the record at 10:15 a.m.) | |
| 2 | Botham | Description | 2 | THE VIDEOGRAPHER: This is | |
| 3 | Exhibit No. | Page | 3 | Volume IV of the videotaped deposition of | |
| 4 | Exhibit 88 | Syngenta slide deck entitled "Parkinson's Disease - What can Syngenta say about the issue?" (SYNG-PQ-00481037 - 1054) | 4 | Dr. Philip Botham, in the matter of Diana Hoffmann, individually and as Independent Administrator of the Estate of Thomas R. Hoffmann, Deceased, et al., versus Syngenta Crop Protection, LLC, et al. | |
| 5 | Exhibit 89 | Syngenta slide deck entitled "Paraquat Update," Syngenta Executive Committee Meeting, November 9, 2009 (SYNG-PQ-13131087 - 1106) [Confidential - Paraquat Litigation] | 5 | In the Circuit Court, Twentieth Judicial Circuit, St. Clair County, Illinois, Case No. 17-L-517. | |
| 6 | Exhibit 90 | Syngenta document headed "Health Assessment Position - Paraquat: Effects on the nervous system and suggested link to Parkinson's disease," May 2007 (SYNG-PQ-00477567 - 7598) | 6 | This deposition is being held remotely via Zoom on June 18, 2020, at 10:15 a.m. | |
| 7 | Exhibit 91 | Composite exhibit of many documents (SYNG-PQ-01586117 - 606) [Confidential - Paraquat Litigation] | 7 | My name is Wendy Viner from TransPerfect, and I am the legal video specialist. The court reporter today is Leah Willersdorf, also with TransPerfect. | |
| 8 | Exhibit 92 | Draft January 25, 2008 "Agenda for the PQ Scientific Review Meeting, Westin Peachtree Plaza Hotel, Tower Room, Atlanta, Georgia" (SYNG-PQT-ATR-16995053) [Confidential - Paraquat Litigation] | 8 | Counsel, would you please introduce yourself for the record. | |
| 9 | Exhibit 93 | Document headed "Action Notes from Atlanta Meeting 13-14 February 2008" (502(d)-022360.001 - .004) [Confidential - Paraquat Litigation] | 9 | MR. TILLERY: For the plaintiff, Stephen Tillery of Korein Tillery. | |
| 10 | | | 10 | MR. NARESH: For Syngenta, Ragan Naresh, Kirkland & Ellis. | |
| 11 | | | 11 | THE VIDEOGRAPHER: Thank you. | |
| 12 | | | 12 | | |
| 13 | | | 13 | | |
| 14 | | | 14 | | |
| 15 | | | 15 | | |
| 16 | | | 16 | | |
| 17 | | | 17 | | |
| 18 | | | 18 | | |
| 19 | | | 19 | | |
| 20 | | | 20 | | |
| 21 | | | 21 | | |
| 22 | | | 22 | | |
| 23 | | | 23 | | |
| 24 | | | 24 | | |
| 25 | | | 25 | | |

3 (Pages 840 to 843)

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|--|--|
| <p style="text-align: right;">Page 844</p> <p>1 Could I ask the court reporter to please 2 swear in the witness and we can proceed. 3 PHILIP BOTHAM, 4 was duly re-sworn. 5 MR. TILLERY: Before we begin, 6 we have a housekeeping matter to take up 7 with Mr. Naresh. Today, in this outline, 8 which is a continuation of what 9 we started in the second half of the 10 deposition yesterday, are contained about 11 three or four of the documents that you 12 seek to claw back, and that clawback 13 comes, of course, about eight months 14 after the documents were turned over 15 to us and several months after a 502(d) 16 agreement was reached and where the same 17 documents, at least as far as I know, 18 were disclosed pursuant to that same 19 stipulation and order regarding 502(d) 20 disclosures. 21 Now, on the eve of this deposition, 22 Syngenta has sought to pull back 23 documents that are important to this 24 analysis, and I would think that 25 would be very disruptive to the form of</p> | <p style="text-align: right;">Page 846</p> <p>1 It's a bad time for us obviously because 2 of the disclosure of expert witnesses 3 occurring on July 10. We would, however, 4 endeavor to present this to the court as 5 quickly as possible. 6 So I see the other alternative 7 being to have a hearing -- go through as 8 far as we can this morning, alert the 9 court and have a hearing this afternoon 10 so it doesn't disrupt this deposition. 11 It will disrupt my questioning because 12 it's going to pause it. If you don't 13 stipulate that I can question subject 14 to adequate protections so that if the 15 court rules against us, then that would 16 impact the transcript sections applicable 17 to that exhibit and the use of the actual 18 exhibit. 19 So given the fact that these 20 documents were in our hands now for 21 literally three-fourths of the year, and 22 then on the eve of the trial they're 23 sought to be pulled back right after 24 we've had Dr. Botham's deposition dates 25 secured for now over a month, and his</p> |
| <p style="text-align: right;">Page 845</p> <p>1 this deposition transcript and to my 2 questioning were these documents to be 3 pulled back and not used in this 4 deposition. 5 I am trying to work out a 6 resolution that's comparable with the 7 good faith we used in negotiating the 8 502(d) order and to be able to use these 9 documents. 10 There appear to be several options 11 available to us. One would be to suspend 12 this deposition and get an immediate 13 court hearing today to get a ruling on 14 your objections to our use and your 15 attempted clawback of documents that 16 we've held on our files for almost a year 17 now. 18 The second would be to try to work 19 around this and use those in this 20 deposition, subject to adequate 21 protections for Syngenta, until an order 22 can be achieved or reached with the court 23 by our presentation of the matter, 24 or yours, whichever, in the coming week 25 or two or three, whatever we need to do.</p> | <p style="text-align: right;">Page 847</p> <p>1 dep notice, seems to me something that 2 I would think that I would like 3 to present to the court. 4 So I enlist your response as to how 5 you wish to proceed and we'll do whatever 6 makes sense, but those are, I think, 7 options. If you have other options, 8 please let me know, okay. 9 What is your position on this? 10 MR. NARESH: Sure. You know, since 11 we're on the record, I disagree with your 12 characterization of the sequence of 13 events but I don't know that 14 we necessarily need to go through all 15 that, given that we do have a limit in 16 time on the deposition. But, for the 17 record, I do disagree with that and 18 I will note that our clawback was more 19 than a week ago and it was your response 20 that we received the day before the 21 deposition; it was not our email to you 22 the day before the deposition. 23 But, in any event, I think one -- 24 a fourth option would be -- I don't know 25 which documents you're intending to use.</p> |

1 which documents are in your outline, but
2 one alternative would be if you were
3 to send those to us now, or give me the
4 Bates numbers or whatever the numbers are
5 now, I will -- I can -- my client is here
6 with me on this call, I know he will be
7 in and out today, but I could speak with
8 him at a break about it, about which of
9 the three options or if there are other
10 options, but I don't agree to any of
11 those three options without the benefit
12 of knowing which documents you intend
13 to use.

14 MR. TILLERY: Well, these are the
15 Jeff Wolff memoranda, Fulbright &
16 Jaworski memoranda, the ones that you
17 basically have produced these multiple
18 different times and ways and even
19 produced them in the 502. It's the 2008
20 memorandum.

21 That -- and Mr. King is on this
22 deposition and he could respond with
23 others, but there are about three that
24 you've sought to claw back in the last
25 week or two. I think Friday before last

1 you sent out a letter and said you want
2 these back, and these were subject to
3 a 502 stipulation. I mean, the whole
4 idea of a 502 is you're turning over
5 documents that fall into this category.
6 Now, on the eve of this dep, you're
7 pulling them back.

8 This will be disruptive and,
9 you know, the only -- another alternative
10 would be for you to agree to produce --
11 I will ask that Dr. Botham be produced
12 again if we're not going to be able
13 to use these documents in this
14 deposition.

15 So if you want a break right now,
16 I would suggest rather than waiting and
17 disrupting the flow of my questioning,
18 and talk to your colleagues, I'm happy
19 to do that, but I think that otherwise
20 we need to have a hearing.

21 Now, if the court can't afford us
22 an opportunity to have an emergency
23 hearing, then we're going to have to do
24 one as early as he possibly can, perhaps
25 tomorrow morning.

1 But the fact is, is that we need
2 to select one of the alternatives and one
3 of the alternatives also, as I said, is
4 we can work around those and not use
5 them, but it's going to disrupt a
6 whole -- two whole sections, which
7 would be, I don't know, the major part of
8 a day of questioning, and then if that's
9 the case, then he certainly is going
10 to have to have -- we're going to have
11 to have him back to finish the dep.

12 Dr. Botham told me yesterday he
13 won't be leaving the company until the
14 early fall, I believe were the words
15 he used, and we would accommodate his
16 schedule for doing that, until -- that
17 would allow you an opportunity to flesh
18 out your concerns about those documents
19 being used at all with the court.

20 But one of these alternatives needs
21 to be grabbed and agreed to right now
22 before we start this dep because that's
23 right what I'm going into next. So --

24 MR. NARESH: So when you --

25 MR. TILLERY: -- I urge you to talk

1 to your colleague. Let's go offline and
2 see if we can't resolve it at this point
3 in time.

4 MR. NARESH: Well --

5 MR. TILLERY: The other thing is
6 it's 4:24 here, and in four hours I think
7 one of the people here could reach out
8 to the clerk and see if we could get time
9 today to have this issue resolved with
10 the court.

11 I just urge you to try to see what
12 you can do and then we'll go offline and
13 wait until you come back in and tell us
14 you have some suggestion about how you
15 wish to proceed. Okay?

16 MR. NARESH: Well, can I just ask
17 you, Steve, when you say we need
18 to select one of the alternatives and one
19 of the alternatives is that we can work
20 around those and not use them, can you
21 just tell me so I understand what you're
22 envisioning?

23 MR. TILLERY: Well, I don't know
24 yet because I don't know how much that
25 would disrupt the flow.

| | |
|---|---|
| <p style="text-align: right;">Page 852</p> <p>1 As you know from the way I take 2 Dr. Botham's deposition, I follow 3 a pattern of sequential series of 4 questions, that the questions at part 3 5 build upon questions of part 2 and 6 part 1, and if you pull out the major 7 sections it's going to disrupt this 8 deposition. 9 Now, that means that I -- you know, 10 I can't -- I effectively can't use the 11 outline that's been prepared over the 12 last 120 days. So the fact is, is that 13 the answer needs to be resolved. 14 I'm open to any suggestions. We'll 15 be reasonable with you in whatever you 16 guys decide you want to do, but the -- 17 but pulling these documents ten days or 18 so before a deposition is resumed when 19 it's pretty clear that these are 20 documents that I want to question about, 21 that is something that, you know, we need 22 to resolve. 23 I mean, I don't know which of these 24 you want to do, but we're willing to 25 consider any of these options to make</p> | <p style="text-align: right;">Page 854</p> <p>1 So I would prefer not to have to do 2 that, I think all of us would, so let's 3 try to work it out. 4 Would you mind taking a few minutes 5 and speaking to Mr. Smith and seeing 6 if you can reach accord on how you want 7 us to handle it, okay? 8 MR. NARESH: Sure. 9 Wendy, would you mind putting us in 10 our breakout rooms. 11 THE WITNESS: Ragan, do you need me 12 to be in that breakout room or not? 13 MR. NARESH: No, you don't need 14 to join this one. 15 THE WITNESS: Okay, thank you. 16 THE VIDEOGRAPHER: So the same 17 breakout room as yesterday? 18 MR. NARESH: Yes, but, Phil, you 19 don't need to join. 20 THE WITNESS: No, okay. So I'll 21 just stand by. 22 THE VIDEOGRAPHER: Okay. We are 23 going off the record. The time is 10:27. 24 (Off the record.) 25 (On stenographic record only at</p> |
| <p style="text-align: right;">Page 853</p> <p>1 this work. We don't want to do, in any 2 way, anything that will disrupt the 3 court's order, and by you issuing 4 a clawback letter for documents that were 5 already in our hands under a 502 stip, 6 that's a very unusual circumstance for 7 the court. The whole purpose of the 8 stipulation, as you know, was to avoid 9 these issues, and then we get, before the 10 dep, documents that are on the 502 stip 11 pulled back, or clawed back. 12 I mean, forgive me for saying so 13 but that appears -- has a very, very 14 strong ring of gamesmanship to it and 15 I don't want to sink to that level at 16 this point because I'd like to do this 17 amicably. 18 So I urge you to try to do this now 19 or it puts us in the situation where 20 we have to do something with the court as 21 soon as possible. 22 Judges in this part are loath to do 23 emergency hearings, so that's my 24 reluctance to even make that call, 25 because I don't want to do it, but okay.</p> | <p style="text-align: right;">Page 855</p> <p>1 10:54 a.m.) 2 MR. NARESH: Steve, we had a chance 3 to confirm, my client and I, and here's 4 what we propose. A couple of things. 5 First, our view is that this is 6 attorney work product, the memos that 7 you referenced, and attorney work product 8 is not covered by the 502(d) stipulation, 9 so that's where we're coming from on 10 that. 11 My understanding is that Dr. Botham 12 doesn't know these documents anyway and 13 that he's unlikely to be able to -- that 14 he hasn't -- we certainly haven't shown 15 him those documents in review or 16 otherwise, and I don't believe that he 17 received them previously, though I -- you 18 know, I haven't read every email so I 19 don't know what he could add to that. 20 All that said, I think that the 21 path forward that we propose here is for 22 you to try to work around those documents 23 in your questioning and if, at the end of 24 the deposition or the end of today, 25 or even a lunch break, you feel like</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 856</p> <p>1 you've been deprived of a full and fair 2 opportunity to question Dr. Botham, then 3 we could discuss how best to present this 4 to the court, whether it's in the next 5 day or two or on a more reasonable time 6 period, that we go that way. If that 7 means that Dr. Botham needs to be 8 available for a later deposition, 9 depending on how the court rules, then 10 we would make him available at a time 11 suitable for everybody. 12 MR. TILLERY: Okay. As long as 13 we're understanding that we could at 14 least get some date between now and the 15 next six weeks, because here's the 16 reason: I know Dr. Botham's a busy guy 17 and I want to make sure we get it done 18 before we get in the middle of these 19 expert depositions and all this. 20 I'm willing to accept those terms, 21 not raise these or use these documents 22 today and, to tell you the truth, I don't 23 know, other than that one, I want to make 24 sure and consult with Mr. King and make 25 sure that there's nothing else in this</p> | <p style="text-align: right;">Page 858</p> <p>1 I would like an agreement that as soon as 2 the court rules, we would be able to get 3 his deposition. 4 MR. NARESH: The reason I asked for 5 Mr. Botham to join that was to get 6 a sense of his schedule and I think the 7 back half of July or, you know, 8 mid-to-late July would work for the 9 various folks involved, if that works. 10 MR. TILLERY: Then we're willing 11 to accept those terms. Okay, all right. 12 I will tell Dr. Botham, I have 13 nothing against him personally, it's just 14 that, you know, we've seen a lot of 15 Dr. Botham; I'd like to get this thing 16 wrapped up so that's all I'm asking, 17 okay. 18 So let's proceed and I'll work 19 around them. I will need a couple of 20 minutes before we get started to avoid 21 any even potential miscue by referencing 22 those documents in this, and we'll just 23 figure out a way to cut those sections 24 out of the deposition. 25 So we'll have to go offline where</p> |
| <p style="text-align: right;">Page 857</p> <p>1 outline that would be -- we certainly 2 don't want to run afoul of the protective 3 order or any ruling of the court. 4 That's not our intention. 5 So we'll go ahead and work around 6 them. It's going to disrupt it, but with 7 the understanding that we can have him, 8 depending on how the court rules. 9 And it may be that we can't finish 10 anyway. I think I have a very large 11 amount of material to cover, and whether 12 we finish or not tomorrow is another 13 issue. 14 But I would certainly want 15 an agreement that we have him by 16 1 August. We could do this, and my 17 preference would be to do it in the first 18 couple of weeks of July but it's going 19 to take a while to present this to the 20 court. 21 I think a more fulsome view of the 22 court -- by the court would be the best 23 way to do it where we present -- 24 everybody presents their positions on 25 these and then we get a ruling, but</p> | <p style="text-align: right;">Page 859</p> <p>1 I can speak. So if you wouldn't mind, 2 put the plaintiffs in a chatroom so that 3 I can speak directly to Mr. King. 4 And that would be Mr. King and whoever 5 else you have from yesterday. I don't 6 know if Khaldoun Baghdadi is on the call, 7 or Rosemarie Fiorillo, if she's on the 8 call, but Mr. King, those people should 9 go into our chat, please. 10 THE VIDEOGRAPHER: Okay. I'll do 11 that right now. 12 (Off the stenographic record at 13 10:58 a.m.) 14 (On the stenographic record only 15 at 11:14 a.m.) 16 MR. TILLERY: We've been through 17 and we're able to do this: I think we 18 can try to do a workaround. It's going 19 to -- certainly, it's going to leave big 20 sections open. So we think we can 21 proceed without a hearing now and we can 22 then leave out those sections and the 23 whole line on all that, pending what the 24 court rules later, and then instead of 25 an emergency hearing, which I would very,</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 860</p> <p>1 very, very much like to avoid, then 2 we can tee this up at the appropriate 3 time in the next couple of weeks and have 4 a hearing. Then, depending on how the 5 court rules, then we'll resume 6 Dr. Botham's deposition. 7 It may be we don't finish it 8 anyway, so I think that's something 9 we may need anyway. So we're willing 10 to do that and we're ready to proceed 11 with the deposition. Okay. 12 MR. NARESH: Okay. Stephen, can 13 we agree to confer on -- after you've 14 completed or gone through the end of the 15 day tomorrow, confer on, say, Monday 16 morning and we can see if we can work out 17 a schedule for filing briefs and whatnot? 18 Does that sound okay? 19 MR. TILLERY: Of course. Of 20 course, yes. Now, Monday morning I have 21 a deposition with you -- 22 MR. NARESH: Right. 23 MR. TILLERY: And Mr. Oats -- and 24 I'm sorry, I think it's Mr. Ouzt, I keep 25 pronouncing it wrong. I'm happy to talk</p> | <p style="text-align: right;">Page 862</p> <p>1 A. Yes, I am. 2 Q. All right. And, again, we talked 3 about what our expectations are, and just so 4 we're clear, during the deposition you have the 5 opportunity to speak to counsel at breaks, 6 et cetera, and after the day. But while 7 questions are pending, we have to assume that 8 the deposition would take place in exactly the 9 same way it would be if all representing 10 counsel -- all counsel representing parties 11 were present in that same room. 12 So can you assure us there's 13 no electronic issues or communications or any 14 kind of devices that would violate what 15 we agreed to yesterday? Does that continue 16 today? 17 A. I can reassure you that that is 18 still the case. 19 Q. And is -- that also applies to the 20 fact that there is nobody else there with you, 21 right? 22 A. There is nobody else here with me 23 in Jealott's Hill, no. 24 Q. All right. 25 So let's proceed, and I want to go</p> |
| <p style="text-align: right;">Page 861</p> <p>1 to you before. We'll be starting at 2 9 o'clock your time so we can discuss 3 that early -- or Sunday is fine with me 4 as well. Okay? 5 MR. NARESH: Okay. 6 MR. TILLERY: Either way. 7 So we're back on now. The 8 videographer can start again, please. 9 THE VIDEOGRAPHER: We are back on 10 record. The time is 11:15. 11 MR. TILLERY: Where is Dr. Botham? 12 THE WITNESS: I'm here. 13 EXAMINATION ON BEHALF OF PLAINTIFFS: 14 (continued) 15 BY MR. TILLERY: 16 Q. Okay. We finally have you on the 17 screen. All right. 18 Dr. Botham, are you ready to proceed 19 with the deposition? 20 A. Yes. Yes, I'm ready. 21 Q. All right. All right. 22 As I started the deposition 23 yesterday, I asked you, of course, where you 24 are. You're at Jealott's Hill in the same 25 facility, I think, right?</p> | <p style="text-align: right;">Page 863</p> <p>1 back -- yesterday we were -- because you had 2 to leave the building at a designated time, 3 we didn't really take the time to go through, 4 on the record, a couple of exhibits; not really 5 questions so much as just identifying and 6 looking at exhibits and to identify what those 7 mean and show them on this record so that they 8 can be seen. 9 I'm going to ask that Exhibit 77 10 be pulled up so you can take a look at this. 11 We're going to pull it up page by page here and 12 on the screen. 13 This, if you remember, was the -- 14 what's called PQ Kinetic Study Program and it's 15 dated June 2009. We referenced this yesterday. 16 Do you remember? 17 A. I do, yes, and I can see that now, 18 thank you. 19 Q. All right. Can you see that? 20 A. Yes, I can see this. 21 Q. All right. Just very quickly, 22 I want to go through this study, okay. 23 This was a presentation by Mr. Travis, wasn't 24 it? 25 A. Yes, that's correct.</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 864</p> <p>1 Q. All right. So if we go -- were you 2 at this presentation? 3 A. I believe I was. 4 Q. All right. If we could go to page 2 5 now of this document. What are we just seeing 6 here generally? 7 A. This is a -- just a conceptual 8 representation that in order for a 9 toxicological effect to occur, you've got to 10 actually have a biological mechanism by which 11 that operates, the mode of action, and of 12 course you need to have an amount of the 13 toxicant present, a dose of the toxicant 14 present, and that's the kinetic. 15 So it's a high-level conceptual 16 representation. 17 Q. Over the next page, then. We're 18 going to move through it rather quickly. 19 Again, what's the gist of this page? 20 A. So this is looking specifically 21 at the biological mechanism, not the kinetic 22 side. So if there are effects seen in 23 a toxicity study, do we understand -- MoA 24 means mode of action, do we understand the 25 biological basis for that. If we do, can</p> | <p style="text-align: right;">Page 866</p> <p>1 presentation? 2 A. As a way of describing how we would 3 intend to approach conducting a kinetic study 4 as part of our research program. So it was 5 really just an educational briefing for the 6 team. 7 Q. Okay. To the next slide, please. 8 And what is this? 9 A. So this is measuring the amount of 10 paraquat -- so this is -- I'm not sure if this 11 is real data, I don't recall. But let's, for 12 the sake of argument, say this is real data. 13 So if you give a single dose of 14 paraquat to the mouse model, then this shows 15 that if you take plasma samples, blood 16 samples, at various points in time the levels 17 of paraquat that you see in the plasma and 18 that declines over time. 19 Q. All of this has really been 20 discussing different types of analyses involved 21 in paraquat, right? 22 A. It is, yes. 23 Q. Yes. So you're studying different 24 types of analyses that could be used for 25 determining the impact of paraquat and how you</p> |
| <p style="text-align: right;">Page 865</p> <p>1 we then start to establish if there's 2 a relevance for human beings inasmuch as could 3 the mode of action that's been described in 4 animals actually take -- actually occur in 5 humans. 6 And then finally, at the bottom, 7 the kinetics comes back in. It may be 8 plausible for this to happen, but are the 9 concentrations, the doses that humans ever see 10 mean that that would ever actually happen in 11 practice. 12 Q. And then the next page, please. 13 A. So this is, again, just a 14 conceptual representation of how you would go 15 about measuring the kinetics component of 16 this, how much material an animal would see in 17 this particular case and how you would then 18 relate the specific amount of chemical that 19 gets into the brain to, in this particular 20 case also, the loss of neurones in the brain. 21 So it's just to illustrate a 22 concept rather than it being real data. 23 Q. And what was the purpose of this 24 particular slide in terms of educating the 25 overall group of scientists at the</p> | <p style="text-align: right;">Page 867</p> <p>1 would measure it, right? 2 A. Yeah, this is specifically 3 measuring how much paraquat and, yes, how and 4 when you'd measure that. 5 Q. Okay. The next slide. What's here, 6 please. 7 A. Now we've moved on from a single 8 dose to giving three doses, three weekly doses 9 which, if you remember, is the dose regimen 10 used in some of the studies that we have been 11 talking about in the past that others have 12 used and we had used. So three weekly doses 13 of either -- of either 10 or 1 milligram per 14 kilogram of paraquat, and what happens here 15 to the levels of paraquat in the plasma or 16 in the brain, and this, in this particular 17 case, is the brain. 18 Q. If you wouldn't mind at this point 19 going to the next page, please. 20 A. And then this is, again, looking 21 over a longer period of time, so three times 22 a week, then two times a week, for 7 to 36 23 doses. So, again, it's a representation of 24 the way in which paraquat levels would be 25 seen, predicted and observed in that kind of</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 868</p> <p>1 multiple application in an animal model. 2 Q. If you could move forward to 858. 3 And if you would look at this. That's from 4 the same exhibit and this is page 18 of that 5 PowerPoint presentation. 6 Could you tell us again what is 7 represented here, please, sir. 8 A. So, yes. At this time, where 9 we had become aware from discussions with 10 Dr. Di Monte that we had the opportunity, with 11 his agreement, to analyze paraquat in the 12 brains of his nonhuman primate animals that 13 had been dosed with paraquat, and so this was 14 a slide which was setting out options for how 15 we might do that. 16 Q. All right. And these were 17 descriptions of different ways you could 18 analyze the monkey brains that he had, right? 19 A. Yes, that's -- that's correct, yes. 20 Q. All right. Let's go through the 21 second line there where it starts number 22 6 x 2.5 milligrams per kilogram. Explain that. 23 A. Right. That was the dosing regime 24 that was used by Dr. Di Monte. So if you 25 remember, a higher dose level of 5 milligrams</p> | <p style="text-align: right;">Page 870</p> <p>1 Q. What does that mean? 2 A. Well, we had already reached that 3 agreement, I think in the Marlow meeting that 4 we were talking about yesterday, so this -- 5 the basis on which Dr. Di Monte had agreed 6 we could do that analysis is obviously we 7 would let him have the results, and he had 8 indicated his intention to publish his study 9 and that that would include the brain analysis 10 that we're talking about here, the paraquat 11 analysis in brain. 12 Q. Was it ever published? 13 A. No, it appears that it was not ever 14 published. 15 MR. TILLERY: Now let's move 16 to 861. 17 MS. BRUMITT: The slide number? 18 MR. TILLERY: Sorry? 19 MS. BRUMITT: The slide number. 20 MR. TILLERY: Oh, 21. 21 BY MR. TILLERY: 22 Q. This references the human 23 microdosing study. What is this? 24 A. So in this -- just to go a step 25 back a minute, this whole presentation was</p> |
| <p style="text-align: right;">Page 869</p> <p>1 per kilogram was toxic to the nonhuman 2 primates, so the study proceeded at this lower 3 dose of 2.5, and so this is simply a 4 representation of the protocol for his study 5 that we discussed later. 6 Q. So the first several lines reference 7 how he did his studies and how he dosed the 8 monkeys, correct? 9 A. That's correct. 10 Q. And then at the middle of the page, 11 it says: 12 "If we did, the objective would be: 13 To establish if a significant PQ concentration 14 was present in the brains; The results would be 15 shared with di Monte and published; [and] More 16 elaborate kinetic objectives are tempting, but 17 problematic ..." 18 Okay. And then recites those 19 samples, okay? 20 A. Mmm. 21 Q. And it says: 22 "The results would be shared with 23 di Monte, and published." 24 Do you see that line? 25 A. Yes, I do.</p> | <p style="text-align: right;">Page 871</p> <p>1 actually a discussion of potentially -- of 2 a number of potential options for how 3 we conduct particularly kinetic studies in the 4 future as part of our research program. 5 So what you see here is one option 6 that was being considered. Rather like 7 we said yesterday, the issue, of course, 8 is that we are looking at a disease, 9 Parkinson's disease, which affects humans, and 10 whilst the nonhuman primate may be a better 11 model of that disease state than the rodent, 12 if there was a way in which we could have -- 13 get more information from the human being, 14 then clearly that would be potentially 15 advantageous and -- 16 Q. So -- 17 A. -- this is -- therefore, this was 18 a potential study design that we could adopt 19 if we wanted to look at a kinetic study in 20 human volunteers. 21 Q. So this would take six human 22 beings/volunteers and you would dose them with 23 small doses of paraquat, correct? 24 A. That's correct, yes. 25 Q. Okay. And where would you find six</p> |

| Page 872 | Page 874 |
|---|---|
| <p>1 people like that?</p> <p>2 A. I can't really answer that question</p> <p>3 right now because obviously we didn't go</p> <p>4 forward with this, as the record shows.</p> <p>5 This would have required a lot of questions</p> <p>6 to be asked and answered, including that one</p> <p>7 that you mention.</p> <p>8 So there are precedents for</p> <p>9 microdosing in human populations, but from</p> <p>10 an ethical perspective, which is one of the</p> <p>11 considerations I was talking about, then there</p> <p>12 would be -- it would not have been</p> <p>13 straightforward. So we didn't get to the</p> <p>14 point of asking where we would get volunteers</p> <p>15 from.</p> <p>16 Q. But you certainly considered a human</p> <p>17 microdosing study, didn't you?</p> <p>18 A. In this meeting, yes. Yes, we did.</p> <p>19 Q. Okay. And does this cover all the</p> <p>20 suggested topics for that microdosing study or</p> <p>21 were there more discussed?</p> <p>22 A. I don't recall whether there was</p> <p>23 anything else discussed. I --</p> <p>24 Q. And if you -- excuse me for</p> <p>25 interrupting you. Could you tell me, just in</p> | <p>1 Q. And -- sorry.</p> <p>2 A. Just to finish. So when it says</p> <p>3 that it would give a good prediction of brain</p> <p>4 concentrations, of course we would not in any</p> <p>5 way be measuring that directly; that would be</p> <p>6 a mathematical calculation extrapolating what</p> <p>7 we see in plasma to what might be in the</p> <p>8 brain.</p> <p>9 Q. And that's really -- you anticipated</p> <p>10 my next question, Dr. Botham. That's what</p> <p>11 I was going to direct you to, was under the</p> <p>12 Benefits, where it says, point 2:</p> <p>13 "Good prediction of brain</p> <p>14 concentrations and safety margins."</p> <p>15 I wanted to ask you about that.</p> <p>16 How were you going to measure brain</p> <p>17 concentrations and safety margins?</p> <p>18 A. So this is a well-known technology.</p> <p>19 It's called PBPK modeling. So because we</p> <p>20 would have, as part of what we were talking</p> <p>21 about in the earlier slides, kinetic studies</p> <p>22 in animal models, you would be able to use</p> <p>23 those data and compare the plasma and urine</p> <p>24 levels of paraquat in the human volunteer</p> <p>25 study, in a mathematical model, this PBPK</p> |
| Page 873 | Page 875 |
| <p>1 general terms, what that study, had you gone</p> <p>2 forward and used six human beings --</p> <p>3 A. Mmm.</p> <p>4 Q. -- to dose them with paraquat, would</p> <p>5 have included? What would the protocol for the</p> <p>6 study have been? If you could explain to</p> <p>7 people who aren't scientists like you, sir.</p> <p>8 A. Mmm. So it would involve giving</p> <p>9 extremely low doses of paraquat. So as this</p> <p>10 slide shows, we're talking about micrograms</p> <p>11 per kilogram compared to milligrams per</p> <p>12 kilogram that we see applied in the animal</p> <p>13 studies, so one-thousandth of the</p> <p>14 concentration that is given to animals.</p> <p>15 The way in which that would be</p> <p>16 applied would be either -- sorry, beginning</p> <p>17 with intravenous injection potentially, then</p> <p>18 an oral ingestion and then potentially dermal</p> <p>19 application, and that the amount of paraquat</p> <p>20 that appeared in plasma by taking a blood</p> <p>21 sample and the amount that was excreted in</p> <p>22 urine, so a urine sample would be taken, would</p> <p>23 be -- those samples would all be analyzed</p> <p>24 to give us an understanding of how paraquat</p> <p>25 was being handled in humans, and of course --</p> | <p>1 model, where you've actually measured the</p> <p>2 amount of paraquat in the animal model but</p> <p>3 obviously you can't do so in the brain but</p> <p>4 the mathematics would allow you to extrapolate</p> <p>5 to how much might be getting into the human</p> <p>6 brain.</p> <p>7 Q. Did anybody at this meeting stand up</p> <p>8 and say, oh, what about redox cycling if even</p> <p>9 a molecule of the stuff gets into the</p> <p>10 substantia nigra?</p> <p>11 A. I don't recall whether that was</p> <p>12 the conversation that was had, but -- and,</p> <p>13 to restate, we're talking here about a very,</p> <p>14 very minute dose of paraquat, which is highly</p> <p>15 unlikely to have such an effect.</p> <p>16 Q. Okay. But, to your knowledge,</p> <p>17 no one stood up and mentioned redox cycling in</p> <p>18 this meeting, right?</p> <p>19 A. I don't recall whether that was</p> <p>20 said.</p> <p>21 Q. Okay. Let's move to Exhibit 78 from</p> <p>22 yesterday. This is towards the very end again,</p> <p>23 we were moving through these very quickly and</p> <p>24 I wanted to come back to them.</p> <p>25 A. Okay. Yes, I can see that.</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 876</p> <p>1 thank you.</p> <p>2 Q. Do you remember this exhibit, sir?</p> <p>3 A. I do.</p> <p>4 Q. All right. Instead of me asking</p> <p>5 questions, why don't you take a look at this</p> <p>6 and tell us what it is.</p> <p>7 A. So this is the final report of the</p> <p>8 analysis that was conducted, that we were</p> <p>9 talking about earlier, of the amount of</p> <p>10 paraquat in samples of brain taken from the</p> <p>11 nonhuman primates study conducted by</p> <p>12 Dr. Di Monte.</p> <p>13 Q. And the author of this is a</p> <p>14 William Ray, PhD, right?</p> <p>15 A. That's correct.</p> <p>16 Q. Where was Dr. Ray located?</p> <p>17 A. He was in the Syngenta laboratories</p> <p>18 in Greensboro, North Carolina.</p> <p>19 Q. Is that where the analysis took</p> <p>20 place?</p> <p>21 A. That is where the analysis was</p> <p>22 done.</p> <p>23 Q. And what is his job at Syngenta?</p> <p>24 A. At that time, he was engaged in</p> <p>25 analytical chemistry.</p> | <p style="text-align: right;">Page 878</p> <p>1 Q. Okay. And all --</p> <p>2 MR. NARESH: Steve, I think -- you</p> <p>3 know -- I know there's some technical</p> <p>4 issues in terms of the presentation mode,</p> <p>5 but if you're reading from part of the</p> <p>6 study, I would just ask that the</p> <p>7 presentation mode follow along to where</p> <p>8 you're reading from so that --</p> <p>9 MR. TILLERY: Okay.</p> <p>10 MR. NARESH: -- the witness isn't</p> <p>11 trying to remember from memory.</p> <p>12 BY MR. TILLERY:</p> <p>13 Q. If you look at the Executive</p> <p>14 Summary, 1.0. Do you see that, sir?</p> <p>15 A. Yes, I can.</p> <p>16 Q. All samples were analyzed for</p> <p>17 residues of paraquat according to Syngenta</p> <p>18 method, and it leaves a number of -- it lists</p> <p>19 a number of numbers. The Limit of</p> <p>20 Quantification [sic] for paraquat is indicated,</p> <p>21 and the current method for recovery of paraquat</p> <p>22 brain tissue was indicated. Okay?</p> <p>23 A. Yes.</p> <p>24 Q. This looks like it was done</p> <p>25 according to the laboratory methods that you</p> |
| <p style="text-align: right;">Page 877</p> <p>1 Q. Did he conduct the study or was</p> <p>2 he just the author of this paper?</p> <p>3 A. I believe he actually conducted the</p> <p>4 study but I would -- I would need to double</p> <p>5 check that.</p> <p>6 Q. Okay. So if we go to the rest of</p> <p>7 that page, please.</p> <p>8 So the laboratory project ID, it's</p> <p>9 indicated; the performing laboratory is in</p> <p>10 Greensboro; the sponsor is Syngenta Crop</p> <p>11 Protection, LLC, a defendant in this case.</p> <p>12 Correct?</p> <p>13 A. Correct.</p> <p>14 Q. Okay. And this is the report that</p> <p>15 indicates a study objective was to analyze</p> <p>16 paraquat residues in brain tissues from</p> <p>17 squirrel monkeys exposed to paraquat in</p> <p>18 a laboratory setting. Correct?</p> <p>19 A. That's correct.</p> <p>20 Q. And this is based upon a total of</p> <p>21 15 treated tissue samples and one control</p> <p>22 tissue sample that were received from</p> <p>23 Dr. Di Monte, correct?</p> <p>24 A. That's -- yes, I think that's my</p> <p>25 recollection too, yes.</p> | <p style="text-align: right;">Page 879</p> <p>1 would have expected under these circumstances?</p> <p>2 A. Yes, it was.</p> <p>3 Q. Okay. Then if we go to the</p> <p>4 Materials and Methods section.</p> <p>5 This tells us, under "Materials and</p> <p>6 Methods," "Test Substances," what was used and</p> <p>7 what was measured in the analysis, correct?</p> <p>8 A. That's correct.</p> <p>9 Q. All right. And the results were</p> <p>10 that you found paraquat on the brain to the</p> <p>11 animals, right?</p> <p>12 A. We did, yes.</p> <p>13 Q. All right.</p> <p>14 I think the conclusion -- I'll just</p> <p>15 read it so we don't have to go there. It's one</p> <p>16 sentence:</p> <p>17 "... Squirrel Monkey brain tissues</p> <p>18 were successfully analyzed for paraquat</p> <p>19 residues as determined by a concurrent method</p> <p>20 recovery sample."</p> <p>21 Correct? That makes sense?</p> <p>22 A. Yes, I can't see that but that</p> <p>23 seems to be my recollection --</p> <p>24 Q. That's what you remember?</p> <p>25 A. Yes, that is.</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|---|---|
| <p style="text-align: right;">Page 880</p> <p>1 Q. Yes. Let's go to Exhibit 79 now. 2 Let's walk through this so that 3 the court and jury sees exactly what we're 4 talking about, okay. This is a form that's 5 used at Syngenta, isn't it? 6 A. It is, yes. 7 Q. And how long has this form been in 8 existence? 9 A. I would say 20 years. 10 Q. Okay. And the form is to capture 11 a FIFRA 6(a)(2) reportable finding, correct? 12 A. This is a form to capture the input 13 to the POTENTIAL REFERABLE FINDING approach 14 committee. 15 Q. All right. So let's walk through 16 the methodology that Syngenta employs to do 17 this. Number one, you have a person -- let's 18 pick, for example, Kim Travis, who is a PhD. 19 He's in England, right? 20 A. Yes, he is -- he was, yes, yes. 21 He is in England but he's left the company 22 now. 23 Q. All right. And Dr. Travis sent this 24 form -- he would have been the one, if you go 25 to the bottom of this page, do you see,</p> | <p style="text-align: right;">Page 882</p> <p>1 you tell us the difference between 2 a Potentially Referable Findings Approach 3 Committee and then the step up from there. 4 A. Sure. The approach committee is 5 a committee that resides within what was at 6 that time called the human safety organization 7 of Syngenta, and it was -- it included senior 8 toxicologists in the human safety department, 9 and it was the role of that committee to look 10 at findings in studies of a number of 11 different types, to make a judgment about 12 whether the findings could potentially 13 be required to be reported under the FIFRA 14 6(a)(2), but it was not there to make 15 a decision on that. 16 After the approach committee had 17 done its analysis of a study and its findings, 18 it would send the outcome of that discussion 19 to the actual PRF Committee itself, which 20 makes those decisions, and that is a committee 21 that's -- for the United States, for FIFRA 22 purposes, is based in the United States. 23 Q. And then after they make -- strike 24 that. We had a lot of feedback. Let me 25 withdraw that.</p> |
| <p style="text-align: right;">Page 881</p> <p>1 who says "Name of study manager originator"? 2 A. Yes. 3 Q. Okay. And that's Kim Travis, right? 4 A. That's correct. 5 Q. Okay. And it says the date is 6 June 28, 2011? 7 A. Yes. 8 Q. And how does that correspond 9 in terms of time with the completion of his 10 analysis of the Di Monte squirrel monkey brain 11 tissues? 12 A. So the report we were just looking 13 at was January 2011. 14 Q. So it's four or five months later 15 than that report, right? 16 A. That's right. 17 Q. So there'd been plenty of 18 opportunity to evaluate the results of that 19 report, to consider it. And Kim Travis, who 20 had charge of that project, sent this on to 21 a potential referable findings committee, 22 correct? Is that where it went? 23 A. Yes, it went to the Potential 24 Referable Findings Approach Committee. 25 Q. Approach committee. Now, why don't</p> | <p style="text-align: right;">Page 883</p> <p>1 After the FIFRA committee in the 2 United States makes a decision, is there any 3 further evaluation? 4 A. I don't believe so, no. 5 Q. Okay. Who was on the approach 6 committee in 2011 in June? 7 A. I would need to see the minutes 8 of that meeting to check that, but probably 9 I would certainly be on that committee and 10 some of my toxicology colleagues. 11 Q. You were the chair, weren't you? 12 A. At that time, yes. That's why 13 I think it's very likely that I would 14 have been in the -- on the committee at this 15 time. 16 Q. Okay. Do you have any recollection 17 of who else would have been on the committee 18 with you? 19 A. Well, the sort of people that 20 would be there would have been my senior 21 toxicology colleagues. The membership changed 22 as people moved into different roles; so in 23 2011, I can't give you an exact list of 24 people. 25 Q. Okay. How many people?</p> |

13 (Pages 880 to 883)

| | |
|---|---|
| <p style="text-align: right;">Page 884</p> <p>1 A. It was a group, generally speaking, 2 six to eight people. 3 Q. Did you physically meet or did this 4 just get exchanged electronically after 5 receiving this request from Kim Travis? 6 A. It was nearly always a physical 7 meeting. 8 Q. Where did you have your meetings? 9 A. In the product safety -- or human 10 safety building. By 2011, that would be in 11 Jealott's Hill. 12 Q. Where you are now? 13 A. That's correct. 14 Q. And this form, you said, had been 15 around for 20 years. Would then -- after 16 you've made your decision, would this form be 17 sent to the PRF Committee in the United States 18 or would just your conclusions and 19 recommendations of your approach committee be 20 sent? 21 A. I believe that the form that we see 22 now, plus the recommendations of the approach 23 committee, would have been sent by the 24 technical secretary of the approach committee 25 to the technical secretary of the US PRF</p> | <p style="text-align: right;">Page 886</p> <p>1 particular one, I couldn't tell you whether 2 it went to -- for example, to the European 3 committee, but that was always an option. 4 Q. And would there have been a reason 5 to send it to the European committee given the 6 fact that it was not lawful to sell paraquat 7 dichloride in the European Union at the time of 8 this period? 9 A. Well, quite, which is why I was 10 indicating that it may not have been relevant 11 on this -- for this particular one, so I don't 12 know that it wasn't sent but that may well 13 have been the judgment. 14 Q. Well, let me ask you this: As 15 chairman of this committee, are you aware of 16 any country where this report was made from the 17 findings that we just discussed from 18 Dr. Di Monte's squirrel monkey brain residues? 19 A. I'm not aware of that, no. 20 Q. Okay. 21 So let's look more closely at the 22 document, please. And then it says -- if you 23 look at the first paragraph, do you see that? 24 The very first paragraph in the block, it says 25 in the second sentence:</p> |
| <p style="text-align: right;">Page 885</p> <p>1 Committee for him to -- 2 Q. And that -- 3 A. -- decide. 4 Q. And that group in the United States 5 would have included Montague Dixon? 6 A. Again, as we are talking about 7 a particular point in time, I would need to 8 look at the minutes of that meeting to have 9 that confirmed. 10 Q. At this point in time for 2011, 11 you're unable to tell me who was on the 12 US approach committee, right? 13 A. Yes. Because, again, the 14 membership changed from time to time so 15 I can't precisely tell you who was on the 16 committee at that -- in June 2011. 17 Q. Is there a similar form to this one 18 for the UK or for the European Union or for any 19 other of the countries where Syngenta does 20 business? 21 A. The findings of the approach 22 committee that we've just been talking about 23 will be submitted to other PRF committees in 24 other regions, depending on whether it's 25 relevant to a particular region. For this</p> | <p style="text-align: right;">Page 887</p> <p>1 "This document concerns the emerging 2 data on the kinetics of this small amount of 3 paraquat in the brain." 4 Do you see that? 5 A. Yes. 6 Q. All right. And then it discusses in 7 the next paragraph how this was done. Then if 8 you go to the very bottom, do you see the 9 sentence that says: 10 "We have also analysed samples ..." 11 Do you see that? 12 A. Yes. 13 Q. "We have also analysed samples of 14 squirrel monkey frontal cortex from a study 15 conducted independently by [Dr.] di Monte which 16 shows that the paraquat concentration in the 17 brain samples did not measurably decline 18 between samples reported to have been taken 19 2 and 8 weeks after a fixed program of paraquat 20 dosing ..." 21 That's Ray 2011 and Di Monte 2000. 22 What is that referring to? 23 A. That's referring to the study that 24 we were talking about a few minutes ago, 25 the Di Monte protocol.</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 888</p> <p>1 Q. Okay. This is what's referenced 2 here, you're referencing the same study result, 3 correct? 4 A. Yes, that's correct. 5 Q. Okay. Then you go to the next 6 paragraph, and it says: 7 "Reported findings of increases in 8 alpha-synuclein in the brains of squirrel 9 monkeys dosed with paraquat in the same study 10 referred to above ... have also been considered 11 by this committee." 12 Now, what's that referencing? 13 A. That's referencing to a previous 14 discussion within the PRF Approach Committee, 15 which I believe we talked about yesterday 16 where the findings -- not the kinetics but 17 the findings in Dr. Di Monte's study, the 18 histology and, as it says there, the changes 19 in alpha-synuclein, were discussed as 20 to whether they could also -- whether they 21 could be potentially referable. 22 Q. And the same resolution was reached, 23 wasn't it, that they weren't referable to the 24 US EPA, correct? 25 A. That's correct, because, as we said</p> | <p style="text-align: right;">Page 890</p> <p>1 What does that mean? 2 A. So before a study manager or a 3 study -- or a project leader, as it's 4 described in this case, brings a finding 5 to the approach committee, sometimes a step is 6 taken to confer with others to get a second 7 opinion. 8 This is what happened here; 9 so Dr. Travis conferred with me, as the 10 overall project leader of the Health Science 11 Team, as to whether the findings in -- the 12 kinetic findings in Dr. Di Monte's study 13 should be brought to the approach committee. 14 And in line with what I nearly 15 always said, which is if there's any question 16 about whether we should or shouldn't, 17 we should always take them to the approach 18 committee; that was my recommendation. 19 Q. And what was your vote? 20 A. What was my -- excuse me, I missed 21 that. Please say again. 22 Q. Yeah, let's start over because 23 we got a lot of feedback. 24 What was your vote in this 25 committee?</p> |
| <p style="text-align: right;">Page 889</p> <p>1 yesterday, Dr. Di Monte himself, in that 2 personal communication that's referenced here, 3 had indicated that there was still some doubt 4 in his mind, and certainly also in ours, as 5 to the way in which his findings could be 6 interpreted -- should be interpreted from 7 a technical perspective. 8 MR. TILLERY: I move to strike your 9 answer as unresponsive. 10 BY MR. TILLERY: 11 Q. My question was, and I'll read it 12 back to you: 13 "And the same resolution was 14 reached, wasn't it, that they weren't referable 15 to the US EPA, correct?" 16 A. Yes, that's correct. 17 Q. All right. Now, if we go to the 18 next page, there's a section 1c and it says: 19 "Comments from project leader, 20 including purpose of study and reason for 21 referral (or non-referral) ..." 22 And it says: 23 "Has been agreed with the project 24 leader, P Botham, to refer to the approach 25 committee for consideration."</p> | <p style="text-align: right;">Page 891</p> <p>1 A. We don't vote. We have 2 a discussion and we try to achieve consensus. 3 So I -- the consensus of the experts, my 4 experts who were with me on that occasion, was 5 that because this was, as it says here, 6 a kinetic study, which was not indicating, 7 in itself, whether the presence in the brain 8 was an adverse effect, that this did not meet 9 the criteria for referral. 10 Q. Okay. And actually, if we go 11 to what you say, the 2a, "PRF Approach 12 Committee Comments." Do you see that 13 section -- 14 A. Yes, yes. Yes, I do, yes. 15 Q. It says, I'm reading for the record: 16 "Studies of the kinetics of paraquat 17 in the brain across a range of species were 18 considered." 19 Do you see that? 20 A. Yes. 21 Q. What does that mean? 22 A. Well, it means as well as the 23 specific study that we had done -- when we had 24 done the analysis of paraquat in the brain of 25 non -- the nonhuman primate, the squirrel</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 892</p> <p>1 monkey, for Dr. Di Monte, we'd also, by that 2 time in 2011, done or started to do some of 3 the kinetic studies that were subsequently 4 presented and published in rodents. 5 So this discussion actually, 6 I believe, was a more general discussion about 7 whether kinetics, just the presence of 8 a chemical -- in this case paraquat -- in an 9 animal model, whether it's the brain or 10 anywhere else, whether that was -- those kind 11 of data, kinetic data, whether they are 12 actually referable under the criteria of 13 6(a)(2), and the judgment was that we believed 14 that they were not. That was the judgment at 15 the time. 16 Q. And that took a fair knowledge of 17 the FIFRA regulations to understand that, 18 didn't it? 19 A. That's right, yes, it did. 20 Q. And as president or chairman, leader 21 of that committee, you had to be the one that 22 had a good, solid knowledge of those 23 regulations and the interpretation of FIFRA 24 reporting obligations; is that correct? 25 A. Yes, and the way that they were</p> | <p style="text-align: right;">Page 894</p> <p>1 optional fractionator? 2 A. No, the scope of this discussion 3 was limited to studies of kinetics, not 4 to studies of effects, which the Louise Marks 5 studies were toxicological effect studies, not 6 kinetic studies. 7 Q. Okay, so her name didn't come up, 8 right? Her studies didn't come up? 9 A. I'm pretty sure it would not have 10 done, no. 11 Q. All right. Now, continuing on this 12 paragraph, it says: 13 "The committee considered that the 14 findings do not represent an adverse effect or 15 a pre-cursor to an adverse event. Therefore 16 the findings do not meet the technical criteria 17 for referral as described in the Product Safety 18 PRF Criteria for Referral Guidance Document 19 (version 4 dated 16th February 2009)." 20 Correct? 21 A. Correct. 22 Q. Did I read that correctly? 23 A. You did. 24 Q. And that was the conclusion of the 25 committee, right?</p> |
| <p style="text-align: right;">Page 893</p> <p>1 codified within the criteria document that's 2 referred to in the paragraph that you're now 3 looking at. But of course we were always 4 completely reliant on getting advice on this 5 from people in the United States who were the 6 true experts, the real experts in PRF 7 criteria. 8 Q. But you understand that Syngenta has 9 not designated a person to talk to me later 10 today about 6(a)(2) obligations under FIFRA 11 from the United States? Do you know that? 12 A. Yes. 13 Q. You know that you're the guy that 14 they've selected to speak on behalf of not only 15 Syngenta AG in Europe but Syngenta Crop 16 Protection, LLC. You were the one they 17 selected. You understood that, right? 18 A. Of course I understand that, yes. 19 Q. All right. Now, when you did this 20 analysis that's discussed under paragraph 2(a), 21 PRF Approach Committee, and you considered, 22 as you said, a range of species, did you 23 consider at that point disclosing Louise 24 Marks's studies that she did, the first mouse 25 study, three after her failed one, from a poor</p> | <p style="text-align: right;">Page 895</p> <p>1 A. Correct. 2 Q. All right. 3 And then let's go to the following 4 page, which is the last page of the document. 5 If we look under 4d, it says, "Current finding 6 submitted to [the] US EPA under TSCA 8(e) or 7 FIFRA 6(a)(2)" and then it says: 8 "No new information in the studies - 9 Not reportable." 10 No new information, right? 11 A. Yes. 12 Q. So you knew that -- at that time, 13 your committee knew that paraquat got in the 14 brains of primates? 15 A. Yes. 16 Q. Okay. And you knew that. From the 17 level of exposure that was given, you knew that 18 it would get into the brains of primates, 19 right? 20 A. Yes. 21 Q. Okay. And that's why you said you 22 didn't report it? 23 A. Because this was the interpret -- 24 the interpretation of the PRF criteria is that 25 you need to go beyond the finding of, in this</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 896</p> <p>1 case, paraquat in the brain to have an 2 understanding of whether that was likely 3 to have created an adverse effect, and a 4 kinetic study in and of itself, which is what 5 we are talking about here, did not allow you 6 to do that. 7 MR. TILLERY: I move to strike your 8 answer as unresponsive. 9 BY MR. TILLERY: 10 Q. My question is simple. You knew, 11 from the level of exposure that was given, that 12 it would get into the brain of primates at that 13 time, correct? 14 A. Correct. 15 MR. NARESH: I'll just object to 16 the form on that. 17 BY MR. TILLERY: 18 Q. And that's the reason you listed for 19 why you didn't disclose this, because it wasn't 20 new information, correct? 21 A. Yes. 22 Q. Now, how long had -- 23 A. I -- 24 Q. How long had you -- 25 A. I'm sorry --</p> | <p style="text-align: right;">Page 898</p> <p>1 Q. Okay. So you're telling me, 2 Dr. Botham, that you did a residue study that 3 was reported in 2011 and that was referred 4 to your committee by Kim Travis for evaluation 5 as a potential referable finding to the US EPA. 6 You analyzed it and said, we don't have 7 to report it because we just found out about 8 it? 9 A. No, no, we didn't have -- 10 Q. You are -- 11 A. What I said -- no, what I said is 12 we don't have to report it because it was our 13 interpretation of the FIFRA guideline, as was 14 written down in the guidance document that was 15 referred to in the earlier part of this 16 document, that the presence of a chemical was, 17 in and of itself, not reportable. 18 Q. What did you put as the conclusion 19 for why? 4d, what's -- read it to me? 20 "No new information in the 21 studies ..." 22 That's what it says. 23 A. Yes. And actually, I believe that 24 that part, 4d, was actually placed into this 25 document by the US PRF Committee technical</p> |
| <p style="text-align: right;">Page 897</p> <p>1 Q. How long had you known this 2 information? 3 A. Which information? 4 Q. The fact that paraquat got into the 5 brains of primates. How long had you known it? 6 A. Well, since the studies that we 7 were discussing earlier, so -- which were 8 reported in 2011. 9 Q. So you knew from 2011. And the date 10 of this is when? 11 A. This is also in 2011. 12 Q. Okay. So you're referring to the 13 studies from Dr. Di Monte? 14 A. I'm referring to the primate study 15 of Dr. Di Monte, yes. 16 Q. Well, now, wait a minute. We have 17 a little catch-22 here, don't we? You put down 18 that this is not new information because you 19 already knew this, it wasn't reportable. So 20 that means you had to know it before 21 Dr. Di Monte's residue studies. 22 When did you learn it? What study? 23 A. Well, we learnt it from the 24 analysis that was done by Dr. Ray which was 25 conducted or reported in 2011.</p> | <p style="text-align: right;">Page 899</p> <p>1 secretary, who is Dennis Hackett, which is why 2 you can see his name mentioned here. 3 Q. Well, where does he sign this 4 document? 5 A. Well, there is no signature as 6 such, but it -- so I -- you know, I can't 7 answer that question as to where exactly 8 he fitted in on this occasion. 9 Q. So you're trying to say that you 10 didn't have anything to do with this, right? 11 A. Well, I'm not -- I'm trying to say 12 that I don't know that we, in the approach 13 committee, wrote "No new information in the 14 studies - Not reportable." 15 I don't recall who wrote that, but 16 there's a suggestion here that might have come 17 in from the US committee but we'd need 18 to check that. 19 Q. Well, you know, maybe you could 20 check it but, you know, this is my opportunity 21 to ask you questions and it's my opportunity 22 to get to the answer. 23 You have an approach committee, 24 or a potentially referable approach committee. 25 We had a form filled out by a scientist who had</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 900</p> <p>1 charge of this study. He sent it to your 2 group, you looked at it, made a decision and 3 the form was filled out. And that form says 4 "no new information." 5 What was the prior information you 6 had before this residue study that paraquat got 7 into the brains of primates? 8 A. Yeah. I believe that this -- when 9 it says "no new information," that that's 10 perhaps not an accurate representation of what 11 we were saying in that approach committee; 12 that of course the analysis that was done 13 of the amount of paraquat in the brains of 14 Dr. Di Monte's studies -- study, was new 15 because that analysis had not been done 16 before. 17 Now, there are two possibilities 18 here: either the way in which this was written 19 here, "No new information in the studies - 20 Not reportable," was an incorrect 21 representation of what it said on the previous 22 page, which is that the reason for them not 23 being reportable is not because they're not 24 new but because they don't rep -- they're not 25 necessarily representing an adverse finding.</p> | <p style="text-align: right;">Page 902</p> <p>1 A. I'm happy to describe it as a new 2 finding. 3 Q. All right. 4 A. I've said to you the reason why 5 it was not reportable, however. 6 Q. All right. 7 MR. TILLERY: Let's take a 8 two-minute break if we can, okay. 9 THE VIDEOGRAPHER: We are going off 10 the record. The time is 12:08. 11 (Off the record.) 12 THE VIDEOGRAPHER: We are back on 13 the record. The time is 12:12. 14 MR. NARESH: As we said off the 15 record, for the record Syngenta will mark 16 yesterday's, today's and tomorrow's 17 deposition transcripts as confidential 18 pursuant to the terms of the protective 19 order, and the witness will also reserve 20 the right to read and sign for all three 21 days. 22 BY MR. TILLERY: 23 Q. Dr. Botham, what is the Federal 24 Insecticide, Fungicide, and Rodenticide Act, 25 FIFRA for short?</p> |
| <p style="text-align: right;">Page 901</p> <p>1 Or, at that time, and I don't 2 recall, somebody was telling us that we -- it 3 was already known that paraquat gets into the 4 brain of nonhuman primates from previous 5 publications, and that bit I don't recall. 6 Q. Well, that's what I'm asking; if you 7 have any studies you're relying on that you did 8 that showed that paraquat got into the brains 9 of nonhuman primates, what were they? 10 A. Well, I don't believe that Syngenta 11 or its predecessors would have had any 12 previous studies that it had done that showed 13 that paraquat got into the brain of nonhuman 14 primates as part of that discussion, so I -- 15 but, again, I may be not recalling the facts 16 fully here, but that would be what I would say 17 today. 18 Q. All right. So this, then, was 19 decidedly a new finding, wasn't it? 20 A. It was a new finding; that's 21 certainly one interpretation, yes. 22 Q. Okay. Well, do you have a better 23 interpretation -- 24 A. No, I -- 25 Q. -- as for why --</p> | <p style="text-align: right;">Page 903</p> <p>1 MR. NARESH: And Steve, may I have 2 a standing objection to the extent this 3 line of questioning is calling for legal 4 conclusions? 5 MR. TILLERY: You can. The issue, 6 though, of a referable committee puts 7 that into a very questionable stance, but 8 the fact is I'll agree to a continuing 9 objection to the extent that you think 10 these are calling for legal conclusions. 11 Okay. 12 BY MR. TILLERY: 13 Q. Do you understand the question or do 14 you wish to have it read back? 15 A. So the question was what is FIFRA. 16 So that is the legal requirements in the 17 United States that govern the registration and 18 sales of plant protection products and 19 pesticides. 20 Q. And are there similar rules in other 21 countries? 22 A. There are. 23 Q. And how many countries -- in how 24 many countries does Syngenta sell paraquat? 25 A. The figure today, I don't have that</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 904</p> <p>1 to hand so I would need to be given notice of 2 that if you need an accurate answer. 3 Q. Okay. Syngenta is certainly 4 familiar with what is commonly referred to 5 as section 6(a)(2) of FIFRA, right? 6 A. It is. 7 Q. And would you agree that, in 8 general, 6(a)(2) creates a reporting obligation 9 for pesticide registrants? 10 A. It does. 11 Q. And what is your understanding of 12 a pesticide registrant under the US EPA rules? 13 A. An organization, a company that is 14 engaged in the manufacture, sales and 15 marketing of pesticides. 16 Q. And that's the one who's primarily 17 responsible for the registration of that 18 chemical, right? 19 A. That is correct. 20 Q. And since 1965, including the 21 corporate predecessor ICI for Syngenta, has 22 Syngenta been, either by itself or in 23 cooperation with Chevron, the registrant for 24 paraquat in the United States? 25 A. It has.</p> | <p style="text-align: right;">Page 906</p> <p>1 violation topics or 6(a)(2) 2 interpretation of discussion points were 3 reserved for him, with the exception, 4 as you said, of the one pulled back for 5 Mr. Dixon as to US EPA, but all the 6 6(a)(2) discussions were left with 7 Dr. Botham under his topics. 8 But, anyway, we'll go forward. 9 BY MR. TILLERY: 10 Q. Do you want that question read back? 11 A. Please do, yes. Please read back. 12 MR. TILLERY: Ms. Reporter, would 13 you mind reading back that question to 14 Dr. Botham. 15 (Whereupon, the record was read 16 back by the stenographer.) 17 THE WITNESS: Yes, it does. 18 BY MR. TILLERY: 19 Q. And to follow up on that, that means 20 that EPA officers don't have enough people 21 to sit in your laboratories or to supervise you 22 directly; they depend upon you to have 23 an affirmative reporting obligation, correct? 24 MR. NARESH: Objection; form and 25 foundation.</p> |
| <p style="text-align: right;">Page 905</p> <p>1 Q. And that's from the first date of 2 sale of the chemical in the United States, 3 correct? 4 A. I believe so. 5 Q. And that registrant position imposes 6 duties and obligations on Syngenta, doesn't it? 7 A. It does. 8 Q. Before we get into the details of 9 this discussion, I'd just like to talk about it 10 from a 30,000-foot section if we can, okay. 11 A registrant's duties are to assist 12 an agency that has supervision over literally 13 thousands of different chemicals, doesn't it? 14 MR. NARESH: Let me also just add 15 a scope objection. I believe that 16 there's a different witness designated on 17 EPA-related topics, so I don't have 18 a problem with you asking Dr. Botham 19 about these in his personal capacity but 20 I will note the scope objection on the 21 record. 22 MR. TILLERY: Well, just so we're 23 clear, and I'll note your objection and 24 allow it to be continuing if you wish, 25 but the fact is that all of the 6(a)(2)</p> | <p style="text-align: right;">Page 907</p> <p>1 THE WITNESS: Yes, they do. 2 BY MR. TILLERY: 3 Q. So you keep, I would imagine, 4 extremely tight security in your laboratories, 5 don't you? 6 A. Security in what sense are you 7 meaning here? 8 Q. In that I would imagine if I drove 9 into Jealott's Hill where you were and decided 10 I wanted to take a peek into your laboratories, 11 that probably wouldn't be accepted, would it? 12 A. It would be allowable if you were 13 officially invited to do so. 14 Q. Right. Otherwise you would escort 15 me off the property, right? 16 A. Yes, that would be one possible 17 outcome. 18 Q. All right. Which means that you're 19 preserving the integrity of your research, just 20 like other companies are doing, right? 21 A. That's right. 22 Q. Nothing unusual or untoward or 23 improper. This is what you do, what companies 24 do. 25 A. Yes.</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 908</p> <p>1 Q. I'm not suggesting otherwise. Okay. 2 So the bottom line is that you're 3 the master and have the knowledge about your 4 findings in your laboratories? 5 A. Yes. 6 Q. Right? 7 We just finished talking about 8 monkey residue studies. There was no EPA 9 representative sitting in that lab or in that 10 PRF Committee, were there? 11 A. No. 12 Q. None of them knew anything about 13 what Kim Travis was doing or Dr. Ray was doing 14 in Greensboro, were they? 15 A. No. 16 Q. They're relying upon your 17 affirmative obligation, a good faith 18 obligation, to fully disclose what you know, 19 right? 20 A. Where we believe that that is 21 required under FIFRA 6(a)(2), yes. 22 Q. Do you know of any -- we're going 23 to go through all of these FIFRA obligations 24 today. But do you know of any single time 25 it says, in any of the FIFRA regulations, that</p> | <p style="text-align: right;">Page 910</p> <p>1 know, to the extent that it could fall within 2 the scope of these regulations. Correct? 3 MR. NARESH: Objection to form. 4 THE WITNESS: Yes. In principle, 5 that is correct. 6 BY MR. TILLERY: 7 Q. All right. 8 Now, what does 6(a)(2) require 9 pesticide registrants like Syngenta to report 10 to the EPA? 11 A. Our interpretation of the guidance 12 on 6(a)(2) is it requires you to report -- and 13 here we're talking about toxicological 14 findings. It requires you to report new 15 findings, but new findings are also further 16 defined as being findings which indicate a new 17 and potentially hazardous property associated 18 with that chemical. 19 Q. Do you understand 6(a)(2) to require 20 a registrant to report information regarding 21 adverse effects on the environment of the 22 pesticide? 23 A. That's right, yes. 24 Q. Okay. And do you understand FIFRA 25 to define adverse effect to mean "any</p> |
| <p style="text-align: right;">Page 909</p> <p>1 you're given discretion based upon what you 2 believe compliance is? Can you point me to 3 a single one of those regs that say that where 4 Phil Botham believes we don't have to report 5 it, he's good to go, he doesn't have to report 6 this finding? 7 MR. NARESH: Objection to form. 8 THE WITNESS: We -- I wouldn't say 9 that that is the right question to ask 10 here. We follow the detail of that 11 guidance that is available under 6(a)(2). 12 BY MR. TILLERY: 13 Q. I mean, you understand that because 14 there are so many companies and so many 15 thousands of chemicals, that an administrative 16 agency, no matter how big it is, it's 17 impossible for them to do this affirmatively on 18 their own, right? 19 A. Of course. 20 Q. And you know that means, and it's 21 set out very clearly in the EPA's regulations, 22 FIFRA, very clearly that they depend upon the 23 honesty and integrity of the chemical companies 24 to come forward about their pesticides, speak 25 the truth, speak all of the information they</p> | <p style="text-align: right;">Page 911</p> <p>1 unreasonable risk to man or the environment, 2 taking into account the economic, social and 3 environmental costs and benefits of the use of 4 any pesticide"? 5 A. Yes. 6 Q. Okay. 7 MR. TILLERY: Now, we're going to 8 put on the screen Exhibit No. 80. 9 (Botham Exhibit 80 marked for 10 identification.) 11 BY MR. TILLERY: 12 Q. This is FIFRA 6(a)(2) and it's also 13 7 U.S.C. section 136d(a)(2). 14 Do you see that? 15 A. I do. 16 Q. Is this what you understand 6(a)(2) 17 to say regarding existing thoughts and 18 information? 19 A. Well, I don't recall having read 20 this document very recently, so I accept that 21 what you say is correct. 22 Q. And how is it that you received your 23 training and education on the PRF Committee 24 about FIFRA 6(a)(2) reporting obligations? 25 A. We received guidance on that,</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 912</p> <p>1 education on that, as you put it, from our 2 experts on 6(a)(2) in the Syngenta United 3 States offices. 4 Q. Are those lawyers? 5 A. No, they would be technical people. 6 Q. Okay. So you used scientists or 7 other regulatory people to tell you this but 8 not lawyers? 9 A. Well, I'm talking about how we 10 directly receive that education. So when 11 I use "technical," that includes regulatory. 12 They, in turn, will undoubtedly, I'm sure, 13 have received guidance from lawyers. 14 Q. And do lawyers sit in on the 15 PRF Committee meetings? 16 A. Not on the PRF Approach Committee 17 that I was responsible for. I would need 18 to be reminded as to whether a lawyer sat on 19 the US PRF Committee. I don't have that 20 information to hand at the moment. 21 Q. Is it common to have lawyers sitting 22 in on scientific meetings at Syngenta? 23 A. It's not commonly done. It is done 24 under certain circumstances. 25 Q. Is it common when you're dealing</p> | <p style="text-align: right;">Page 914</p> <p>1 external lawyers. 2 Q. And how many years have you had 3 lawyers, in-house lawyers and outside lawyers, 4 sitting in on your paraquat/Parkinson's disease 5 scientific discussions and meetings? How many 6 years you been doing that? 7 A. Well, in my experience, 8 specifically for paraquat and Parkinson's 9 disease, that has been since around 2007. 10 Q. Okay. Was there something that 11 caused you to start having lawyers present at 12 that time? 13 A. We were advised by our internal 14 legal department that we should do this for 15 some of our meetings. 16 Q. Involving paraquat and Parkinson's 17 disease, correct? 18 A. Correct. 19 Q. Okay. 20 Is there any of these FIFRA sections 21 that you're aware of that Syngenta does not 22 have to follow? 23 A. I'm not aware of any such sections. 24 Q. So we're going to go through a 25 number of sections. To your knowledge, have</p> |
| <p style="text-align: right;">Page 913</p> <p>1 with paraquat and Parkinson's disease issues? 2 A. We, generally speaking, have, when 3 we've been having our discussions on paraquat 4 and Parkinson's, to have a lawyer with us, 5 yes. 6 Q. But not when you're dealing with 7 other chemicals, right? 8 A. Not generally speaking. Some of 9 the chemicals, that has occurred, but, 10 generally speaking, not. 11 Q. Okay. And you even go so far as 12 to have outside counsel present; not just your 13 in-house people like Mr. Nadel or Mr. Solomon. 14 You'd even have outside people present, 15 wouldn't you? 16 A. Not always. Sometimes. 17 Q. Who would those people be? 18 A. They would be lawyers employed by 19 external counsel who had been appointed by our 20 own internal counsel. 21 Q. Okay. And that would again be when 22 you have scientific discussions about paraquat 23 and Parkinson's disease, right? 24 A. I -- yes, but I reiterate only some 25 of those discussions. Many would not include</p> | <p style="text-align: right;">Page 915</p> <p>1 you ever been told by anyone, anybody at the 2 EPA or anybody at legal department, any advice 3 whatsoever, any person in your operation ever 4 discussed with you that Syngenta gets a pass 5 from FIFRA on any section? 6 A. The discussions we've had have been 7 only -- have been limited to getting a proper 8 interpretation of what those FIFRA guidelines 9 say so that we can operate them in practice 10 in our committees. 11 Q. Yeah, let's go back to my question, 12 okay. Do you know of any reason why any 13 section under FIFRA doesn't apply to Syngenta? 14 A. No. 15 Q. All right. 16 MR. TILLERY: Now let's go to 17 Exhibit 81. 18 (Botham Exhibit 81 marked for 19 identification.) 20 MR. NARESH: And Stephen, I just 21 remind you that we need a break at some 22 point in the next ten minutes or so. 23 MR. TILLERY: You tell me when, 24 Ragan -- 25 MR. NARESH: Yeah, I just --</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|---|---|
| <p style="text-align: right;">Page 916</p> <p>1 if you're going to -- you know, if the 2 question on this exhibit is under 3 10 minutes, I think let's do this 4 exhibit, and if it's not going to be 5 10 minutes, let's -- 6 MR. TILLERY: Okay. All right. 7 We'll do this exhibit, it's fairly quick, 8 and then we'll finish. 9 BY MR. TILLERY: 10 Q. Are you familiar with this 11 definition? This is 7 U.S.C. 136(bb), 12 "Unreasonable adverse effects on the 13 environment." 14 Do you see that? 15 A. Yes, I do. 16 Q. Okay. It imposes on the registrant, 17 and this is what I wanted to raise with you, 18 a duty to keep the administrator informed about 19 the registrant's pesticide projects, doesn't 20 it? Do you see that? 21 A. Yes. 22 Q. Did you understand that when you 23 were having that PRF meeting about the monkey 24 residue? 25 A. We did, because we were -- this is</p> | <p style="text-align: right;">Page 918</p> <p>1 Q. Okay. 2 MR. TILLERY: I'm moving on to 3 a more lengthy section. If you want to 4 break here, that's up to you. Is that 5 fine? 6 THE WITNESS: I think that probably 7 would be helpful if we could. 8 MR. TILLERY: All right, we will. 9 How long did you want for your lunch? 10 Thirty minutes? 11 THE WITNESS: Could we take 12 40 minutes this time? I -- 13 MR. TILLERY: Yes. 14 THE WITNESS: That would be 15 appreciated, thank you. 16 MR. TILLERY: Yes, we can. 17 THE WITNESS: Thank you. 18 MR. TILLERY: Forty minutes. 19 THE WITNESS: Okay. 20 MR. TILLERY: All right. 21 Thank you. 22 THE VIDEOGRAPHER: We are going off 23 the record. The time is 12:32. 24 (Lunch break taken.) 25 THE VIDEOGRAPHER: We are back on</p> |
| <p style="text-align: right;">Page 917</p> <p>1 where the term "unreasonable adverse effects" 2 was part of our conversation. 3 Q. Okay. So you knew all that time you 4 were keeping these sections in mind when you 5 had your meetings, right? 6 A. Yes, and the way in which we did 7 that was through the way in which these 8 requirements had been placed into our guidance 9 documents for potentially referable finding. 10 Q. Do you have a policy in the company 11 about referable findings? 12 A. We do. We have a policy and 13 a guidance document. 14 Q. Okay. And that guidance document 15 was created to explain or give guidance about 16 what you have to do under the FIFRA reporting 17 obligations? 18 A. That's correct, yes. 19 Q. Have you disclosed that as 20 a reliance document for this deposition? 21 A. I don't -- I can't answer that, 22 I'm sorry. 23 Q. Have you looked at that guidance 24 document recently? 25 A. Not in the last few weeks, no.</p> | <p style="text-align: right;">Page 919</p> <p>1 the record. The time is 1:15. 2 BY MR. TILLERY: 3 Q. Dr. Botham, are you ready to 4 proceed, sir? 5 A. I am. 6 Q. Okay. 7 We're back to FIFRA and that 8 discussion. Sections 12(a)(2)(N) and (Q) make 9 it unlawful for a registrant to fail to file 10 reports required by this chapter, and that's -- 11 the full cite of that is 7 U.S.C. 12 section 136j(a)(1)(2)(N) and (Q). 13 Did you understand that to be 14 a FIFRA obligation? 15 MR. NARESH: I'll have the same 16 standing objection on calling for a legal 17 conclusion. 18 MR. TILLERY: And we agree with 19 that. 20 BY MR. TILLERY: 21 Q. Did you understand that was 22 required, sir? 23 A. Well, this is down to a level of 24 detail where I can't comment on the specific 25 sub-clauses but, again, as a general</p> |

22 (Pages 916 to 919)

| Page 920 | Page 922 |
|---|---|
| <p>1 principle, of course, yes.</p> <p>2 Q. Well, you understood that it was</p> <p>3 unlawful to fail to file the reports that FIFRA</p> <p>4 called for, let's put it that way?</p> <p>5 A. Yes. As I say, as a general</p> <p>6 principle, that's correct, yes. I understand</p> <p>7 that.</p> <p>8 Q. Okay. And it's also unlawful</p> <p>9 to falsify all or part of any information</p> <p>10 related to the testing of any pesticide.</p> <p>11 Did you understand that?</p> <p>12 A. Yes, I do.</p> <p>13 Q. Did you understand it was unlawful</p> <p>14 to falsify any part of any information,</p> <p>15 including the nature of, any observation made</p> <p>16 or conclusion or opinion formed, which is</p> <p>17 submitted to the administrator? Did you</p> <p>18 understand that?</p> <p>19 A. Yes.</p> <p>20 Q. Okay. Or, even broader, that the</p> <p>21 person, like you, knows it's going to be</p> <p>22 furnished to the administrator. Did you know</p> <p>23 that?</p> <p>24 A. That bit I don't understand,</p> <p>25 I'm sorry.</p> | <p>1 Q. Now, aside from FIFRA, were you also</p> <p>2 aware from your manual -- your Syngenta policy</p> <p>3 manual regarding reporting obligations, which</p> <p>4 we're going to talk about in a minute -- that</p> <p>5 there's a general false statement statute</p> <p>6 applicable in the United States?</p> <p>7 MR. NARESH: I'll object on scope</p> <p>8 grounds as well on top of the prior ones,</p> <p>9 and have a standing objection to this one</p> <p>10 as well.</p> <p>11 MR. TILLERY: Yes.</p> <p>12 THE WITNESS: I'm not sure whether</p> <p>13 I was aware of that.</p> <p>14 MR. TILLERY: Well, let's pull up</p> <p>15 82 at this point.</p> <p>16 (Botham Exhibit 82 marked for</p> <p>17 identification.)</p> <p>18 MR. TILLERY: Perfect.</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. And this is 18 U.S.C. section</p> <p>21 1001(a). Do you see where it says:</p> <p>22 "... whoever, in any matter within</p> <p>23 the jurisdiction of the executive, legislative,</p> <p>24 or judicial branch of the Government of the</p> <p>25 United States, knowingly and willfully -</p> |
| Page 921 | Page 923 |
| <p>1 Q. Let's just put it this way.</p> <p>2 Let's say Montague Dixon signs the papers in</p> <p>3 the United States with the disclosures, and</p> <p>4 let's say you know what those disclosures are</p> <p>5 as chair of the potential referable findings</p> <p>6 committee, or what did you call it, the</p> <p>7 specific --</p> <p>8 A. It's the PRF Approach Committee.</p> <p>9 Q. Approach committee. As head of that</p> <p>10 committee, if you know this is going to be</p> <p>11 submitted, did you understand that to the</p> <p>12 extent you know that this information being</p> <p>13 sent to Mr. Dixon is going to be filed in</p> <p>14 a form that you know is wrong, you understood</p> <p>15 that was also improper and against the law in</p> <p>16 the United States?</p> <p>17 A. Yes, that's -- that makes sense.</p> <p>18 Q. Okay. And Syngenta also understands</p> <p>19 that FIFRA provides criminal penalties for</p> <p>20 knowing violations of FIFRA, right?</p> <p>21 A. Yes.</p> <p>22 Q. And that's in prison and up to one</p> <p>23 year, correct?</p> <p>24 A. I can't remember that but I take</p> <p>25 your word for it.</p> | <p>1 falsifies, conceals, or covers up by any trick,</p> <p>2 scheme, or device a material fact."</p> <p>3 Do you see that?</p> <p>4 A. Yes.</p> <p>5 Q. Or:</p> <p>6 "... makes any materially false,</p> <p>7 fictitious, or fraudulent statement or</p> <p>8 representation ..."</p> <p>9 Do you see that?</p> <p>10 A. Yes.</p> <p>11 Q. "... or makes or uses any false</p> <p>12 writing or document knowing the same to contain</p> <p>13 any materially false, fictitious, or fraudulent</p> <p>14 statement ..."</p> <p>15 Do you see that?</p> <p>16 A. Yes.</p> <p>17 Q. This is the false statement rule or</p> <p>18 statute. Did you have any understanding one</p> <p>19 way or another whether that governed your</p> <p>20 behavior in terms of reporting or failing</p> <p>21 to report to the US EPA?</p> <p>22 A. I'll take this opportunity to</p> <p>23 restate that the legal obligation as</p> <p>24 I understood it was with the US PRF Committee</p> <p>25 and not with the PRF Approach Committee.</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 924</p> <p>1 We were informing the US PRF Committee but not 2 actually accountable, if you like, for the 3 final part and the important legally based 4 part of the process. That was my 5 understanding. 6 Q. Well, did Dr. Travis go to the 7 United States and make a pitch about the monkey 8 residue studies? 9 A. I'm not -- I don't believe that 10 he did, no. 11 Q. Was Syngenta aware of this statute, 12 whether or not you personally were not? 13 A. Yes, I'm sure it was, yes. 14 Q. Okay. 15 Now, the EPA has written much more 16 specific reporting requirements that implement 17 6(a)(2), don't they? 18 A. Yes. 19 Q. You're aware of that? 20 A. Yes. 21 MR. TILLERY: We can take that one 22 down. 23 BY MR. TILLERY: 24 Q. You've mentioned you have, 25 at Syngenta, a policy or policies for</p> | <p style="text-align: right;">Page 926</p> <p>1 Q. For example, does it give you 2 a general premise that Syngenta is to follow 3 the 6(a)(2) reporting obligations to the team? 4 A. Yes, that's right. Yes, it does. 5 Q. Okay. And can you hold that up into 6 the camera so we can see what it looks like. 7 A. Well, there are various versions of 8 it. The first one I'm showing you is actually 9 here, which is -- it's actually not called a 10 guidance document at this stage. It was 11 called "Health Assessment Criteria For 12 Potentially Referable Findings." 13 So later versions of this were 14 entitled "Guidance Documents." There were 15 also training modules. 16 So there's a -- I've got a file 17 full of documents here which I could show 18 you -- 19 Q. Okay. 20 A. -- which are the way in which 21 we tried to help our staff to follow that 22 legal requirement. 23 Q. Does that policy that you just held 24 up specify the kinds of information the company 25 should report?</p> |
| <p style="text-align: right;">Page 925</p> <p>1 compliance with section 6(a)(2). Are those 2 written policies? 3 A. They are. 4 Q. And what do you call them? 5 A. Well, we have an overarching policy 6 which basically says we will comply with 7 legislation of this sort, and then below that 8 we have guidance documents, which are more 9 technical and are guidance literally to the 10 technical people in functions like the one 11 I was responsible for, for how you might -- 12 how you identify a potentially referable 13 finding. 14 Q. And what do you call that? 15 A guidance document? 16 A. Guidance document, yeah. 17 Q. Okay. Do you have it there with 18 you? 19 A. I do, actually. I actually brought 20 it out in the break to have a quick -- another 21 look at. 22 Q. Okay. And feel free, of course, 23 if you have the guidance document there with 24 you, to look at it if you need to. Okay? 25 A. Okay.</p> | <p style="text-align: right;">Page 927</p> <p>1 A. It does, yes. 2 Q. Okay. What are the -- in general -- 3 strike that. 4 In general, what are the kinds of 5 information Syngenta's policy requires the 6 company to report to the US EPA? 7 A. So these documents describe in -- 8 at a high level, they describe that the type 9 of information is that which is related, in 10 large part, to toxicological study findings, 11 and there's an equivalent for environmental 12 study/eco-toxicology findings. And they go 13 through each of the different kinds of 14 toxicology study types to describe the 15 interpretation of what may be reportable. 16 Q. Does Syngenta reporting policies 17 specify the kinds of information the company 18 does not report to the US EPA? 19 A. No, it's not explicit in that 20 sense, no. 21 Q. Okay. Are there various different 22 updates or iterations of that policy? 23 A. There are, yes. So -- I mean, 24 I didn't have time to find everything during 25 the break but the latest version I found was</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 928</p> <p>1 in 2015.</p> <p>2 Q. Okay.</p> <p>3 MR. TILLERY: Let's pull up</p> <p>4 number 83, please. This will be</p> <p>5 Plaintiff's Exhibit No. 83, sir.</p> <p>6 (Botham Exhibit 83 marked for</p> <p>7 identification.)</p> <p>8 MR. TILLERY: For the record, this</p> <p>9 is 40 C.F.R. Code of Federal Regulations,</p> <p>10 section 159.158(a).</p> <p>11 BY MR. TILLERY:</p> <p>12 Q. Is Syngenta familiar with this</p> <p>13 document?</p> <p>14 A. Yes, I'm sure Syngenta is familiar.</p> <p>15 Q. What's your understanding of the</p> <p>16 purpose for the EPA requirement that</p> <p>17 a registrant report relevant inclusions or</p> <p>18 opinions of a person "employed or retained</p> <p>19 (directly or indirectly) by the registrant"?</p> <p>20 MR. NARESH: I'll object to the</p> <p>21 form.</p> <p>22 THE WITNESS: Well, my</p> <p>23 interpretation of that would be to --</p> <p>24 that this would include not just people</p> <p>25 on the payroll but also people who</p> | <p style="text-align: right;">Page 930</p> <p>1 consultant would, again, carry added</p> <p>2 significance because reportable information</p> <p>3 would not be against the registrant's own</p> <p>4 commercial interest? Do you understand that?</p> <p>5 MR. NARESH: Objection to form.</p> <p>6 THE WITNESS: Yes, I understand,</p> <p>7 yes.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. All right. Do you know how the EPA</p> <p>10 defines "a qualified expert"?</p> <p>11 A. I can't give you a rendition of</p> <p>12 that from memory, no.</p> <p>13 Q. All right.</p> <p>14 MR. TILLERY: Let's pull up</p> <p>15 Exhibit 84, please.</p> <p>16 (Botham Exhibit 84 marked for</p> <p>17 identification.)</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. Exhibit 84 is 40 C.F.R., that's Code</p> <p>20 of Federal Regulations, section 159.153(b).</p> <p>21 I'll just go through this with you but you can</p> <p>22 follow along with me if you see that.</p> <p>23 "Qualified expert" means one who,</p> <p>24 by virtue of his or her knowledge, skill,</p> <p>25 experience, training, or education, could be</p> |
| <p style="text-align: right;">Page 929</p> <p>1 might be retained as consultants.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. Okay. So you understand that the</p> <p>4 reason for that reporting requirement is that</p> <p>5 the conclusions or opinions of a registrant's</p> <p>6 own employee would carry added significance</p> <p>7 because reportable information would not be</p> <p>8 against the registrant's own commercial</p> <p>9 interest.</p> <p>10 Do you see that?</p> <p>11 A. Yes.</p> <p>12 Q. All right. And if you look at this</p> <p>13 further, what's your understanding of the</p> <p>14 purpose for the second requirement that</p> <p>15 a registrant report relevant conclusions or</p> <p>16 opinions of a person "for whom the registrant</p> <p>17 requested the opinion or conclusion in</p> <p>18 question."</p> <p>19 Do you see that?</p> <p>20 A. Yes.</p> <p>21 Q. And --</p> <p>22 A. That --</p> <p>23 Q. And likewise, do you understand the</p> <p>24 reason for that reporting requirement is that</p> <p>25 the conclusion or opinion of a registrant's</p> | <p style="text-align: right;">Page 931</p> <p>1 qualified by a court as an expert to testify on</p> <p>2 issues related to the subject matter on which</p> <p>3 he or she renders a conclusion or opinion."</p> <p>4 Do you see that?</p> <p>5 A. I do.</p> <p>6 Q. And would you understand the reason</p> <p>7 for that reporting requirement is that the</p> <p>8 conclusions or opinions of a qualified expert</p> <p>9 carry added significance because the expert's</p> <p>10 conclusions and opinions are intrinsically</p> <p>11 important? Would you agree?</p> <p>12 A. Yes, I agree.</p> <p>13 Q. Okay. Would you agree, and</p> <p>14 I'm referring to you as Syngenta now, with the</p> <p>15 following statement: As a general matter,</p> <p>16 the EPA frequently relies on the weight of</p> <p>17 evidence in making pesticide regulatory</p> <p>18 decisions and it considers expert opinion that</p> <p>19 tends to confirm or validate otherwise</p> <p>20 reportable information. In this context,</p> <p>21 expert opinions can play an important role in</p> <p>22 agency decision-making?</p> <p>23 MR. NARESH: Objection to form,</p> <p>24 foundation.</p> <p>25 THE WITNESS: I -- and the question</p> |

1 was do I agree with that? Yes, I do.
 2 BY MR. TILLERY:
 3 Q. Do you agree with that statement?
 4 A. Yes. Mmm-hmm.
 5 Q. There's a difference between
 6 section 159.158's reporting requirement for a
 7 registrant's employees and consultants on the
 8 one hand, and for qualified experts on the
 9 other hand, isn't there?
 10 A. Again, because I don't study the --
 11 have never studied the detail of these
 12 documents, I take your word for that.
 13 Q. Okay. And the difference is that
 14 section 159.158's reporting requirement for
 15 qualified experts is that it is not limited
 16 to experts with a relationship to the
 17 registrant.
 18 Do you understand that?
 19 A. Okay. That makes sense, yes.
 20 Q. So, in other words, if a registrant
 21 comes into possession of reportable information
 22 from a qualified expert, the registrant must
 23 report that information to the EPA regardless
 24 of who that expert is, correct?
 25 A. Yes.

1 Q. All right.
 2 A. If we believe that the information
 3 that's provided is indeed relevant to the
 4 reporting requirements with --
 5 Q. Where --
 6 A. -- under the legislation, yes,
 7 of course.
 8 Q. Okay. Where do you see the words in
 9 any of the FIFRA reporting obligations that
 10 puts in "if Dr. Botham believes the
 11 information's relevant"? Do you know that
 12 part?
 13 MR. NARESH: Object to the form --
 14 MR. TILLERY: Can you direct me to
 15 that section?
 16 MR. NARESH: I'll object to the
 17 form --
 18 THE WITNESS: I can't direct you
 19 to --
 20 MR. NARESH: -- and I'll also
 21 object to the foundation. I also
 22 think it's unfair to --
 23 MR. TILLERY: You've done it twice.
 24 Let's start over.
 25 Agree. I stipulate. You did it.

1 BY MR. TILLERY:
 2 Q. Where does that section, "Phil
 3 Botham doesn't think it's relevant," where do
 4 I find that in the code?
 5 A. No, no, I -- if I may just
 6 elaborate on what I mean by that, the --
 7 Q. No, I'm asking you -- no, excuse me.
 8 I'm asking you to answer my question. Where is
 9 that contained in the code? Where does it say,
 10 "If Dr. Botham and the potential referable
 11 committee thinks it is not relevant, we don't
 12 have to report it"? Where does it say that?
 13 A. It doesn't say that, and I would
 14 like an opportunity --
 15 Q. All right.
 16 A. -- if I may to explain what I mean
 17 by this.
 18 Q. You'll get your chance because your
 19 counsel has an opportunity. I want just direct
 20 questions, sir, to my -- I'm sorry, direct
 21 answers to my questions.
 22 So when Dr. Louise Marks worked for
 23 Syngenta, she was a qualified expert within
 24 the meaning of US EPA's definition, wasn't she?
 25 A. Yes.

1 MR. NARESH: Object to the form.
 2 BY MR. TILLERY:
 3 Q. And she was both a Syngenta employee
 4 and an expert, wasn't she?
 5 MR. NARESH: Same objection.
 6 THE WITNESS: Yes.
 7 BY MR. TILLERY:
 8 Q. And because Dr. Marks was a Syngenta
 9 employee, Syngenta would have been required
 10 to report any of Dr. Marks's conclusions and
 11 opinions if "the information was relevant
 12 to the assessment of the risks or benefits"
 13 of paraquat, correct?
 14 MR. NARESH: Objection to form.
 15 THE WITNESS: Correct.
 16 BY MR. TILLERY:
 17 Q. Okay. And the fact that Dr. Marks
 18 was an expert is another independent reason
 19 Syngenta would have been required to report any
 20 of Dr. Marks's conclusions and opinions if "the
 21 information was relevant to the assessment of
 22 the risks or benefits" of paraquat, right?
 23 MR. NARESH: Objection to form.
 24 THE WITNESS: Yes, and that is
 25 where we have internally done our own

| | |
|--|---|
| <p style="text-align: right;">Page 936</p> <p>1 guidance as to what the word "relevant"</p> <p>2 means.</p> <p>3 BY MR. TILLERY:</p> <p>4 Q. Okay. And your -- strike that.</p> <p>5 And 16 years after her studies were</p> <p>6 done, you sent them to the US EPA, didn't you?</p> <p>7 A. That is correct. Yes, in 2019</p> <p>8 information on those studies was sent to the</p> <p>9 EPA.</p> <p>10 Q. And did you know that followed my</p> <p>11 letter to counsel saying that I was going</p> <p>12 to send them myself if they weren't sent in?</p> <p>13 You knew that, too, right?</p> <p>14 A. I was made aware of that.</p> <p>15 Q. Yes. In that 16-year period, the</p> <p>16 fact that she was an expert, the fact that</p> <p>17 Dr. Marks was an employee didn't matter,</p> <p>18 did it? It wasn't sent in.</p> <p>19 MR. NARESH: Objection to form.</p> <p>20 THE WITNESS: It wasn't sent in</p> <p>21 because of the way in which we had</p> <p>22 defined "relevant" at the time the</p> <p>23 studies were done.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. Did you redefine "relevant" in</p> | <p style="text-align: right;">Page 938</p> <p>1 a Syngenta consultant and an expert, correct?</p> <p>2 MR. NARESH: Same objection.</p> <p>3 THE WITNESS: Correct.</p> <p>4 BY MR. TILLERY:</p> <p>5 Q. And because Dr. Di Monte was</p> <p>6 a Syngenta consultant, Syngenta would have been</p> <p>7 required to report any of Dr. Di Monte's</p> <p>8 conclusions and opinions if the information was</p> <p>9 relevant to the assessment of the risks or</p> <p>10 benefits of paraquat, correct?</p> <p>11 MR. NARESH: Same objections.</p> <p>12 THE WITNESS: Correct, and that</p> <p>13 would have been the case even if</p> <p>14 he had not been a consultant. So if</p> <p>15 he was -- if he had not been engaged in</p> <p>16 that way, even then there would have been</p> <p>17 some potential relevancy.</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. Okay. And the fact that</p> <p>20 Dr. Di Monte was an expert is another</p> <p>21 independent reason Syngenta would have been</p> <p>22 required to report any of Dr. Di Monte's</p> <p>23 conclusions and opinions if the information was</p> <p>24 relevant to the assessment of the risks or</p> <p>25 benefits of paraquat, right?</p> |
| <p style="text-align: right;">Page 937</p> <p>1 December 2019?</p> <p>2 A. I did not, no.</p> <p>3 Q. Okay. So somebody redefined the</p> <p>4 word "relevant." Suddenly, Dr. Marks's reports</p> <p>5 became relevant in December 2019, right?</p> <p>6 MR. NARESH: Objection to form,</p> <p>7 foundation.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. Right?</p> <p>10 A. That was the opinion of some of my</p> <p>11 Syngenta colleagues, yes.</p> <p>12 Q. Okay. Do you know if any word</p> <p>13 changed in the FIFRA obligations or any of</p> <p>14 FIFRA rules about what the word "relevant"</p> <p>15 meant in that period of time?</p> <p>16 A. I don't believe that any</p> <p>17 fundamental change was made, no.</p> <p>18 Q. Okay.</p> <p>19 And Dr. Dino Di Monte, he, too, was</p> <p>20 a qualified expert within the meaning of the</p> <p>21 EPA's definition, wasn't he?</p> <p>22 MR. NARESH: Objection to form.</p> <p>23 THE WITNESS: He was.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. So Dr. Di Monte would have been both</p> | <p style="text-align: right;">Page 939</p> <p>1 MR. NARESH: Same objections.</p> <p>2 THE WITNESS: Correct.</p> <p>3 MR. NARESH: Steve, I don't know if</p> <p>4 you saw, there are some comments in the</p> <p>5 chat about microphone feedback that</p> <p>6 others are hearing. I'm not hearing it</p> <p>7 but it sounds like --</p> <p>8 MR. TILLERY: I'm hearing a lot</p> <p>9 of --</p> <p>10 THE VIDEOGRAPHER: Yes.</p> <p>11 MR. TILLERY: I'm getting a lot of</p> <p>12 feedback.</p> <p>13 THE VIDEOGRAPHER: Yeah.</p> <p>14 MR. NARESH: And I'm --</p> <p>15 MR. TILLERY: And I don't know</p> <p>16 what's causing it. We don't get it when</p> <p>17 it's during the chat periods but -- we're</p> <p>18 not hearing it now but everything's</p> <p>19 turned off.</p> <p>20 THE WITNESS: Yeah, I've been</p> <p>21 getting it at this end as well.</p> <p>22 THE VIDEOGRAPHER: Yeah, I've been</p> <p>23 getting it here as well and it's</p> <p>24 obviously on the recording.</p> <p>25 MR. TILLERY: Is that on the</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 940</p> <p>1 recording as well?</p> <p>2 THE VIDEOGRAPHER: It is,</p> <p>3 unfortunately, yes. It's like a very</p> <p>4 high-pitched squeak. I don't know if</p> <p>5 everybody else is hearing the same.</p> <p>6 MR. TILLERY: Yeah. Well, we're</p> <p>7 going to -- if it continues, we'll have</p> <p>8 to stop for a bit to see if we can ferret</p> <p>9 out what's going on. There is</p> <p>10 no electronic equipment anywhere near the</p> <p>11 devices so it's certainly not here.</p> <p>12 I just --</p> <p>13 THE VIDEOGRAPHER: And every --</p> <p>14 MR. TILLERY: It could be the</p> <p>15 microphone itself in the device could be</p> <p>16 failing.</p> <p>17 THE VIDEOGRAPHER: Yeah --</p> <p>18 MR. TILLERY: I don't know what</p> <p>19 it is.</p> <p>20 THE VIDEOGRAPHER: Okay. I'll keep</p> <p>21 an eye on it. I'll listen in and let</p> <p>22 you know.</p> <p>23 MR. TILLERY: All right. Okay.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. Let's talk about Syngenta's policies</p> | <p style="text-align: right;">Page 942</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Does Syngenta have a policy or some</p> <p>3 other safeguard to ensure compliance with</p> <p>4 section 158 -- or section 159.158's requirement</p> <p>5 to report the opinions and conclusions of</p> <p>6 Syngenta's employees or consultants?</p> <p>7 MR. NARESH: Objection to form.</p> <p>8 THE WITNESS: Within our guidance</p> <p>9 it has both. Yes, it sets out very</p> <p>10 clearly the responsibilities of employees</p> <p>11 and also how we deal with opinion from</p> <p>12 consultants and other external opinion,</p> <p>13 even from those who are not consulting.</p> <p>14 BY MR. TILLERY:</p> <p>15 Q. And does the policy or safeguard</p> <p>16 that you mentioned, does it take the same</p> <p>17 position that we've discussed in this</p> <p>18 deposition so far; that is that those employees</p> <p>19 or those experts or those consultants that</p> <p>20 you're working with are -- who are employed</p> <p>21 at Syngenta, have to be disclosed, the</p> <p>22 information for them has to be disclosed to the</p> <p>23 EPA if it otherwise meets the filing</p> <p>24 requirements?</p> <p>25 MR. NARESH: Objection to the form.</p> |
| <p style="text-align: right;">Page 941</p> <p>1 for compliance with section 159.158.</p> <p>2 Has Syngenta ever had a company policy or</p> <p>3 other --</p> <p>4 MR. TILLERY: Yeah, there it is</p> <p>5 again. Yeah. Do you know if he can</p> <p>6 replace this?</p> <p>7 We're going to go off right now,</p> <p>8 if we can, to solve this technical</p> <p>9 problem to see what we can do, okay.</p> <p>10 THE VIDEOGRAPHER: Okay.</p> <p>11 MR. TILLERY: We'll go off the</p> <p>12 record.</p> <p>13 THE VIDEOGRAPHER: Yeah, that's</p> <p>14 great.</p> <p>15 THE WITNESS: I'll take a</p> <p>16 five-minute break if that's the case just</p> <p>17 to go to the bathroom.</p> <p>18 MR. TILLERY: That's fine, thank</p> <p>19 you. Thanks.</p> <p>20 THE VIDEOGRAPHER: We are going off</p> <p>21 the record. The time is 1:40.</p> <p>22 (Off the record.)</p> <p>23 THE VIDEOGRAPHER: We are back on</p> <p>24 the record. The time is 1:45.</p> <p>25 ///</p> | <p style="text-align: right;">Page 943</p> <p>1 THE WITNESS: Yes, and the guidance</p> <p>2 document, I think as I've said before,</p> <p>3 says how to interpret the information</p> <p>4 that may be given to us in those</p> <p>5 situations, such that it complies with</p> <p>6 the full definition of potentially</p> <p>7 referable findings in the legislation.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. Has there been any change, to your</p> <p>10 knowledge, in the last 20 years in the</p> <p>11 reporting obligations set out in that policy</p> <p>12 or safeguard that you mentioned with respect</p> <p>13 to employees, experts or consultants?</p> <p>14 A. I think, as I, again, mentioned</p> <p>15 earlier, our internal guidance documents are</p> <p>16 modified at a regular -- on a regular basis,</p> <p>17 and some of that comes from feedback from our</p> <p>18 US experts in PRF legislation, but these are</p> <p>19 relatively small changes, if you like,</p> <p>20 in quite -- in the detailed technical criteria</p> <p>21 that are used.</p> <p>22 Q. All right. So in the absence of</p> <p>23 a formal statement of a policy that covers</p> <p>24 exactly what we said, whether it exists or</p> <p>25 doesn't exist, Syngenta knows that it must</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 944</p> <p>1 report the conclusions and opinions of 2 employees or consultants of Syngenta if the 3 information is relevant to the assessment of 4 the risks or benefits of a pesticide like 5 paraquat, correct? 6 A. It does, yes. With the definition 7 of how we -- with the guidance on how 8 we define "relevant," yes. 9 Q. Has Syngenta ever made a report 10 to the EPA specifically to comply with 11 section 159.158? 12 MR. NARESH: Objection; foundation. 13 THE WITNESS: I wouldn't know how 14 to answer that question. 15 BY MR. TILLERY: 16 Q. You don't know the answer? 17 A. No. 18 Q. Has Syngenta ever made a report 19 to the EPA because the reportable information 20 was the conclusion or opinion of a Syngenta 21 consultant? 22 A. I'm sure we have, yes. 23 Q. About paraquat? 24 A. I can't recall immediately an 25 example for paraquat.</p> | <p style="text-align: right;">Page 946</p> <p>1 and legal people based in the USA. 2 Q. Okay. Do you know any names of 3 them? 4 A. One of the main people that we 5 relied on has been Dennis Hackett, who is the 6 technical secretary of the United States 7 PRF Committee. 8 Q. Okay. And he has given you 9 a definition of relevancy to follow, right? 10 A. He has given us an input in order 11 for us to generate the kind of detailed 12 guidance that I was holding up to you earlier 13 on. 14 Q. How does his definition of relevance 15 compare to the definition that the US EPA uses? 16 A. I think I'm not really able to make 17 that comparison. 18 Q. So when in doubt you follow 19 Mr. Hackett's definition of relevance, not the 20 one that the FIFRA statutes and regulations 21 apply, right? 22 MR. NARESH: I'll object to the 23 form and the foundation. 24 THE WITNESS: I wouldn't put it 25 that way. I'll restate that our internal</p> |
| <p style="text-align: right;">Page 945</p> <p>1 Q. Okay. Has Syngenta ever failed 2 to make a report to the US EPA when Syngenta 3 possessed reportable information that was 4 a conclusion or opinion of a Syngenta employee 5 or consultant? 6 MR. NARESH: Objection to form. 7 THE WITNESS: I don't believe that 8 we have, when we have used, as I've said 9 several times, our own criteria about how 10 to determine the relevance of effects or 11 opinion. 12 BY MR. TILLERY: 13 Q. So when you apply your own 14 definition of relevance, then you've made the 15 determination that you don't have to report it, 16 correct? 17 A. There are -- when we use our 18 internal definition of relevance, which has 19 come from expert opinion on this legislation 20 that we have received, there are some -- there 21 is some information which is determined to be 22 not reportable. 23 Q. And who is the expert you're relying 24 on? 25 A. Largely, these will be regulatory</p> | <p style="text-align: right;">Page 947</p> <p>1 guidance has been put together on the 2 basis of our best understanding of those 3 FIFRA requirements. 4 BY MR. TILLERY: 5 Q. So then -- it then -- can you tell 6 me that you have followed FIFRA in your 7 reporting obligations, without qualifying it 8 that you used your own internal definition of 9 relevancy? 10 A. Well, I think every organization 11 has to do what I've said, which is to take 12 a complex set of legislation and translate it 13 for a large number of people, whether they're 14 employees or consultants, so that, in their 15 day-to-day work, they can and we can, 16 in supporting them, comply, to our best 17 endeavors, with the legislation. 18 MR. TILLERY: What's the next 19 exhibit number? 20 MS. BRUMITT: 85. 21 MR. TILLERY: 85? 22 MS. BRUMITT: Yeah. 23 MR. TILLERY: Let's put up 24 number 85, Plaintiff's Deposition 25 Exhibit 85 on the screen.</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 948</p> <p>1 (Botham Exhibit 85 marked for 2 identification.) 3 MR. TILLERY: We'll adjust this 4 a little bit. 5 BY MR. TILLERY: 6 Q. Okay. Do you see this, sir? 7 A. I do. 8 Q. Okay. This is 40 Code of Federal 9 Regulations, section 159.165(a), and this is 10 Exhibit 85. Do you see that? 11 A. I do. 12 Q. All right. Are you familiar with 13 this regulation? Syngenta familiar. 14 A. Very familiar. 15 Q. Okay. 16 A. Very familiar. 17 Q. And you understand the purpose of 18 this regulation is to make sure the EPA knows 19 about the toxicity studies that reveal new 20 adverse information about the toxicity of the 21 chemical; is that right? 22 A. That is correct. 23 Q. To make sure the EPA knows about 24 toxicity studies that reveal new adverse 25 effects in a different organ, right?</p> | <p style="text-align: right;">Page 950</p> <p>1 be of relevance to human safety and may have 2 implications for the current registration of 3 a pesticide. They could mean that a different 4 risk assessment or risk management would be 5 required. 6 Q. And would you agree they also mean 7 that the more species or the more strains, both 8 sexes, or generation of test organism adversely 9 affected, the more generally toxic the chemical 10 is, right? 11 A. No, that's -- I wouldn't agree with 12 that statement. It doesn't mean it's more 13 toxic. It might mean that we understand more 14 about the nature of the toxicity and 15 potentially the relevance to man. 16 Q. Well, what I'm pointing out is 17 simply this: that if one mammalian species has 18 a problem with this and many, many other test 19 animals or species do not, that's different 20 than having a homogenous reaction to a chemical 21 that impacts all of them, isn't it, sir? 22 A. It could be, but -- 23 Q. And it -- and it's more predictive 24 in terms of its impact on other mammalian 25 species, isn't it?</p> |
| <p style="text-align: right;">Page 949</p> <p>1 A. That is one of the criteria, yes. 2 Q. Or a different tissue, right? 3 A. That is correct. 4 Q. Or a new adverse effect at a higher 5 incidence, right? 6 A. Yes. 7 Q. Or a frequency, right? 8 A. Yes. 9 Q. Or in a different species of test 10 organism, right? 11 A. Yes. 12 Q. Or in a different strain of test 13 organism, right? 14 A. Yes. 15 Q. Or in a different sex of test 16 organism, correct? 17 A. Correct. 18 Q. Or in a different generation of test 19 organism, correct? 20 A. Correct. 21 Q. What is your understanding of why 22 new adverse effects in different specie, 23 strain, sex or generation of test organism 24 is important for the US EPA to know about? 25 A. Because it -- those effects could</p> | <p style="text-align: right;">Page 951</p> <p>1 A. No, I think that's too general 2 a statement. There's much more judgment 3 involved in assessing relevance to humans than 4 the way in which you describe it. 5 Q. Okay. 6 Would you agree that a poison that 7 adversely affects more species is likely 8 to be -- have an effect on humans? 9 MR. NARESH: Objection to the form. 10 THE WITNESS: Not necessarily, for 11 the reasons I said before. 12 BY MR. TILLERY: 13 Q. So you wouldn't accept that premise 14 as a fair interpretation of this regulation, 15 right? 16 A. That's not necessarily what is 17 behind this regulation. It is, to restate, 18 that toxicology where different species are 19 used is a way of building up an overall weight 20 of the evidence, to allow you to understand 21 relevance to humans. 22 Q. So the fact that it impacts frogs or 23 mammals, or different kinds of mammals and 24 all other kinds of animals, you think is not 25 predictive in any way and that's not what</p> |

| Page 952 | Page 954 |
|---|---|
| <p>1 underlies the importance of reporting for the 2 US EPA? Is that your understanding? 3 A. No -- 4 Q. Is that what Syngenta's 5 understanding is? 6 A. No. No, no, you're putting it in 7 a different way again, which is not what 8 I'm trying to say. I'm saying that -- what 9 I'm disagreeing with is -- what I understand 10 you to be saying is that the more species you 11 see an effect in, the more of a likelihood 12 is that that toxicity, or whatever it is that 13 you're talking about, will happen in human 14 beings. 15 I'm saying that's not necessarily 16 the case. It may be the case but it isn't 17 necessarily the case. But what I'm saying 18 is that testing in different species, strain, 19 sex, generations, is a way in which you can 20 build up a much greater overall understanding 21 of the likely effects or potential effects 22 a chemical might have on human health. 23 Q. Irrespective of whether you and 24 I could ever agree on the real reason for that, 25 the fact is is that Syngenta knew that if it</p> | <p>1 in the brains of nonhuman primates, and 2 I think that's one of the reasons why 3 different decisions are sometimes made on 4 reportability. 5 Certainly when you see adverse 6 effects, which is what this is talking 7 about, as you see in line 2 here, 8 "adverse effects," that's very clear. 9 Presence of chemical is not necessarily 10 an adverse effect. 11 BY MR. TILLERY: 12 Q. So that fell into the -- to the 13 Syngenta relevancy definition, right -- 14 A. Exactly. 15 Q. -- it wasn't relevant? 16 A. Exactly, so -- 17 Q. You made it -- you determined they 18 didn't need to know about this. When in doubt, 19 if it's not relevant don't give them the 20 information, right? 21 A. Let me expand on that. 22 Q. Can you answer -- can you answer 23 that question? 24 A. We will not report if we believe 25 that the effects are not considered to be</p> |
| Page 953 | Page 955 |
| <p>1 did affect any of these different species or 2 frequency or different tissues or different 3 strains of a test organism, you had to report 4 it, correct? 5 A. We do, and we don't report the 6 weight of evidence. So, you know, we 7 certainly do report individual effects in 8 different specie, strain, sex and generation. 9 So we don't wait until we have that overall 10 weight of evidence before we report. 11 Q. Right. So the difference between 12 a squirrel monkey and a mouse, right? 13 A. Right. 14 Q. Those would be majorly different 15 species, wouldn't they? 16 A. They are, yes. 17 Q. Yes. So if you did studies showing 18 damage to dopaminergic neurons in mice and then 19 found that paraquat got into the brain of 20 squirrel monkeys, that would be certainly 21 a different species, wouldn't it? 22 MR. NARESH: Objection to form. 23 THE WITNESS: There's a difference 24 between seeing effects' damage to 25 neurones in a mouse and finding paraquat</p> | <p>1 adverse, in the sense that that is one 2 critical criterion to determine relevancy. 3 Q. As you define it at Syngenta, right? 4 A. That's the way in which we 5 have been advised to interpret it, yes. 6 Q. Okay. 7 A. A finding should be new and 8 adverse. 9 Q. Has Syngenta ever had a company 10 policy, other safeguards to ensure compliance 11 with section 159.165(a)'s requirement for 12 reporting toxicological studies? 13 A. Yes, we do have a policy that 14 we would apply. 15 Q. What is the policy? What is the 16 policy? 17 A. There is an overarching policy 18 which talks about our require -- the need for 19 us to comply with legislation such as the 20 FIFRA 6(a)(2). 21 Q. Do you have a policy at Syngenta for 22 all of the member companies under the Syngenta 23 umbrella that says when in doubt -- if there's 24 any question about whether this should be 25 reported, we'll report it to the US EPA?</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 956</p> <p>1 A. We had a policy -- we have a policy 2 that if there is any doubt in the minds of our 3 employees, particularly about any findings 4 that they become aware of in our own 5 toxicological studies for example, they should 6 bring them to our PRF Approach Committee, and 7 then that approach committee, as we have 8 described before, will, in many cases, then 9 submit them to the US PRF Committee who would 10 then determine whether they meet the criteria 11 of 6(a)(2). 12 Q. Let me ask you this: Just from a 13 lay perspective -- we've used a lot of fancy 14 scientific language in our days of depositions 15 in this. I just want to take this to a level 16 of understanding where everybody here 17 understands it. 18 Is getting paraquat in the brain of 19 a primate a good thing or a bad thing? 20 MR. NARESH: Objection to form. 21 THE WITNESS: The answer to that 22 question, in simple terms, is it will 23 depend how much paraquat gets in there 24 and for how long it stays. 25 ///</p> | <p style="text-align: right;">Page 958</p> <p>1 how much paraquat we give them. 2 We understand how much of that paraquat 3 gets to the brain, and at what point the 4 levels of paraquat that get into the 5 brain don't do any damage. So there is 6 a threshold of effect. 7 We then say, using good 8 toxicological practice, that you can -- 9 you apply what's called a margin of 10 safety. You say that as long as a level 11 of paraquat doesn't exceed that margin of 12 safety, then that is not deemed to be 13 a problem for human health. 14 The whole of regulatory risk 15 assessment is built on that premise. 16 So the EPA and other regulatory agencies 17 would use that premise. 18 BY MR. TILLERY: 19 Q. Okay. So what is the threshold 20 limit for how much a human can have of paraquat 21 in their brain before they have a problem? 22 What's that threshold? 23 A. I can't give you a -- I can't give 24 you a number off the top of my head. 25 Q. Well, does Syngenta not know?</p> |
| <p style="text-align: right;">Page 957</p> <p>1 BY MR. TILLERY: 2 Q. So getting in there itself, you 3 don't have any problem with; is that right? 4 A. No, I -- 5 Q. As long as -- as long as it's not at 6 a certain level, right? 7 A. This is one of the fundamental 8 principles of risk assessment. The dose -- 9 Q. Okay, so -- 10 A. The dose makes the poison so you 11 have to understand -- 12 Q. Okay, so -- so just a little bit 13 isn't a problem, right? 14 A. It might not be, but that is 15 a judgment that is made which is very specific 16 to the chemical that you're looking at. 17 Q. When does it become a problem? 18 How much do you have to get in your brain of 19 paraquat before it's a problem for Syngenta? 20 MR. NARESH: Objection to form. 21 THE WITNESS: Well, this is really 22 at the heart of a lot of the work that 23 we've been doing. So we understand 24 the -- let's take the animal model 25 studies that we've done. We understand</p> | <p style="text-align: right;">Page 959</p> <p>1 You're selling paraquat all over the world. 2 Syngenta doesn't know how much paraquat you 3 get in a human brain before you have a problem? 4 A. We -- 5 MR. NARESH: Objection to form. 6 THE WITNESS: Yes, we have been 7 doing those calculations and we looked at 8 a document, I think, in my last 9 deposition which was our internal 10 document that provided some of those 11 calculations, and we've continued to do 12 studies to help us to refine those 13 numbers. 14 So the reason for not giving it 15 to you now is I haven't got that number 16 to hand. 17 BY MR. TILLERY: 18 Q. So you, today, can't tell me how 19 much Syngenta believes that a human being could 20 get into the brain -- we're talking about 21 paraquat -- before they have a medical issue, 22 right? 23 MR. NARESH: Objection to form. 24 BY MR. TILLERY: 25 Q. A hazardous condition. Can you tell</p> |

| Page 960 | Page 962 |
|--|--|
| <p>1 me?</p> <p>2 A. I can't give you that number right</p> <p>3 now and, as I say, this is a number which is</p> <p>4 a calculation based on mathematical modeling</p> <p>5 built on real data in animal models.</p> <p>6 Q. Okay. So there is some amount that</p> <p>7 can get in the brain. You can't tell me the</p> <p>8 amount, but there is some amount that can get</p> <p>9 into the brain that is, in your view,</p> <p>10 acceptable. Right?</p> <p>11 A. And that is absolutely normal</p> <p>12 toxicological practice accepted by regulatory</p> <p>13 authorities all over the world. Not just for</p> <p>14 paraquat.</p> <p>15 Q. Okay.</p> <p>16 MR. TILLERY: I move to strike your</p> <p>17 answer as unresponsive.</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. Would you please listen to my</p> <p>20 question, sir. So there is some amount of</p> <p>21 paraquat that can get into the human brain that</p> <p>22 is an acceptable level for Syngenta; is that</p> <p>23 correct?</p> <p>24 A. I think -- I wouldn't use the term</p> <p>25 "acceptable to Syngenta." I'm -- as a</p> | <p>1 into my brain, how much of it can I get in my</p> <p>2 brain where I'm just fine, no health problem,</p> <p>3 no Parkinson's disease? How much?</p> <p>4 A. Well, that's -- we have done a --</p> <p>5 we have done some calculations which say</p> <p>6 that -- you know, we have come to a number</p> <p>7 that can be derived in that way, and that that</p> <p>8 number is -- it is highly unlikely that people</p> <p>9 using paraquat would ever be exposed to</p> <p>10 something anywhere close to that.</p> <p>11 Q. Well, what is the number? I keep</p> <p>12 asking you what the number is and you keep</p> <p>13 saying in nebulous ways there's a number or</p> <p>14 there's a threshold. What is it? Give it to</p> <p>15 us.</p> <p>16 A. I don't have -- I don't have it</p> <p>17 with me right now, I'm sorry.</p> <p>18 Q. But whatever it is, it's more than</p> <p>19 they'd ever get in their brains, right?</p> <p>20 A. That's -- yes.</p> <p>21 Q. Whatever that number is that you</p> <p>22 can't tell me? Okay. You know it's there</p> <p>23 within their margin of safety; you just don't</p> <p>24 know what that is.</p> <p>25 MR. NARESH: Objection to form.</p> |
| Page 961 | Page 963 |
| <p>1 toxicologist, I'm talking about a level which</p> <p>2 is unlikely to do harm.</p> <p>3 Q. Okay. So let's change this. There</p> <p>4 is some level of paraquat that can get into the</p> <p>5 human brain that is unlikely to cause harm,</p> <p>6 according to Syngenta. Is that a correct</p> <p>7 statement?</p> <p>8 A. Yes, exactly.</p> <p>9 Q. Okay.</p> <p>10 A. In --</p> <p>11 Q. And that falls below, within, let's</p> <p>12 say -- strike the question.</p> <p>13 And that amount falls within the</p> <p>14 so-called margin of safety that you referenced,</p> <p>15 correct?</p> <p>16 A. Yes, we have a margin of safety</p> <p>17 which says we believe that if a human being</p> <p>18 is exposed to a level which is below that</p> <p>19 number, which is derive -- which is called the</p> <p>20 margin-of-safety number, would be expected to</p> <p>21 cause no harm.</p> <p>22 Q. Okay. And what is that</p> <p>23 margin-of-safety number? If I'm going to go</p> <p>24 out and spray your product in the fields and</p> <p>25 I'm going to inhale it and it's going to get</p> | <p>1 BY MR. TILLERY:</p> <p>2 Q. Right?</p> <p>3 A. We do know what that is. You're</p> <p>4 asking me to try --</p> <p>5 Q. What is it?</p> <p>6 A. -- and give you --</p> <p>7 Q. What is it?</p> <p>8 A. -- a number right now.</p> <p>9 Q. What is it?</p> <p>10 A. I don't have it to hand. I repeat</p> <p>11 again --</p> <p>12 Q. Why don't you give me a ballpark.</p> <p>13 What's the range?</p> <p>14 A. I'm sorry, I'm not prepared to do</p> <p>15 that. I'm a scientist. I would rather go</p> <p>16 back and provide you with a proper thought --</p> <p>17 you know, a proper number, not something that</p> <p>18 I'm vaguely remembering.</p> <p>19 Q. Well, let me drill down on this just</p> <p>20 a little bit. Did you ever have a number?</p> <p>21 A. Yes. You might remember we looked</p> <p>22 at a document in my last deposition where</p> <p>23 we were doing those kind of calculations.</p> <p>24 Q. Okay. So you remember in our last</p> <p>25 deposition that you gave us the amount of</p> |

1 paraquat that can get in the brain within
2 a margin of safety for the human being, right?

3 A. Yes.

4 Q. That's what you remember?

5 A. Yes.

6 Q. Okay. And you think that that's in
7 the prior deposition, correct?

8 A. It is, yes.

9 Q. And that is already set out there,
10 right?

11 A. It was in the -- it was in one of
12 the documents that was part of that previous
13 deposition.

14 Q. And just so we can, at the next
15 break, look at that, any of the documents,
16 which document was it set out in?

17 A. It was a document which was
18 entitled -- the reference -- I think it had
19 "reference dose for paraquat" in the title.

20 Q. A reference dose for paraquat?

21 A. Yes.

22 Q. And that reference dose was based
23 upon your own studies at Syngenta?

24 A. That's right, yes.

25 Q. And when did you do those studies?

1 A. Those were studies that we've been
2 doing since 2007 and have been doing right up
3 to this day.

4 Q. Okay. So you knew that information,
5 then. Did you know that information in 2011?

6 A. It was still a work in progress at
7 that time. We were still in the middle of our
8 research program.

9 Q. Well, when did it become fixed in
10 your corporate knowledge that you could get
11 paraquat in the human brain within a margin of
12 safety that didn't exceed a certain threshold,
13 and the person would not have adverse health
14 outcomes? When did that happen?

15 A. Well, we began to write those
16 documents about -- the reference dose
17 documents in -- I can't remember when the
18 first one was. It was, say, around 2010,
19 thereabouts, and we revised that document
20 several times as new information became
21 available.

22 Q. Okay. And can you tell me the range
23 of how much you can get in the brain today
24 without looking at the document?

25 A. No.

1 Q. Okay. But if we pull that document
2 out at the next break and start that section of
3 the deposition, you'll be able to reference
4 that particular document and tell the court and
5 the ladies and gentlemen of the jury how much
6 paraquat can enter the human brain within
7 a margin of safety so that the person doesn't
8 develop any adverse health effect, correct?

9 A. This is the -- you know, the number
10 which you calculate from the information you
11 have available, which is giving you the best
12 possible prediction of that, yes.

13 Q. And do you know if redox cycling was
14 contemplated when you did that analysis?

15 A. Well, redox cycling is behind the
16 way in which paraquat can damage cells. So,
17 yes, let me say that that document was making
18 the assumption -- because it was a very
19 conservative document in that sense. It was
20 making the assumption that the effects that
21 had been seen by some, and by us as well if
22 you include the Marks studies and the early
23 Breckenridge studies, the effects seen in the
24 mouse in the brain really were, if you like,
25 real effects, this is an effect of paraquat.

1 So it was making that assumption and then
2 calculating these margins of exposure, these
3 reference doses with that in mind.

4 So it was not built on the basis of
5 a denial of that possibility.

6 Q. So you were factoring in -- just so
7 we're clear, you were factoring in redox
8 cycling characteristics of the chemical
9 paraquat when you created this document and
10 calculated the margin of safety and the
11 threshold of paraquat that's safe within the
12 human brain, correct?

13 A. We were factoring in that paraquat,
14 potentially through redox cycling, was capable
15 of damaging neurones in the brain,
16 as a conservative assumption.

17 Q. Did you calculate how many neurons
18 in the human brain it was safe to kill or
19 damage?

20 A. No.

21 Q. Did you ever calculate how many
22 neurons, dopaminergic neurons, in the
23 substantia nigra you could kill with paraquat
24 before the onset of Parkinson's symptoms?

25 A. In the human being?

| Page 968 | Page 970 |
|--|---|
| <p>1 Q. Yes.</p> <p>2 A. No.</p> <p>3 Q. Okay. Did you calculate it with</p> <p>4 respect to any nonhuman primate?</p> <p>5 A. No.</p> <p>6 Q. So you calculated --</p> <p>7 A. Other people --</p> <p>8 Q. -- it with other animals?</p> <p>9 A. No. We -- yes -- well, yes, sorry.</p> <p>10 Obviously we calculated -- we measured the</p> <p>11 number of neurones that were damaged in the</p> <p>12 mouse model.</p> <p>13 Q. Okay. And you assume that if</p> <p>14 certain number of neurons in the human brain</p> <p>15 were killed, are rendered incapable of</p> <p>16 producing dopamine and it didn't reach</p> <p>17 a certain level, it was within the margin of</p> <p>18 safety to use?</p> <p>19 A. No. In making our extrapolations</p> <p>20 to humans, we didn't say you have to have</p> <p>21 a certain number of neurones dying before that</p> <p>22 could lead to Parkinson's disease. We were</p> <p>23 saying what levels are you unlikely to get any</p> <p>24 damaged cells.</p> <p>25 Q. So your margin of safety or</p> | <p>1 Q. Well, you have in front of you on</p> <p>2 the screen 40 C.F.R. 159.165. Do you see that?</p> <p>3 A. Yeah, sorry. I need to go back</p> <p>4 to that, yeah. Mmm-hmm.</p> <p>5 Q. Okay. And it talks about the fact</p> <p>6 that a registrant, like Syngenta, must report</p> <p>7 the results of a study of the toxicity of</p> <p>8 a pesticide to humans --</p> <p>9 A. Mmm.</p> <p>10 Q. -- if, relative to all previously</p> <p>11 submitted studies, they show an adverse effect</p> <p>12 in a different species.</p> <p>13 Do you see that?</p> <p>14 A. Yes.</p> <p>15 Q. Okay.</p> <p>16 A. And we do that all the time.</p> <p>17 Q. All right. And you've made a report</p> <p>18 to comply with that with respect to paraquat?</p> <p>19 A. We have certainly submitted</p> <p>20 a number of toxicological and environmental</p> <p>21 studies on paraquat, yes, we have certainly</p> <p>22 done that.</p> <p>23 Q. On that section?</p> <p>24 A. On that section, yes.</p> <p>25 Q. Okay. And what was the different</p> |
| Page 969 | Page 971 |
| <p>1 threshold was a certain amount, which we're</p> <p>2 going to -- you're going to tell us later today</p> <p>3 what that amount is when you look at this</p> <p>4 document; that you reached a certain amount and</p> <p>5 that amount would not give rise to either any</p> <p>6 damage to, or death of, a dopaminergic neurone</p> <p>7 in the substantia nigra portion of the human</p> <p>8 brain, correct?</p> <p>9 A. Correct, yes.</p> <p>10 Q. All right.</p> <p>11 Syngenta knows that it must report</p> <p>12 the results of the toxicity of a pesticide</p> <p>13 to humans if, relative to all previously</p> <p>14 submitted studies, they show an adverse effect</p> <p>15 in different species, correct?</p> <p>16 A. Correct.</p> <p>17 Q. Has Syngenta ever made a report</p> <p>18 to the US EPA to comply with section 159.165(a)</p> <p>19 that deals with that exact point --</p> <p>20 MR. NARESH: Objection to form,</p> <p>21 foundation.</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. -- and knowledge?</p> <p>24 A. I'm sorry, I don't really</p> <p>25 understand what that means in practice.</p> | <p>1 species that you reported?</p> <p>2 A. Well, I'd have to go back and look</p> <p>3 at the detail. I was answering that in terms</p> <p>4 -- in general terms. We have certainly</p> <p>5 submitted reports of adverse findings</p> <p>6 associated with paraquat that meets some of</p> <p>7 these criteria.</p> <p>8 Q. Has Syngenta ever made a report</p> <p>9 to the EPA because the results of a study</p> <p>10 of the toxicity of a pesticide to humans,</p> <p>11 if relative to all previously submitted</p> <p>12 studies, shows an adverse effect in a different</p> <p>13 species?</p> <p>14 MR. NARESH: Objection; form.</p> <p>15 THE WITNESS: Yes. Again, in</p> <p>16 general terms, we do that. We have done</p> <p>17 that.</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. With respect -- you've done that</p> <p>20 with respect to paraquat?</p> <p>21 A. Again, I would have to go back</p> <p>22 through all the files that we've done to be</p> <p>23 very specific about that.</p> <p>24 Q. You don't remember ever doing it</p> <p>25 specifically with respect to paraquat; is that</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|---|---|
| <p style="text-align: right;">Page 972</p> <p>1 what you're saying?</p> <p>2 A. Well, you asked -- this was about</p> <p>3 a different specie, so --</p> <p>4 Q. Yes, it was.</p> <p>5 A. That's the bit that I would need to</p> <p>6 check.</p> <p>7 MR. TILLERY: Now let's pull up the</p> <p>8 next exhibit, please. Which number is</p> <p>9 that?</p> <p>10 MS. BRUMITT: It will be 86.</p> <p>11 MR. TILLERY: Dr. Botham, we're</p> <p>12 going to look at Plaintiff's Deposition</p> <p>13 Exhibit 86 at this time.</p> <p>14 (Botham Exhibit 86 marked for</p> <p>15 identification.)</p> <p>16 MR. TILLERY: If we can go off the</p> <p>17 record for just one second. Everybody</p> <p>18 stay put.</p> <p>19 THE VIDEOGRAPHER: We are going off</p> <p>20 the record. The time is 2:20.</p> <p>21 (Off the record.)</p> <p>22 THE VIDEOGRAPHER: We are back on</p> <p>23 the record. The time is 2:21.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. Can you see plaintiff's --</p> | <p style="text-align: right;">Page 974</p> <p>1 registration, the registrant is required by</p> <p>2 section 6(a)(2) to submit the information</p> <p>3 to the US EPA, correct?</p> <p>4 A. Correct.</p> <p>5 Q. And as of August 23, 1978, in the</p> <p>6 Federal Register this quote was printed:</p> <p>7 "If the information would be</p> <p>8 relevant to an Agency decision on the continued</p> <p>9 registration of the pesticide, or to the proper</p> <p>10 terms and conditions of the pesticide's</p> <p>11 registrations and the other requirements for</p> <p>12 section 6(a)(2) are satisfied, the registrant</p> <p>13 is required by section 6(a)(2) to commit the</p> <p>14 information to the Agency."</p> <p>15 Were you aware of that?</p> <p>16 MR. NARESH: I'll object to the</p> <p>17 form.</p> <p>18 THE WITNESS: Well, I don't recall</p> <p>19 having read those precise words myself,</p> <p>20 but that's fine, it sounds reasonable.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. And you understand from the written</p> <p>23 regulations within Syngenta that that</p> <p>24 compliance is required?</p> <p>25 A. Yes. Again, as a general principle</p> |
| <p style="text-align: right;">Page 973</p> <p>1 I'm sorry.</p> <p>2 This is Plaintiff's Deposition</p> <p>3 Exhibit 86, Dr. Botham. It's section 40 C.F.R.</p> <p>4 section 159.195. Okay?</p> <p>5 A. Yes, I can see that.</p> <p>6 Q. Are you familiar with this?</p> <p>7 A. I'm less familiar with this because</p> <p>8 it's outside of my normal technical oversight.</p> <p>9 Q. Why don't you take a minute and</p> <p>10 refresh yourself of it.</p> <p>11 A. Okay.</p> <p>12 Q. Do you understand the purpose of</p> <p>13 this section, it's a catch-all to make sure</p> <p>14 that the EPA knows anything about a pesticide</p> <p>15 not covered by the agency's regulations that</p> <p>16 might materially bear on its continued</p> <p>17 registration or the terms of its registration.</p> <p>18 Do you understand that?</p> <p>19 MR. NARESH: Object to the form.</p> <p>20 THE WITNESS: Yes.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. So, in other words, if the</p> <p>23 information would be relevant to an agency</p> <p>24 decision or the continued registration of the</p> <p>25 pesticide, or to the proper terms of its</p> | <p style="text-align: right;">Page 975</p> <p>1 I do.</p> <p>2 Q. Okay. And that doesn't -- strike</p> <p>3 that.</p> <p>4 Has Syngenta ever had a company</p> <p>5 policy/other safeguards to specifically comply</p> <p>6 with that section?</p> <p>7 A. I'm not aware of that.</p> <p>8 Q. Have you ever had any kind of</p> <p>9 training session or discussion with, as you</p> <p>10 said, the people who are trained about this</p> <p>11 particular compliance requirement to learn what</p> <p>12 that section means?</p> <p>13 A. I don't immediately recall a</p> <p>14 training which has been focused on that.</p> <p>15 Q. Okay. Has Syngenta ever made</p> <p>16 a report to the EPA specifically to comply with</p> <p>17 that section that's up there right now,</p> <p>18 Plaintiff's Deposition Exhibit 86, 159.195?</p> <p>19 A. Again, I anticipate it may have</p> <p>20 done but I couldn't give you categorical</p> <p>21 evidence for that today.</p> <p>22 Q. Well now let's refer back to the</p> <p>23 Louise Marks's studies that we have discussed</p> <p>24 earlier in this deposition; not today but</p> <p>25 earlier in the deposition.</p> |

36 (Pages 972 to 975)

Page 976

1 The first one of those I want to
2 talk about, and for counsel on the deposition
3 I'm referring to SYNG-PQ-00116782. That's
4 referenced as pages 1 through 57.
5 This is in reference to the Louise
6 Marks's research report dated June 21, 2007,
7 regarding the report of paraquat neurotoxicity
8 in the Charles River C57 black mouse, study
9 number is XM7258.
10 Do you remember that we went over
11 that in great length?
12 A. Mmm.
13 Q. Do you remember that, Dr. Botham --
14 A. I do.
15 Q. -- in the earlier days of this
16 deposition?
17 A. I do.
18 Q. Do you remember? All right.
19 Just to recap, the purpose of the
20 study was to determine whether the results of
21 Dr. Marks's first study, the one that it found
22 paraquat had no effect on dopaminergic neurons
23 in the black mouse, whether those results could
24 be reproduced -- let's start over and stop
25 right now.

Page 977

1 MR. TILLERY: Don't answer the
2 question.
3 We have a return of our problems
4 and that's because our IT guy walked
5 towards the door.
6 (Off-the-record discussion.)
7 MR. TILLERY: Let's go off the
8 record, please.
9 THE VIDEOGRAPHER: Okay. We are
10 going off the record. The time is 2:27.
11 (Off the record.)
12 THE VIDEOGRAPHER: We are back on
13 the record. The time is 2:30.
14 BY MR. TILLERY:
15 Q. And just to recap, Dr. Botham,
16 the purpose of Dr. Marks's study was to
17 determine whether the results of her first
18 study, the one that had found paraquat to have
19 no effect on dopaminergic neurons of a black
20 mouse, whether those results could be
21 reproduced. Is that correct?
22 A. That's correct.
23 Q. But in the second study, Dr. Marks
24 reported a statistically significant reduction
25 in dopaminergic neurones, didn't she?

Page 978

1 A. That's correct, and I believe
2 that's because this is a study where she had
3 modified the methodology.
4 Q. She had an upgraded stereology
5 device, correct?
6 A. That's correct.
7 Q. And as we discussed earlier,
8 Dr. Marks reported in the second study that the
9 researchers "used one of the most widely used
10 and accurate stereology systems currently
11 available and the methodology was refined
12 to further improve the accuracy of the cell
13 count," not the automated older stereology
14 software used in the first study, right?
15 A. That's correct.
16 Q. Dr. Marks's finding of a
17 statistically significant reduction in
18 dopaminergic neurons in the substantia nigra of
19 the Charles River black mouse is "information
20 regarding unreasonable adverse effects on the
21 environment of the pesticide," isn't it?
22 MR. NARESH: Objection to form.
23 THE WITNESS: If it is a new
24 finding, yes.
25 ///

Page 979

1 BY MR. TILLERY:
2 Q. So you -- okay. If it's a new
3 finding, you agree with that statement, don't
4 you?
5 A. Yes.
6 Q. Okay. And that finding is
7 information about an unreasonable risk to man
8 or the environment posed by paraquat, isn't it?
9 A. Yes. Such a finding could be,
10 indeed, captured by that definition.
11 Q. And therefore FIFRA 6(a)(2)
12 obligated Syngenta to report that finding
13 to the US EPA, didn't it?
14 MR. NARESH: Objection to form.
15 THE WITNESS: It did not because
16 it would -- it also requires it to be
17 a new finding, i.e. it would allow the
18 EPA to be able to make a different
19 judgment about the safety of paraquat.
20 This was not new; it was replicating what
21 other researchers had found.
22 BY MR. TILLERY:
23 Q. And that's why you say you didn't
24 report it in 2003 or '04 when the study was
25 done?

1 A. That's the reason why we didn't
2 report it at that time, yes.

3 Q. Then tell me why it suddenly became
4 relevant in December 2019 --

5 A. Well, this was a decision that was
6 taken by my --

7 Q. -- when you sent it in.

8 A. Yeah. This was a decision that was
9 taken by my colleagues in Syngenta.

10 I honestly cannot speak on their behalf as
11 to exactly why it was the right course of
12 action to report it at that time.

13 Q. But you can see that Syngenta did,
14 16 years later, report it, right?

15 A. Yes. That did occur, yes.

16 Q. After I demanded they do it, right?

17 MR. NARESH: Objection to form.

18 THE WITNESS: That was undoubtedly
19 one of the reasons why they took that
20 action, yes.

21 BY MR. TILLERY:

22 Q. Right, exactly.

23 Dr. Marks's finding was also
24 relevant to the assessment of the risks or
25 benefits -- strike this. Hold on. Let's see

1 BY MR. TILLERY:

2 Q. Okay. And earlier we established
3 that 40 C.F.R. 159.158 required Syngenta
4 to report any of Dr. Marks's conclusions and
5 opinions if the information was relevant to the
6 assessment of the risks or benefits of paraquat
7 because she was an employee, correct? Didn't
8 we conclude that?

9 A. That is one of the stipulations,
10 yes.

11 Q. But we did conclude that earlier in
12 the deposition?

13 A. Yes. We did, yes. Mmm.

14 Q. And we also established that that
15 same section required Syngenta to report any of
16 Dr. Marks's conclusions or opinions if the
17 information was relevant to the assessment of
18 the risks or benefits of paraquat because she's
19 a qualified expert. Do you remember that?

20 A. Yes.

21 Q. Okay. So because Dr. Marks was
22 a qualified expert required Syngenta to report
23 Dr. Marks's finding of a statistically
24 significant reduction in dopaminergic neurons
25 in the substantia nigra of the Charles River

1 what we can do here. We're having more
2 trouble.

3 (Off-the-record discussion.)

4 MR. TILLERY: Go back on.

5 It's very bad now. We don't need the
6 video on at this point because we've got
7 to deal with this problem, it's
8 distorting everything.

9 So we can go off the record at this
10 point.

11 THE VIDEOGRAPHER: We are going off
12 the record. The time is 2:36.

13 (Off the record.)

14 THE VIDEOGRAPHER: We are back on
15 the record. The time is 2:43.

16 BY MR. TILLERY:

17 Q. Dr. Marks's finding of a
18 statistically significant reduction in
19 dopaminergic neurons in the substantia nigra of
20 the Charles River black mouse was relevant to
21 the assessment of the risks and benefits of
22 paraquat, wasn't it?

23 MR. NARESH: Objection to form.

24 THE WITNESS: It's relevant, yes.

25 ///

1 black mouse, correct?

2 MR. NARESH: Objection to form.

3 THE WITNESS: We believed, and with
4 the advice that we have taken on 6(a)(2),
5 that because this was not a new finding,
6 it was replicating what other
7 laboratories had found, that this
8 was not -- we did not need to
9 specifically report Dr. Marks's study at
10 this time.

11 BY MR. TILLERY:

12 Q. So the fact that it had been done
13 somewhere else -- where else had it been done,
14 by the way?

15 A. Well, we're talking about the work
16 that's been done in Dr. Di Monte's and
17 Dr. Cory-Slechta's labs, for example.

18 Q. Okay. Because it had been done by
19 Dr. Deborah Cory-Slechta, that's the same lady
20 that we talked about who was nominated for
21 membership on the Scientific Advisory Panel,
22 correct?

23 A. That's the same person, yes.

24 Q. Okay. Because it had been done by
25 her, the fact that Dr. Marks was a qualified

| | |
|---|---|
| <p style="text-align: right;">Page 984</p> <p>1 expert and an employee but got what you deemed</p> <p>2 to be the same kind of results meant that she</p> <p>3 did not -- her results did not have to be</p> <p>4 reported to the US EPA, correct?</p> <p>5 A. Yes, the explanation that we are</p> <p>6 provided for that is because the work in this</p> <p>7 case of Dr. Cory-Slechta has already been made</p> <p>8 available to the EPA by virtue of it being in</p> <p>9 the public domain and through a peer-reviewed</p> <p>10 publication, that we do not need to further</p> <p>11 submit our studies.</p> <p>12 Q. So you thought Dr. Cory-Slechta's</p> <p>13 work was reliable, was consistent with</p> <p>14 Dr. Marks's work, and was information of a</p> <p>15 scientific type that should and was reported</p> <p>16 to the US EPA and known to them, and that's why</p> <p>17 you didn't report it, right?</p> <p>18 MR. NARESH: Objection to form.</p> <p>19 THE WITNESS: We did not report it</p> <p>20 because we believed that that finding,</p> <p>21 the nature of it, in that strain of</p> <p>22 mouse, was already known to the EPA</p> <p>23 because of the work of Dr. Cory-Slechta</p> <p>24 and others.</p> <p>25 ///</p> | <p style="text-align: right;">Page 986</p> <p>1 investigate whether the loss of dopaminergic</p> <p>2 neurons in the substantia nigra observed in her</p> <p>3 second study could be further enhanced by</p> <p>4 increasing the frequency of dosing --</p> <p>5 A. Yes.</p> <p>6 Q. -- correct?</p> <p>7 A. Yes.</p> <p>8 Q. And, again, Dr. Marks found that</p> <p>9 increased dosing frequency did not result in</p> <p>10 greater magnitude of cell loss, confirming the</p> <p>11 findings of her second study, right?</p> <p>12 A. Correct.</p> <p>13 Q. Dr. Marks's finding, confirming her</p> <p>14 earlier study and demonstrating that paraquat</p> <p>15 induces nigral but not striatal toxicity, is</p> <p>16 information regarding unreasonable adverse</p> <p>17 effects from the environment of a pesticide,</p> <p>18 isn't it?</p> <p>19 A. Yes. We've agreed that that is,</p> <p>20 in principle, true, yes.</p> <p>21 Q. And that finding is information</p> <p>22 about an unreasonable risk to man or the</p> <p>23 environment posed by paraquat, isn't it?</p> <p>24 A. Yes.</p> <p>25 Q. And the reason Syngenta did not</p> |
| <p style="text-align: right;">Page 985</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. You didn't report it in any of the</p> <p>3 years when she was doing the study -- strike</p> <p>4 the question.</p> <p>5 Now, unlike the second study, did</p> <p>6 Syngenta submit Dr. Marks's first study?</p> <p>7 A. No.</p> <p>8 Q. Okay.</p> <p>9 Let's talk about Dr. Marks's next</p> <p>10 study, XM73731. Do you remember that one as</p> <p>11 well?</p> <p>12 A. If this was the third study, yes.</p> <p>13 Q. Yeah, this is number 3, and we had</p> <p>14 marked this in the deposition as Exhibit 29,</p> <p>15 okay. And the title of that study was</p> <p>16 "Investigating reported paraquat-induced</p> <p>17 dopaminergic neurotoxicity in the Charles River</p> <p>18 C57 black mouse: The neurochemical,</p> <p>19 neuropathological and neurobehavioral effects</p> <p>20 of increasing the dosing frequency of</p> <p>21 paraquat."</p> <p>22 Do you remember that?</p> <p>23 A. Yes, I do.</p> <p>24 Q. And as you've previously testified,</p> <p>25 the purpose of the third study was to</p> | <p style="text-align: right;">Page 987</p> <p>1 report it, even though it met those criteria,</p> <p>2 is because you say it had already been done?</p> <p>3 A. Yes. If I've got the chronology</p> <p>4 right, this wasn't the study that we did</p> <p>5 report, I think that was the next one, unless</p> <p>6 I -- not having them in front of me again, so</p> <p>7 -- obviously, one study we did report to the</p> <p>8 EPA. I can't remember if it's what we call</p> <p>9 study 3 or study 4.</p> <p>10 Q. Well, this is study 3. This is</p> <p>11 study number 3. So this wasn't reported.</p> <p>12 Do you remember your reason why you didn't</p> <p>13 report it?</p> <p>14 A. If this one was not reported, it's</p> <p>15 because, again, the conditions of the study,</p> <p>16 the numbers of exposures given and the time</p> <p>17 at which the observations were made were</p> <p>18 identical to information that was already in</p> <p>19 the literature, which was available to the</p> <p>20 EPA.</p> <p>21 Q. Dr. Marks's finding was relevant</p> <p>22 to the assessment of the risks or benefits of</p> <p>23 paraquat, too, wasn't it, sir?</p> <p>24 A. Yes.</p> <p>25 Q. In that study?</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 988</p> <p>1 A. Yes, yes.</p> <p>2 Q. And because she was a qualified</p> <p>3 expert, the study under section 159.158</p> <p>4 required reporting of Dr. Marks's third study</p> <p>5 as well, didn't it?</p> <p>6 MR. NARESH: Objection to form.</p> <p>7 THE WITNESS: It did not require</p> <p>8 reporting if it was not a new finding.</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. Okay. And would you mind giving me</p> <p>11 your interpretation that you relied upon on the</p> <p>12 newness requirement in 159.158? Is there a</p> <p>13 newness requirement there?</p> <p>14 A. I don't know the answer to that</p> <p>15 question. The new finding comes from the</p> <p>16 education, the guidance that we received from</p> <p>17 our US-based experts in 6(a)(2).</p> <p>18 Q. So if the interpretation Syngenta</p> <p>19 relied upon is incorrect and there is no</p> <p>20 newness requirement in 159.158, as you keep</p> <p>21 saying, then Dr. Marks's finding was reportable</p> <p>22 simply because it was the finding of</p> <p>23 a qualified expert or by a Syngenta employee,</p> <p>24 correct?</p> <p>25 MR. NARESH: Objection to form.</p> | <p style="text-align: right;">Page 990</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Even if it was a new -- even if</p> <p>3 Dr. Cory-Slechta had already done the work,</p> <p>4 as you say, and had already been reported</p> <p>5 to the US EPA, the fact that your employee,</p> <p>6 who was an expert, reached the results she</p> <p>7 reached in her second and third studies made</p> <p>8 it reportable?</p> <p>9 A. Well, that's your interpretation of</p> <p>10 6(a)(2). I'm giving you my interpretation as</p> <p>11 it was provided to me.</p> <p>12 Q. Okay. And if your interpretation is</p> <p>13 wrong and that there is no newness requirement</p> <p>14 and you're incorrect, then there was a</p> <p>15 reporting obligation, wasn't there?</p> <p>16 MR. NARESH: Objection to form.</p> <p>17 THE WITNESS: If the advice</p> <p>18 I received was incorrect, then that is</p> <p>19 potentially the case, but this is advice</p> <p>20 that has been consistently given to</p> <p>21 myself, my predecessors and people who</p> <p>22 have followed me, over a 20-year period.</p> <p>23 BY MR. TILLERY:</p> <p>24 Q. Would it change the EPA's view if</p> <p>25 the registrant, that is Syngenta, found adverse</p> |
| <p style="text-align: right;">Page 989</p> <p>1 THE WITNESS: Well, again, I'm not</p> <p>2 an expert in how that translation was</p> <p>3 done but I would imagine that what was</p> <p>4 done here was to say if information of</p> <p>5 this sort -- we're now talking about</p> <p>6 Dr. Marks's studies -- was not providing</p> <p>7 the agency, EPA, with information that</p> <p>8 change -- could change their view on the</p> <p>9 hazard of the substance, in this case</p> <p>10 paraquat, that it is not referable.</p> <p>11 And that's where I think the "new" came</p> <p>12 from.</p> <p>13 BY MR. TILLERY:</p> <p>14 Q. But you wrote into that section</p> <p>15 159.158 a newness requirement, didn't you?</p> <p>16 A. Well, I didn't personally. That</p> <p>17 was the advice that we received.</p> <p>18 Q. Okay. And what I'm trying to get</p> <p>19 from you is that if that newness requirement</p> <p>20 that you've built in is not actually in</p> <p>21 159.158, then the fact that she was an expert</p> <p>22 and the fact she was an employee made it</p> <p>23 reportable to the US EPA, correct?</p> <p>24 MR. NARESH: Objection to form.</p> <p>25 ///</p> | <p style="text-align: right;">Page 991</p> <p>1 information that previously had been reported</p> <p>2 only from independent researchers with</p> <p>3 no interest in the product?</p> <p>4 MR. NARESH: Objection; foundation.</p> <p>5 THE WITNESS: Would it change the</p> <p>6 view of the EPA? I don't real -- if it</p> <p>7 was from somebody --</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. Do you understand the difference?</p> <p>10 Do you understand the difference?</p> <p>11 A. Please restate that question so</p> <p>12 I fully understand it, if you could.</p> <p>13 Q. Well, let's go back over this.</p> <p>14 We talked about why it was significant, for</p> <p>15 reporting purposes, that the person was an</p> <p>16 employee of a company that made large amounts</p> <p>17 of a chemical that was sold all over the world.</p> <p>18 If that chemical company had an employee or</p> <p>19 employed an expert who made these findings,</p> <p>20 we talked about the significance of that and</p> <p>21 you agreed with me as to why that was so</p> <p>22 important to report to the United States EPA.</p> <p>23 Do you remember?</p> <p>24 A. Yes.</p> <p>25 Q. All right. You think that based</p> |

Page 992

1 upon that reason alone, the fact that if this
 2 person, Dr. Marks, was both an expert and
 3 an employee of Syngenta, would add the kind of
 4 credibility to this study that they would want
 5 to know about, that the reporting obligation
 6 was required. That's the point.
 7 A. Well, all I can restate is that we
 8 were under the advice that a finding of this
 9 sort, which was not new, it was entirely
 10 replicating what other people had found,
 11 was not reportable.
 12 Q. So as long as somebody else had done
 13 something close to it -- and, by the way, would
 14 it be fair to say that Syngenta took great
 15 objection to the results of Dr. Cory-Slechta?
 16 A. No, we --
 17 Q. It --
 18 A. No, we never took exception to
 19 Dr. Cory-Slechta's results. We had views on
 20 the way in which Dr. Slechta chose to use
 21 those results in some of her public
 22 communications.
 23 Q. Do you agree with her results?
 24 A. At that time we agreed with her
 25 results because we had replicated them.

Page 993

1 Q. Wouldn't Dr. Marks's results also go
 2 to the weight of the evidence that paraquat is
 3 neurotoxic in mice?
 4 A. Well, look, the study that
 5 followed, I think it was the fourth study
 6 which you've not come onto yet, we did report
 7 those findings to the EPA.
 8 Q. Okay.
 9 A. And we reported them even though
 10 there was a relatively small difference --
 11 Q. Okay.
 12 A. -- in the conditions of the study.
 13 But because that small difference meant that
 14 they were different from, different to
 15 Dr. Cory-Slechta's and others' work, we did
 16 report them.
 17 So I believe that we were
 18 absolutely keeping within the spirit of the
 19 law of 6(a)(2).
 20 Q. And when did you report them?
 21 A. The study that I just referred
 22 to was reported in -- I think we went through
 23 this in my last deposition. So -- was it
 24 2006? Shortly after the study was finished
 25 anyway.

Page 994

1 Q. All right. We'll go through that.
 2 MR. TILLERY: Before we go through
 3 this next section, which -- can we take
 4 about a five-minute break, please.
 5 And can you put us plaintiffs in a chat,
 6 please.
 7 THE VIDEOGRAPHER: Of course.
 8 We are going off the record.
 9 The time is 3:00 p.m.
 10 (Off the record.)
 11 THE VIDEOGRAPHER: We are back on
 12 the record. The time is 3:24.
 13 MR. TILLERY: Before we go on with
 14 our questioning, we're going to pull up
 15 one exhibit that we've looked at before
 16 and that's Plaintiff's Deposition
 17 Exhibit No. 83.
 18 BY MR. TILLERY:
 19 Q. Do you remember this one?
 20 A. Yes, I do. Mmm-hmm.
 21 Q. All right. Now, let's go over this
 22 more carefully. It says:
 23 "General. Information which is
 24 reportable under this part must be
 25 submitted ..."

Page 995

1 Must. What do you understand that
 2 to mean?
 3 A. It's obligatory.
 4 Q. Okay. You have no discretion;
 5 do you understand that? Right?
 6 A. Yes.
 7 Q. Must. Okay, no discretion.
 8 "... must be submitted if the
 9 registrant possesses or receives the
 10 information, and the information is relevant to
 11 the assessment of the risks or benefits of one
 12 or more specific ... registrations currently or
 13 formerly held by the registrant."
 14 Would you agree so far, the Marks
 15 studies meet all of those definitions, right?
 16 So far?
 17 A. They do but the key bit is the
 18 "relevant to the assessment of the risk."
 19 Q. Okay. We're going to talk about
 20 that but let's make sure we get my questions
 21 answered.
 22 MR. TILLERY: Let's go back after
 23 I move to strike your answer. Okay.
 24 BY MR. TILLERY:
 25 Q. Would you agree that up to that

| | |
|--|--|
| <p style="text-align: right;">Page 996</p> <p>1 point, that is to -- where I ended up in 2 159.158 at the word "registrant" on the third 3 line, that the Louise Marks meets -- those 4 studies that we talked about meet that 5 requirement, right? 6 A. They meet that requirement, yes. 7 Yes. 8 Q. Okay. And "information relevant 9 to the assessment of the risks or benefits" 10 also includes conclusions or opinions of 11 a person who meets any of the following. 12 So they have defined the word 13 "relevant," haven't they? 14 MR. NARESH: Objection to form. 15 BY MR. TILLERY: 16 Q. "Information," look at the word. 17 Look at it, "information relevant to the 18 assessment of the risks or benefits also 19 includes conclusion(s) or opinion(s) rendered 20 by a person who meets any of the following ..." 21 A. Yeah, but I don't think that -- 22 Q. And that's the person -- a person -- 23 A. I would disagree that they have 24 defined "relevant to the assessment of risks." 25 Q. Actually, they say very clearly:</p> | <p style="text-align: right;">Page 998</p> <p>1 Dr. Botham. 2 THE STENOGRAPHER: Thank you. 3 Sorry, Dr. Botham. 4 THE WITNESS: That's fine. 5 I can't point to the word "new," 6 I agree. I can say again, however, that 7 the definition of "relevant to the 8 assessment of risks" has been provided 9 to us in terms of our experts in this 10 legislation, which says relevance means 11 you are adding to the knowledge of the 12 agency regarding risks. 13 BY MR. TILLERY: 14 Q. Okay. Well -- 15 A. And Louise Marks's studies 16 initially did not add to. 17 Q. Okay. Let's do it this way. 18 You and I have a different interpretation of 19 this regulation, don't we? Would you agree 20 with that? 21 A. We do, yes. 22 Q. Okay. And you understand how I read 23 it to mean, that the word "relevant" is defined 24 very clearly in this as to include the 25 information from any of those three categories.</p> |
| <p style="text-align: right;">Page 997</p> <p>1 "Information relevant to the 2 assessment of the risks or benefits also 3 includes conclusion(s) or opinion(s) rendered 4 by a person who meets ... the following." 5 And it says (1), (2) or (3) and we 6 talked about who they are. One is an employee, 7 one is a person from whom the registrant 8 requested an opinion, like Dr. Di Monte, and 9 the other is a qualified expert, and we talked 10 about Dr. Marks meeting the definitions of (1) 11 and (3). 12 Now, where is the newness 13 requirement in that statute? Where is it? 14 Point to it. 15 MR. NARESH: I'll object to the 16 form and attorney -- 17 THE WITNESS: I can't point to it. 18 I would reiterate that it is -- 19 THE STENOGRAPHER: No. No, sorry. 20 I didn't get the objection. Sorry. 21 I'll object to the form ... 22 MR. NARESH: Sure. I'm objecting 23 to the form and the attorney commentary 24 preceding the question. 25 But please go ahead and answer.</p> | <p style="text-align: right;">Page 999</p> <p>1 Okay? 2 A. Yes, I understand that. 3 Q. You understand that? 4 A. I understand your point, yes. 5 Q. Now, I -- yeah. And now I want you 6 to assume that my interpretation of the word 7 "relevant" is correct. Just assume it, okay. 8 If "relevant" does not include a newness 9 component that you interpreted, then 10 Dr. Marks's second and third studies were 11 reportable under this regulation, weren't they? 12 MR. NARESH: Objection to form. 13 THE WITNESS: Yes. Without any 14 fuller definition of that, I would agree. 15 MR. TILLERY: All right. Okay. 16 Now, let's go back -- I promised that 17 we would have our chat about the 18 documents that were marked earlier in the 19 deposition when we started it some weeks 20 ago. Let's go to Exhibit No. 38. 21 (Botham Exhibit 38 previously 22 marked for identification.) 23 THE WITNESS: I'm not seeing -- 24 yes, okay, it's just come through. 25 Sorry for the delay.</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1000</p> <p>1 MR. TILLERY: Actually, we're 2 experimenting here with something on our 3 system. 4 Is there a way you can hand that 5 document over to Dr. Botham? 6 MS. BRUMITT: Yes. 7 BY MR. TILLERY: 8 Q. Do you have the document, 9 Dr. Botham? 10 A. Yes, I now have the document. 11 Q. All right. Here's what I would like 12 you to do, is to look at that document. 13 This is Plaintiff's Deposition Exhibit 38. 14 MR. TILLERY: Mr. Naresh, do you 15 have the number, the exhibit that you can 16 pull up? 17 MR. NARESH: I do. 18 MR. TILLERY: All right. 19 BY MR. TILLERY: 20 Q. So Dr. Botham, here's what I want 21 you to do. You told me earlier that there were 22 exhibits in this deposition that explained how 23 much paraquat could enter the human brain 24 safely, below a -- I think you said a threshold 25 or a margin of safety.</p> | <p style="text-align: right;">Page 1002</p> <p>1 paraquat that gets into the brain. 2 It does some calculations -- and 3 let's not try and point at a particular point 4 in the document at this time. It does some 5 calculations which became more sophisticated 6 with time -- so this is an early version, 7 so that's why it doesn't contain all the 8 data -- which calculates -- which 9 extrapolates, I should say, from how much 10 paraquat got into the brain in the mouse and 11 damaged neurones in the brain of the mouse, 12 in our early studies and in other people's 13 studies, and it calculates what the equivalent 14 concentration could be in the brain in humans. 15 But then, most importantly, and the 16 number that is described in these documents 17 is -- so how much does that -- what -- how 18 much paraquat can a person be safely exposed 19 to as a spray operator, as a farmer, or in 20 their food, be safely within that margin of 21 safety that I was describing. So that's why 22 it's not as straightforward. There is not a 23 number which says this level in the brain is 24 safe and this level in the brain is not. 25 A much more helpful number that comes from</p> |
| <p style="text-align: right;">Page 1001</p> <p>1 I want you to go to this document -- 2 and there were two of them, I think 38 and 39, 3 but I think this may be the one you're 4 referring to -- and show me the section of the 5 document, and we'll put it on the screen as 6 we go through it, but show me the page number 7 and then we'll take it back and put it on the 8 screen for everyone to see. 9 A. So let me -- this is why I didn't 10 want to just give you a number last time 11 because it's not -- it's not as 12 straightforward as that. 13 What this document describes, and 14 this is -- perhaps I should also say that this 15 is a document that has been modified a number 16 of times as we've been generating more data; 17 so the version we have in front of us right 18 now was written in 2009. Another exhibit that 19 you saw was written in 2011, and the most -- 20 the latest one we have is sometime after that, 21 in 2018. 22 These documents have been built on 23 during that time as more information is 24 available about the effects of paraquat on the 25 brain in the mouse model and the amount of</p> | <p style="text-align: right;">Page 1003</p> <p>1 these documents is to say when paraquat is 2 being used, if it's being used to -- whereby 3 people are exposed below a certain level of 4 paraquat, then there can be an expectation 5 that the amount of paraquat that reaches their 6 brain and potentially causes damage will not 7 happen. 8 That's what this document 9 described. 10 MR. TILLERY: I move to strike your 11 answer as unresponsive. 12 BY MR. TILLERY: 13 Q. Now, answer my question. Show me in 14 the document where you referred when you said 15 that there was a margin of safety or 16 a threshold number for the amount of paraquat 17 that could safely enter the human brain. 18 Show me it and we'll go to that page. 19 A. Right. Well, I apologize -- 20 obviously you were pressing me on this point. 21 The one reason for my hesitancy is that I was 22 trying to recall exactly how we were 23 expressing those numbers, so I can't point 24 to that number. That's not how this -- and my 25 long explanation which was nonresponsive was</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1004</p> <p>1 trying to explain what this document actually 2 did. 3 Q. All right. So why don't you point 4 to me to anything in this document that might 5 even be related to the calculation of the 6 amount of paraquat that can enter the human 7 brain safely? 8 A. Sure. Well, I mean, for example, 9 if you look at page 6 of this document -- 10 Q. Why don't you do this first and just 11 tell us which pages you're going to reference 12 because we're going to take it back and show it 13 on the screen. 14 It's number 6? 15 A. So this is -- yeah, page 6. 16 Q. Number 6? 17 A. Number 6, yes. 18 Q. Is there another page? 19 A. Well, not at the moment, no, 20 because I'm still working through this. 21 This is just to identify -- 22 Q. Why don't you take the -- go ahead, 23 sir, and I do not mean to rush you here. So 24 you take your time through it, please. 25 A. Yeah.</p> | <p style="text-align: right;">Page 1006</p> <p>1 it is presumed that a single i.p. dose ... 2 would result in a maximum brain concentration 3 of 0.0165 [micrograms per gram]." 4 So that is why it's not 5 straightforward. I'm just pointing out 6 a particular part of this document which 7 illustrates how we go about doing the kind of 8 calculations that I was trying to say in 9 perhaps too simplified a way previously, that 10 you then have to extrapolate it to humans and 11 then back-calculate to what, if you like, 12 the equivalent dose to a human being might be. 13 Q. Okay. So what I'm trying to figure 14 out is where I go in this document to start the 15 process of calculating what Syngenta thinks is 16 a safe dose of paraquat in the human brain 17 that's within the margin of safety and below 18 a threshold where brain damage won't occur. 19 That's what I'm looking for. 20 Can you tell the ladies and 21 gentlemen of the jury and the judge how you 22 would calculate how much is a safe dose in the 23 human brain? 24 A. Yeah, we've calculated that in the 25 way in which I explained a moment ago,</p> |
| <p style="text-align: right;">Page 1005</p> <p>1 Q. And let me know when you're ready 2 to speak about it. 3 A. Right. So if you turn to page 6 -- 4 I'm looking at a live version of this rather 5 than the one that's on eDepoze so I'm trying 6 to do two things at once here. 7 Q. All right. So hold on just 8 a second, please, and we'll tell you if this is 9 it -- or you'll tell us if it's it. 10 A. Yeah, that's exactly right. 11 So, for example, you can see here that there 12 are words in the bottom of that large 13 paragraph at the top where it says the maximum 14 concentration of paraquat in the brains of 15 mice following three weekly doses of 1 and 16 10 mgs per kg are 0.05 and 0.5 micrograms per 17 gram respectively. 18 And it then says in the next 19 paragraph: 20 "The next step is to estimate the 21 single ip dose that would result in this 22 concentration of paraquat in the brain. 23 A single 10 mg/kg ip dose resulted in a 24 maximum paraquat concentration in [the] brain 25 of 0.22 [micrograms per gram] therefore</p> | <p style="text-align: right;">Page 1007</p> <p>1 by extrapolating from the data we've got in 2 the mouse and where we've done two things: 3 one where I've just shown you how much 4 paraquat gets into the brain; and, secondly, 5 relating that to doses of paraquat given 6 to those mice which we, with the Marks studies 7 and others like Cory-Slechta, showed caused 8 neuronal cell loss, assumed that that effect 9 is real, and then we've said, well, in the 10 mouse it looks like this level of paraquat in 11 the brain is the threshold which results in 12 that neuronal cell loss. 13 We've done some calculations, which 14 is not in this document because this is an 15 early version, okay. So in later versions 16 we've extrapolated that to humans, but the 17 output is actually not a safe level in the 18 brain; it's a safe level for exposure because 19 the thing that matters, the thing that's 20 critical to protect human beings -- and this 21 was our own document to provide ourselves with 22 a risk assessment, to tell us whether people 23 who are using paraquat are -- can use it 24 safely. So the number that we eventually used 25 was the exposure to paraquat, from whether</p> |

| Page 1008 | Page 1010 |
|---|--|
| <p>1 it's -- from spraying it in a field or being 2 exposed to it in residues in food, what -- 3 is there a level above which we would have 4 concern. 5 And when we looked at that, 6 the margin of safety was such that it was 7 highly improbable that people exposed to 8 paraquat under normal circumstances, except 9 when people deliberately ingest paraquat 10 for example, it would be highly unlikely that 11 people would be exposed to sufficient paraquat 12 to generate enough paraquat in the brain 13 to cause the toxicity. 14 Q. But that analysis contemplates that 15 there is some amount that can get in the brain 16 that is below the threshold to cause damage, 17 correct? 18 A. Yes, of course. We're not 19 saying -- absolutely. We're not saying in any 20 circumstances you never get some paraquat in 21 the brain, and that's true of everything that 22 we're exposed to in our world. 23 Q. Okay. 24 A. We go outside, we stay in our 25 offices, and we're -- there's tons of stuff in</p> | <p>1 period of time is to further refine that as 2 more data have been generated. Throughout 3 that refinement we have never reached 4 a different conclusion, other than the one 5 that I have indicated, that small amounts that 6 may get into the brain are well below the 7 threshold that would be expected to cause any 8 neuronal cell loss, even if we assume that 9 that is a real possibility, which we are doing 10 as a conservative assumption. 11 Q. And would you also assume that 12 consuming food that's been exposed to paraquat 13 could likewise end up getting some small amount 14 into the human brain? 15 A. Indeed, and the document also 16 includes that scenario. 17 Q. All right. So the real question 18 from Syngenta's perspective, then, comes down 19 to how do you determine how much can get into 20 the brain before damage occurs to dopaminergic 21 neurons sufficient to give rise to the onset of 22 Parkinson's disease, right? 23 A. Indeed, a principle of risk 24 assessment which we always apply, correct. 25 Q. Now, when you do that risk</p> |
| Page 1009 | Page 1011 |
| <p>1 our brain at low levels. 2 Q. So you would contemplate that a user 3 who is using it as contemplated by Syngenta and 4 who is out spraying this in his farm fields is 5 going to get some amount of this in their 6 brain. It's hard to avoid that, correct? 7 A. Yes, absolutely. We would not deny 8 that some chemical gets into the body, yes, 9 include -- and therefore into the brain. 10 Q. Yes. And into the brain. 11 So the issue is how much gets in the 12 brain before sufficient damage to the brain 13 occurs to be, let's say, problematic for that 14 person, correct? 15 A. That's correct, yes. 16 Q. Am I saying that right? 17 A. You're saying it perfectly, sir, 18 yes. 19 Q. And that -- how long has that been 20 known by Syngenta? 21 A. Well, we started those calculations 22 in 2009 actually, as that exhibit indicates, 23 as we were starting to generate the data. 24 Q. All right. 25 A. And so all we've done over that</p> | <p>1 assessment -- and the focus here, of course, 2 has been primarily Parkinson's disease -- 3 you're doing it focused upon oxidative stress 4 that's applied to the dopaminergic neurons, 5 right? That's really what you're looking at? 6 A. Yes, we're assuming that paraquat 7 may have that effect. 8 Q. And also, we could go through -- 9 you and I could walk through the steps, 10 the inflammatory process, the glial cells and 11 all this. We could get through all of it and 12 walk it, but the bottom line is it comes down 13 to one central theme, and that's oxidative 14 stress that either weakens and sickens the 15 dopaminergic neurons or kills them, and where 16 they quit producing dopamine. 17 Would you agree with me? 18 A. Yes. 19 Q. All right. And that determination 20 would then lead to the loss of dopamine to 21 a certain level where a person would develop 22 some symptoms, right? 23 A. Yes. 24 Q. All right. But there's yet another 25 component to this, isn't there, of Parkinson's</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|---|---|
| <p style="text-align: right;">Page 1012</p> <p>1 disease?</p> <p>2 MR. NARESH: Objection to form.</p> <p>3 THE WITNESS: Which is what,</p> <p>4 please?</p> <p>5 BY MR. TILLERY:</p> <p>6 Q. That's the part of the</p> <p>7 alpha-synuclein in the brain causing damage</p> <p>8 to those same cells by leaving clumps of</p> <p>9 protein in the alpha -- in the substantia</p> <p>10 nigra, isn't it?</p> <p>11 MR. NARESH: Objection to form.</p> <p>12 THE WITNESS: That certainly is</p> <p>13 a view that alpha-synuclein plays some</p> <p>14 kind of role. But, as we've said in</p> <p>15 previous discussions, we don't believe</p> <p>16 that that is yet fully clear.</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. All right. Well, let's assume that</p> <p>19 the rest of the medical science group that</p> <p>20 deals with this every day disagrees with you.</p> <p>21 We won't need to argue about this but</p> <p>22 I'll start my question and say this: Just</p> <p>23 assume that it does, that alpha-synuclein plays</p> <p>24 a significant role in the development of</p> <p>25 Parkinson's disease.</p> | <p style="text-align: right;">Page 1014</p> <p>1 taken into account the effect in the substantia</p> <p>2 nigra of alpha-synuclein as one of the hallmark</p> <p>3 characteristics of Parkinson's disease?</p> <p>4 MR. NARESH: I'll object to the</p> <p>5 form.</p> <p>6 THE WITNESS: In terms of doing</p> <p>7 a risk assessment, we do not -- we feel</p> <p>8 we do not need to specifically look at</p> <p>9 alpha-synuclein. It's just one of the</p> <p>10 component parts that might add up with</p> <p>11 others to result in the pathology.</p> <p>12 BY MR. TILLERY:</p> <p>13 Q. So you -- would the answer be you</p> <p>14 have not looked at that at all, right?</p> <p>15 A. Now you're asking a different</p> <p>16 question, which is whether we have actually</p> <p>17 looked at alpha-synuclein rather than assuming</p> <p>18 that it may have a role to play in the</p> <p>19 pathology, and the answer to have we looked at</p> <p>20 it is, as I said yesterday, no, we have not</p> <p>21 looked at that ourselves.</p> <p>22 Q. All right. And so would you agree</p> <p>23 with me that in your analysis you've not taken</p> <p>24 into account any aspect of the effects of</p> <p>25 alpha-synuclein, right?</p> |
| <p style="text-align: right;">Page 1013</p> <p>1 Now, if that assumption is correct,</p> <p>2 let me ask you, what part of this risk</p> <p>3 assessment that you've done here includes the</p> <p>4 calculus for alpha-synuclein playing a role?</p> <p>5 MR. NARESH: I'll object to the</p> <p>6 form.</p> <p>7 THE WITNESS: It doesn't, and</p> <p>8 I would ascertain that it doesn't need</p> <p>9 to, because we have been looking at the</p> <p>10 ultimate endpoint, which is loss of</p> <p>11 dopaminergic neurons, which, as you</p> <p>12 rightly say, could result in loss of</p> <p>13 dopamine.</p> <p>14 Although we don't believe that that</p> <p>15 actually is a reproducible finding,</p> <p>16 we have taken the assumption that it</p> <p>17 could occur, so we've factored in things</p> <p>18 like alpha-synuclein and the impact that</p> <p>19 could have because we've been looking at</p> <p>20 the final effect, which is death of</p> <p>21 neurones.</p> <p>22 MR. TILLERY: Well, let me move</p> <p>23 to strike your answer as unresponsive.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. And ask you this, sir: Have you</p> | <p style="text-align: right;">Page 1015</p> <p>1 A. No, I wouldn't agree with that</p> <p>2 because, as I've said twice now, we --</p> <p>3 if alpha-synuclein is part of the pathology,</p> <p>4 part of the reason why paraquat may kill</p> <p>5 dopaminergic neurones, we've included that in</p> <p>6 our conservative risk assessment assumptions.</p> <p>7 Q. Because you assume that if they're</p> <p>8 impacted from this, it includes all sources of</p> <p>9 impact, right, that's what you're --</p> <p>10 A. That's right, yes.</p> <p>11 Q. Okay.</p> <p>12 A. That's exactly right, yes.</p> <p>13 Q. All right. That's what you were</p> <p>14 saying, right?</p> <p>15 A. Yes, that's right.</p> <p>16 Q. But if I look at Exhibit No. 38,</p> <p>17 your risk assessment, are any of the iterations</p> <p>18 of it in 2011 or anything else, I'm not going</p> <p>19 to find any references to alpha-synuclein,</p> <p>20 am I?</p> <p>21 A. No, you're not.</p> <p>22 Q. You've never done a study on</p> <p>23 alpha-synuclein, have you?</p> <p>24 A. We have not, no.</p> <p>25 MR. NARESH: Objection to form.</p> |

46 (Pages 1012 to 1015)

| Page 1016 | Page 1018 |
|--|--|
| <p>1 BY MR. TILLERY:</p> <p>2 Q. You understand that paraquat causes</p> <p>3 the up-regulation of alpha-synuclein.</p> <p>4 Dr. Di Monte told you at a meeting that you</p> <p>5 attended; do you remember?</p> <p>6 MR. NARESH: Objection to form.</p> <p>7 THE WITNESS: Yes.</p> <p>8 BY MR. TILLERY:</p> <p>9 Q. You've known that for two decades,</p> <p>10 haven't you?</p> <p>11 A. We've known that for certainly,</p> <p>12 yes, 15 years.</p> <p>13 Q. Two decades. Yes, okay.</p> <p>14 Now, let's go back to the April 2009</p> <p>15 presentation Dr. Di Monte gave to Syngenta's</p> <p>16 health, science and legal teams.</p> <p>17 Do you remember that?</p> <p>18 A. Yes.</p> <p>19 Q. That was the meeting in Marlow,</p> <p>20 England, where Dr. Di Monte gave a presentation</p> <p>21 of preliminary results with paraquat in the</p> <p>22 squirrel monkeys, right?</p> <p>23 A. Correct.</p> <p>24 Q. And you testified earlier, at that</p> <p>25 meeting Dr. Di Monte reported among his</p> | <p>1 A. Yes --</p> <p>2 Q. Yes.</p> <p>3 A. It's part of the hypothesis of what</p> <p>4 may happen in Parkinson's disease, yes.</p> <p>5 Q. All right.</p> <p>6 And as you testified, 40 C.F.R.</p> <p>7 159.165 requires a registrant like --</p> <p>8 (Stenographer interruption.)</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. And as you've previously testified,</p> <p>11 40 C.F.R. 159.165 requires a registrant like</p> <p>12 Syngenta to report to the EPA the results of</p> <p>13 toxicological studies if they show an adverse</p> <p>14 effect in a different species, correct?</p> <p>15 A. Correct.</p> <p>16 MR. NARESH: Objection to form.</p> <p>17 BY MR. TILLERY:</p> <p>18 Q. And you also testified that</p> <p>19 reporting results in a different species is</p> <p>20 important because the more species a chemical</p> <p>21 adversely affects, the more likely it is</p> <p>22 to affect humans, right?</p> <p>23 MR. NARESH: Objection to form.</p> <p>24 THE WITNESS: Well, I did qualify</p> <p>25 that to say not necessarily always the</p> |
| Page 1017 | Page 1019 |
| <p>1 preliminary results from squirrel monkeys that</p> <p>2 he observed the loss of striatal dopamine,</p> <p>3 right?</p> <p>4 A. Correct.</p> <p>5 Q. And that may be associated with</p> <p>6 Parkinson's disease, right?</p> <p>7 A. Correct.</p> <p>8 Q. The up-regulation of</p> <p>9 alpha-synuclein, right?</p> <p>10 A. Correct.</p> <p>11 Q. And that, as we discussed, is a</p> <p>12 major constituent of Lewy bodies and</p> <p>13 a pathogenic hallmark of Parkinson's disease,</p> <p>14 isn't it?</p> <p>15 A. That is correct, yes.</p> <p>16 Q. He also noted a change in</p> <p>17 neuromelanin, and an accumulation of</p> <p>18 neuromelanin in dopaminergic neurons is</p> <p>19 suspected to play a role in the development</p> <p>20 of Parkinson's disease as well, isn't it?</p> <p>21 A. Yes. That's been speculated.</p> <p>22 Q. Yes. More than speculated, hasn't</p> <p>23 it. You use --</p> <p>24 A. That is --</p> <p>25 Q. -- the word --</p> | <p>1 case but sometimes.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. But in general you would agree with</p> <p>4 the statement?</p> <p>5 A. I think that is part of the</p> <p>6 judgment that one makes in toxicology and risk</p> <p>7 assessment.</p> <p>8 Q. I don't have any idea what that</p> <p>9 meant. You just said that --</p> <p>10 A. It basically means that you look at</p> <p>11 the totality of the evidence across species,</p> <p>12 as we discussed this morning, in making</p> <p>13 a judgment about relevance to human health.</p> <p>14 Q. But the EPA has to have some</p> <p>15 uniformity for people complying; you understand</p> <p>16 with as many chemical companies as there are in</p> <p>17 the world, as many toxicologists as there are</p> <p>18 in the world, they have to have some uniformity</p> <p>19 so that they know that there aren't</p> <p>20 10,000 different people around the country</p> <p>21 interpreting their rules and regulations</p> <p>22 differently.</p> <p>23 You understand that?</p> <p>24 A. Yes, indeed. That's very clear.</p> <p>25 Q. You agree with that, right?</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1020</p> <p>1 A. Yes.</p> <p>2 Q. Okay. Now, you also testified that</p> <p>3 for purposes of evaluating a chemical's</p> <p>4 toxicity to humans, nonhuman primate toxicity</p> <p>5 studies are gold standard in animal research.</p> <p>6 MR. NARESH: Objection to form.</p> <p>7 THE WITNESS: They can be but not</p> <p>8 always. Sometimes the nonhuman primate</p> <p>9 is the gold standard because it's the</p> <p>10 closest to human beings.</p> <p>11 BY MR. TILLERY:</p> <p>12 Q. And when you say closest, you mean</p> <p>13 closest genetically to us?</p> <p>14 A. Closest genetically, correct.</p> <p>15 Q. Squirrel monkeys are nonhuman</p> <p>16 primates, aren't they?</p> <p>17 A. They are.</p> <p>18 Q. After learning about these adverse</p> <p>19 effects Dr. Di Monte observed in squirrel</p> <p>20 monkeys, Syngenta did not report that</p> <p>21 information to the EPA, did it?</p> <p>22 A. It did not, for the reasons that</p> <p>23 I indicated yesterday, which is that we took</p> <p>24 the evidence presented by Dr. Di Monte and his</p> <p>25 expert opinion, which is critical. As we've</p> | <p style="text-align: right;">Page 1022</p> <p>1 because the expert, the external expert, told</p> <p>2 us that this was still something that he</p> <p>3 didn't fully understand himself.</p> <p>4 MR. TILLERY: Let's, at this point</p> <p>5 in time, pull up 563 KT. Whatever number</p> <p>6 that is. That's 89 or --</p> <p>7 MS. BRUMITT: 87.</p> <p>8 MR. TILLERY: 87.</p> <p>9 Dr. Botham, this is going to be</p> <p>10 Plaintiff's Deposition Exhibit 87.</p> <p>11 (Botham Exhibit 87 marked for</p> <p>12 identification.)</p> <p>13 MR. TILLERY: Keep that up.</p> <p>14 Could you raise that for him just a</p> <p>15 little on the screen, towards the top,</p> <p>16 so he can see the whole thing.</p> <p>17 MS. BRUMITT: You're not --</p> <p>18 MR. TILLERY: Oh yeah, you have --</p> <p>19 THE WITNESS: I've got control,</p> <p>20 Mr. Tillery, so ...</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. Dr. Botham, I'm one of those people</p> <p>23 that you'd call a dinosaur when it comes</p> <p>24 to newfangled electronics.</p> <p>25 A. I'm not far behind you.</p> |
| <p style="text-align: right;">Page 1021</p> <p>1 been discussing today, his expert opinion was</p> <p>2 that those data were not yet fully</p> <p>3 interpretable in terms of whether they were</p> <p>4 adverse.</p> <p>5 MR. TILLERY: I move to strike your</p> <p>6 answer as unresponsive.</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. But after learning about these</p> <p>9 adverse effects that Dr. Di Monte observed in</p> <p>10 squirrel monkeys, Syngenta did not report that</p> <p>11 information to the EPA, did it?</p> <p>12 A. We did not.</p> <p>13 Q. Okay. But Syngenta should have</p> <p>14 reported that information, shouldn't it?</p> <p>15 MR. NARESH: Objection to form.</p> <p>16 THE WITNESS: We believe that</p> <p>17 we did not need to report because the</p> <p>18 findings were still not possible to</p> <p>19 interpret fully, which is an important</p> <p>20 part of the requirements.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. And was that because they weren't</p> <p>23 complete, they were just a part?</p> <p>24 A. In part because they weren't</p> <p>25 complete but I think much more importantly</p> | <p style="text-align: right;">Page 1023</p> <p>1 Okay, I can see this.</p> <p>2 Q. All right. Let's make sure we're</p> <p>3 clear on the record what we're all looking at.</p> <p>4 This is a document which is section 159.165,</p> <p>5 "Toxicological and ecological studies: Adverse</p> <p>6 effects information must be submitted as</p> <p>7 follows."</p> <p>8 And this is 40 C.F.R. 159.165,</p> <p>9 section (d), okay?</p> <p>10 A. Yes.</p> <p>11 Q. All right. And you see the part</p> <p>12 that says "Incomplete studies"?</p> <p>13 A. Yes.</p> <p>14 Q. Okay. Now, earlier you testified</p> <p>15 that Syngenta's familiar with this regulation,</p> <p>16 I believe, right?</p> <p>17 A. Yes.</p> <p>18 Q. And the fact that a study is</p> <p>19 incomplete or aborted is not necessarily</p> <p>20 a justification for withholding preliminary</p> <p>21 results from the EPA, is it?</p> <p>22 A. That's correct.</p> <p>23 Q. Dr. Di Monte did not complete the</p> <p>24 study; is that what you're saying?</p> <p>25 A. No. Dr. Di Monte, when we saw the</p> |

| Page 1024 | Page 1026 |
|--|--|
| <p>1 study, had (a) not necessarily completed the</p> <p>2 study, and (b), even in the bit that he had</p> <p>3 completed, was not in a position to fully</p> <p>4 understand the implications of the findings.</p> <p>5 MR. TILLERY: I move to strike your</p> <p>6 answer as unresponsive.</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. I said -- asked you, Dr. Di Monte</p> <p>9 hadn't completed the study, right?</p> <p>10 MR. NARESH: I'll object --</p> <p>11 THE WITNESS: It was our belief</p> <p>12 that he had still more work to do.</p> <p>13 THE STENOGRAPHER: Sorry, I --</p> <p>14 MR. TILLERY: Okay, you thought --</p> <p>15 THE STENOGRAPHER: Sorry, I didn't</p> <p>16 get -- Mr. Naresh, I'm really struggling</p> <p>17 to hear your objection.</p> <p>18 MR. NARESH: Yes, I'm sorry.</p> <p>19 Dr. Botham, if you wouldn't mind,</p> <p>20 pause just a minute some of the time so</p> <p>21 that I can get objections in. I'm talking</p> <p>22 over you a few times.</p> <p>23 THE WITNESS: Yeah, sorry. I think</p> <p>24 it's because I'm -- you're quite</p> <p>25 indistinct at the moment, Ragan. I do</p> | <p>1 conclusions that he reported to you, which</p> <p>2 we've talked about in this deposition, those</p> <p>3 results would clearly be reportable to the EPA,</p> <p>4 wouldn't they?</p> <p>5 MR. NARESH: Objection to form.</p> <p>6 THE WITNESS: That's why I said</p> <p>7 previously that it wasn't just about the</p> <p>8 study being complete or incomplete,</p> <p>9 or preliminary or fully finished; it was</p> <p>10 that in the form that he told us about</p> <p>11 the study, his expert opinion was that</p> <p>12 he did not fully understand what the</p> <p>13 implication of his findings were, and</p> <p>14 that's the reason why we did not report</p> <p>15 the findings.</p> <p>16 BY MR. TILLERY:</p> <p>17 Q. So you're relying upon Dr. Di Monte</p> <p>18 and what he said as a basis for not sending the</p> <p>19 nonhuman primate data to the US EPA, right?</p> <p>20 A. And, indeed, as we said this</p> <p>21 morning, the views of an independent expert</p> <p>22 whose study it was are really important in</p> <p>23 this situation.</p> <p>24 Q. Right. And the findings, scientific</p> <p>25 findings, are more important than the views,</p> |
| Page 1025 | Page 1027 |
| <p>1 apologize.</p> <p>2 MR. NARESH: Maybe it's the switch</p> <p>3 to the telephone here, but ...</p> <p>4 I'm objecting to the question on</p> <p>5 form grounds.</p> <p>6 But please go ahead and answer if</p> <p>7 you remember the question.</p> <p>8 MR. TILLERY: I'll restate it so</p> <p>9 we're clear.</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. You had told us earlier that</p> <p>12 Dr. Di Monte had conducted a preliminary data</p> <p>13 analysis or gross pathological analysis but</p> <p>14 that the exam was not finished. I think that's</p> <p>15 what you said. Correct?</p> <p>16 A. Sorry. I was just pausing in case</p> <p>17 Ragan was trying to get in. Yes.</p> <p>18 So, yes, we believed that he may</p> <p>19 have more work to do and -- but whether it</p> <p>20 would be on that particular study or whether</p> <p>21 he intended to do another study wasn't</p> <p>22 entirely clear.</p> <p>23 Q. Okay. But if Dr. Di Monte's study</p> <p>24 were completed and if the results were</p> <p>25 comparable to Dr. Di Monte's preliminary</p> | <p>1 aren't they?</p> <p>2 A. Well, scientific findings have</p> <p>3 to be properly understood and interpreted.</p> <p>4 There are lots of findings which may be of</p> <p>5 absolutely no relevance to human health, and</p> <p>6 this is normal practice to interpret.</p> <p>7 Q. Did you tell Dr. Di Monte that you</p> <p>8 were relying upon him and what he said as</p> <p>9 a reason for not sending the nonhuman primate</p> <p>10 data to the US EPA?</p> <p>11 A. I don't know if that kind of</p> <p>12 conversation was had. It certainly isn't</p> <p>13 something that I said to him.</p> <p>14 Q. You didn't say it, did you?</p> <p>15 A. No, I didn't say that to him.</p> <p>16 Q. Do you know if Dr. Travis said it?</p> <p>17 A. I don't know if Dr. Travis said</p> <p>18 that.</p> <p>19 Q. Or do you know if Dr. Sturgess told</p> <p>20 him?</p> <p>21 A. I don't know if Dr. Sturgess told</p> <p>22 him.</p> <p>23 Q. Okay. Do you think if you were</p> <p>24 relying upon a comment he made at a science</p> <p>25 meeting about his work as a basis for not</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 1028</p> <p>1 reporting these sorts of scientific findings 2 to the United States Environmental Protection 3 Agency, that it would have been appropriate 4 to tell the scientist? 5 MR. NARESH: Objection to form. 6 THE WITNESS: I don't know that 7 it's necessarily appropriate not to tell 8 him. It is incumbent, as I understand 9 FIFRA 6(a)(2), and you may want to tell 10 me I'm wrong, that it's the registrants, 11 the people who receive that information 12 who have the accountability to refer to 13 the EPA. 14 BY MR. TILLERY: 15 Q. Who have the responsibility and 16 legal obligation, correct? 17 A. Indeed, if they meet the criteria, 18 yes. 19 Q. And if they don't do it, it's 20 a violation of the law and there's a criminal 21 sanction for not doing it, right? 22 MR. NARESH: Object to the form. 23 BY MR. TILLERY: 24 Q. Right? 25 A. Yes. If we deliberately withheld,</p> | <p style="text-align: right;">Page 1030</p> <p>1 subject to (d)(2)? 2 A. I would have described it as more 3 a short-term study. 4 Q. Okay. A short-term study. 5 A study -- let's look at (d)(1): 6 "A study using a test regimen 7 lasting 90 calendar days or less, and all of 8 the following conditions are met: All testing 9 has been completed; A preliminary data analysis 10 or gross ... [exam] has been conducted; Final 11 analysis has not been completed ..." 12 Would that be accurate; no final 13 analysis? 14 A. Yes, correct. 15 Q. All right. Only preliminary data 16 analysis had been done and gross pathological 17 analysis had been conducted, so we're okay 18 there, right? 19 A. Yes. 20 Q. And a reasonable period for 21 completion of the final analysis, not longer 22 than 90 calendar days has lapsed. It has 23 certainly, right? 24 A. Mmm-hmm. Yes. 25 Q. Comparable information concerning</p> |
| <p style="text-align: right;">Page 1029</p> <p>1 knowing that they met the criteria but 2 we didn't -- that was not the case here. 3 Q. Did you ever see the word 4 "deliberately" in any of these documents 5 we looked at this morning? Did you see the 6 word "deliberate"? 7 A. No, I'm -- this is part of the 8 interpretation piece that we're talking about 9 here. 10 Q. Well, so look at the section (d)(2). 11 Do you see this? 12 Can we put this up on the screen so 13 that people can see it. Is that it? 14 All right. 15 Do you see (d)(2)? 16 A. Yes, I do. 17 Q. Okay. Let's walk through that. 18 Long-term studies. Was his a long-term study? 19 A. I would have classed this as not 20 being a long-term study. 21 Q. Okay. So it wouldn't go for 22 90 days? 23 A. No, it certainly didn't go for 24 a 90-day dosing period. 25 Q. So do you think his study was not</p> | <p style="text-align: right;">Page 1031</p> <p>1 the results of a completed study would be 2 reportable, right? 3 A. Yes. 4 Q. So looks like we hit every single 5 one of those, doesn't it? 6 MR. NARESH: Objection to form. 7 THE WITNESS: Well, yes, it does, 8 but you can't -- the advice that we have 9 received from our experts in 6(a)(2) is 10 that you can't take these words in total 11 isolation. You have to add to that a -- 12 the definition of relevance, as we were 13 discussing earlier, relevance to 14 understanding the risk of a product 15 and -- 16 BY MR. TILLERY: 17 Q. Where does it say that? Where does 18 it say that? Show me that. 19 A. Well, we looked at that earlier, 20 didn't we, when we were looking at relevance 21 -- in the previous screenshot you gave me, 22 relevance to risk as to -- I can't remember 23 exactly the words. Relevance to risk 24 assessment. 25 Q. So you're saying all the way back</p> |

| Page 1032 | Page 1034 |
|---|--|
| <p>1 to the same point, the word "relevance" gives 2 Syngenta sort of a key because if Syngenta 3 decides it's not relevant, then none of these 4 regs, it doesn't matter how many of things we 5 go through, does it, because none of them are 6 going to be applicable to Syngenta's reporting 7 requirements because if you decide it's not 8 relevant, none of them apply, right? 9 MR. NARESH: Objection to form. 10 THE WITNESS: No, I really wouldn't 11 put it that way. We have chosen, 12 in order to do -- I think as you said, 13 to make sure that we are providing 14 consistency in the way in which 15 we interpret FIFRA 6(a)(2), for the many 16 hundreds of people who are involved in 17 generating studies and data, a basis on 18 which to make those judgments, one of 19 which is that the data have to be 20 properly understood as being adverse. 21 MR. TILLERY: I move to strike your 22 answer as unresponsive. 23 BY MR. TILLERY: 24 Q. Can you answer the question or do 25 you want me to restate it?</p> | <p>1 people at Syngenta, this data is not relevant, 2 correct? 3 A. That's what I'm telling you, yes. 4 Q. All right. Okay. Let's move on. 5 MR. TILLERY: Now, I think we're 6 going to go to Exhibit 36 in the 7 deposition -- I'm going to show you 8 an exhibit, sir, that we had marked 9 previously in the deposition, just 10 to orient you to the line of questions, 11 simply for that reason, okay. 12 This is Plaintiff's Deposition 13 Exhibit 36. Counsel, it's 14 SYNG-PQ-00105713. 15 (Botham Exhibit 36 previously 16 marked for identification.) 17 MR. TILLERY: Actually, it's -- 18 we'll have to -- we have a little 19 technical issue with the way it's loaded, 20 Dr. Botham -- 21 THE WITNESS: Yes. 22 MR. TILLERY: -- so I think we'll 23 come back to this. We'll come back 24 to this after a break, okay. 25 Can you go to number 15 at this</p> |
| Page 1033 | Page 1035 |
| <p>1 A. Please restate it. 2 Q. I'm looking for just answers to my 3 questions, not some other statements that you 4 want to make. In a trial you get the chance 5 to do that but I'm just answering -- looking 6 for questions to be answered that I asked. 7 Now, my point is this: You've read 8 into a relevancy component to 159.165(d) on 9 incomplete studies, haven't you? 10 A. Yes. 11 MR. NARESH: Objection to form. 12 BY MR. TILLERY: 13 Q. Okay. And you agree with me that 14 the Di Monte presentation in 2009 meets all 15 of the requirements of 159.165(d), doesn't it? 16 MR. NARESH: Objection to form. 17 THE WITNESS: Yes. 18 BY MR. TILLERY: 19 Q. And if we go to (2), and if it's 20 a long-term study, you want to look at that, 21 it meets all those as well, doesn't it? 22 A. Yes. 23 Q. All right. So what you're saying is 24 it doesn't matter because, as you view this and 25 as you've been educated and instructed by</p> | <p>1 point? What number would that be? What 2 exhibit number? 3 (Off-the-record discussion.) 4 MR. TILLERY: 88, okay. This will 5 be Plaintiff's Deposition Exhibit 88. 6 This is KT15, number 15. And just that 7 front page. 8 I don't know that we even need the 9 exhibit, I think. You'll remember it 10 because we spoke of it at great length 11 today, and that is the residue study. 12 Do you remember that? We started our 13 deposition today talking about those 14 exhibits, remember? The Di Monte residue 15 studies. 16 MR. NARESH: I don't remember. 17 Could you give me -- do you have a Bates 18 number if it's not -- 19 MR. TILLERY: Yes, and this 20 would be SYNG-PQ-00044965, and this is 21 the Bates reference for "Paraquat - 22 Analysis of brain samples from 23 paraquat-exposed squirrel monkeys for 24 residues of paraquat." 25 THE WITNESS: Yes. So you're</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|--|---|
| <p style="text-align: right;">Page 1036</p> <p>1 referring to the report by Dr. Ray?</p> <p>2 MR. TILLERY: That is exactly</p> <p>3 right. These are the January 21, 2011</p> <p>4 report by Dr. Ray on the residue studies.</p> <p>5 BY MR. TILLERY:</p> <p>6 Q. This is the brain specimens from the</p> <p>7 squirrel monkeys by Dr. Di Monte, remember?</p> <p>8 A. Yes, indeed.</p> <p>9 MR. NARESH: Just to help the</p> <p>10 witness, I think that's Exhibit 78,</p> <p>11 I believe.</p> <p>12 MR. TILLERY: I referred to it as</p> <p>13 88 but I don't know -- I think we've also</p> <p>14 previously referred to it as an exhibit</p> <p>15 this morning and we marked it so I don't</p> <p>16 know that we -- let me see if I have it.</p> <p>17 MR. NARESH: I have it up as</p> <p>18 Exhibit 78. I don't have an 88 on</p> <p>19 eDepoze.</p> <p>20 MR. TILLERY: Okay, hold on.</p> <p>21 It's 78, and you are absolutely correct.</p> <p>22 MR. NARESH: Dr. Botham, can you</p> <p>23 navigate to 78?</p> <p>24 (Stenographer clarification.)</p> <p>25 MR. NARESH: I'm just asking if</p> | <p style="text-align: right;">Page 1038</p> <p>1 A. Yes, correct.</p> <p>2 Q. And he did that in compliance with</p> <p>3 Syngenta's internal corporate policy of having</p> <p>4 potentially referable information or reportable</p> <p>5 information reviewed by the PRF Committee,</p> <p>6 correct?</p> <p>7 A. That's correct, yes.</p> <p>8 Q. So it would appear that Dr. Travis</p> <p>9 thought the finding was at least potentially</p> <p>10 reportable or referable, correct?</p> <p>11 A. Correct, and he also did that in</p> <p>12 discussions with myself, as that record shows.</p> <p>13 Q. Okay. We also looked at Exhibit 79,</p> <p>14 which was the report that we went over line and</p> <p>15 verse, which was the form that the potentially</p> <p>16 referable approach committee filled out.</p> <p>17 This was the one that Dr. Travis filed.</p> <p>18 Do you remember that?</p> <p>19 A. Yes. Yes, I do.</p> <p>20 Q. Oh, yes, okay.</p> <p>21 The people who worked on this study,</p> <p>22 including Dr. Travis, were all Syngenta</p> <p>23 employees, weren't they?</p> <p>24 A. I believe they were, yes.</p> <p>25 Q. And you would also agree that they</p> |
| <p style="text-align: right;">Page 1037</p> <p>1 the witness can navigate to Exhibit 78,</p> <p>2 if he knows how to do that.</p> <p>3 THE WITNESS: Yeah, I'm doing that,</p> <p>4 and I've done so. Thank you, Ragan.</p> <p>5 I'm there. I can see 78. The Dr. Ray</p> <p>6 report is in front of me now on eDepoze.</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. Yeah, all I'm asking is just</p> <p>9 an orientation question. I'm just trying to</p> <p>10 orient you for these questions and that's all</p> <p>11 there is, okay?</p> <p>12 A. Okay.</p> <p>13 Q. It's just simply referencing that</p> <p>14 which you and I discussed at greater length</p> <p>15 this morning, okay?</p> <p>16 A. Yes.</p> <p>17 Q. You testified that in this study</p> <p>18 Syngenta confirmed that paraquat was present</p> <p>19 in the brains of Dr. Di Monte's squirrel</p> <p>20 monkeys, right?</p> <p>21 A. Yes.</p> <p>22 Q. And Dr. Travis referred the finding</p> <p>23 of this study to Syngenta Potentially Referable</p> <p>24 Findings Approach Committee, which you were the</p> <p>25 chairman of, right?</p> | <p style="text-align: right;">Page 1039</p> <p>1 were probably qualified experts within the</p> <p>2 meaning of EPA's definition, right?</p> <p>3 A. I would agree.</p> <p>4 Q. And certainly, Dr. Travis was</p> <p>5 a qualified expert within the meaning of the</p> <p>6 EPA regulation, wasn't he?</p> <p>7 THE WITNESS: Could I just point</p> <p>8 out, Ragan, I can't hear you at all now.</p> <p>9 I think I heard you saying -- mouthing</p> <p>10 something then, but ...</p> <p>11 MR. NARESH: Can you hear me now?</p> <p>12 THE WITNESS: Yeah, I can hear you</p> <p>13 now.</p> <p>14 MR. NARESH: Okay. I'm just</p> <p>15 objecting on form.</p> <p>16 MR. TILLERY: Did you object to the</p> <p>17 question -- did you --</p> <p>18 THE STENOGRAPHER: Mr. Naresh, can</p> <p>19 I just say, if I can't hear it but I can</p> <p>20 see it, the video won't be even hearing</p> <p>21 it or seeing it.</p> <p>22 MR. NARESH: Okay. I think my --</p> <p>23 THE STENOGRAPHER: So just to point</p> <p>24 that out.</p> <p>25 MR. NARESH: I think my audio cut</p> |

52 (Pages 1036 to 1039)

| | |
|--|--|
| <p style="text-align: right;">Page 1040</p> <p>1 out. My audio might have cut out. 2 THE WITNESS: Yeah, Ragan, your 3 audio is cutting in and out, I think that 4 might be the problem, so I may be missing 5 entirely some of your objections. 6 MR. NARESH: I'm watching it on 7 realtime and I think that they are coming 8 in, though my objections to the last two 9 questions did not show up on the 10 transcript. 11 THE WITNESS: Yeah, that's what 12 I thought. 13 MR. TILLERY: I'm stipulating that 14 you're free to make sure that those are 15 included in the transcript now on behalf 16 of plaintiffs, okay. 17 MR. NARESH: Thank you. Please 18 proceed. 19 MR. TILLERY: You're welcome. 20 All right. 21 BY MR. TILLERY: 22 Q. The people, including Dr. Travis, 23 were scientists. We talked about that, right? 24 They're scientists? 25 A. Yes.</p> | <p style="text-align: right;">Page 1042</p> <p>1 in the brain are not, in and of themselves, 2 necessarily adverse. 3 Q. Okay. And also because the 4 scientists were qualified experts, their 5 adverse findings, if they were, were reportable 6 to the US EPA under section 159.158(a), 7 correct? 8 MR. NARESH: Objection to form. 9 THE WITNESS: Yes. Again, if they 10 were adverse. 11 BY MR. TILLERY: 12 Q. Okay. But as we've already 13 discussed, Syngenta's Potentially Referable 14 Findings Approach Committee decided to withhold 15 these findings of paraquat residue in the 16 squirrel monkey brains and not report them, 17 right? 18 A. Well, I've double-checked that 19 during the break and my understanding, from 20 what I can see, is that it wasn't the approach 21 committee that made that judgment; they were 22 indeed sent, using the appropriate process, to 23 the US PRF Committee. 24 Q. Well, but the report itself we went 25 over. You're not -- did you change the report</p> |
| <p style="text-align: right;">Page 1041</p> <p>1 Q. They were qualified experts within 2 the EPA's definition, right? 3 A. Yes. 4 MR. NARESH: Objection to form. 5 BY MR. TILLERY: 6 Q. And I think we talked about 7 Dr. Travis was a qualified expert within the 8 meaning of the EPA definition, right? 9 MR. NARESH: Same objection. 10 THE WITNESS: Yes. 11 BY MR. TILLERY: 12 Q. And because the scientists were 13 Syngenta employees, their adverse findings were 14 reportable to the EPA under section 159.158(a), 15 weren't they? 16 MR. NARESH: Objection to form. 17 THE WITNESS: If -- 18 BY MR. TILLERY: 19 Q. For that purpose at least? 20 A. If the findings were adverse. 21 Q. Okay. Well, you don't dispute that 22 they were adverse, do you? 23 A. Well, we're talking about levels of 24 paraquat in the brain, and I think, as 25 I explained this morning, levels of paraquat</p> | <p style="text-align: right;">Page 1043</p> <p>1 after we looked at it? 2 A. No. I'm pretty sure that what 3 happened here, which is what I thought 4 happened, is where it says, under 4d on that 5 report, "No new information in the studies - 6 Not reportable," I'm pretty sure, from looking 7 this up, that that was put in after there had 8 been a discussion in the US PRF Committee, 9 so that was not applied by the approach 10 committee. 11 Now, we would need to double-check 12 that. That's what my record shows in the 13 short time, short time I had to check that 14 today. 15 Q. So it was the US guys who did this, 16 not the British guys who did it, right? 17 A. That's what my record suggests. 18 I think it would be helpful -- 19 Q. All right. 20 A. -- to double-check that, yes. 21 Q. Well then, let's see what else the 22 British guys did under paragraph 2a. Look at 23 that one. Because there is no question that's 24 on your watch, right? 25 A. Yes.</p> |

Page 1044

1 Q. Take a look at that.
 2 A. Yes. Yes.
 3 Q. That one's yours, right?
 4 A. Yes.
 5 Q. You're not going to say the
 6 Americans did that, right?
 7 A. No, no, absolutely. No, it's --
 8 yes.
 9 Q. Okay. All right. Okay. So let's
 10 take a look at this:
 11 "Studies of the kinetics of paraquat
 12 in the brain across a range of species were
 13 considered. The committee considered that the
 14 findings do not represent an adverse effect or
 15 a pre-cursor [as] an adverse effect. Therefore
 16 the findings do not meet the technical criteria
 17 for referral as described in the Product Safety
 18 PRF Criteria for Referral Guidance
 19 Document ..."
 20 Right?
 21 A. Yes, that's what we wrote.
 22 That was our belief. Mmm.
 23 Q. That is what you told the US group
 24 who made the final decision, right?
 25 A. Right. And let me explain. If --

Page 1045

1 Q. Excuse me --
 2 A. No, it's important.
 3 Q. Excuse me --
 4 A. It really is important. We did
 5 nevertheless let the US people know because
 6 whenever there's any element of doubt about
 7 whether our judgment is correct, because they
 8 are the experts in FIFRA 6(a)(2), we did let
 9 them know about this, even though we believed
 10 that our judgment was probably correct.
 11 Q. So you let them know not to report
 12 it?
 13 A. We let them know about the findings
 14 and then they made their judgment. It was
 15 they that actually used the phrase "no new
 16 information" -- sorry --
 17 Q. You didn't write in there -- you
 18 didn't write in that conclusion paragraph,
 19 "We're uncertain about this. We're going to
 20 send you all the information."
 21 A. No, I agree.
 22 Q. Okay.
 23 A. Yeah, I agree, we didn't do that
 24 but the record suggests that that was
 25 actually -- what actually did go on.

Page 1046

1 Q. And the reason Syngenta did not
 2 report the findings of paraquat in the monkey
 3 brains was because "the findings do not
 4 represent an adverse effect or a precursor of
 5 an adverse event."
 6 A. Yes.
 7 Q. That's what you said, right?
 8 A. That's what we said.
 9 Q. Okay.
 10 Now, could you tell me where studies
 11 had previously been done demonstrating that
 12 paraquat gets into monkey brains, before
 13 Dr. Di Monte's study?
 14 A. Right now, I can't remember what
 15 the literature is on that subject. So I think
 16 there are studies but I can't immediately
 17 bring them to mind.
 18 Q. Can you remember one for the ladies
 19 and gentlemen of the jury, one study?
 20 A. Not at the moment, no. I would
 21 need to check my files on that.
 22 Q. Okay.
 23 MR. TILLERY: I'm not leaving but
 24 I just need to take about one minute
 25 to look for something here, okay.

Page 1047

1 THE VIDEOGRAPHER: We are going
 2 off the record. The time is 4:25.
 3 (Off the record.)
 4 THE VIDEOGRAPHER: We are back on
 5 the record. The time is 4:26.
 6 BY MR. TILLERY:
 7 Q. Dr. Botham, you've acknowledged
 8 that FIFRA defines an adverse effect as:
 9 "Any unreasonable risk to man or the
 10 environment, taking into account the economic,
 11 social, and environmental costs and benefits of
 12 the use of any pesticide."
 13 Right?
 14 MR. NARESH: Objection to form.
 15 BY MR. TILLERY:
 16 Q. Isn't that correct?
 17 MR. NARESH: Dr. Botham, you're on
 18 mute.
 19 THE WITNESS: Yeah, I'm sorry about
 20 that. I went on mute during that break.
 21 Apologies.
 22 And again, Ragan, we couldn't hear
 23 your objection then.
 24 MR. NARESH: I'm objecting to the
 25 form.

| | |
|---|---|
| <p style="text-align: right;">Page 1048</p> <p>1 THE WITNESS: And I replied yes. 2 BY MR. TILLERY: 3 Q. Your answer is yes. 4 MR. TILLERY: For the stenographer, 5 should we do that all over again? 6 Let's do this over, okay. Let's do 7 this -- 8 THE STENOGRAPHER: I did it -- 9 yeah, okay. 10 MR. TILLERY: The record is very 11 convoluted and we should do this where 12 it makes sense. 13 BY MR. TILLERY: 14 Q. Dr. Botham, you have acknowledged 15 that FIFRA defines an adverse effect as: 16 "Any unreasonable risk to man or the 17 environment, taking into account the economic, 18 social, and environmental costs and benefits of 19 the use of any pesticide." 20 Correct? 21 MR. NARESH: Object to form. 22 THE WITNESS: Yes. 23 BY MR. TILLERY: 24 Q. And in May 2009, before Syngenta 25 conducted its monkey brain residue study,</p> | <p style="text-align: right;">Page 1050</p> <p>1 THE WITNESS: Correct, and -- 2 correct, and the key bit there was the 3 verification. He needed verification. 4 That's what I was mentioning earlier. 5 BY MR. TILLERY: 6 Q. But two years later, when Syngenta 7 scientists completed their study of those same 8 monkey brains and they found paraquat in that 9 brain tissue, the committee no longer justified 10 withholding that information due to the 11 incompleteness of the study, did it? 12 A. No, it was for a different reason. 13 Q. Instead, the very same committee 14 that had already concluded, in 2009, that 15 Dr. Di Monte's brain findings in nonhuman 16 primate were unanimously agreed as constituting 17 new data stated two years later, in 2011, that 18 Syngenta's own findings of paraquat in the 19 monkey brains was because "the finding do not 20 represent an adverse effect or a precursor to 21 an adverse event." 22 Correct? 23 A. That's correct. 24 Q. In fact, Syngenta's 2011 findings of 25 paraquat residue in the brains was reportable</p> |
| <p style="text-align: right;">Page 1049</p> <p>1 the same Syngenta committee, the Syngenta Human 2 Safety Potentially Referable Findings Approach 3 Committee, that same committee had already 4 concluded that Dr. Di Monte's brain findings in 5 the nonhuman primate were unanimously agreed 6 as constituting new data, hadn't they? 7 A. They were new data, yes. 8 Q. Okay. And the quote, the brain 9 findings in the nonhuman primate were 10 unanimously agreed as constituting new data. 11 You don't dispute that. That was 12 a committee you chaired. That's correct? 13 A. I agree they were new, yes. 14 Q. All right. And the reason the 15 committee gave for not reporting Dr. Di Monte's 16 monkey brain findings was that "that the study 17 had not been completed, peer-reviewed or 18 published and that the data, by Dr. Di Monte's 19 own admission, required further verification." 20 Correct? 21 A. That's correct. 22 Q. Even though the EPA regulations on 23 the incomplete studies required that adverse 24 effects information be reported, correct? 25 MR. NARESH: Objection to form.</p> | <p style="text-align: right;">Page 1051</p> <p>1 then, wasn't it? 2 MR. NARESH: Object to the form. 3 THE WITNESS: We said that they 4 were not reportable because presence of 5 chemical in the brain is not the same as 6 adversity. 7 BY MR. TILLERY: 8 Q. Okay. And that's because you 9 believe that just having paraquat in your 10 brain, that doesn't reach a certain threshold 11 and is within -- the term I think you used was 12 "margin of safety," doesn't create an adverse 13 event reportable to the US EPA; is that 14 correct? 15 A. We were not saying that it was 16 because -- about the -- it was not to do with 17 the levels of paraquat. We were not making 18 a risk assessment judgment. We were saying 19 that, as a matter of principle -- and it 20 wasn't just the nonhuman primate studies, 21 it was also, if you look at the report, 22 the 2011 PRF Approach Committee talked about 23 the kinetic studies we did in rodents as well. 24 It said that kinetic studies -- kinetic 25 studies, as a whole -- are not reportable</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1052</p> <p>1 because they are simply measuring presence and 2 not adversity. 3 BY MR. TILLERY: 4 Q. Let me ask you this: How long have 5 you known, at Syngenta, that in the normal and 6 ordinary use of paraquat by a farmer spraying 7 it on his crops that some amount was going 8 to wind up in his brain? How long have you 9 known that? 10 A. Well, we've probably assumed that 11 that's the case, that that is the case for 12 quite a long time, because even back in the 13 1990s when studies were being done in animal 14 models and it was possible to see some 15 paraquat in the brain, it was not an 16 unreasonable assumption that some may also 17 appear in the human brain. 18 Q. And that's just from breathing it 19 or getting it somehow on their skin or 20 something in normal use, correct? 21 A. Correct. 22 Q. All right. And likewise, if you 23 consumed some kind of vegetable or some kind of 24 fruit or something else that had been sprayed 25 by this, you could get incremental amounts of</p> | <p style="text-align: right;">Page 1054</p> <p>1 assessment. 2 The same applies here as in -- 3 with paraquat and Parkinson's. 4 Q. Would you think that a loss of 5 dopaminergic neurons under 10 percent, that all 6 of us would agree would not give rise to 7 Parkinson's symptoms, would be an acceptable 8 amount of paraquat in the brain? 9 MR. NARESH: Object to the form. 10 THE WITNESS: Is your -- can I just 11 make sure I understood your question? 12 Are you saying under 10 percent of loss 13 of cells is acceptable? 14 BY MR. TILLERY: 15 Q. Yeah. I'm saying -- you and 16 I agreed at some point in the past that 17 probably somewhere between 50 and 75 percent, 18 I don't know what number you used, of loss of 19 dopaminergic neurons is necessary before you 20 have the onset of physical components of 21 Parkinson's disease, right? More than 22 50 percent, less than 100 percent? 23 A. That's correct, yes. 24 Q. Yes. What I'm saying is is that 25 if paraquat in the brain causes, say, less than</p> |
| <p style="text-align: right;">Page 1053</p> <p>1 it that would enter into, ultimately, 2 the bloodstream and then into the brain, 3 correct? 4 A. That is conceivable, yes. 5 Q. So the issue as you see is not 6 whether or not it gets into the brain but how 7 much of it that gets there is problematic, 8 correct? 9 A. Yes. 10 Q. And problematic means causing an 11 illness or condition that is what? Where does 12 the line get drawn to where the scientists at 13 Syngenta become alarmed, concerned, worried -- 14 you pick the term -- about the damage to the 15 human brain? 16 A. Well, it's -- I'll answer that with 17 a general response. This is what risk 18 assessment is about, you -- and that's why 19 when we were talking about that reference dose 20 document, the key is how do you relate 21 external exposure, as we call it, what you're 22 exposed to in your diet or what you're exposed 23 to if you're a farmer, we say at what point do 24 those levels exceed that margin of exposure 25 which we've calculated from our risk</p> | <p style="text-align: right;">Page 1055</p> <p>1 10 percent, which we know won't give rise 2 to these symptoms, would that be an amount that 3 would be an acceptable level below the 4 threshold? 5 A. No, no -- 6 MR. NARESH: Objection to form. 7 THE WITNESS: Thank you, Ragan, 8 sorry. 9 No. Our margins of exposure are 10 based on an assumption that there 11 should be no damage, not 10 percent 12 damage. 13 BY MR. TILLERY: 14 Q. When you say no -- when you say 15 no damage, you mean no loss of TH+ cells? 16 A. Yes, indeed. I mean, we believe, 17 as with all risk assessments, we should be 18 doing no harm, and not making an assumption 19 that it's okay to damage yourself a little 20 bit. 21 Q. Okay. So what you're saying is that 22 the amount of paraquat in the brain at this 23 threshold level causes no cellular death; 24 is that what you're saying? 25 A. Indeed, because it was based on the</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1056</p> <p>1 extrapolation from the animal studies where 2 people like Deborah Cory-Slechta had not only 3 seen the effect but had actually determined 4 that there was a dose in the mouse, which is 5 called the "no effect level," where no cell 6 loss was seen. So our calculations were based 7 on that no effect level with no -- 8 Q. Let me ask -- 9 A. -- signs. 10 Q. Let me ask you something, and 11 I think this is something you and I have not 12 talked about. 13 Have you, at Syngenta, factored in 14 time in the calculus regarding how long it 15 takes if you follow an animal or test subject 16 for a long period of exposure, chronic 17 exposure, in small amounts? Have you done 18 that? 19 MR. NARESH: Object to the form. 20 THE WITNESS: Well, we've done 21 a 90-day study and this was a 90-day 22 study done in the diet of mice. So, 23 unlike the studies we have been talking 24 about mostly today, which have been 25 intraperitoneal or subcutaneous injection</p> | <p style="text-align: right;">Page 1058</p> <p>1 I'm saying it's paraquat, to be 10 to 20 years 2 from exposure before the onset. Right? 3 MR. NARESH: Object to the form. 4 THE WITNESS: Well, yes, first of 5 all, you have to assume that paraquat is 6 causative. You also have to assume that 7 it's only paraquat and not a combination 8 of paraquat and other factors, including 9 genetics. 10 I think that what -- you're 11 representing it too simplistically and 12 I don't think you can say if paraquat is 13 causative, that it takes 10 or 20 years 14 to develop the disease. We don't know 15 the answer to that question. Science 16 doesn't know the answer to that question. 17 BY MR. TILLERY: 18 Q. Well, let's just -- let's do it this 19 way, if we can. Let's assume, just for 20 purposes of the discussion, that paraquat is 21 the cause. You're not admitting it, I'm not 22 asking you to admit it. I'm asking you 23 to accept that assumption for purposes of my 24 question. Okay? 25 A. Fine.</p> |
| <p style="text-align: right;">Page 1057</p> <p>1 with a few doses over a few weeks, we 2 dosed for a longer period of time, 90 3 days, which is, again, by good 4 toxicological practice, a length of 5 dosing which is meant to give you 6 information to protect from longer-term 7 exposure. 8 BY MR. TILLERY: 9 Q. Okay. So do you understand that 10 paraquat -- strike that. 11 What do you understand, sir, 12 in terms of this scientific analysis, that the 13 length of time from paraquat exposure to the 14 onset of Parkinson's disease is? 15 A. Well, in the human situation, again 16 not talking about paraquat but in human 17 Parkinson's disease, the onset of the disease 18 can take 10 or 20 years from whatever it is 19 that may be the initial cause. 20 Q. Okay. So this is sometimes referred 21 by doctors as the latency period? 22 A. That's correct, yes. 23 Q. All right. And do you understand 24 the latency period, then, to be from -- as you 25 say, whatever it appears to be the cause, and</p> | <p style="text-align: right;">Page 1059</p> <p>1 Q. And that there's a latency period 2 from exposure to the onset of Parkinson's 3 symptoms where a diagnosis of the condition 4 would be made. 5 Do you understand what I'm saying 6 so far? 7 A. Yes, yes. 8 Q. All right. And let's assume that 9 latency period is, as you suggest, between 10 10 and 20 years. Okay? 11 A. Okay. 12 Q. Have you done any studies at 13 Syngenta to replicate that real-life situation 14 just to test whether or not that could occur? 15 MR. NARESH: I'll object to the 16 form. 17 THE WITNESS: No, we've not done 18 what you might call a chronic study or 19 a delayed-dosing study. We have gone 20 to a 90-day duration in the work that 21 we've done. That's as long as we've 22 gone. 23 But, again, 90 continuous days of 24 dosing is, again, exaggerating the 25 exposure. So it's, in part, trying</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1060</p> <p>1 to overcome the fact that you can't</p> <p>2 always fully replicate how human beings</p> <p>3 might be exposed.</p> <p>4 MR. TILLERY: I move to strike your</p> <p>5 answer as unresponsive.</p> <p>6 BY MR. TILLERY:</p> <p>7 Q. Here's my question: Have you done</p> <p>8 any studies at Syngenta to replicate that</p> <p>9 real-life situation that I just described?</p> <p>10 MR. NARESH: I'll object to the</p> <p>11 form.</p> <p>12 THE WITNESS: No.</p> <p>13 MR. TILLERY: Okay.</p> <p>14 Let's take a break here at this</p> <p>15 point in time because I'm about to move</p> <p>16 into a new area. Okay.</p> <p>17 THE VIDEOGRAPHER: We are going off</p> <p>18 the record. The time is 4:41.</p> <p>19 (Off the record.)</p> <p>20 THE VIDEOGRAPHER: We are back on</p> <p>21 record. The time is 5:01.</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. Dr. Botham, are you ready to</p> <p>24 proceed?</p> <p>25 A. I am ready.</p> | <p style="text-align: right;">Page 1062</p> <p>1 A. That's correct.</p> <p>2 Q. Were you present at this?</p> <p>3 A. I don't remember whether I was</p> <p>4 present.</p> <p>5 Q. You may have been or you may not</p> <p>6 have been, right?</p> <p>7 A. That's correct, yes.</p> <p>8 Q. All right. And it's entitled</p> <p>9 "Parkinson's disease - What can Syngenta say</p> <p>10 about the issue?"</p> <p>11 Right?</p> <p>12 A. That's right.</p> <p>13 Q. All right. If we go to page 3 of</p> <p>14 that document -- we're going to do that for</p> <p>15 you -- this is what the report says. Can you</p> <p>16 tell who wrote this?</p> <p>17 A. I don't know who wrote this.</p> <p>18 Q. Okay. This is what's reported as</p> <p>19 what Syngenta cannot say. And I presume what</p> <p>20 you cannot say means what you cannot say</p> <p>21 publicly, correct?</p> <p>22 A. I don't know if that was the</p> <p>23 implication here. It may be that it's saying,</p> <p>24 from a science perspective, we're not able</p> <p>25 to say, rather than --</p> |
| <p style="text-align: right;">Page 1061</p> <p>1 MR. TILLERY: I want to show you</p> <p>2 what we'll call Plaintiff's Deposition</p> <p>3 Exhibit No. 88, and this is -- for the</p> <p>4 record, this is SYNG-PQ-00481037.</p> <p>5 It's page 1 of an 18-page document.</p> <p>6 Give us a second, please, to pull that</p> <p>7 up.</p> <p>8 (Botham Exhibit 88 marked for</p> <p>9 identification.)</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. Would you care to look at this</p> <p>12 document to refresh yourself.</p> <p>13 A. Yes, I'll do that. I can see it.</p> <p>14 Q. The questions I'm going to be</p> <p>15 referring to, I think, exclusively are on</p> <p>16 page 3 but take your time with the document.</p> <p>17 Just tell me when you're finished</p> <p>18 and ready to talk.</p> <p>19 A. Okay. Yeah, I've refamiliarized</p> <p>20 myself with this slide set, so please go</p> <p>21 ahead.</p> <p>22 Q. All right. We're going to take it</p> <p>23 back and show it on the screen.</p> <p>24 So this document, Exhibit 88, is</p> <p>25 a Syngenta PowerPoint slide set, isn't it?</p> | <p style="text-align: right;">Page 1063</p> <p>1 Q. Right.</p> <p>2 A. -- gagging ourselves from saying</p> <p>3 what we can't say to the public.</p> <p>4 Q. Okay. So, in other words, it may</p> <p>5 mean that based upon what we know of the</p> <p>6 science, it is not good, honest science to say</p> <p>7 other than these things. Would that be a fair</p> <p>8 assessment? Whether that's public --</p> <p>9 A. That would be my -- yes, that --</p> <p>10 Q. Yeah.</p> <p>11 A. -- would be my assessment, as you</p> <p>12 put it, yes.</p> <p>13 Q. And we'll talk about the rest of</p> <p>14 this as we go through these points. But</p> <p>15 number 1, the first one says:</p> <p>16 "Paraquat does not enter the brain."</p> <p>17 So, in other words, Syngenta knew,</p> <p>18 at the time of this point, that paraquat does</p> <p>19 enter the brain, correct?</p> <p>20 A. That's correct, we did.</p> <p>21 Q. And you couldn't say that paraquat</p> <p>22 does not cause any changes in the brain because</p> <p>23 you knew that paraquat does cause changes</p> <p>24 in the brain, right?</p> <p>25 A. That's right, from the evidence</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1064</p> <p>1 that was available at that time, yes.</p> <p>2 Q. Paraquat only, it says, causes</p> <p>3 effects in the mouse, right?</p> <p>4 A. Yes.</p> <p>5 Q. So, in other words, if you use the</p> <p>6 active agreement of paraquat by itself, it will</p> <p>7 cause effects in the mouse. That's what that</p> <p>8 means, correct?</p> <p>9 A. I think this might mean that</p> <p>10 paraquat -- we're talking here about</p> <p>11 Parkinson's-like pathology, isn't -- the</p> <p>12 effects are not just seen in the mouse.</p> <p>13 I think it may have been referring to the fact</p> <p>14 that there were studies in the rat,</p> <p>15 for example, in the literature.</p> <p>16 Q. Oh, okay. That's correct, and</p> <p>17 I appreciate you pointing that out because</p> <p>18 it demonstrated -- you have already</p> <p>19 demonstrated that paraquat with rats doesn't</p> <p>20 seem to cause any effect, correct?</p> <p>21 A. Yeah, in our hands we didn't see</p> <p>22 an effect with the rats but --</p> <p>23 Q. So you --</p> <p>24 A. -- obviously other people did.</p> <p>25 Q. Okay. But your study showed that</p> | <p style="text-align: right;">Page 1066</p> <p>1 A. Certainly not, no.</p> <p>2 Q. Because you knew by then, and you'd</p> <p>3 known for some period of time back, as you</p> <p>4 said, in the '90s, I think in your earlier part</p> <p>5 of the deposition you'd indicated maybe even</p> <p>6 earlier, that people using this, mixing it,</p> <p>7 loading it, applying it, were certainly exposed</p> <p>8 to paraquat, correct?</p> <p>9 A. Yes, that's correct.</p> <p>10 Q. All right.</p> <p>11 "There are no data reporting that</p> <p>12 paraquat may be associated with PD in humans."</p> <p>13 You can't say that either, right?</p> <p>14 A. Yes, we can't say that because</p> <p>15 there were some epidemiology studies with</p> <p>16 association.</p> <p>17 Q. And that you can't say that the data</p> <p>18 showed that paraquat does not cause PD in</p> <p>19 humans either, can you?</p> <p>20 A. In 2007, with the evidence in front</p> <p>21 of us, that was certainly something that is</p> <p>22 clear; we could not say definitively that</p> <p>23 paraquat does not cause Parkinson's disease.</p> <p>24 Q. All right.</p> <p>25 MR. TILLERY: Now, can we go to</p> |
| <p style="text-align: right;">Page 1065</p> <p>1 you could do this. So what you, in 2007,</p> <p>2 concluded, that paraquat only causes effects</p> <p>3 in the mouse in your test animals, not in the</p> <p>4 rats, correct?</p> <p>5 A. Well, this is what we cannot say.</p> <p>6 So we can't say that paraquat only causes</p> <p>7 effects in the mouse because other</p> <p>8 researchers, although we didn't find anything</p> <p>9 in the rat, had found effects in the rat.</p> <p>10 That would be my interpretation here.</p> <p>11 Q. All right. And the next one says:</p> <p>12 "The mouse data on paraquat are not</p> <p>13 relevant to humans."</p> <p>14 You can't say that either, right?</p> <p>15 A. At that time, that was absolutely</p> <p>16 right, yes.</p> <p>17 Q. Because mouse data was relevant</p> <p>18 to humans, correct?</p> <p>19 A. The mouse data could be relevant</p> <p>20 to humans, yes.</p> <p>21 Q. And it wouldn't be appropriate</p> <p>22 to say that it wasn't. That's what this says?</p> <p>23 A. That's right.</p> <p>24 Q. Okay. And you couldn't say people</p> <p>25 aren't exposed to paraquat either, could you?</p> | <p style="text-align: right;">Page 1067</p> <p>1 KT566, and this is -- we're going to pull</p> <p>2 up now --</p> <p>3 MS. BRUMITT: 89.</p> <p>4 MR. TILLERY: -- Exhibit No. 89.</p> <p>5 (Botham Exhibit 89 marked for</p> <p>6 identification.)</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. Okay. Do you see that? Do you want</p> <p>9 to --</p> <p>10 A. Yeah.</p> <p>11 Q. Would you mind taking that</p> <p>12 document, sir, and looking -- refreshing</p> <p>13 yourself. This is SYNG-PQ-13131087.</p> <p>14 A. Okay. I can see that and I'm just</p> <p>15 looking through it, if that's okay?</p> <p>16 Q. Yeah. Focus on page 8, if you</p> <p>17 wouldn't mind. Look at the whole document as</p> <p>18 you wish but focus on 8.</p> <p>19 A. Okay, thank you. I've read the --</p> <p>20 up until slide -- or up until page 10.</p> <p>21 Is that sufficient for me for now?</p> <p>22 Q. It is. I think that's plenty.</p> <p>23 And if you'd go to the Conclusion page.</p> <p>24 A. Okay. That's page 8.</p> <p>25 Q. All right. The first conclusion is:</p> |

Page 1068

1 "We have developed a deeper
2 understanding of Parkinsonism and Parkinson's
3 Disease."

4 Do you see that?

5 A. Yes.

6 Q. And I got ahead of myself.
7 Let's identify the document. It's called
8 a "Paraquat Update." And this is Jonathan
9 Sullivan, Lewis Smith, and Gerardo Ramos.

10 Who is Gerardo Ramos?

11 A. He's pronounced Gerardo Ramos,
12 and Gerardo was the head of crop protection
13 research.

14 Q. Worldwide?

15 A. Yes, global head. Yes.

16 Q. Global head. And what's the
17 Syngenta Executive Committee?

18 A. That was the senior leadership team
19 chaired by the chief executive officer at
20 Syngenta.

21 Q. So this was a presentation to the
22 highest-ranking -- really, highest-ranking
23 people below the board?

24 A. That is correct.

25 Q. All right. Getting back to the

Page 1070

1 internally what I've shown you in the last two
2 exhibits, the document marked Exhibit No. 88
3 and Exhibit No. 89, Syngenta's public position
4 was that paraquat would not readily cross the
5 blood-brain barrier, correct?

6 A. Yes. I think we discussed this
7 in my previous deposition, that the public
8 commentary at that time had not caught up with
9 the science that had been done.

10 Q. And that's a very polite way of
11 saying that you weren't telling the people on
12 the website what you knew scientifically about
13 the chemical, correct? You weren't actually
14 reporting it?

15 A. No, I wouldn't put it that way.
16 It's not that -- it wasn't a case that
17 we weren't telling them. I think the process
18 for updating that was not necessarily at that
19 time working as quickly as perhaps it should
20 have done.

21 MR. TILLERY: Let's go to the next
22 exhibit. This would be number 90.
23 That's 567.

24 (Botham Exhibit 90 marked for
25 identification.)

Page 1069

1 conclusions again, it says:

2 "We have developed a deeper
3 understanding of Parkinsonism and Parkinson's
4 Disease; We have demonstrated that [paraquat]
5 will cross the blood brain barrier."

6 Correct?

7 A. Could you just go to the right
8 page, please? I can only see page 1 at the
9 moment.

10 Q. Page 1. Okay.

11 A. Yeah, you've taken control so
12 I just need to be able to go to page 8.
13 Thank you. Thank you.

14 Q. Do you see page 8?

15 A. Yeah, I can now see it, thank you.

16 Q. If you look at the fourth bullet
17 point:

18 "We have demonstrated that
19 [paraquat] will cross the blood brain barrier."

20 In other words, paraquat gets into
21 the brain, consistent with the very last
22 exhibit we talked about, the "We can't say"
23 document. Remember?

24 A. Yes.

25 Q. All right. Now, despite recognizing

Page 1071

1 BY MR. TILLERY:

2 Q. Do you know what this document is?
3 And this, just for the record, is
4 SYNG-PQ-00477567.

5 A. Okay. So this is a technical
6 position document on the subject of paraquat
7 and Parkinson's disease, written by the health
8 assessment function of Syngenta, which I was
9 a part of.

10 Q. This is a 2007 document, right?

11 A. I don't have that date in front of
12 me, so -- yes, it sounds about right.

13 Q. Okay. I could refer you to page 21
14 of that document where it has the date. We can
15 look at it if you want to, and --

16 A. That's fine. No, that's fine.

17 Q. Okay. To whom would this document
18 have been distributed?

19 A. I don't know to whom this might
20 have been distributed. This was quite a long
21 time ago so I'm not sure what list of people
22 was included.

23 Q. Would you agree this was designed,
24 from the looks of it, to be distributed outside
25 of Syngenta?

60 (Pages 1068 to 1071)

| | |
|---|---|
| <p style="text-align: right;">Page 1072</p> <p>1 A. Normally, these position documents 2 would not be for external use. 3 Q. So this would be an internal-use 4 document only? 5 A. Yes, that would normally be their 6 purpose. 7 Q. Well then, we'll move on, okay, 8 if that's the testimony. 9 Would you agree that as of 2008, 10 after these exhibits we've marked and shown 11 as 88 and 89, Syngenta was telling the public 12 on its Paraquat Information Center, 13 paraquat.com, that paraquat does not cross the 14 blood-brain barrier easily, meaning that 15 it does not reach the specific location in the 16 brain necessary to produce Parkinson's 17 symptoms? 18 MR. NARESH: I'll object to the 19 form. 20 Stephen, I'm not sure if you 21 misspoke with the year or the exhibit 22 number. I think it got confused. 23 BY MR. TILLERY: 24 Q. Let me refer you to SYNG-PQ-1586601, 25 and for you, if you're looking, this is a</p> | <p style="text-align: right;">Page 1074</p> <p>1 (Botham Exhibit 91 marked for 2 identification.) 3 MR. NARESH: We don't have a Bates 4 number in our production but -- or a 5 document starting with that Bates 6 number -- 7 MR. TILLERY: Are they all that 8 number? 9 MR. NARESH: No, I just -- I can 10 search our database by production/Bates 11 beginning number and I don't have a 12 document starting with 1586601. 13 MR. TILLERY: It was 495 pages. 14 It was a compilation of a number of 15 documents. That's what the front page 16 looks like but we can show you on the 17 screen in a second. 18 MR. NARESH: Okay. 19 MR. TILLERY: Well, 490 pages. 20 This is on page 485, and we'll pull it up 21 for you. That's the one right there. 22 BY MR. TILLERY: 23 Q. Dr. Botham, this will be 24 Exhibit No. 91. 25 A. Okay. I can see page 485 on my</p> |
| <p style="text-align: right;">Page 1073</p> <p>1 massive document and it was delivered to us in 2 a paged document that was 490 pages long and 3 we're just referencing one. It's from the 4 Paraquat Information Center, Paraquat 5 Frequently Asked Questions: Answers to Your 6 Frequently Asked Questions About the Human 7 Safety of Paraquat from the Paraquat 8 Information Center. 9 MR. TILLERY: We've lost Dr. Botham 10 from our end, on the ... 11 THE WITNESS: I'm still here. 12 Can you hear me? 13 MR. TILLERY: Yes, we sure can. 14 We can hear you. 15 I presume that we're getting 16 a recording, at least a video recording. 17 MR. NARESH: I'm sorry, I'm not 18 trying to interrupt, but could you give 19 me the Bates number again, and -- 20 MR. TILLERY: Absolutely. 21 I'm going to give you the Bates number 22 for this specific document. It's 23 SYNG-PQ-1586601. 24 Give us a second here, Dr. Botham, 25 so you can see it.</p> | <p style="text-align: right;">Page 1075</p> <p>1 screen. 2 Q. Okay, you can. On page 485, 3 all right. And do you see this says -- at the 4 very top, it says: 5 "Paraquat FAQs: Answers to Your 6 Frequently Asked Questions About the Human 7 Safety ..." 8 And then it goes off. 9 And at the top, the heading, 10 it says, "Answers to Your ... Questions About 11 the Human Safety of Paraquat from Paraquat 12 Information Center." 13 Okay? 14 A. Yes. 15 Q. Do you see that? 16 A. Yes, I do. 17 Q. Okay. All right. I think this was 18 2008 is what our record -- yes, it is, 19 January 18, 2008, in the lower right-hand 20 corner. 21 Do you see that? 22 A. I can't see that. Now I can, yes. 23 Q. Yes. All right. Then let's look 24 here. It says, "Does paraquat cause ..." 25 One of the questions is, Is paraquat</p> |

Page 1076

1 safe to farmers and their families? What is
 2 the safety of paraquat to farmers from use long
 3 term? And one of them is, Does paraquat cause
 4 Parkinson's disease?
 5 Doesn't it? Right?
 6 A. Yes.
 7 Q. And the answer that was given was,
 8 and I'm quoting now:
 9 "There is no scientific or reliable
 10 epidemiological evidence so far to link
 11 paraquat with Parkinson's Disease. Previous
 12 studies have demonstrated that paraquat
 13 does not cross the blood-brain barrier easily,
 14 meaning that it does not reach the specific
 15 location in the brain necessary to produce
 16 Parkinson's symptoms. Epidemiology studies in
 17 areas of high and long-term paraquat usage have
 18 shown no increase of neurotoxic incidents."
 19 Do you see that?
 20 A. Yes, I see that.
 21 Q. Was that correct on January 18,
 22 2018 -- 2008, sorry?
 23 A. I think in 2008 that certainly had
 24 some inaccuracies, I would agree. So, as
 25 I said earlier, it appears that this

Page 1077

1 communication had not had a chance, for
 2 reasons which I can't fully explain, to catch
 3 up with the science that was still emerging.
 4 Q. Why was Syngenta telling the public
 5 that paraquat does not cross the blood-brain
 6 barrier, while acknowledging internally that
 7 paraquat does cross the blood-brain barrier?
 8 MR. NARESH: Object to the form.
 9 THE WITNESS: I'm afraid I can't
 10 answer that. I don't -- I honestly don't
 11 know why that was still on paraquat.com
 12 at that time.
 13 BY MR. TILLERY:
 14 Q. Why was Syngenta telling the public
 15 that paraquat does not reach the place in the
 16 brain related to Parkinson's symptoms?
 17 A. Again, I can't answer that.
 18 I don't know how that --
 19 THE STENOGRAPHER: Sorry,
 20 Mr. Naresh, I saw your lips move but
 21 I didn't hear you. Sorry.
 22 THE WITNESS: Yeah, I didn't hear
 23 you either, Ragan, sorry.
 24 MR. NARESH: I'm objecting to the
 25 form.

Page 1078

1 BY MR. TILLERY:
 2 Q. Go ahead and answer, sir.
 3 A. Okay, thank you. Yeah. So, no,
 4 I can't -- I don't know why that was appearing
 5 here.
 6 Q. Do you understand that Syngenta
 7 still claims on its website that:
 8 "Paraquat, even at the maximum
 9 tolerated dose, does not cause dopaminergic
 10 neuronal cell loss in the area of the brain
 11 associated with Parkinson's disease"?
 12 Were you aware of that?
 13 A. So to clarify, that is what we're
 14 saying today?
 15 Q. Yes.
 16 A. Right. And that is, overall, still
 17 our view because of the extensive work that
 18 we have done in the animal model up to the
 19 maximum tolerated dose, where we've been
 20 unable to replicate the earlier findings that
 21 we've been discussing extensively over the
 22 last few days.
 23 Q. In other words, the Marks findings,
 24 right?
 25 A. What I'm describing now are the --

Page 1079

1 is all the work that was done in the
 2 Breckenridge, et al. publication, in the
 3 Minnema, et al. publication and in the Smeyne,
 4 et al. publication, which is when the --
 5 Q. Right.
 6 A. -- the research work that's been
 7 done since 2008.
 8 Q. Well, let's look at it this way.
 9 If you look at the Marks studies 2, 3, 4 that
 10 she did, is that statement correct?
 11 A. In isolation, no.
 12 Q. Okay. Do you mention anywhere that
 13 we have also done three studies to show that
 14 this statement is just absolutely flat wrong?
 15 Do you say that anywhere on paraquat.com?
 16 MR. NARESH: Objection to form.
 17 THE WITNESS: We don't say that
 18 because that statement is not flat wrong.
 19 It is based on the weight of evidence
 20 which we have spoken about quite a lot.
 21 We have done many more studies in much
 22 greater detail since Marks did her
 23 studies and we have been unable
 24 to replicate the finding of damage
 25 to dopaminergic neurones.

| | |
|---|---|
| <p style="text-align: right;">Page 1080</p> <p>1 MR. TILLERY: I move to strike your 2 answer as unresponsive. 3 BY MR. TILLERY: 4 Q. If you apply just the Marks studies, 5 you agree with me, sir, don't you, that that 6 statement is simply not correct, right? 7 MR. NARESH: Object to the form. 8 THE WITNESS: Yes, it's correct, 9 but I don't know why you would apply it 10 to just a small part of the literature. 11 That's not how science works. That's not 12 how science is communicated. 13 MR. TILLERY: Move to strike your 14 answer as unresponsive. 15 BY MR. TILLERY: 16 Q. Would you agree with me, sir, that 17 if you look just at the Marks studies 2, 3 and 18 4, that that statement is not correct? 19 MR. NARESH: Objection to the form. 20 THE WITNESS: If you look at those 21 studies only, that is correct. 22 It isn't -- 23 BY MR. TILLERY: 24 Q. Okay. 25 A. Yes, that's not a correct</p> | <p style="text-align: right;">Page 1082</p> <p>1 agree with me that that would have been 2 a bald-faced lie, right? 3 MR. NARESH: Objection to form. 4 THE WITNESS: No. No, because by 5 2012 we'd generated the data. It took 6 quite some time to get the data 7 published, so by 2012 we had done the 8 studies that were reported in 9 Breckenridge, et al. 10 BY MR. TILLERY: 11 Q. So, well, then what year? 2011? 12 Is that when you did it? 13 A. Again, off the top of my head, 14 I can't give you exact dates but, certainly, 15 it would be, yes, in the preceding two to 16 three years. It was quite a long-term program 17 of research. 18 Q. Okay. Before the Breckenridge 19 study, let's put it that way, and relying upon 20 Dr. Marks, we both agree that that statement 21 was clearly not correct, right? 22 A. Before we did our work which 23 culminated in Breckenridge and the subsequent 24 papers, yes, the weight of evidence was 25 different.</p> |
| <p style="text-align: right;">Page 1081</p> <p>1 statement. 2 Q. All right. And when you look at 3 that statement, is it important to tell the 4 public that you, at a minimum, have had mixed 5 results with respect to the findings? 6 A. No. In my judgment, it's important 7 to tell the public what we believe the 8 totality of the evidence is showing and where 9 the weight of the evidence is taking us, and 10 the weight of the evidence is now taking us 11 to the statement that now appears on the 12 website. 13 Q. Well, let's make sure we're clear on 14 what you're basing that statement on the 15 website on. You're basing that on, you said, 16 the Smeyne study, the Breckenridge study, the 17 Minnema study. And what else? Anything else? 18 A. No. Those are the three main 19 studies, yes. 20 Q. Those are the studies you're basing 21 your conclusions on, correct? 22 A. That is correct. 23 Q. Okay. So if this same statement 24 went out in 2012, a year before the 25 Breckenridge study was published, you would</p> | <p style="text-align: right;">Page 1083</p> <p>1 Q. Okay. 2 When I took your testimony back in 3 February, you testified it would be 4 inappropriate for lawyers to be telling 5 Syngenta scientists which experiments they 6 should or should not be conducting. Correct? 7 A. Correct. 8 Q. You testified: 9 "We would not expect them to be 10 saying you do this experiment and not that 11 experiment." 12 Do you remember saying that? 13 A. I do. 14 Q. And you stand by that today, don't 15 you? 16 A. I would certainly stand by that, 17 yes. 18 Q. It would be highly inappropriate for 19 lawyers to be dictating what scientific studies 20 are undertaken at Syngenta, correct? That's 21 what you said? 22 A. That's my view. That is my view, 23 yes. 24 Q. And it was then and it is now, 25 right?</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1084</p> <p>1 A. That is correct.</p> <p>2 Q. It hasn't changed over the last</p> <p>3 couple of months, has it?</p> <p>4 A. Nothing has changed as far as</p> <p>5 I'm concerned.</p> <p>6 Q. All right.</p> <p>7 In other words, it would be</p> <p>8 inappropriate for lawyers to be advising</p> <p>9 Syngenta scientists on matters of science,</p> <p>10 right?</p> <p>11 A. On matters of science and what</p> <p>12 we -- how we conduct the science, that is</p> <p>13 true, yes.</p> <p>14 Q. And changing scientific reports,</p> <p>15 right?</p> <p>16 A. I would certainly not expect</p> <p>17 lawyers to be giving us any advice which</p> <p>18 changed the way in which the science was being</p> <p>19 interpreted. Certainly not.</p> <p>20 Q. Right. And because the scientists,</p> <p>21 to the extent that they can possibly prevail in</p> <p>22 it, wished to maintain autonomy from any</p> <p>23 influence of any kind from performing and</p> <p>24 conveying accurate empirical information from</p> <p>25 the study. That's the pursuit, isn't it?</p> | <p style="text-align: right;">Page 1086</p> <p>1 teachers told you exactly that, didn't they?</p> <p>2 A. Yes, indeed.</p> <p>3 Q. All right.</p> <p>4 Now, who is Jeff Wolff?</p> <p>5 A. Well, there are actually two Jeff</p> <p>6 Wolffs. So there's a Jeff Wolff --</p> <p>7 Q. Not the scientist, the other one.</p> <p>8 A. Yeah, okay. Jeff Wolff was an</p> <p>9 external legal counsel.</p> <p>10 Q. Okay. And he's an American lawyer</p> <p>11 from Texas, isn't he?</p> <p>12 A. I don't recall exactly which state</p> <p>13 he was from, so certainly from the US.</p> <p>14 Q. And he's with the law firm called</p> <p>15 Fulbright & Jaworski, right?</p> <p>16 A. Yes, that's correct.</p> <p>17 Q. And when did his association begin</p> <p>18 with Syngenta?</p> <p>19 A. I can't give you an accurate date.</p> <p>20 It was somewhere around the time that the</p> <p>21 Health Science Team was formed.</p> <p>22 Q. Now, when you and I met in February,</p> <p>23 you testified that in 2008 Syngenta was</p> <p>24 being -- the words you used were "very</p> <p>25 transparent" about Dr. Marks's paraquat Charles</p> |
| <p style="text-align: right;">Page 1085</p> <p>1 A. That's -- you described that well,</p> <p>2 yes.</p> <p>3 Q. And actually replicable science that</p> <p>4 everybody else can get the same result; a good,</p> <p>5 solid, honest laboratory that gets the same</p> <p>6 results as another good, solid, honest</p> <p>7 laboratory, correct?</p> <p>8 A. Yes. And science sometimes -- just</p> <p>9 to clarify that a little more. Sometimes</p> <p>10 a good, solid, reliable laboratory will get</p> <p>11 a different result from an equally good, solid</p> <p>12 one because -- sometimes for reasons we don't</p> <p>13 understand. But that's science for you;</p> <p>14 you can get different results.</p> <p>15 Q. But eventually what happens, after</p> <p>16 enough science is undertaken, is that the</p> <p>17 results start becoming homogenous and they</p> <p>18 become accepted scientific facts, don't they?</p> <p>19 A. Yes. Usually you'll get a degree</p> <p>20 of convergence and a consensus emerges.</p> <p>21 Q. And that's really what you call the</p> <p>22 scientific method, isn't it?</p> <p>23 A. It is.</p> <p>24 Q. And that's what you learned in</p> <p>25 graduate school, I presume. I'll bet your</p> | <p style="text-align: right;">Page 1087</p> <p>1 River mouse research.</p> <p>2 Do you remember that?</p> <p>3 A. Yes.</p> <p>4 Q. And you said that Syngenta was being</p> <p>5 very transparent at a Syngenta meeting in</p> <p>6 Atlanta, Georgia, in February 2008.</p> <p>7 Do you remember that?</p> <p>8 A. Yes.</p> <p>9 Q. And I think this is Botham</p> <p>10 Exhibit 36 that we've referenced. Let me see</p> <p>11 here. Actually, I don't think we need to call</p> <p>12 that up.</p> <p>13 That meeting I think we discussed</p> <p>14 was February 13 and 14, 2008.</p> <p>15 Where was that meeting conducted</p> <p>16 in Atlanta?</p> <p>17 A. Sorry, it's too long ago for me to</p> <p>18 remember exactly where it was.</p> <p>19 Q. Was that at the Fulbright & Jaworski</p> <p>20 law firm?</p> <p>21 A. I don't remember it being there,</p> <p>22 but, as I say, it's 12 years ago.</p> <p>23 Q. All right. And as late as</p> <p>24 January 25, 2008, the organizers of that</p> <p>25 Atlanta meeting intended it to be a meeting of</p> |

| Page 1088 | Page 1090 |
|---|---|
| <p>1 scientists to discuss science, didn't it?</p> <p>2 Do you know?</p> <p>3 A. Yes, that was my understanding of</p> <p>4 what that meeting was being set up to do.</p> <p>5 Q. You were there, weren't you?</p> <p>6 You went there?</p> <p>7 A. I was. I was there.</p> <p>8 Q. You flew to America and went to</p> <p>9 Atlanta and went to that meeting. Okay.</p> <p>10 MR. TILLERY: If we can, please,</p> <p>11 pull up the next exhibit, and that's 572.</p> <p>12 MS. BRUMITT: Number 92.</p> <p>13 MR. TILLERY: This will be</p> <p>14 Plaintiff's Exhibit 92.</p> <p>15 (Botham Exhibit 92 marked for</p> <p>16 identification.)</p> <p>17 MR. TILLERY: Why don't you give</p> <p>18 the witness that document so he can</p> <p>19 familiarize himself with that document.</p> <p>20 BY MR. TILLERY:</p> <p>21 Q. Do you have it, sir?</p> <p>22 A. I can see page 1 but it's under</p> <p>23 your control, I think, at the moment.</p> <p>24 MR. NARESH: Is this supposed to be</p> <p>25 a one-page document or is there more than</p> | <p>1 Q. All right. And it says Lewis Smith</p> <p>2 was there, right?</p> <p>3 A. Correct.</p> <p>4 Q. Janis McFarland. What was her job?</p> <p>5 A. Head of regulatory affairs in North</p> <p>6 America.</p> <p>7 Q. And that included Canada, United</p> <p>8 States and Mexico, right?</p> <p>9 A. Correct.</p> <p>10 Q. Okay. Then you have Martin Wilks.</p> <p>11 What was his job?</p> <p>12 A. He was what was called product</p> <p>13 medical advisor, so he was medically qualified</p> <p>14 to deal with medical aspects of potential</p> <p>15 toxicity to our products.</p> <p>16 Q. And Lewis Smith's job or</p> <p>17 responsibility at that time was what?</p> <p>18 A. 2008, he was probably -- he was</p> <p>19 either still head of central toxicological</p> <p>20 laboratory or he was -- he had moved on to be</p> <p>21 head of development in Basel. I can't</p> <p>22 remember which of the two.</p> <p>23 Q. And Dave Berry, what did he do?</p> <p>24 A. He was a product toxicology --</p> <p>25 a junior product toxicologist supporting</p> |
| Page 1089 | Page 1091 |
| <p>1 one page?</p> <p>2 MR. TILLERY: It's a one-page</p> <p>3 document.</p> <p>4 MR. NARESH: Thank you.</p> <p>5 THE WITNESS: Okay, thank you.</p> <p>6 I can see the whole document now.</p> <p>7 MR. TILLERY: I don't remember</p> <p>8 reading the Syngenta number in the</p> <p>9 record. Did I do that?</p> <p>10 MS. BRUMITT: No.</p> <p>11 MR. TILLERY: I think this is</p> <p>12 SYNG-PQT-ATR-16995053, Exhibit 92.</p> <p>13 BY MR. TILLERY:</p> <p>14 Q. Can you see it?</p> <p>15 A. I can see the document, yes.</p> <p>16 Q. Yeah, it says "Agenda for PQ</p> <p>17 Scientific Review Meeting."</p> <p>18 Actually, it does say -- it was not</p> <p>19 at their office, it was at the Westin Peachtree</p> <p>20 Plaza Hotel, Tower Room, Atlanta, Georgia.</p> <p>21 Right?</p> <p>22 A. Yes. And that now meets my memory</p> <p>23 of it. I thought it was in a hotel but I</p> <p>24 wasn't sure when you asked me the previous</p> <p>25 question.</p> | <p>1 paraquat at that time.</p> <p>2 Q. And Phil Botham is you.</p> <p>3 A. That was me.</p> <p>4 Q. And then Nick Sturgess. We've</p> <p>5 talked about him in this deposition several</p> <p>6 times. Kim Travis we have, too, and Charles</p> <p>7 Breckenridge, right?</p> <p>8 A. Yes.</p> <p>9 Q. That's from the R&D department,</p> <p>10 right?</p> <p>11 A. Yes.</p> <p>12 Q. And then the legal department's</p> <p>13 there at a scientific meeting. So it says</p> <p>14 "Agenda for the PQ Scientific Review Meeting."</p> <p>15 But you've got a bunch of lawyers, and that's</p> <p>16 Jonathan Sullivan, Beth Quarles, Alan Nadel.</p> <p>17 Are they all Syngenta lawyers?</p> <p>18 A. They are. Or were.</p> <p>19 Q. Then you have Syngenta public</p> <p>20 relations, Sherry Ford, Basel representative,</p> <p>21 okay, "to be determined." Was there a Basel</p> <p>22 Switzerland representative there, too?</p> <p>23 A. I don't know if there eventually</p> <p>24 was one. We'd have to look at the minutes of</p> <p>25 the meeting.</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1092</p> <p>1 Q. And then we have a reference to 2 outside counsel. That means a lawyer who 3 is not employed by Syngenta, who is privately 4 retained, right, as far as you know? 5 A. Yes. 6 Q. And that person was Jeff Wolff, 7 Fulbright & Jaworski, correct? 8 A. Correct. 9 Q. And then you had outside experts, 10 Jim Simpkins, Jack Mandel, Phil Cole. Who are 11 those gentlemen? 12 A. They were academic experts. 13 Jim Simpkins is from a university in north -- 14 Q. I think we had some feedback -- 15 A. Sorry about that, yeah, could we -- 16 Q. If you wouldn't mind answering that 17 again, please, for the reporter. 18 A. Yes. Jim Simpkins, an academic 19 toxicologist from the United States. 20 Jack Mandel, again, an external expert 21 I think, at that time, from a consultancy 22 company. And Phil Cole, another academic 23 expert. 24 Q. So this was dated January 25, 25 a draft, and then at the meeting, February 13,</p> | <p style="text-align: right;">Page 1094</p> <p>1 which he made his input. 2 Q. Had he attended any other paraquat 3 Health Science Team meetings before February 4 2008? 5 A. I don't recall whether he did or 6 not, I'm afraid. We would need to check the 7 record. 8 Q. And within the first 15 minutes of 9 this mostly scientific meeting, Mr. Wolff and 10 another lawyer, Jonathan Sullivan, presented 11 for 45 minutes, didn't they? 12 A. That was the intention, yes. 13 Q. And if you look on the agenda, 14 that's what it shows, doesn't it? 15 A. Yes. An agenda is an intent, 16 of course. How long they spoke for, I don't 17 know. 18 Q. And the agenda item for Mr. Sullivan 19 says "Discussion of overall government's 20 framework." What does that mean? What did 21 he talk about? 22 A. So this would have been to describe 23 how -- what this group, which soon after this 24 became known as the Paraquat Health Science 25 Team, so it was not called that at this point</p> |
| <p style="text-align: right;">Page 1093</p> <p>1 this became not just a PQ scientific review 2 committee meeting but a PQ scientific and legal 3 claims review meeting, right? 4 A. It was a science meeting with legal 5 people there to give advice on aspects of how 6 to conduct our business. 7 Q. And that was because you were going 8 to talk about paraquat and Parkinson's disease, 9 right? That's what this was about? 10 A. That was certainly one reason given 11 as the explanation for their presence, yes. 12 MR. NARESH: I'll give a belated 13 objection on form. Sorry about that. 14 BY MR. TILLERY: 15 Q. And despite that change, the primary 16 purpose of the meeting remained scientific in 17 nature, right? 18 A. That's right, and that indeed was 19 my recollection of how the meeting did play 20 out in practice. 21 Q. So Mr. Wolff wasn't just attending 22 the Atlanta meeting, he was actually 23 a participant, right? 24 A. Yes, he was, and that agenda 25 describes some of the more precise ways in</p> | <p style="text-align: right;">Page 1095</p> <p>1 in time, how that Health Science Team and its 2 work should report, within the internal 3 structure of R&D and the company more broadly, 4 to people that we would need to keep informed 5 and to seek counsel from as our work 6 progressed. 7 Q. And then Mr. Wolff talked for half 8 an hour about attorney-client privilege and 9 communications management, right? 10 A. Yes. 11 Q. So there were a whole lot of 12 scientists, mostly talking about science at 13 a science meeting, starting off their meeting 14 with a lecture on attorney-client privilege, 15 right? 16 A. That's correct. 17 Q. What is communications management? 18 A. Well, this, if I remember 19 correctly, as it says in brackets there in the 20 words in italics, was, for example, to do with 21 how we should be taking notes of the meeting, 22 how we would be best advised to record what 23 was in our own notebooks or what would appear 24 in minutes, so that they -- this was done in 25 ways in which, if we needed to, attract</p> |

| Page 1096 | Page 1098 |
|--|---|
| <p>1 attorney-client privilege and in further 2 communication it would do so. 3 Q. Right. And, in fact, the point of 4 this, getting to the bottom line, is that the 5 lawyers at the meeting were reminding Syngenta 6 scientists to keep their communications secret 7 using attorney-client privileged 8 communications. Wasn't that really what this 9 was about? 10 MR. NARESH: Objection to form. 11 THE WITNESS: No, I think that's 12 not the way I would put it. It was 13 to ensure that our ability to 14 communicate, so management of 15 communications, was being properly 16 managed, effectively managed. Not to say 17 the whole thing had to be secret; 18 that was not the intention. 19 BY MR. TILLERY: 20 Q. Well, are you telling me -- we're 21 going to look at a number of additional 22 exhibits, I'm just giving you fair warning. 23 Are you telling me that you didn't 24 learn from these lawyers how to run the 25 documents through a central lawyer to try to</p> | <p>1 MR. NARESH: Objection to form. 2 THE WITNESS: I certainly recall 3 that he was giving us guidance on how 4 to communicate. I don't know whether 5 he used the word "secret." I don't 6 recall if he used that word or not. 7 BY MR. TILLERY: 8 Q. And he told the label -- strike 9 that. 10 He told the scientists the label 11 they should use is work product and 12 attorney-client privilege on their subject 13 matter label, didn't he? 14 A. Yes, I certainly remember that 15 we were given guidance on the use of those 16 terms. 17 Q. And Mr. Wolff also told the 18 scientists that if an outside lawyer like him 19 requested work by the scientists, then they 20 would have a higher level of privilege than if 21 an in-house Syngenta lawyer requested the work, 22 right? 23 MR. NARESH: Objection to the form. 24 THE WITNESS: I don't remember 25 precisely whether he said that, so you --</p> |
| Page 1097 | Page 1099 |
| <p>1 keep them from public scrutiny? Is that what 2 you're telling me? 3 MR. NARESH: Object to the form. 4 THE WITNESS: No, I'm not saying -- 5 I'm not telling you that. That was part 6 of the way in which the management of our 7 recordkeeping was -- we were asked 8 to comply with. 9 BY MR. TILLERY: 10 Q. Well, in fact, Jeff Wolff told the 11 scientists at the 2008 meeting that if they 12 sent emails only to lawyers, then they would be 13 privileged, didn't he? 14 MR. NARESH: Objection to form. 15 THE WITNESS: I can't recall if 16 that's what he said. 17 BY MR. TILLERY: 18 Q. And he said that merely Cc'ing the 19 lawyers -- excuse me. Excuse me. Let me start 20 over. Withdraw that. 21 He said that merely Cc'ing the 22 lawyers or copying them on email, that wouldn't 23 be good enough; they had to send the emails 24 only to the lawyers in order to keep them 25 secret. That's what he told you, wasn't it?</p> | <p>1 it may appear in the minutes but I can't 2 confirm that. 3 MR. TILLERY: Well, let's go to the 4 next document to see if I can refresh 5 your recollection. 6 Is that 93? 7 MS. BRUMITT: 93. 8 MR. TILLERY: Okay, this is 9 Plaintiff's Deposition Exhibit 93. 10 (Botham Exhibit 93 marked for 11 identification.) 12 MR. NARESH: Before we get into 13 this document, I see this was produced 14 pursuant to rule -- or 502(d) 15 stipulation, so I, as a general matter, 16 don't object to questioning on this 17 document; however, I reserve the right 18 to object to any specific question on 19 privilege or work product grounds, 20 so long as we have an agreement that your 21 questioning here is done pursuant to the 22 502(d) stipulation, i.e. Syngenta is not 23 waiving the ability to object to the 24 production of any testimony related 25 to this document under 502(d).</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1100</p> <p>1 MR. TILLERY: We agree to that --</p> <p>2 we agree to that, counsel. Right.</p> <p>3 BY MR. TILLERY:</p> <p>4 Q. Can you look at that document, sir,</p> <p>5 and that's 502(d)-010660.0001. It's a</p> <p>6 two-page --</p> <p>7 THE STENOGRAPHER: Sorry,</p> <p>8 Mr. Tillery, could you say those numbers</p> <p>9 again, please? Sorry.</p> <p>10 MR. TILLERY: Do you want me to say</p> <p>11 it even faster? Sorry. Okay.</p> <p>12 502(d)-010660.0001. Okay?</p> <p>13 THE STENOGRAPHER: Thank you.</p> <p>14 MR. TILLERY: You're welcome.</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. That's a two-page document, sir.</p> <p>17 MR. NARESH: Hang on. Hang on.</p> <p>18 I think there's something getting</p> <p>19 confused because the document you just</p> <p>20 identified is not the document that's on</p> <p>21 the screen.</p> <p>22 MR. TILLERY: You're right.</p> <p>23 MR. NARESH: My little speech</p> <p>24 earlier was related to the document</p> <p>25 that's on the screen. It may be the same</p> | <p style="text-align: right;">Page 1102</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. This is a document called "Action</p> <p>3 Notes from Atlanta Meeting 13-14 February</p> <p>4 2008."</p> <p>5 Right?</p> <p>6 A. That's correct.</p> <p>7 Q. And these are the same people that</p> <p>8 we referred to earlier who attended the Atlanta</p> <p>9 meeting, right?</p> <p>10 A. Yes, with a couple of additional</p> <p>11 people.</p> <p>12 Q. And you're on that list,</p> <p>13 Dr. P.A. Botham, right?</p> <p>14 A. That's correct, that's me.</p> <p>15 Q. Okay. From looking at this, these</p> <p>16 look to appear to be the notes summarizing what</p> <p>17 was said at that meeting, correct?</p> <p>18 A. That's correct.</p> <p>19 Q. Now, would you read for the record</p> <p>20 what that second bullet says. Do you see the</p> <p>21 second bullet there?</p> <p>22 A. Under the "General housekeeping</p> <p>23 rules"?</p> <p>24 Q. Yes.</p> <p>25 A. Okay. What that says is:</p> |
| <p style="text-align: right;">Page 1101</p> <p>1 for the document that you're intending</p> <p>2 to ask about but I --</p> <p>3 MR. TILLERY: No, you're right.</p> <p>4 You're right. I gave you the wrong</p> <p>5 number. I apologize, sir. Excuse me.</p> <p>6 Yes, I'm sorry, I gave you the</p> <p>7 wrong number.</p> <p>8 Leah, the correct number is</p> <p>9 502(d)-022360.0001.</p> <p>10 Thank you.</p> <p>11 BY MR. TILLERY:</p> <p>12 Q. Do you have that on your screen,</p> <p>13 Dr. Botham?</p> <p>14 A. I do. I don't have control on the</p> <p>15 document now but I can see part of the first</p> <p>16 page.</p> <p>17 MR. TILLERY: Okay. Why don't you</p> <p>18 turn that over to him and let him --</p> <p>19 THE WITNESS: No, it's okay. I did</p> <p>20 see the full document up until this point</p> <p>21 so you don't need to do that. Please go</p> <p>22 ahead.</p> <p>23 MR. TILLERY: Okay. Can you put it</p> <p>24 back for display, please. All right,</p> <p>25 thank you.</p> | <p style="text-align: right;">Page 1103</p> <p>1 "Internal communications with</p> <p>2 internal or external counsel should make it</p> <p>3 clear that the correspondence is privileged</p> <p>4 and that it is for potential paraquat</p> <p>5 PD litigation."</p> <p>6 Q. This whole thing was about paraquat</p> <p>7 PD litigation; is that what this was?</p> <p>8 A. What do you mean by "the whole</p> <p>9 thing"?</p> <p>10 Q. This meeting.</p> <p>11 A. No, it was not the whole thing.</p> <p>12 It was not just about litigation. It was the</p> <p>13 start of the Health Science Team work, which</p> <p>14 continued for many years afterwards, and still</p> <p>15 is continuing, with one aspect that we needed</p> <p>16 to understand, being the potential for</p> <p>17 paraquat/PD litigation, but --</p> <p>18 Q. And that's why --</p> <p>19 A. -- I don't --</p> <p>20 Q. Sorry. Go ahead and finish.</p> <p>21 I'm sorry.</p> <p>22 A. It was not driven by the</p> <p>23 litigation.</p> <p>24 Q. Okay. So when it says "internal</p> <p>25 communications with internal or external</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1104</p> <p>1 counsel," that would be Mr. Wolff, right?</p> <p>2 Right?</p> <p>3 A. He would be external counsel, yes.</p> <p>4 Q. Right.</p> <p>5 "... should make it clear that the</p> <p>6 correspondence is privileged and that it is for</p> <p>7 potential paraquat PD ..."</p> <p>8 Does that stand for Parkinson's</p> <p>9 disease?</p> <p>10 A. Yes, it does.</p> <p>11 Q. "... litigation."</p> <p>12 That's what the document actually</p> <p>13 says, doesn't it?</p> <p>14 A. That's what that says, yes.</p> <p>15 Q. Yes. You don't dispute that that's</p> <p>16 a summary, a good summary, of what was spoken</p> <p>17 at that meeting either, do you?</p> <p>18 A. No, I don't, and this was about</p> <p>19 communication rather than the content of what</p> <p>20 we were discussing.</p> <p>21 Q. Okay. Now let's look at the fourth</p> <p>22 and fifth bullets. If you'd look at those, it</p> <p>23 says:</p> <p>24 "Study work should be labelled Work</p> <p>25 Product Doctrine Material - Confidential, and</p> | <p style="text-align: right;">Page 1106</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. And he did tell you scientists</p> <p>3 to label your work as work product and</p> <p>4 attorney-client privilege, didn't he?</p> <p>5 You didn't know that without him telling you,</p> <p>6 did you?</p> <p>7 A. No. This was something that</p> <p>8 we were being given guidance on, the first --</p> <p>9 Q. And Mr. Wolff did tell you</p> <p>10 scientists that if an outside lawyer like him</p> <p>11 requested work, then that would have a higher</p> <p>12 level of privilege than if an in-house Syngenta</p> <p>13 lawyer requested it too, didn't he?</p> <p>14 A. No. This is -- my understanding of</p> <p>15 this is this is nothing to do with him</p> <p>16 requesting work. This is if we are</p> <p>17 communicating about study work, that it should</p> <p>18 correspond with this guidance.</p> <p>19 Q. In 2008, you were a member of</p> <p>20 Syngenta's paraquat health science group,</p> <p>21 right?</p> <p>22 A. Yes.</p> <p>23 Q. And that's what this group of</p> <p>24 scientists that are on this document really</p> <p>25 made up, that particular group; correct?</p> |
| <p style="text-align: right;">Page 1105</p> <p>1 carry the Attorney Client Privilege statement."</p> <p>2 Right?</p> <p>3 A. Yes.</p> <p>4 Q. And the next one:</p> <p>5 "Information cc'd to external</p> <p>6 Counsel is not privileged."</p> <p>7 Do you see that?</p> <p>8 A. Yes.</p> <p>9 Q. So, in fact, Jeff Wolff did tell you</p> <p>10 scientists at the 2008 Atlanta meeting that</p> <p>11 if they sent emails only to lawyers, they</p> <p>12 would then be privileged documents, didn't he?</p> <p>13 That's what he told you?</p> <p>14 A. That's what this says, certainly.</p> <p>15 Q. And he did say that merely Cc'ing</p> <p>16 the lawyers, copying them on email, wouldn't be</p> <p>17 good enough; that he had to send the emails</p> <p>18 only to the lawyers in order to keep them</p> <p>19 secret. Correct?</p> <p>20 MR. NARESH: Objection to form.</p> <p>21 THE WITNESS: In order to keep</p> <p>22 them -- to have the ability for them</p> <p>23 to carry the attorney-client privilege</p> <p>24 statement, yes.</p> <p>25 ///</p> | <p style="text-align: right;">Page 1107</p> <p>1 A. Yes. As I said earlier, this</p> <p>2 meeting led to roughly the same group of</p> <p>3 people becoming what was known as the Health</p> <p>4 Science Team.</p> <p>5 Q. And in late February 2008, as</p> <p>6 a result of the discussions at the Atlanta</p> <p>7 meeting, someone at Syngenta drafted a document</p> <p>8 called "The Paraquat Health Science Group</p> <p>9 Strategy Discussion Document."</p> <p>10 Correct?</p> <p>11 A. Well, I think -- I take your word</p> <p>12 for it. I haven't -- I can't recall exactly</p> <p>13 that document now.</p> <p>14 Q. Do you know who would have been the</p> <p>15 author of the document?</p> <p>16 A. No, I don't, so if you're able to</p> <p>17 show it to me I might be able to help.</p> <p>18 Q. We're going to do that.</p> <p>19 MR. TILLERY: Is this 597?</p> <p>20 MR. NARESH: Just for the record,</p> <p>21 it's about 6 o'clock in the UK now and</p> <p>22 I know that there's a little bit of grace</p> <p>23 period built in, but I just wanted to let</p> <p>24 you know that Dr. Botham needs to wrap up</p> <p>25 in the next 10 to 15 minutes or so.</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1108</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Dr. Botham, you tell us when, okay?</p> <p>3 A. Yeah. Fifteen minutes would be</p> <p>4 a good target to reach, if we could.</p> <p>5 Q. Well, I'm about to start a new area</p> <p>6 there so I'd like, if we could, to switch</p> <p>7 signals here and let me ask you a question</p> <p>8 about something else. Hold on just one second,</p> <p>9 sir.</p> <p>10 MR. NARESH: I don't know if it's</p> <p>11 me or if it's anybody else, but Steve's</p> <p>12 video pane is frozen for me. Everybody</p> <p>13 else is moving but Steve is frozen.</p> <p>14 THE WITNESS: Yeah, Steve has been</p> <p>15 frozen for quite some time actually.</p> <p>16 MR. TILLERY: Well, it's probably</p> <p>17 because the camera gave out at my image,</p> <p>18 but ...</p> <p>19 Is it frozen for you?</p> <p>20 MS. BRUMITT: It is.</p> <p>21 MR. TILLERY: Yes, it is, I see it.</p> <p>22 Well --</p> <p>23 THE WITNESS: Is that a matter for</p> <p>24 the record, Wendy?</p> <p>25 THE VIDEOGRAPHER: Sorry?</p> | <p style="text-align: right;">Page 1110</p> <p>1 facilities. Deep Store is the name of an</p> <p>2 organization and it's called that because the</p> <p>3 storage is actually in a salt -- a disused</p> <p>4 salt mine in the United Kingdom, underground.</p> <p>5 Q. Okay. And what documents are stored</p> <p>6 there?</p> <p>7 A. Documents such as reports, study</p> <p>8 files, lab notebooks, pathology slides from</p> <p>9 toxicology studies but also from other R&D</p> <p>10 departments, regulatory documents.</p> <p>11 Q. Okay.</p> <p>12 MR. TILLERY: Yeah, if you pull it</p> <p>13 up.</p> <p>14 BY MR. TILLERY:</p> <p>15 Q. The one I wanted to talk to you</p> <p>16 about, of the recitation of items that are</p> <p>17 stored there, is laboratory notebooks.</p> <p>18 And Syngenta stores thousands of lab notebooks</p> <p>19 there, doesn't it?</p> <p>20 A. Yes, it does.</p> <p>21 Q. And have you ever personally needed</p> <p>22 to retrieve a lab notebook from Deep Store?</p> <p>23 A. No, I haven't.</p> <p>24 Q. If you needed to retrieve a lab</p> <p>25 notebook from Deep Store, how would you</p> |
| <p style="text-align: right;">Page 1109</p> <p>1 THE WITNESS: Does the fact that</p> <p>2 Mr. Tillery's image has been frozen for</p> <p>3 the last ten minutes matter for the</p> <p>4 record?</p> <p>5 THE VIDEOGRAPHER: Absolutely not.</p> <p>6 I'm just focused on you.</p> <p>7 THE WITNESS: Okay, that's fine.</p> <p>8 Thank you.</p> <p>9 THE VIDEOGRAPHER: I can only see</p> <p>10 you.</p> <p>11 THE WITNESS: Right.</p> <p>12 THE VIDEOGRAPHER: Thank you.</p> <p>13 BY MR. TILLERY:</p> <p>14 Q. I just want to clear up something</p> <p>15 that has nothing to do with what we have been</p> <p>16 talking about while we've had just a few</p> <p>17 minutes left to clarify something, and we're</p> <p>18 going to switch topics. We'll come back to</p> <p>19 this tomorrow, where we were, okay.</p> <p>20 Do you know anything about what's</p> <p>21 called the Deep Store documents?</p> <p>22 A. I do.</p> <p>23 Q. Okay. And what are they?</p> <p>24 A. These are archived documents from</p> <p>25 Syngenta, from a number of Syngenta</p> | <p style="text-align: right;">Page 1111</p> <p>1 identify the notebook you wanted to retrieve?</p> <p>2 A. Well, I would go to our archive</p> <p>3 expert with either the person whose lab</p> <p>4 notebook it was, a name, or a department,</p> <p>5 or even a subject that it might refer to.</p> <p>6 Q. All right. Let's show you a</p> <p>7 document. All I'm doing is just showing you</p> <p>8 this to illustrate what we've been given and</p> <p>9 I just need to clarify some things.</p> <p>10 MR. TILLERY: Just pull it up.</p> <p>11 You know, Ragan, I don't honestly</p> <p>12 think this needs to be a deposition</p> <p>13 exhibit. It's a demonstrative and</p> <p>14 I'm just trying to get some answers</p> <p>15 to some questions.</p> <p>16 This is -- is that our number or</p> <p>17 theirs?</p> <p>18 MS. BRUMITT: I don't --</p> <p>19 MR. TILLERY: Let's just put it on</p> <p>20 the screen for them to see.</p> <p>21 This is something you gave us but</p> <p>22 I just wanted to -- I wanted to ask him</p> <p>23 about what we would ask for.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. Let me know when you can see the</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1112</p> <p>1 exhibit, Dr. Botham. 2 A. Well, I can see an Excel 3 spreadsheet now. 4 Q. That's all it is. I'm not going 5 to get into -- there's no particular reason for 6 this page being used. It's just a reference 7 page, okay. 8 What I'm trying to find out is, and 9 we've made requests for some documents, how 10 you would search for specific study notebooks. 11 If we wanted to know, for example, the 12 notebooks referable to a particular study, how 13 would we get those -- how would you get them? 14 What would you ask for, what information would 15 you seek and how would you know what you're 16 getting? 17 A. Well, from my own experience, 18 I would normally start with a study report, 19 and rather like the study report we were 20 looking at from Dr. Ray earlier on, to see 21 if there was a reference to a study number. 22 If you have a study number, then it's possible 23 to find, in our spreadsheets like this one, 24 whether the study file is available in 25 Deep Store, and where it might be located.</p> | <p style="text-align: right;">Page 1114</p> <p>1 that correspond with Category A Control? What 2 does that mean? 3 A. I don't know, I can't answer that. 4 Q. And then list, do you know what that 5 is? 6 A. Well, list looks like it's what 7 it is. When it says study or miscellaneous 8 data, that looks like a descriptor of the 9 number, so -- and then it's present in an 10 archive. The content is present in that box 11 number, which has a barcode attached to it. 12 Q. And the barcode is an archival 13 fingerprint for the box, presumably? 14 A. Yeah, that's right. That much I do 15 know, yes. 16 Q. All right. And then box code, do 17 you know what that is? 18 A. I don't know what the difference 19 between box code and the barcode is for the 20 box number, no. 21 Q. There appears to be missing 22 a reference to the study. So what 23 I'm wondering is this: If you want the Marks 24 data or some other data that's been done -- 25 A. Mmm.</p> |
| <p style="text-align: right;">Page 1113</p> <p>1 The study file can be -- 2 Q. So -- 3 A. -- can include a number of 4 different items. 5 Q. Well, I'm just looking, are there 6 more -- where is the reference to the study 7 number on this document? I'm showing you -- 8 A. Just go back the other way for 9 a minute. 10 Q. All right, sure. We have date of 11 report or study. Do you see that? 12 A. Yes. 13 Q. And then we have -- we're trying 14 to be able to work with Mr. Naresh to find out 15 which ones of these we want. 16 A. Yeah, I -- I mean, this is not my 17 area of expertise, but I think it's possible, 18 from one of these categories, to be able -- 19 you can certainly link a report to study 20 number and hence to study file. I can't tell 21 you exactly how you get to that from this 22 spreadsheet. Like you, I can't see the study 23 number here, but that information should be 24 available. 25 Q. If you had a study number, how does</p> | <p style="text-align: right;">Page 1115</p> <p>1 Q. -- let's pick one of the studies -- 2 how do we identify that from this reference 3 code, or where would you go and what would you 4 ask to get the data? 5 A. Yeah. So I think that's fairly 6 straightforward. So if I know the study 7 number -- so forget what's on this 8 spreadsheet. I'm pretty sure that if I know 9 the study number -- which, in the case of the 10 Marks studies we do, it's XM and four numbers, 11 as we said earlier. If I went to my archive 12 specialist, she would be able to locate the 13 data from Deep Store. 14 Q. And that would include the lab 15 notebooks as well, wouldn't it, sir? 16 A. It would -- it should include all 17 the raw data, the information that relates 18 to that study. 19 Q. Okay. 20 MR. TILLERY: All right. Thank you 21 very much, and we'll resume tomorrow at 22 the same time, okay. Thank you. 23 THE WITNESS: Okay, thank you. 24 MR. TILLERY: Off the record. 25 THE VIDEOGRAPHER: We are going off</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | Page 1116 | Page 1118 |
|----|---|--|
| 1 | the record. The time is 6:09. | 1 *** ERRATA SHEET *** |
| 2 | (The deposition concluded for the | 2 TRANSPERFECT DEPOSITION SERVICES |
| 3 | day.) | 3 216 E. 45th Street, Suite 903 |
| 4 | | 4 NEW YORK, NY 10017 |
| 5 | | 5 (212) 400-8845 |
| 6 | | 6 CASE: Diana Hoffmann, et al., versus Syngenta |
| 7 | | 7 Crop Protection, LLC, et al. |
| 8 | | 8 DATE: June 18, 2020 |
| 9 | | 9 WITNESS: Philip Botham REF: 27627 |
| 10 | | 10 PAGE LINE FROM TO |
| 11 | | 11 |
| 12 | | 12 |
| 13 | | 13 |
| 14 | | 14 |
| 15 | | 15 |
| 16 | | 16 |
| 17 | | 17 |
| 18 | | 18 |
| 19 | | 19 |
| 20 | | 20 Philip Botham |
| 21 | | 21 Subscribed and sworn to before me |
| 22 | | 22 this ____ day of ____, 20__ |
| 23 | | 23 |
| 24 | | 24 Notary Public |
| 25 | | 25 |
| | Page 1117 | Page 1119 |
| 1 | CERTIFICATE OF WITNESS | 1 REPORTER CERTIFICATE |
| 2 | | 2 I, LEAH WILLERSDORF, Accredited Verbatim Reporter, |
| 3 | I, PHILIP BOTHAM, declare that I have read the entire | 3 Member of the British Institute of Verbatim Reporters |
| 4 | transcript of Volume IV of my deposition testimony, or | 4 (Accreditation No. 166) and Qualified Realtime Reporter |
| 5 | the same has been read to me, and certify that it is a | 5 (Level 2), International Participating Member NCRA |
| 6 | true, correct and complete record of my testimony given | 6 (USA), do hereby certify that: PHILIP BOTHAM appeared |
| 7 | on Thursday, June 18, 2020, save and except for changes | 7 remotely before me via Zoom on Thursday, June 18, 2020, |
| 8 | and/or corrections, if any, as indicated by me on the | 8 was sworn by me, and was thereupon examined by counsel; |
| 9 | attached Errata Sheet, with the understanding that | 9 that the foregoing is true and accurate to the best of |
| 10 | I offer these changes and/or corrections as if still | 10 my knowledge, skill and ability; that the testimony of |
| 11 | under oath. | 11 said witness was taken and reduced to stenotype writing |
| 12 | | 12 before me; that I am neither counsel for, related to, |
| 13 | | 13 nor employed by any of the parties to the action in |
| 14 | | 14 which this deposition was taken; and further, that I am |
| 15 | Signed _____ | 15 not a relative or employee of any attorney or counsel |
| 16 | Philip Botham | 16 employed by the parties thereto; nor financially or |
| 17 | | 17 otherwise interested in the outcome of the action. |
| 18 | | 18 IN WITNESS WHEREOF I have hereunto set my hand |
| 19 | Signed and subscribed to before me. | 19 this June 28, 2020. |
| 20 | this ____ day of ____, 20__ | 20 |
| 21 | | 21 |
| 22 | | 22 LEAH M. WILLERSDORF |
| 23 | Notary Public | 23 Accredited Verbatim Reporter, |
| 24 | | 24 Member of the British Institute |
| 25 | | 25 of Verbatim Reporters - Accreditation No. 166, |
| | | Qualified Realtime Reporter (Level 2), |
| | | International Participating Member NCRA (USA) |

IN THE CIRCUIT COURT
TWENTIETH JUDICIAL CIRCUIT
ST. CLAIR COUNTY, ILLINOIS

DIANA HOFFMANN,)
individually and as)
Independent Administrator)
of the Estate of THOMAS R.) No. 17-L-517
HOFFMANN, Deceased, et al.,)
)
Plaintiff,)
)
v.)
)
SYNGENTA CROP PROTECTION,)
LLC, et al.,)
)
Defendants.)

- - - -

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

VIDEOTAPED ZOOM DEPOSITION OF
SYNGENTA CROP PROTECTION, LLC

PHILIP BOTHAM
(Volume V - pages 1120 - 1420 inclusive)

Friday, June 19, 2020

Berkshire, England,
United Kingdom
(Deponent's location)

Reported by:
LEAH M. WILLERSDORF,
(AVR, MBIVR No. 166,
QRR2, International
Participating Member NCRA.)

Job No. 27626

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|--|---|
| <p style="text-align: right;">Page 1121</p> <p>1 2 3 4 5 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25</p> <p style="text-align: center;">June 19, 2020</p> <p style="text-align: center;">10:12 a.m. (British Summer Time)</p> <p>Zoom videotaped deposition of SYNGENTA CROP PROTECTION, LLC - Philip Botham, Berkshire, England, United Kingdom, reported remotely via videoconference before Leah Willersdorf, Accredited Verbatim Reporter, Member of the British Institute of Verbatim Reporters (Accreditation No. 166), Qualified Realtime Reporter (Level 2), International Participating Member NCRA (USA).</p> | <p style="text-align: right;">Page 1123</p> <p>1 2 3 4 5 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25</p> <p style="text-align: center;">APPEARANCES (all via Zoom videoconference)</p> <p>For the Defendant CHEVRON USA, INC.:</p> <p style="text-align: center;">HUSCH BLACKWELL, LLP</p> <p>BY: JOSEPH ORLET, Esq.</p> <p style="text-align: center;">4801 Main Street Suite 1000 Kansas City, MO 64112</p> <p>Telephone: (816) 983 8295 Email: joseph.orlet@huschblackwell.com</p> <p>ALSO PRESENT:</p> <p>Sara Peters - Walkup, Melodia, Kelly & Schoenberger - Plaintiff's co-counsel)</p> <p>Nicole Graham - Korein Tillery, LLC Juanita Brumitt - Korein Tillery, LLC Jerry Brown - Korein Tillery, LLC John Craig - Korein Tillery, LLC Mark Smith - Syngenta in-house counsel Wendy Viner - Videographer</p> |
| <p style="text-align: right;">Page 1122</p> <p>1 2 3 4 5 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25</p> <p style="text-align: center;">APPEARANCES (all via Zoom videoconference)</p> <p>On behalf of Plaintiffs:</p> <p>KOREIN TILLERY, LLC BY: STEPHEN M. TILLERY, Esq. ROBERT L. KING, Esq. ROSEMARIE FIORILLO, Esq. One US Bank Plaza 505 N. 7th Street Suite 3600 St. Louis, MO 63101</p> <p>Telephone: (314) 241 4844 Email: stillery@koreintillery.com rking@koreintillery.com rfiorillo@koreintillery.com</p> <p>On behalf of Defendant SYNGENTA CROP PROTECTION, LLC:</p> <p>KIRKLAND & ELLIS LLP BY: RAGAN NARESH, P.C. 1301 Pennsylvania Avenue, N.W. Washington, DC 20004 Telephone: (202) 389 5267 Email: ragan.naresh@kirkland.com</p> <p>For the Defendant GROWMARK, INC.:</p> <p>STEPTOE & JOHNSON, LLP BY: ANTHONY HOPP, Esq. 227 West Monroe Street Suite 4700 Chicago, IL 60606 Telephone: (312) 577 1249 Email: ahopp@steptoe.com</p> | <p style="text-align: right;">Page 1124</p> <p>1 2 3 4 5 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25</p> <p style="text-align: center;">WITNESS INDEX</p> <p>Witness: Page PHILIP BOTHAM (Volume V)</p> <p>Examination by Mr. Tillery, continued 1134</p> |

2 (Pages 1121 to 1124)

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

Page 1125

Page 1127

| Page 1125 | | | Page 1127 | | |
|-----------|----------------|---|-----------|----------------|---|
| 1 | EXHIBITS INDEX | | 1 | EXHIBITS INDEX | |
| 2 | Botham | Description | 2 | Botham | Description |
| 3 | Exhibit No. | Page | 3 | Exhibit No. | Page |
| 4 | Exhibit 94 | Color document downloaded from paraquat.com, headed "Paraquat information center - Paraquat and Parkinson's disease" (No Bates, 11 pages) | 1135 | Exhibit 103 | Typewritten letter from K. Fletcher to Mr. A. W. Weatt, dated July 26, 1971, on colored paper (SYNG-PQ-02450187) [Confidential - Paraquat Litigation] |
| 5 | | | 4 | | |
| 6 | Exhibit 95 | Email from Jonathan Dale Sullivan to Jeff Wolff, et al., dated July 15, 2008, attaching draft notes and minutes from PS/GPR meeting on July 10, 2008 (502(d)-0107074.0001 - .0013) [Confidential - Paraquat Litigation] | 1194 | Exhibit 104 | Typewritten letter from Dr. Bayliss to Dr. K. Fletcher, dated October 20, 1971 (SYNG-PQ-13098673) [Confidential - Paraquat Litigation] |
| 7 | | | 5 | | |
| 8 | | | 6 | | |
| 9 | Exhibit 96 | Email from Dave Berry to Alan Nadel, et al., dated April 9, 2009 (502(d)-002434.0001) [Confidential - Paraquat Litigation] | 1235 | Exhibit 105 | Typewritten letter from Dr. K. Fletcher to Dr. D. Seaman, et al., dated November 28, 1972 (SYNG-PQ-02469717 - 718) |
| 10 | | | 12 | | |
| 11 | Exhibit 97 | Paraquat Health Science Team document headed "Action Minutes from Marlow Meeting 20 & 21 April 2009 - The Compleat Angler, Marlow UK" (SYNG-PQ-04982646 - 2650) | 1238 | Exhibit 106 | "Minutes of the First Meeting of Paraquat Reduction of Hazards by Formulation Project Team on 14th December at Jealott's Hill," on ICI Plant Protection Limited letterhead, date stamped December 29, 1972 (SYNG-PQ-02491713 - 1721) [Confidential - Paraquat Litigation] |
| 12 | | | 13 | | |
| 13 | Exhibit 98 | Slide deck for Syngenta discussion in Marlow, UK, entitled "CNS barriers: Critical interfaces for CNS entry of paraquat," by N. Joan Abbott of King's College London, dated April 20, 2009 (SYNG-PQ-00471694 - 1386) | 1240 | Exhibit 107 | "Notes of Meeting with Chevron Chemical Company, Richmond, on Wednesday, 27 February 1974 - Paraquat toxicological problems in the USA and proposed label change" (SYNG-PQ-02508147) [Confidential - Paraquat Litigation] |
| 14 | | | 14 | | |
| 15 | | | 15 | | |
| 16 | | | 16 | | |
| 17 | | | 17 | | |
| 18 | | | 18 | | |
| 19 | | | 19 | | |
| 20 | | | 20 | | |
| 21 | | | 21 | | |
| 22 | | | 22 | | |
| 23 | | | 23 | | |
| 24 | | | 24 | | |
| 25 | | | 25 | | |

Page 1126

Page 1128

| Page 1126 | | | Page 1128 | | |
|-----------|----------------|---|-----------|----------------|---|
| 1 | EXHIBITS INDEX | | 1 | EXHIBITS INDEX | |
| 2 | Botham | Description | 2 | Botham No. | Description |
| 3 | Exhibit No. | Page | 3 | Exhibit | Page |
| 4 | Exhibit 99 | Typewritten letter to Mr. E. Darter, Biological Research, Plant Protection Limited, Jealott's Hill, from Dr. A. Swan, dated November 11, 1968 (SYNG-PQ-02518325) [Confidential - Paraquat Litigation] | 1263 | Exhibit 108 | Typewritten letter from Dr. M. Winchester to Dr. A. Swan, dated December 23, 1975 (SYNG-PQ-03719628) [Confidential - Paraquat Litigation] |
| 5 | | | 4 | | |
| 6 | | | 5 | | |
| 7 | | | 6 | | |
| 8 | Exhibit 100 | Typewritten letter from N. Wright to Mr. S. Magee, ICI (Ireland) Ltd, dated November 11, 1970 (SYNG-PQ-02517085) [Confidential - Paraquat Litigation] | 1265 | Exhibit 109 | Typewritten letter from Dr. A. Swan to Mr. J.M. Winchester, dated January 5, 1976 (SYNG-PQ-02450112) [Confidential - Paraquat Litigation] |
| 9 | | | 7 | | |
| 10 | | | 8 | | |
| 11 | | | 9 | | |
| 12 | Exhibit 101 | Imperial Chemical Industries plc, Pharmaceuticals Division, document title "A summary of clinical results of the phosphodiesterase inhibitor ICI 63,197 in a variety of disease states," authored by Dr. P.F.C. Bayliss, dated July 23, 1973, in color (SYNG-PQ-14420786 - 0838) [Confidential - Paraquat Litigation] | 1267 | Exhibit 110 | Typewritten letter from Dr. M.S. Rose to Dr. D. Foulkes, et al., dated January 22, 1976 (SYNG-PQ-03719624) |
| 13 | | | 10 | | |
| 14 | | | 11 | | |
| 15 | | | 12 | | |
| 16 | | | 13 | | |
| 17 | | | 14 | | |
| 18 | | | 15 | | |
| 19 | Exhibit 102 | Imperial Chemical Industries Limited, Pharmaceuticals Division, document headed "Paraquat," by G.E. Davies, dated June 29, 1971 (SYNG-PQ-13098675) [Confidential - Paraquat Litigation] | 1269 | Exhibit 111 | Typewritten letter from Dr. D. Foulkes to Dr. M. Rose, dated January 26, 1976 (SYNG-PQ-03719623) [Confidential - Paraquat Litigation] |
| 20 | | | 16 | | |
| 21 | | | 17 | | |
| 22 | | | 18 | | |
| 23 | | | 19 | | |
| 24 | | | 20 | | |
| 25 | | | 21 | | |

3 (Pages 1125 to 1128)

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

Page 1129

Page 1131

| 1 | EXHIBITS INDEX | |
|----|---|------|
| 2 | Botham Description Page | |
| 3 | Exhibit No. | |
| 4 | Exhibit 114 Document headed "Protocol for a 63197 (R50796) + PQ dog study," dated March 31, 1976 (SYNG-PQ-02450068) [Confidential - Paraquat Litigation] | 1304 |
| 5 | | |
| 6 | Exhibit 115 Memo-type document to Dr. M. Rose from Dr. Lewis Smith, dated 6.7.1976 (SYNG-PQ-02450688) [Confidential - Paraquat Litigation] | 1308 |
| 7 | | |
| 8 | Exhibit 116 Typewritten document headed "Toxicity of Gramoxone Formulated with PP 796," dated July 27, 1976 (SYNG-PQ-02450705) [Confidential - Paraquat Litigation] | 1314 |
| 9 | | |
| 10 | Exhibit 117 Cover letter from Dr. D. Foulkes to Dr. N. Omperson, dated October 19, 1976, attaching a draft appraisal of the emetic potential of PP796 (CUSA-00088442 - 451) | 1317 |
| 11 | | |
| 12 | Exhibit 118 A telex-type document from Dr. Cavalli to Dr. Rose, et al., dated October 21, 1976 (CUSA-00088433) | 1318 |
| 13 | | |
| 14 | Exhibit 119 A telex-type document from Dr. Rose to Dr. Cavalli, et al., dated October 26, 1976 (CUSA-00305732) | 1320 |
| 15 | | |
| 16 | | |
| 17 | | |
| 18 | | |
| 19 | | |
| 20 | | |
| 21 | | |
| 22 | | |
| 23 | | |
| 24 | | |
| 25 | | |

| 1 | EXHIBITS INDEX | |
|----|---|------|
| 2 | Botham Description Page | |
| 3 | Exhibit No. | |
| 4 | Exhibit 125 Document from Dr. J.R. Heylings to Dr. L. Smith, dated January 19, 1990, headed "Emetic Concentration in Paraquat Formulations" (SYNG-PQ-26134258-4265) | 1367 |
| 5 | | |
| 6 | Exhibit 126 Document from Dr. J.R. Heylings to Dr. L.L. Smith, dated 5.9.90, headed "Human Data with the Paraquat Emetic (PP796)" (SYNG-PQ-26134270-4272) | 1370 |
| 7 | | |
| 8 | Exhibit 127 Document entitled "Safer Paraquat Formulations - TRC 5th March 1990," edited by H. Swaine, dated February 1990 (SYNG-PQ-02639780 - 9824) | 1379 |
| 9 | | |
| 10 | Exhibit 128 Letter from Dr. L. Smith to Dr. S. Jagers, dated October 11, 1990 (SYNG-PQ-04262621) [Confidential - Paraquat Litigation] | 1384 |
| 11 | | |
| 12 | Exhibit 129 Letter from Dr. L. Smith to Dr. J. Heylings, dated November 6, 1990 (SYNG-PQ-04262618 - 19) [Confidential - Paraquat Litigation] | 1386 |
| 13 | | |
| 14 | Exhibit 130 Email string, with the most recent being from Jon Heylings to Andy Cook, et al., dated November 19, 2018, with attachments (SYNG-PQ-110783241 - 3251) | 1393 |
| 15 | | |
| 16 | | |
| 17 | | |
| 18 | | |
| 19 | | |
| 20 | | |
| 21 | | |
| 22 | | |
| 23 | | |
| 24 | | |
| 25 | | |

Page 1130

Page 1132

| 1 | EXHIBITS INDEX | |
|----|---|------|
| 2 | Botham No. Description Page | |
| 3 | Exhibit No. | |
| 4 | Exhibit 120 EDC Paper No. 729, headed "Emetic Formulation of Paraquat: Proposed Strategy for Introduction Worldwide," authored by P. Slade (SYNG-PQ-04262668 - 2695) [Confidential - Paraquat Litigation] | 1326 |
| 5 | | |
| 6 | Exhibit 121 Letter from Dr. Rose to Dr. Cavalli, et al., dated November 2, 1976 (CUSA-00088398) | 1332 |
| 7 | | |
| 8 | Exhibit 122 Documents comprising Chevron's application for an exemption from tolerance for the inclusion of PP796 as an inert ingredient in paraquat formulations, with the first document being dated April 1, 1977 (SYNG-PQ-01858013 - 8655) [Confidential - Paraquat Litigation] | 1338 |
| 9 | | |
| 10 | Exhibit 123 Documents comprising Chevron's application for an exemption from tolerance for the inclusion of PP796 as an inert ingredient in paraquat formulations, with the first document being dated July 1970 (SYNG-PQ-01857812 - 8007) [Confidential - Paraquat Litigation] | 1338 |
| 11 | | |
| 12 | | |
| 13 | | |
| 14 | | |
| 15 | | |
| 16 | Exhibit 124 Document from Dr. P. Slade to Mr. R. Bailey, et al., dated June 30, 1976, "Safer Formulations of Paraquat" (SYNG-PQ-13098668 - 8670) [Confidential - Paraquat Litigation] | 1360 |
| 17 | | |
| 18 | | |
| 19 | | |
| 20 | | |
| 21 | | |
| 22 | | |
| 23 | | |
| 24 | | |
| 25 | | |

| 1 | EXHIBITS INDEX | |
|----|--|------|
| 2 | Botham Description Page | |
| 3 | Exhibit No. | |
| 4 | Exhibit 131 Document entitled "A new analysis of the human emetic dose-response to PP796 based on clinical data for dosing of PP796 only," authored by K. Travis, dated March 2019 (SYNG-PQ-29299971 - 9978) | 1401 |
| 5 | | |
| 6 | Exhibit 132 Various facsimiles, the first being a facsimile from Dr. A. Calderbank to Dr. R. Birtley, dated February 20, 1986 (SYNG-PQ-04262400 - 2412) [Confidential - Paraquat Litigation] | 1407 |
| 7 | | |
| 8 | Exhibit 133 Document from Dr. J.R. Heylings to Dr. L.L. Smith, et al., dated October 26, 1990, headed "French Formulation of Paraquat" (SYNG-PQ-03709695 - 9697) [Confidential - Paraquat Litigation] | 1409 |
| 9 | | |
| 10 | | |
| 11 | | |
| 12 | | |
| 13 | | |
| 14 | | |
| 15 | | |
| 16 | | |
| 17 | | |
| 18 | | |
| 19 | | |
| 20 | | |
| 21 | | |
| 22 | | |
| 23 | | |
| 24 | | |
| 25 | | |

4 (Pages 1129 to 1132)

| | |
|---|---|
| <p style="text-align: right;">Page 1133</p> <p>1 (On the record at 10:12 a.m.) 2 THE VIDEOGRAPHER: This is Volume V 3 of the videotaped deposition of 4 Dr. Philip Botham, in the matter of Diana 5 Hoffmann, individually and as Independent 6 Administrator of the Estate of Thomas R. 7 Hoffmann, Deceased, et al., versus 8 Syngenta Crop Protection, LLC, et al., 9 in the Circuit Court, Twentieth Judicial 10 Circuit, St. Clair County, Illinois, 11 Case No. 17-L-517. 12 This deposition is being held 13 remotely via Zoom on June 19, 2020, 14 at 10:12 a.m. 15 My name is Wendy Viner from 16 TransPerfect and I am the legal video 17 specialist. The court reporter today is 18 Leah Willersdorf, also with TransPerfect. 19 Counsel, would you please introduce 20 yourselves for the record. 21 MR. TILLERY: For the plaintiffs 22 in the case, Stephen Tillery of Korein 23 Tillery law firm. 24 MR. NARESH: For Syngenta, 25 Ragan Naresh from Kirkland & Ellis.</p> | <p style="text-align: right;">Page 1135</p> <p>1 MR. TILLERY: I'm sorry -- Joe 2 Orlet for Chevron, okay. 3 BY MR. TILLERY: 4 Q. All right. A couple of housekeeping 5 matters from yesterday, Dr. Botham, one of 6 which is that we referred to a piece of 7 a website and I don't think we made an accurate 8 record of that. That was where I asked you 9 some questions about a particular sentence, 10 if you remember, on that website. I'd like 11 to put that up. 12 MR. TILLERY: We're going to refer 13 to this as Plaintiff's Deposition 14 Exhibit No. 94, and we'll put it on the 15 screen. 16 (Botham Exhibit 94 marked for 17 identification.) 18 THE WITNESS: Yes, I can see that. 19 BY MR. TILLERY: 20 Q. Okay. Take a look at that if you 21 wouldn't mind. This is directly off 22 paraquat.com. It's what I think we were 23 referring to yesterday during the deposition. 24 MR. TILLERY: Is this in display, 25 the main capture?</p> |
| <p style="text-align: right;">Page 1134</p> <p>1 THE VIDEOGRAPHER: Thank you. 2 Could I ask the court reporter to please 3 swear in the witness and we can proceed. 4 PHILIP BOTHAM, 5 was duly re-sworn. 6 EXAMINATION ON BEHALF OF PLAINTIFFS 7 (continued) 8 BY MR. TILLERY: 9 Q. Dr. Botham, before we get started, 10 are you ready to proceed? 11 A. I'm ready. 12 Q. All right. Rather than me going 13 through the entire preliminary statement 14 yesterday, your situation in Jealott's Hill 15 is precisely the same as it was for the 16 preceding two days, correct? 17 A. It is. 18 Q. In terms of in the room. Okay. 19 All right. 20 MR. TILLERY: Do we have any 21 appearances for GROWMARK or Chevron? 22 MR. HOPP: Yes. Anthony Hopp for 23 GROWMARK. 24 MR. TILLERY: Okay. And anybody -- 25 MR. ORLET: Joe Orlet for Chevron.</p> | <p style="text-align: right;">Page 1136</p> <p>1 MS. BRUMITT: No. 2 MR. NARESH: Steve, for the record, 3 are you making a representation that this 4 is from the current website or 5 from an historic -- 6 MR. TILLERY: No. This is an 7 historical version and I'm going to put 8 the new one up in just a minute. 9 Unfortunately, that's going to have to be 10 in display mode because it's just on the 11 website. 12 BY MR. TILLERY: 13 Q. But if you'd look at this. 14 Can you push it down just a little 15 bit, under "Animal studies." 16 Have you had a chance to read that 17 portion, sir? 18 Yeah. 19 A. I can read it now. 20 Q. All right. Okay. 21 A. I've read that section on animal 22 studies that I can see. 23 Q. Now, what is paraquat.com? 24 A. It's an external website through 25 which Syngenta is able to provide information</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1137</p> <p>1 on paraquat to the public, and particularly 2 to farmer and grower and other users of 3 paraquat. 4 Q. So the intended audience would be 5 just about anybody in the public who had access 6 to a computer, correct? 7 A. Yes, that's right. 8 Q. That would include scientists, 9 it would include people like the plaintiffs in 10 this case where they're able to know that it 11 existed and log on and take a look at these 12 statements, right? 13 A. That's right. 14 Q. All right. How long has 15 paraquat.com been in existence? 16 A. I don't recall when it was 17 originally launched. I believe it was in the 18 mid-2000s but I haven't got an accurate date 19 in front of me. 20 Q. When you say mid-2000s, are you 21 saying 2004, '05, something like that? 22 A. My memory is not accurate but 23 I would say that 2005 is around about the 24 time, yes. 25 Q. All right. And who has charge of</p> | <p style="text-align: right;">Page 1139</p> <p>1 anybody who has business with Syngenta? 2 A. My understanding is this applies 3 to all parts of Syngenta. 4 Q. All right. Now, when you have 5 sections of this, like this particular 6 displayed Exhibit No. 94 -- and that's what 7 we're going to refer to it as, Botham 8 Exhibit No. 94, okay. 9 When you have this and you look 10 at various different components that include 11 science, how does a person who is responsible 12 for this get his or her information? 13 A. As you indicated a few moments ago, 14 from the relevant expert or experts in the 15 company. 16 Q. And as they're launching an 17 update -- and I presume they are constantly 18 monitoring this website, correct? 19 A. There is periodic review, yes. 20 Q. Right. How periodic? 21 A. I'm afraid I don't know how often 22 that is done. 23 Q. Okay. You were designated -- just 24 so you know, I'm not trying to embarrass you 25 or put you on the spot anymore, but you were</p> |
| <p style="text-align: right;">Page 1138</p> <p>1 that particular website? 2 A. Well, today there's an internal 3 owner who is a gentleman who is in the 4 commercial part of our organization in 5 Syngenta, and I believe it is still actually 6 managed through an external provider who helps 7 with putting the content on to the site. 8 Q. And the content is provided by 9 different groups of people, depending upon 10 their area of work and responsibility within 11 the Syngenta umbrella organization, correct? 12 A. That is right. 13 Q. paraquat.com is an entity -- strike 14 that. 15 paraquat.com is a website that 16 applies to all Syngenta companies, correct? 17 MR. NARESH: Objection to form, 18 foundation. 19 THE WITNESS: Could you just expand 20 what you mean by "all Syngenta 21 companies." 22 BY MR. TILLERY: 23 Q. Well, is this for Syngenta AG, is it 24 for Syngenta Crop Protection, or does it apply 25 to the term "Syngenta" and meant to include</p> | <p style="text-align: right;">Page 1140</p> <p>1 designated to speak to us about this topic on 2 behalf of Syngenta, but I'll just say this 3 to you: Would you say that this particular 4 matter is updated on an as-needed basis? Would 5 that be fair? 6 A. Yes, that's -- 7 MR. NARESH: Objection. 8 THE WITNESS: -- been my 9 understanding; it is as needed, yes. 10 BY MR. TILLERY: 11 Q. Okay. 12 Now, on this particular page, we 13 have at the bottom a section called 14 "Animal studies." Do you see that? 15 A. I do. 16 Q. And it says: 17 "Syngenta has undertaken a major 18 research program using animal models to 19 investigate the alleged link between paraquat 20 and Parkinson's disease." 21 And that major research program 22 would be the Marks studies, the Breckenridge 23 study, the Minnema study and the Smeyne study; 24 isn't that correct? 25 A. That is the main body of that</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1141</p> <p>1 research program on animal models, yes. 2 Q. Is there any other study that I am 3 omitting? 4 A. This is still an ongoing research 5 program. There are some other studies which 6 have been undertaken and which are still in 7 the process of being published. 8 Q. So you have other studies that are 9 incomplete is what you're saying? 10 A. That's right, and I believe 11 I mentioned this in my last deposition. 12 Q. Right. But of the published, 13 completed studies where Syngenta's standing 14 behind what you came up with by way of 15 a result, the studies that I just described 16 are the ones relied upon for this statement; 17 would that be fair? 18 A. That is fair. 19 Q. All right. 20 The "Animal studies" section of this 21 website page continues on and says: 22 "The research work has been, and 23 will continue to be, published in peer-reviewed 24 scientific journals and the results 25 communicated to relevant regulatory agencies."</p> | <p style="text-align: right;">Page 1143</p> <p>1 Marks's work, wasn't it, with respect to her 2 studies 2 and 3? 3 MR. NARESH: Objection to form. 4 THE WITNESS: Yes, we agree that 5 the earlier Marks studies were not 6 directly communicated to regulatory 7 agencies. 8 BY MR. TILLERY: 9 Q. And they weren't published either, 10 were they? 11 A. The first study was presented at 12 an external scientific meeting but not 13 published. 14 Q. The second one was not published, 15 right? 16 A. That's correct. 17 Q. The third study was not published? 18 A. That's correct. 19 Q. And those studies were never sent on 20 to relevant regulatory agencies, correct? 21 A. That's right. It was the fourth 22 study that was communicated to the EPA. 23 Q. And only part of the fourth study; 24 would you agree with me? Or should we go back 25 through that? Only a part of it, only one</p> |
| <p style="text-align: right;">Page 1142</p> <p>1 Correct? Is that what it says? 2 A. That's what it says. 3 Q. "... and the results 4 communicated ..." 5 I'm sorry. 6 "The key finding is that paraquat, 7 even at the maximum tolerated dose, does not 8 cause dopaminergic neuronal cell loss in the 9 [substantia nigra pars compacta], the area of 10 the brain associated with Parkinson's disease." 11 Correct? 12 A. Correct. 13 Q. And that was a statement made -- 14 I think this was 2009 or '11, that statement, 15 would that seem fair? 16 A. I think we would be making 17 a statement like that around, more likely, 18 2011. 19 Q. Okay, so 2011. All right. 20 When we go back here and we see "The research 21 has been, and will continue to be, published in 22 peer-reviewed scientific journals and the 23 results communicated to relevant regulatory 24 agencies," now we know that statement was just 25 absolutely false when it comes to Louise</p> | <p style="text-align: right;">Page 1144</p> <p>1 finding. 2 A. Well, the finding that was relevant 3 in terms of 6(a)(2) was communicated. 4 Q. All right. Only one, a very narrow 5 section of that report, would you agree -- or 6 do we have to go back through that? Would you 7 agree that only the fourth one? 8 A. No. The key finding in that study 9 was communicated. 10 Q. All right. Here we hear, in the 11 last sentence, that the key finding is that 12 paraquat, even at the maximum tolerated dose, 13 does not cause dopaminergic neuronal cell loss 14 in the substantia nigra, the area of the brain 15 associated with Parkinson's disease, right? 16 A. Yes. 17 Q. And we know, of course, that 18 Dr. Marks's second and third studies found 19 exactly that, didn't they? 20 A. They did. 21 Q. They found that paraquat does cause 22 dopaminergic neuronal cell loss in the 23 substantia nigra and that is the area of the 24 brain associated with Parkinson's disease, 25 right?</p> |

Page 1145

1 A. They did. As measured in that
2 study, yes, that is correct.
3 Q. And you indicated I think yesterday
4 that the reason that you did this was because
5 there was a great weight of authority, or the
6 weight of the evidence, scientific evidence,
7 was against her studies. I think that's the
8 reason you said you were able to say these
9 things on your website. Remember?
10 A. No, I --
11 MR. NARESH: Objection to form.
12 THE WITNESS: Just to clarify, what
13 I said is that --
14 THE STENOGRAPHER: Sorry, I didn't
15 get the objection.
16 MR. NARESH: It was an objection
17 to form.
18 THE STENOGRAPHER: Thank you.
19 THE WITNESS: Sorry, Ragan, you'll
20 have to shout up again.
21 So, yes, the -- no, the reason why
22 the statement is as it appears here on
23 paraquat.com is because, in taking the
24 totality of the weight of evidence, so
25 when we include the studies that were

Page 1146

1 subsequently published in Breckenridge
2 et al., Minnema, et al., Smeyne, et al.,
3 where a much more thorough analysis of
4 the pathology of the brain was conducted,
5 even than in the Marks studies, then
6 we were able to see that the loss of
7 dopaminergic neuronal cells was not
8 consistently seen and no other evidence
9 of damage was seen.
10 BY MR. TILLERY:
11 Q. Okay. So it was the 2013, '14, '15
12 studies that you're talking about, right?
13 A. Those are the studies, correct.
14 Q. Would you explain to me how this
15 statement was created before those studies were
16 ever undertaken and concluded?
17 A. As I indicated, I think that if
18 we were starting to make the statement earlier
19 than all -- the final publication date of all
20 those studies, which I'm sure is probably
21 true, then that's because the publication,
22 of course, is a -- can be a lengthy process,
23 and we had generated the data, so we were --
24 for some of those studies, and so we were
25 already clearer about what the weight of

Page 1147

1 evidence was telling us.
2 Q. Dr. Botham, you know that you
3 did not have any raw data from Charles
4 Breckenridge by 2011, did you?
5 A. Well, that's why I would need
6 to check the precise -- the precision of the
7 date that we're talking about here, when we
8 made this statement on paraquat.com, when we
9 had generated those data; I don't have that in
10 front of me.
11 Q. Are you telling the ladies and
12 gentlemen of the jury that a 2011 statement,
13 here, in your website, was predicated upon
14 the results of a study not yet concluded in --
15 that was published in 2013? Is that what
16 you're saying?
17 MR. NARESH: I'll object to this.
18 Steve, you haven't made a representation
19 as to the date of this website.
20 MR. TILLERY: It was 2009 or 2013.
21 I'll get the date for you. Hold on.
22 Sorry, I don't have it on your
23 website when this came out but I think
24 it was Two Thousand --
25 ///

Page 1148

1 BY MR. TILLERY:
2 Q. I agree, I think it was 2011, what
3 you said, Dr. Botham. I agree with you.
4 Now --
5 A. Well, I don't know when this was --
6 Q. All right. So --
7 A. -- because that's quite critical.
8 That's quite critical to answering your
9 question.
10 Q. Okay. So you're saying that you
11 published a study -- strike that.
12 You're saying you published studies
13 on paraquat.com, or references to studies, and
14 conclusions that, even at a maximum tolerated
15 dose, paraquat does not cause dopaminergic
16 neuronal cell loss in the area of the brain
17 associated with Parkinson's disease before the
18 studies were even completed.
19 Is that a fair statement?
20 A. No --
21 MR. NARESH: Objection --
22 THE WITNESS: -- I'm not saying
23 that. I don't know when --
24 MR. NARESH: Dr. Botham, let me
25 just get my objections in before we

| | |
|--|---|
| <p style="text-align: right;">Page 1149</p> <p>1 go on.</p> <p>2 Objection to the form. Objection</p> <p>3 to foundation.</p> <p>4 Please go ahead.</p> <p>5 THE WITNESS: No, I'm not saying</p> <p>6 that because you've not shown me very</p> <p>7 clearly when this statement first</p> <p>8 appeared on paraquat.com, and we would</p> <p>9 need to relate that to when we had</p> <p>10 generated the data from the Breckenridge</p> <p>11 study, which, again, I don't have to hand</p> <p>12 today.</p> <p>13 BY MR. TILLERY:</p> <p>14 Q. Well, here's my problem. So we</p> <p>15 filed a Notice for Deposition and we asked for</p> <p>16 the person most knowledgeable at Syngenta,</p> <p>17 all entities, Syngenta Crop Protection and</p> <p>18 Syngenta AG, to talk about paraquat.com, and</p> <p>19 guess whose name they gave me. Yours.</p> <p>20 So it really isn't my job to answer</p> <p>21 your questions. So what I'm asking you is when</p> <p>22 did you make these statements on your website?</p> <p>23 MR. NARESH: Hang on. I'm</p> <p>24 objecting to this. And, Steve, you've</p> <p>25 introduced an exhibit that doesn't have a</p> | <p style="text-align: right;">Page 1151</p> <p>1 will reflect that. Let's assume this was 2011</p> <p>2 as you originally stated, okay.</p> <p>3 Are you telling me that you knew</p> <p>4 in 2011 what the outcome of your studies in</p> <p>5 Minnema, Breckenridge and Smeyne were going</p> <p>6 to be before they were undertaken?</p> <p>7 A. No, of course, and certainly know</p> <p>8 at that time the work that was published in</p> <p>9 Minnema and Smeyne had not even started, but</p> <p>10 the -- so the main work that was being done,</p> <p>11 which was initiated certainly before 2011,</p> <p>12 was the work that was ultimately published in</p> <p>13 Breckenridge, et al., which is really where,</p> <p>14 largely, I think this statement would refer</p> <p>15 to, more than the other two.</p> <p>16 Q. Okay. So you're saying that the</p> <p>17 preliminary results of a study from Charles</p> <p>18 Breckenridge, two years before it was</p> <p>19 published, assuming this was 2011, was your</p> <p>20 basis for the last sentence in Plaintiff's</p> <p>21 Exhibit 94? Is that what you're saying?</p> <p>22 A. If --</p> <p>23 MR. NARESH: Objection to form.</p> <p>24 THE WITNESS: If this was a 2011</p> <p>25 version, then that is a possibility.</p> |
| <p style="text-align: right;">Page 1150</p> <p>1 date on it. If you'd like to give the</p> <p>2 witness an opportunity to review the</p> <p>3 entire document so that he can draw</p> <p>4 inferences from when this document was on</p> <p>5 the website, I think that would be an</p> <p>6 appropriate way to go forward. But right</p> <p>7 now you're showing him and directing him</p> <p>8 to a specific section of an undated</p> <p>9 document and suggesting that he doesn't</p> <p>10 know, based on that section, when this</p> <p>11 was published.</p> <p>12 If you -- if this is important to</p> <p>13 you, then I suggest that the witness be</p> <p>14 allowed to review the entire document so</p> <p>15 that he can assess whether or not he can</p> <p>16 infer when this statement was on the</p> <p>17 website.</p> <p>18 MR. TILLERY: Well, to speed this</p> <p>19 up so we don't just chew up time, because</p> <p>20 I'm limited today and I want to get</p> <p>21 through a lot of material today,</p> <p>22 Dr. Botham.</p> <p>23 BY MR. TILLERY:</p> <p>24 Q. Let's assume -- if this assumption's</p> <p>25 incorrect, then it's incorrect. The record</p> | <p style="text-align: right;">Page 1152</p> <p>1 I would need, though, to be really sure</p> <p>2 about exactly when the date of generation</p> <p>3 had been completed, but I certainly know</p> <p>4 that it was completed before 2013</p> <p>5 because, as I say, the publication</p> <p>6 process took a long time.</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. But you never mentioned anything</p> <p>9 about Louise Marks's work, did you --</p> <p>10 A. No, because this --</p> <p>11 Q. -- on paraquat.com?</p> <p>12 A. No, because this was based on the</p> <p>13 weight of evidence. To repeat what I said</p> <p>14 earlier, the Breckenridge study had looked at</p> <p>15 not just neuronal cell loss as it was assessed</p> <p>16 in the Marks papers, but with four or five</p> <p>17 other techniques, pathological techniques,</p> <p>18 none of which showed a consistent loss of</p> <p>19 dopaminergic neuronal cells, so the weight of</p> <p>20 evidence had changed by that time.</p> <p>21 Q. By 2011?</p> <p>22 MR. NARESH: Objection to form.</p> <p>23 THE WITNESS: By whenever we were</p> <p>24 -- we had completed that data generation.</p> <p>25 ///</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1153</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Explain that last answer, sir. By</p> <p>3 whatever date you had done what?</p> <p>4 A. By whenever we had completed the</p> <p>5 data generation, which is what I was saying</p> <p>6 earlier, I don't, to hand, have exactly the</p> <p>7 date when we had the data from the</p> <p>8 Breckenridge study to a point where we could</p> <p>9 interpret them.</p> <p>10 Q. Well, you've testified, then, that</p> <p>11 this conclusion cannot be justified -- strike</p> <p>12 that.</p> <p>13 You're saying that the conclusion's</p> <p>14 based upon the weight of the evidence, because</p> <p>15 the preliminary results in 2011 from</p> <p>16 Dr. Breckenridge's study told you more</p> <p>17 information and was -- provided the greater</p> <p>18 weight of the evidence?</p> <p>19 Is that a fair statement?</p> <p>20 A. That's a fair --</p> <p>21 MR. NARESH: Objection to form.</p> <p>22 THE WITNESS: That's a fair</p> <p>23 statement, but this statement, I think,</p> <p>24 would have been made when we were able</p> <p>25 to properly interpret those -- the data.</p> | <p style="text-align: right;">Page 1155</p> <p>1 Q. You're aware that there were,</p> <p>2 at times, 60 studies making similar findings.</p> <p>3 Were you aware of that?</p> <p>4 A. Yes.</p> <p>5 Q. Okay. So based upon Dr. Marks's</p> <p>6 replication of the many studies published in</p> <p>7 the scientific literature, Syngenta decided</p> <p>8 to consider neuronal cell loss as a potentially</p> <p>9 real effect of paraquat exposure. We saw that</p> <p>10 in the records. Didn't you?</p> <p>11 A. We did.</p> <p>12 MR. NARESH: Objection to form.</p> <p>13 BY MR. TILLERY:</p> <p>14 Q. And you can't deny that; there's</p> <p>15 neuronal cell loss. You knew that, right?</p> <p>16 A. We were not denying that at that</p> <p>17 time, certainly not, no.</p> <p>18 Q. As a matter of fact, we showed a</p> <p>19 list of things in 2009 I think it was, that</p> <p>20 indicated things "we cannot deny," right?</p> <p>21 A. Absolutely, yes. That was very</p> <p>22 much our position.</p> <p>23 Q. All right. Okay. That was it.</p> <p>24 And you performed a preliminary risk</p> <p>25 assessment based upon the potential that</p> |
| <p style="text-align: right;">Page 1154</p> <p>1 So it's not that they were preliminary,</p> <p>2 it was that they were not published.</p> <p>3 BY MR. TILLERY:</p> <p>4 Q. Okay. But you're aware that</p> <p>5 Dr. Marks's study replicated a number of</p> <p>6 studies in the public literature, aren't you?</p> <p>7 A. Yes, I am.</p> <p>8 Q. Okay. As a matter of fact,</p> <p>9 yesterday you indicated that the reason you</p> <p>10 didn't turn her studies over to the US EPA</p> <p>11 is because they were redundant to, or</p> <p>12 replicated the studies that existed already in</p> <p>13 the scientific public literature, correct?</p> <p>14 A. That is correct.</p> <p>15 Q. And that would include Dr. Deborah</p> <p>16 Cory-Slechta's work, right?</p> <p>17 A. That is correct.</p> <p>18 Q. Dr. Alison McCormack's work, right?</p> <p>19 A. Yes.</p> <p>20 Q. Dr. Dino Di Monte's work, right?</p> <p>21 A. Yes.</p> <p>22 Q. And other similar studies in the</p> <p>23 paraquat literature that you were aware of,</p> <p>24 right?</p> <p>25 A. Yes.</p> | <p style="text-align: right;">Page 1156</p> <p>1 paraquat is neurotoxic to cells in the</p> <p>2 substantia nigra, too, didn't you?</p> <p>3 A. We did. We took the precautionary</p> <p>4 conservative approach, as I explained</p> <p>5 yesterday, that those findings were a real</p> <p>6 effect and that the risk assessment we did</p> <p>7 was on that basis, you're correct.</p> <p>8 Q. And you conducted new studies, and</p> <p>9 that would be the Breckenridge, Minnema, Smeyne</p> <p>10 studies, right?</p> <p>11 A. That's correct.</p> <p>12 Q. These were, all three,</p> <p>13 Syngenta-sponsored studies, right?</p> <p>14 A. They are.</p> <p>15 Q. And based upon these three</p> <p>16 Syngenta-sponsored studies, you decided that</p> <p>17 Dr. Marks's work -- strike that.</p> <p>18 And based upon just these three</p> <p>19 scientific studies sponsored by Syngenta,</p> <p>20 you decided that Dr. Marks's work and the</p> <p>21 approximate 60 similar studies in the published</p> <p>22 literature that she replicated did not</p> <p>23 represent a real effect, right?</p> <p>24 MR. NARESH: Objection to form.</p> <p>25 THE WITNESS: The studies that</p> |

| Page 1157 | Page 1159 |
|--|---|
| <p>1 you're referring to suggested that the 2 effects that had been seen by Dr. Marks 3 and others may not, indeed, be a true 4 loss of dopaminergic neurones for 5 methodological reasons, potentially. 6 MR. TILLERY: This is -- off the 7 record for a second, please. 8 Not formally off. 9 BY MR. TILLERY: 10 Q. Did you identify any flaw in any of 11 these Marks studies? 12 A. No, we didn't identify a flaw in 13 the Marks studies, no. 14 Q. That's all I'm asking. Okay. 15 Yesterday we talked about Exhibit 38 16 and we discussed at great length how that 17 particular study, or that document led to your 18 conclusions and you told me that you were 19 aware, Syngenta was aware, from the mid '90s 20 that at least some amount of paraquat got into 21 the brain of paraquat applicators, users, 22 mixers, loaders, when they did their job 23 applying the chemical, correct? 24 A. Yeah, we said that it was likely 25 that small amounts would get into the brain.</p> | <p>1 A. Yes. I was trying to answer your 2 question directly, but, I mean, certainly the 3 knowledge that paraquat gets into the brain 4 of experimental animals and, by extrapolation, 5 could therefore get into the brains of humans 6 in very low levels, yes, of course, that's 7 been communicated to regulatory authorities. 8 So I was answering your question, 9 do we directly say to regulatory authorities 10 paraquat gets into the brain of humans. 11 That -- I'm saying that information was 12 conveyed, as would be normally the case when 13 you have any kind of toxicological program. 14 Q. Okay. So you're telling me that 15 you have told regulatory bodies throughout 16 the world that Syngenta's product, paraquat, 17 gets into the brain of users of your product 18 when they're out applying it in their field, 19 they're mixing, loading it and applying it? 20 You've informed the regulators that it gets 21 into their brain; are you telling me that? 22 A. Well, I'm trying to answer your 23 question directly. So what I'm saying is that 24 we have informed regulatory authorities, 25 for example by indicating in the Breckenridge</p> |
| Page 1158 | Page 1160 |
| <p>1 Q. Right. Was that information ever 2 conveyed to the farmers? 3 MR. NARESH: Objection to form, 4 foundation. 5 THE WITNESS: I can't answer that. 6 BY MR. TILLERY: 7 Q. Are you aware of any evidence that 8 that information was ever conveyed to them in 9 any way? 10 A. I'm not aware of any such 11 communication. 12 Q. Are you aware of any disclosure of 13 that information being made to any regulatory 14 body in the world? 15 A. No, I can't recall whether that 16 would have happened. 17 Q. Well, I'm saying have you ever 18 seen -- you've been to all the meetings. 19 You're the immediate past worldwide head of 20 science, global head of science, and I presume 21 that means that you'd be aware of the kind of 22 information that would be conveyed. You're 23 also the designee for all regulatory bodies 24 worldwide with the exception of the US EPA. 25 Okay. You understand that?</p> | <p>1 study, which didn't just look at neuronal cell 2 loss, it also had kinetic studies in there 3 which clearly showed that paraquat gets into 4 the brain of those same mice. 5 What I'm saying is that when 6 we have communicated, which we have 7 to a number of regulatory authorities, that 8 information, they would have assumed, quite 9 rightly, that that might indicate that small 10 quantities of paraquat would get into the 11 human brain. 12 MR. TILLERY: I move to strike your 13 answer as unresponsive. 14 BY MR. TILLERY: 15 Q. The question is not difficult, sir. 16 It's straight up and straightforward. Please 17 answer my question directly. And it is this: 18 Since the 1990s, when you've testified that 19 Syngenta was aware that during normal, 20 expected, anticipated use of their products 21 in mixing, loading and applying paraquat 22 in farm fields, that those people who used 23 it got the chemical into their brain, that 24 we discussed yesterday, have you ever directly 25 informed any regulatory body in any direct</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1161</p> <p>1 way -- not through a study or not through some 2 mouse study, but have you ever told them, 3 "We believe paraquat gets into the brain of 4 those applicators"? Have you ever done that? 5 A. I cannot comment whether people may 6 have made that communication to regulators. 7 I don't know the answer to that. 8 Q. Well, you're speaking on behalf of 9 Syngenta today. You are the corporation for 10 this deposition. Have you, to your knowledge, 11 ever done what I just asked; directly tell the 12 regulators paraquat gets into the brain, since 13 the 1990s when you became aware of it? 14 A. Well, I have to repeat what I said 15 before to answer that question, that we have 16 informed the -- 17 Q. I -- 18 A. Well, it's the right answer to the 19 question, Mr. Tillery; it is that we have 20 informed regulatory authorities that paraquat 21 gets into the brain. 22 MR. TILLERY: Okay. You're 23 referring to studies. I'm asking you 24 to answer my question directly and I move 25 to strike your answer as unresponsive.</p> | <p style="text-align: right;">Page 1163</p> <p>1 in any other regulatory body that people who 2 eat fruit or vegetables sprayed with this 3 chemical, throughout any of the areas of the 4 world that use your product, can also ingest 5 and get paraquat into their brain? Have you 6 ever done that? 7 MR. NARESH: Objection to form. 8 THE WITNESS: Again, we would not 9 have given that information directly 10 because this -- it is always assumed that 11 very low levels of any chemical, paraquat 12 included, could get anywhere in the body, 13 but these low levels are seen by 14 regulatory authorities of not being of 15 concern, unless there's a particular 16 reason to think otherwise. 17 BY MR. TILLERY: 18 Q. Okay. Have you ever posted on 19 paraquat.com website that when used as 20 anticipated, as you said, from the 1990s at 21 least, Syngenta knew that paraquat got into 22 the brain of users? Have you ever done that? 23 A. I don't remember what we might have 24 said on paraquat.com about entry into the 25 brain over a period of time. I know that</p> |
| <p style="text-align: right;">Page 1162</p> <p>1 Have you or have you not, Syngenta, 2 sent a letter, an email, a direct 3 communication saying, "By the way, when 4 the purchasers/end-users of our product, 5 farmers, applicators, use it, it gets 6 into the human brain"? Have you done 7 that? 8 MR. NARESH: Object to the form. 9 THE WITNESS: No, we have not sent 10 such a direct communication, in the same 11 way -- 12 BY MR. TILLERY: 13 Q. All right. 14 A. -- that we don't send that for any 15 other chemical or any other pesticide -- 16 Q. All right. So -- 17 A. -- which probably also gets into 18 the brain. 19 Q. Okay. How many of your chemicals 20 get into the brain of people? 21 A. I can't answer that directly but 22 you would -- from a science perspective, 23 you would expect that quite a number of 24 chemicals could get into the brain. 25 Q. Have you ever told anybody else</p> | <p style="text-align: right;">Page 1164</p> <p>1 early on there was a statement which said that 2 paraquat may not pass the blood-brain barrier 3 very easily. 4 Q. Okay. If we could get a clear 5 answer, sir. Are you aware one way or 6 another -- as the designee for paraquat.com on 7 behalf of both Syngenta defendants, are you 8 aware of ever posting any warning or statement 9 on your website telling users what you've known 10 since the 1990s; that paraquat will get into 11 the brain when they use it as anticipated? 12 Have you ever done that? 13 A. I don't believe we would because 14 we wouldn't feel that a warning was necessary 15 because any small amount of paraquat getting 16 into the brain would not necessarily be 17 harmful. 18 Q. Okay. So it wasn't information they 19 needed to know, correct? 20 A. That would have been the judgment, 21 and if that judgment had ever changed, 22 we would have done something differently. 23 Q. All right, okay. And it hasn't 24 changed up to today's date, has it? 25 A. Well, our view at the moment is</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|--|---|
| <p style="text-align: right;">Page 1165</p> <p>1 that the small amounts of paraquat that get 2 into the brain are highly unlikely to cause 3 damage to the brain. 4 Q. But yesterday we talked for a great 5 deal of time -- we're not going to go do that 6 again today -- that you don't know what that 7 amount is, correct? 8 A. We can -- 9 MR. NARESH: Objection to form. 10 THE WITNESS: Yes, what I was 11 telling you yesterday is that we can do 12 a calculation of the predicted 13 concentration in the brain, and the 14 document that I showed you yesterday was 15 giving a more helpful number, which is 16 what exposure a farmer can be -- can 17 receive, to be sure that that level of 18 paraquat was nowhere near exceeded. 19 BY MR. TILLERY: 20 Q. Did you come up with these answers 21 over the night from yesterday to today? Do you 22 have some new math that you didn't share with 23 us that you learned about last night? 24 A. No. 25 MR. NARESH: Objection to form.</p> | <p style="text-align: right;">Page 1167</p> <p>1 MR. NARESH: Are you done, 2 Mr. Tillery, with Exhibit 94? 3 MR. TILLERY: Well, I don't know. 4 MR. NARESH: For the record, 5 I'd like to move to strike the earlier 6 testimony on Exhibit 94 in its entirety 7 based on it being misleading as to the 8 date of the document. I don't think that 9 the representations as to the timing of 10 that document were accurate, as reflected 11 by the document itself, and I think the 12 testimony elicited based on that 13 representation is misleading, so 14 I'll move to strike the testimony 15 in relation to Exhibit 94. 16 MR. TILLERY: The information 17 that's been given to me, Counsel, 18 in response to your motion, is that 19 the portion in my outline that 20 I referenced was from a 2008 website. 21 MR. NARESH: Well, I will -- 22 MR. TILLERY: That's all I know and 23 we'll deal with this later, when we can. 24 Can we move on to this exhibit at this 25 point?</p> |
| <p style="text-align: right;">Page 1166</p> <p>1 BY MR. TILLERY: 2 Q. Okay. So should the court and 3 jury -- so I don't have to go back to 4 Exhibit 38 and spend too much time on this, 5 should they look to your answers you gave 6 yesterday and see if what you're saying today 7 is consistent? Would that be fair? 8 A. Yes, what I said yesterday -- 9 Q. All right. 10 A. -- was -- 11 Q. That's what we'll do -- 12 A. -- that there was not a figure in 13 the brain in that document. 14 Q. All right. 15 MR. TILLERY: Now, let's go to 16 Exhibit 93. 17 MR. NARESH: Is there any way 18 to show 93 not in presentation mode? 19 MR. TILLERY: We're doing that now. 20 In other words, to give it to the 21 witness? 22 MR. NARESH: Yeah, and to me, 23 frankly. 24 MR. TILLERY: Absolutely. We're 25 doing that now.</p> | <p style="text-align: right;">Page 1168</p> <p>1 MR. NARESH: In response to that 2 statement, I think that if you look at 3 the document, there are references in 4 that document to studies published in 5 2013 and 2016 which indicate that that 6 paraquat.com excerpt that you used was 7 clearly not from 2011 and -- 8 MR. TILLERY: Yeah, the excerpt, 9 however, is what I'm referring to. 10 I didn't comment on the entire thing. 11 But let's move on, if we can. We can 12 resolve it at the -- after the break. 13 MR. NARESH: The very studies that 14 you were suggesting in your questioning 15 as having been published after that 16 website was put up are referenced in that 17 document, including the 2013 Breckenridge 18 study and the 2016 Smeyne study, 19 so that's just not true and -- 20 MR. TILLERY: Well, here's the -- 21 here's the problem, we don't have 22 archival websites. What we have is 23 information. They're replaced. When 24 a new website is created, you don't post 25 your past websites; so we're stuck with</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|---|--|
| <p style="text-align: right;">Page 1169</p> <p>1 what we have and what you have on your 2 website or what you've produced. 3 That's it. So that's the point. 4 The fact is that language, 5 we believe, was apparent earlier. 6 So we're happy to actually go 7 to court over it, happy to do that with 8 you if you want, but let's go on with the 9 dep. I'm trying to get through this. 10 MR. NARESH: Fine -- 11 BY MR. TILLERY: 12 Q. Now, do you remember this from 13 yesterday? Do you remember this from 14 yesterday, sir? This is the action notes from 15 the Atlanta meeting, right? 16 A. Yes. Yes, I remember. 17 Q. You -- I think, my recollection -- 18 MR. NARESH: Can we have the same 19 stipulation as yesterday, that any 20 questioning relating to 502(d) documents 21 is without waiver of Syngenta's 22 objections on privileged grounds 23 to testimony related to the documents and 24 the documents themselves? 25 MR. TILLERY: Absolutely.</p> | <p style="text-align: right;">Page 1171</p> <p>1 Q. All right, and we're going to -- 2 have you gone through all of the pages? I 3 think there's three pages, right? 4 A. Yes. I looked at it also yesterday 5 so I've briefly looked at it again this 6 morning. 7 Q. All right. Let's go back, if you'd 8 take back the display, the first page. 9 Just for the record again, that's 10 502(d)-022360.0001, Exhibit 93. 11 We see here -- we went over this 12 yesterday -- who was at the meeting from the 13 science perspective. Then if you go down 14 a little bit on the exhibit and show the bottom 15 of that first page -- yes, that's perfect. 16 If you look at that, we went over 17 these things I think, and the second bullet 18 point we talked about. Remember? There's no 19 sense in going over it. And the fourth bullet 20 point we talked about, right? 21 A. Yes. 22 Q. Study work should be labeled Work 23 Product. And then the sixth or seventh one, 24 it says: 25 "Work requested by external counsel</p> |
| <p style="text-align: right;">Page 1170</p> <p>1 Of course. 2 MR. NARESH: And I'm assuming that 3 you'll have additional 502 documents, and 4 can we have the same standing agreement 5 both with respect to this document and 6 the others, to the extent that you use 7 them? 8 MR. TILLERY: We can, and that was, 9 for the record, my intention to give you 10 that yesterday, even. Okay. 11 MR. NARESH: And just finally, is 12 there anybody on this call participating 13 in the deposition that has any problem 14 with that approach? If so, please speak 15 up. 16 (No comment.) 17 MR. NARESH: Okay, thank you. 18 BY MR. TILLERY: 19 Q. Sir, this is Exhibit No. 93. Do you 20 see it? 21 A. I do. 22 Q. Would you take a look at that 23 exhibit, and take charge of the exhibit and go 24 through it. 25 A. Okay, I've done that. Thank you.</p> | <p style="text-align: right;">Page 1172</p> <p>1 has a higher level of privilege ..." 2 Remember that? 3 A. Yes. 4 Q. We went through that. 5 All right. Now let's go to page 2. 6 These are the action items. It says 7 "Introduction to Paraquat Toxicity." 8 Who gave that talk? 9 A. I would need to check back on the 10 agenda who was doing that. I don't recall. 11 Q. Okay. Who talked about the 12 introduction to Parkinson's disease? 13 A. Again, it would be useful if 14 we could just have a look at the agenda 15 because I wouldn't want to give the wrong 16 name. 17 Q. Well, let's go back to the page 18 before. Take a look at the agenda, then, and 19 see -- 20 A. That would be a different document. 21 Q. Do you have the agenda -- oh, that 22 would be a different -- 23 A. Yes, that's right, it's embedded. 24 Q. All right. Well, frankly, to move 25 on, then, let's just say this, if you go back</p> |

14 (Pages 1169 to 1172)

| Page 1173 | Page 1175 |
|--|---|
| <p>1 to the page you were on, page 2. It wouldn't</p> <p>2 matter -- your information would be a</p> <p>3 reflection of what was contained on that other</p> <p>4 exhibit, right? Whoever it said presented it</p> <p>5 is what you'd give me in the answer, right?</p> <p>6 A. Yes.</p> <p>7 Q. So we don't need to take the time</p> <p>8 because whatever it says on there is what</p> <p>9 you'll tell me that presentation was, right?</p> <p>10 A. Yes.</p> <p>11 Q. All right. And then there was</p> <p>12 epidemiology and animal studies, right?</p> <p>13 A. Yes.</p> <p>14 Q. Do you see that, on top of that?</p> <p>15 A. Yes, yes.</p> <p>16 Q. And that of course related</p> <p>17 to paraquat and Parkinson's disease, right?</p> <p>18 A. That's correct.</p> <p>19 Q. All right. And then it says:</p> <p>20 "MFW to check Liou data on the</p> <p>21 Pedersen summary table [versus] results in the</p> <p>22 original paper."</p> <p>23 What does that reference?</p> <p>24 A. That was for Martin Wilks, who was</p> <p>25 one of the scientists present, to check the</p> | <p>1 right, in the public domain?</p> <p>2 A. Yes, correct.</p> <p>3 Q. All right. And then it says that</p> <p>4 CB made a presentation. That's Charles</p> <p>5 Breckenridge, right?</p> <p>6 A. Yes, that's correct.</p> <p>7 Q. He made arguments that may be raised</p> <p>8 by adversaries. Adversaries were the people</p> <p>9 who were linking Parkinson's disease to your</p> <p>10 paraquat product, weren't they?</p> <p>11 A. I imagine that that would be what</p> <p>12 was represented here, yes.</p> <p>13 Q. Okay. And then JW, Jeff Wolff,</p> <p>14 right?</p> <p>15 A. Yes, I think that's probably Jeff</p> <p>16 Wolff.</p> <p>17 Q. That's the lawyer from Fulbright</p> <p>18 & Jarwoski we talked about, right?</p> <p>19 A. That's the person, yes.</p> <p>20 Q. And he is mapping potential</p> <p>21 claimants. He's telling you who you think you</p> <p>22 might -- should be focused on who might bring</p> <p>23 lawsuits against you, right?</p> <p>24 A. He was giving us the background</p> <p>25 to why there was potential litigation and</p> |
| Page 1174 | Page 1176 |
| <p>1 data which appeared in an external</p> <p>2 publication, the first author of which was</p> <p>3 Liou.</p> <p>4 Q. Okay. And what was the reason you</p> <p>5 were taking action with respect to that?</p> <p>6 A. I would be fairly sure that it</p> <p>7 would be what we did in all of our meetings,</p> <p>8 which was to look in detail at some of the</p> <p>9 external publications on the subject of</p> <p>10 paraquat and Parkinson's disease to make sure</p> <p>11 we fully understood what was in those papers.</p> <p>12 Q. All right. Then look at DJB, look</p> <p>13 at that action, "to prepare graphs of papers</p> <p>14 per year associating paraquat with [Parkinson's</p> <p>15 disease]." Right?</p> <p>16 A. Yes.</p> <p>17 Q. There were enough of them that you</p> <p>18 had to assign somebody to prepare graphs of</p> <p>19 them for the whole group, right?</p> <p>20 A. That's right. That was to give</p> <p>21 a flavor for the amount of literature that was</p> <p>22 available and that we may need to look at in</p> <p>23 detail.</p> <p>24 Q. Okay. Amount of literature</p> <p>25 associating paraquat with Parkinson's disease,</p> | <p>1 claim, yes.</p> <p>2 Q. All right. So he was telling you</p> <p>3 who the potential people are; Parkinson's</p> <p>4 victims, right?</p> <p>5 A. I don't --</p> <p>6 Q. Is that what he told you?</p> <p>7 A. I don't know, I can't remember</p> <p>8 exactly what he told us. I don't think he</p> <p>9 would have been talking about individuals,</p> <p>10 individual people with disease. I imagine</p> <p>11 he would be -- that he was telling us about</p> <p>12 where potential litigation could come from.</p> <p>13 Q. Well, he says -- let's just read the</p> <p>14 plain language of the action item, "Mapping of</p> <p>15 potential claimants." He's telling you where</p> <p>16 in the country they might come from, or where</p> <p>17 in the world, right?</p> <p>18 MR. NARESH: Objection to form.</p> <p>19 THE WITNESS: Without seeing the</p> <p>20 presentation to remind me, I don't know</p> <p>21 exactly what the content was there</p> <p>22 to define claimants.</p> <p>23 BY MR. TILLERY:</p> <p>24 Q. And then let's skip down. It says:</p> <p>25 "Action - Need to consider doing</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1177</p> <p>1 health surveys at relevant manufacturing 2 plants." 3 Who is MFW? 4 A. That's Dr. Wilks. Martin Wilks. 5 Q. He's to discuss with chief medical 6 officer who will lead. Who was the chief 7 medical officer? 8 A. Dr. Clive Campbell. 9 Q. And Dr. Clive Campbell did lead, 10 didn't he? 11 A. He did indeed, yes. 12 Q. Okay. So you, at this meeting in 13 2008, came up with a plan that he was to lead 14 a survey at the manufacturing plants, right? 15 A. That's where this was initiated, 16 that is correct. 17 Q. That's where the plan was created, 18 was with these people on this meeting in 2008 19 in Atlanta, Georgia, right? 20 A. That's correct. 21 Q. All right. And that ended up in 22 what's called the Widnes study, correct? 23 A. It did, yes. 24 Q. And that was a study we're going 25 to talk about in a few minutes that followed</p> | <p style="text-align: right;">Page 1179</p> <p>1 young people who get the disease may have 2 a genetic link, right? 3 A. Yes. That's one other factor, yes. 4 Q. All right. So you looked at that. 5 So you were creating a defensive mechanism for 6 the charge against Syngenta that paraquat could 7 cause Parkinson's disease? This was the 8 defense of the chemical, wasn't it? 9 A. This was -- 10 MR. NARESH: Objection to form. 11 THE WITNESS: This was an action 12 to provide an objective, critical review 13 of all possible risk factors so that the 14 risk of paraquat could be seen if it was 15 within -- not just within the narrow 16 confines of being -- as it's shown in 17 other publication. 18 MR. TILLERY: Move to strike your 19 answer as unresponsive. 20 BY MR. TILLERY: 21 Q. Was this effort here -- where you're 22 coming up with explanations of other possible 23 alternatives for cause of paraquat, was it in 24 defense of your product, paraquat, sir, or not? 25 A. It was to support our understanding</p> |
| <p style="text-align: right;">Page 1178</p> <p>1 from this meeting, correct? 2 A. That is correct. 3 Q. Okay. And then if you continue on, 4 it says at the bottom of the page: 5 "... continue with proposal to 6 commission and publish a critical review of all 7 risk factors associated with [Parkinson's 8 disease]." 9 What does that mean? 10 A. In order for us to be able to fully 11 understand the bigger picture around 12 Parkinson's disease and not simply focus on 13 paraquat, we thought it would be helpful, for 14 our own benefit and also for the benefit of 15 the outside world, that there was a critical 16 review of the many risk factors that could be 17 associated with Parkinson's disease, not just 18 pesticides, not just paraquat. 19 Q. And you did that, too, didn't you? 20 A. We also did that, that is right. 21 Q. All right. And that was to display 22 or publish so that there was an effort 23 to explain that it wasn't just paraquat that 24 could cause this; it could be 5 percent of 25 these people who are under -- especially the</p> | <p style="text-align: right;">Page 1180</p> <p>1 of the potential for paraquat to cause 2 Parkinson's disease. 3 Q. Okay. And then at the bottom of 4 that second page, it says: 5 "... request a feasibility study on 6 conducting a case control epidemiology study." 7 Right? 8 A. Yes. 9 Q. And what was the epidemiology study 10 that eventually grew out of this? 11 A. What we were discussing here was 12 whether it was feasible to conduct our own 13 epidemiology study, not just looking at 14 a manufacturing plant, as a way, again, 15 of showing our proactive approach to this 16 issue, as to whether we could find a feasible 17 way in which we could do a longer-term 18 epidemiology study, perhaps looking at farmers 19 and growers who were using paraquat. 20 Q. Did you do that? 21 A. No, because we were never able 22 to find a way in which we could do that 23 feasibly because that would have required 24 a very big population and a very long period 25 of time.</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1181</p> <p>1 MR. TILLERY: I move to strike your 2 answer as unresponsive. 3 BY MR. TILLERY: 4 Q. My question was simply this: Did 5 you do that study? 6 A. I believe I've just answered that 7 and the answer was no. 8 Q. All right. 9 And then on the next page, it says: 10 "It was agreed that the 14 day 11 kinetic study in mice should proceed, with 12 slides being read in 2 labs." 13 What study was that? 14 A. Well, these, back in 2008, we were 15 doing a number of preliminary studies that 16 ultimately led to the publications with longer 17 studies, more detailed studies that we'll be 18 referring to later. So this was the very 19 early work in this case on kinetics. 20 Q. So which publication of the three 21 you talked about: Smeyne; Minnema; or 22 Breckenridge? Which one? 23 A. This would be a preliminary study 24 to the kinetics that were included in 25 Breckenridge.</p> | <p style="text-align: right;">Page 1183</p> <p>1 it's an abbreviation, it's initials of the 2 people on the list. 3 A. Yes, that's correct. 4 Q. So KT was to provide a bullet point 5 to take to the steering group. What's the 6 steering group? 7 A. Right. LLS is Dr. -- is Lewis 8 Smith. At that time, as part of the internal 9 governance which any organization has in R&D, 10 it was to ensure that some more senior people 11 in R&D were aware of our proposals to conduct 12 all the work that we've just been talking 13 about. 14 Q. So he was getting approval from the 15 upper parts of the company to do this, right? 16 A. From a more senior group, yes. 17 Q. Yes, and he was identifying any risk 18 to the business, correct? 19 A. That's right. 20 Q. And the risk to the business was 21 losing paraquat as a product that you could 22 sell, correct? 23 A. Of course, because this is science, 24 and science of course could go -- could take 25 it in any direction. If it confirmed that</p> |
| <p style="text-align: right;">Page 1182</p> <p>1 Q. All right. So this was the 2 beginning, the plan to initiate the 3 Breckenridge study, right? 4 A. That's correct. This was one 5 initiation for it. 6 Q. Right. And then below that, it has: 7 "KT to provide bullet point protocol 8 for LLS to take to ... Steering Group, 9 identifying any risks to the business." 10 Who is KT? 11 A. Yes, just -- could you just go back 12 to the -- 13 Q. Rather than take the time, it's an 14 abbreviation -- it's the initials of one of the 15 people on that list, right? 16 A. Yeah, yeah, I'm sorry, my brain has 17 just gone into relapse -- 18 Q. Mine too, but I'd rather not even 19 spend the time. 20 A. Right. 21 Q. It's somebody on that list, okay? 22 A. Yeah, yeah. 23 Q. That's who it is. 24 A. Mmm. 25 Q. All right. And LLS is the same,</p> | <p style="text-align: right;">Page 1184</p> <p>1 paraquat was causative in Parkinson's disease, 2 of course that would be a risk to the business 3 as described. 4 Q. Okay. 5 MR. TILLERY: So I move to strike 6 your answer as unresponsive. 7 BY MR. TILLERY: 8 Q. Would identifying any risk to the 9 business mean potentially losing paraquat as 10 a product that the company could sell? 11 A. Absolutely, and that was a risk we 12 were prepared to take because we engaged in 13 the research. 14 Q. Okay. 15 And then the next is: 16 "MFW to consider whether we can ... 17 use the Sri Lanka and Korean survivor database 18 for further neurological assessment." 19 Now, the Sri Lanka and Korean 20 survivor database are the people who 21 survived -- were lucky enough to survive having 22 ingested paraquat, weren't they? 23 A. That's correct, yes. 24 Q. Because a large percentage of people 25 who ingest it intentionally or accidentally</p> |

Page 1185

1 die, correct?
 2 A. Sadly, that is the case.
 3 Q. There are no antidotes for this,
 4 correct?
 5 A. There is treatment but no antidote.
 6 Q. Right. So if you take a teaspoonful
 7 or thereabouts -- what did you tell me in the
 8 first dep? Two teaspoonfuls, you are likely
 9 going to die, correct?
 10 A. That is right, depending on the
 11 concentration of paraquat.
 12 Q. Right. So you were going
 13 to consider using the Sri Lankan/Korean
 14 survivor database for further neurological
 15 assessment. Did you do that?
 16 A. We did, and this was what led
 17 to the publication by Brent and Schaeffer.
 18 Q. Brent and Schaeffer?
 19 A. Yes.
 20 Q. And that's where they got their --
 21 did they get their database from there
 22 primarily?
 23 A. I would need to go back and
 24 double check whether it was just Sri Lanka and
 25 Korea. It may have been wider than that but

Page 1186

1 it would have included Sri Lanka and Korea,
 2 so ...
 3 Q. Okay. And then it says -- so this
 4 was where the Brent study was really
 5 originated? The brainstorm was this meeting,
 6 correct?
 7 A. That's right.
 8 Q. All right. What was the other study
 9 you said?
 10 A. Which other study did I mention?
 11 I don't recall mentioning another study.
 12 Q. Okay. So this was Brent?
 13 A. Yes.
 14 Q. That line applied to the Brent
 15 study.
 16 A. Yes.
 17 Q. So far we've gotten Breckenridge,
 18 we've gotten Widnes. Now we're at Brent, okay,
 19 from this meeting --
 20 A. Yeah.
 21 Q. -- right?
 22 A. Yes.
 23 Q. Okay. All right.
 24 And then it says JM -- I think
 25 that's Janis McFarland, right?

Page 1187

1 A. That's correct.
 2 Q. "... to confirm if PQ is used as
 3 a desiccant on food crops ..."
 4 Do you see that?
 5 A. Yes.
 6 Q. Okay. And a desiccant on food crops
 7 would tell you how many people throughout the
 8 world had potentially consumed products that
 9 contained paraquat, wouldn't it?
 10 A. That information could be used,
 11 certainly, yes.
 12 Q. And that was one of the concerns,
 13 wasn't it?
 14 A. This would, I believe -- again,
 15 this is from memory, would be again to make
 16 sure we understood how widely and under what
 17 circumstances paraquat was used.
 18 Q. Okay. Now, I've got to -- I'm
 19 trying to move forward a little quicker because
 20 we've spent more time than I anticipated on
 21 some of these projects.
 22 But I wanted to ask you generally,
 23 and perhaps our general discussion can obviate
 24 the need to go through a number of exhibits.
 25 I just want to ask you this: Were you aware

Page 1188

1 that Jeff Wolff was editing scientific papers
 2 and presentations at Syngenta? And when I say
 3 Jeff Wolff, I mean the outside counsel at
 4 Fulbright & Jarwoski.
 5 Were you aware of that?
 6 MR. NARESH: Objection to form.
 7 THE WITNESS: No, I was not aware
 8 of that.
 9 BY MR. TILLERY:
 10 Q. Okay. And when I say papers, I mean
 11 those perhaps that would include presentations
 12 to the Syngenta executive committee by Lewis
 13 Smith. Were you aware that he had done that?
 14 MR. NARESH: Objection to form.
 15 THE WITNESS: No, I was not aware
 16 of that.
 17 BY MR. TILLERY:
 18 Q. Was that something you personally
 19 would approve of?
 20 A. I guess it depends what the purpose
 21 of that editing was and what the nature of the
 22 editing was.
 23 Q. Well, I'm talking about
 24 a substantive scientific point. If I ask you
 25 to assume that it was a substantive scientific

| Page 1189 | Page 1191 |
|---|--|
| <p>1 matter, is that something that you would</p> <p>2 approve of?</p> <p>3 MR. NARESH: Objection to form.</p> <p>4 THE WITNESS: It depends on the</p> <p>5 nature of what edit was being proposed,</p> <p>6 if it was --</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. Okay.</p> <p>9 A. So I can't comment on it unless</p> <p>10 I have a specific.</p> <p>11 Q. Okay. So it may be that using</p> <p>12 an outside attorney who isn't even employed by</p> <p>13 the company directly, paid from a -- as you</p> <p>14 would any lawyer you hired in America, that</p> <p>15 this lawyer, his edits to scientific</p> <p>16 presentations, scientific statements would be</p> <p>17 appropriate depending upon the context. Is</p> <p>18 that what you're telling me?</p> <p>19 A. What I'm saying is that if it</p> <p>20 didn't -- if his edits did not detract from</p> <p>21 the scientific message that was meant to be</p> <p>22 conveyed, that would not be, for me, a major</p> <p>23 issue.</p> <p>24 Q. Okay. So you would submit it to the</p> <p>25 outside lawyer. You wrote scientific articles</p> | <p>1 really their professional duty and input.</p> <p>2 So I didn't pass them because I wanted</p> <p>3 to do that. It was certainly not part of</p> <p>4 the scientific process. It was lawyers</p> <p>5 providing us their advice on best</p> <p>6 practice on documentation.</p> <p>7 MR. TILLERY: I move to strike your</p> <p>8 answer as unresponsive.</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. Did you or did you not run documents</p> <p>11 through lawyers, including Mr. Jeff Wolff, for</p> <p>12 the purpose of asserting attorney work client</p> <p>13 privilege or -- I'm sorry, attorney</p> <p>14 work-product privilege or attorney-client</p> <p>15 communication privilege? Did you do that?</p> <p>16 MR. NARESH: Objection to form.</p> <p>17 THE WITNESS: Documents were passed</p> <p>18 mainly to internal counsel. I can't</p> <p>19 comment as to what was sent to external</p> <p>20 counsel.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. Did you even send notes to the</p> <p>23 counsel telling them that you wanted them</p> <p>24 to convey them to other scientists but sent</p> <p>25 them to the lawyers so that the work-product</p> |
| Page 1190 | Page 1192 |
| <p>1 for him to change words, right?</p> <p>2 MR. NARESH: Object to the form.</p> <p>3 THE WITNESS: It was common</p> <p>4 practice for me to get -- seek advice</p> <p>5 from lawyers when making presentations,</p> <p>6 but at no time was that advice meant or</p> <p>7 indeed ever taken to say that the</p> <p>8 scientific content, the essence of the</p> <p>9 content should be changed.</p> <p>10 BY MR. TILLERY:</p> <p>11 Q. But you were getting advice about</p> <p>12 paraquat publications and presentations,</p> <p>13 weren't you?</p> <p>14 A. Yes, the team received advice about</p> <p>15 how to make communications but the scientific</p> <p>16 publications were absolutely written with the</p> <p>17 words of the scientist.</p> <p>18 Q. Did you run certain documents</p> <p>19 through lawyers to be able to assert</p> <p>20 attorney-client or attorney work-product</p> <p>21 privilege?</p> <p>22 MR. NARESH: Objection; form.</p> <p>23 THE WITNESS: Well, we passed</p> <p>24 documents to lawyers where they requested</p> <p>25 them to do so, the purpose of which was</p> | <p>1 or attorney-client privilege could be asserted?</p> <p>2 Did you do that as well?</p> <p>3 A. I don't remember. There may have</p> <p>4 been examples where, if we were wanting</p> <p>5 to communicate, we were given advice about how</p> <p>6 to do that. But, again, let me reiterate,</p> <p>7 this was not in any way to change the science</p> <p>8 content of any communication.</p> <p>9 Q. It was to hide it, wasn't it?</p> <p>10 MR. NARESH: Objection to the form.</p> <p>11 BY MR. TILLERY:</p> <p>12 Q. It was to protect the communication.</p> <p>13 A. It was to protect it according to</p> <p>14 the advice given to us by our legal team.</p> <p>15 Q. Okay. Well, whether it was by</p> <p>16 advice or not -- I'll admit that you were told</p> <p>17 to do this by lawyers. I'll admit that.</p> <p>18 We agree. You told us in February 2008 you</p> <p>19 went to Atlanta and a lawyer named Wolff from</p> <p>20 Fulbright & Jarwoski sat down and laid out the</p> <p>21 whole plan. We get it.</p> <p>22 But I'm trying to say you carried</p> <p>23 out that plan, didn't you, in part?</p> <p>24 MR. NARESH: Objection to form.</p> <p>25 THE WITNESS: Yes, of course,</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1193</p> <p>1 we took the advice from internal and 2 external counsel about how we 3 communicated. 4 BY MR. TILLERY: 5 Q. And that communication advice 6 included when you wanted to take documents 7 that were sensitive relating to paraquat and 8 Parkinson's disease, communications about 9 those, to run them through lawyers and assert 10 attorney work product or attorney-client 11 privilege; is that a fair statement? 12 MR. NARESH: Objection to form. 13 THE WITNESS: That is a fair 14 statement. 15 BY MR. TILLERY: 16 Q. All right. 17 MR. NARESH: Steve, if you're 18 shifting topics or changing your line of 19 questioning, would now be time for 20 a break? We've been going for about 21 an hour and 20. 22 MR. TILLERY: Of course, sir. 23 Of course. 24 MR. NARESH: Okay. 25 MR. TILLERY: Fine. Thank you.</p> | <p style="text-align: right;">Page 1195</p> <p>1 part of it is an inclusion of a document 2 we discussed yesterday. 3 BY MR. TILLERY: 4 Q. Right, it is. And then all I was -- 5 the point I wanted to make with you is that 6 this is global product registration meeting 7 notes, right? I think the second page refers 8 to draft notes of PS/GPR meeting, July 10, 9 2008. 10 Do you see that? 11 A. Yes, I do. 12 Q. All right. And that's Phil Botham, 13 at the top, Angela Brady, Andy Cook, Roland 14 Dieterle -- and for the reporter, that's 15 D-i-e-t-e-r-l-e -- John Doe and Kersten Mewes, 16 right? 17 A. Yes, that's right. 18 Q. What was this group meeting about? 19 A. It was a meeting between 20 representatives of product safety and global 21 product registration on a number of aspects 22 related to paraquat and Parkinson's disease. 23 Q. Now, have these draft notes been 24 circulated among the lawyers? 25 A. I can't comment on --</p> |
| <p style="text-align: right;">Page 1194</p> <p>1 We'll go in a breakout room, 2 please. 3 THE VIDEOGRAPHER: Okay, I'll set 4 those up. 5 We are going off the record. 6 The time is 11:20. 7 (Off the record.) 8 THE VIDEOGRAPHER: We are back on 9 the record. The time is 11:40. 10 MR. TILLERY: This is 575, right? 11 (Botham Exhibit 95 marked for 12 identification.) 13 MR. TILLERY: Let's look at 14 Exhibit 95. 15 BY MR. TILLERY: 16 Q. Can you look at that document, sir. 17 A. Thank you, yes. Just received and 18 looking at it now. 19 Q. You tell me when you're ready 20 to speak about it. 21 MR. TILLERY: While he's finishing, 22 for the record, this is document 23 502(d)-0107074.0001. 24 THE WITNESS: Okay, this is quite 25 a lengthy document but I think the second</p> | <p style="text-align: right;">Page 1196</p> <p>1 MR. NARESH: Objection; form. 2 THE WITNESS: I can't comment on 3 that, I don't know. 4 BY MR. TILLERY: 5 Q. Well, look at the front page, the 6 very first page. 7 A. Right. Okay, so, yes, that's -- 8 the front page indicates that the attachments 9 were sent by Dr. Sullivan, yes. 10 Q. So they went by there. And who did 11 they copy? 12 A. Well, they went to Jeff Wolff and 13 Alan Nadel, copied to Christoph Maeder. 14 Q. So Christoph Maeder was who? 15 A. He was on the executive committee, 16 so he was Jonathan Sullivan's boss. 17 Q. Okay. So these set of notes went 18 through the lawyers, copied to outside counsel, 19 Jeff Wolff at Fulbright & Jaworski, to 20 Alan Nadel, who is the head counsel in the 21 United States, "Subject: Paraquat," and it was 22 Cc'ing Christoph Maeder -- 23 A. Yes. 24 MR. NARESH: I'll object to the 25 form.</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1197</p> <p>1 MR. TILLERY: Okay.</p> <p>2 THE STENOGRAPHER: I'm really</p> <p>3 sorry, Mr. Tillery, but I just lost that</p> <p>4 question. I didn't get it, sorry.</p> <p>5 MR. TILLERY: Okay. Let me start</p> <p>6 over. Sure.</p> <p>7 BY MR. TILLERY:</p> <p>8 Q. This document, which we have marked</p> <p>9 as Exhibit No. 95, and the front page is a</p> <p>10 communication of proposed edits from Jonathan</p> <p>11 Sullivan to Jeff Wolff at Fulbright & Jarwoski,</p> <p>12 correct?</p> <p>13 A. Correct.</p> <p>14 Q. All right. So he's editing a</p> <p>15 scientific summary of a group and the draft</p> <p>16 notes from this meeting on July 10. Let's look</p> <p>17 at the clarification points, down, that</p> <p>18 might be adjusted, on page 2.</p> <p>19 Pull that up, please.</p> <p>20 Where it says:</p> <p>21 "Clarification of specific points</p> <p>22 during the discussion lead to the following</p> <p>23 executive summary which will be included in the</p> <p>24 next version of the PS document."</p> <p>25 What is a PS document?</p> | <p style="text-align: right;">Page 1199</p> <p>1 that is correct.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. All right. And it says next:</p> <p>4 "In the absence of evidence to the</p> <p>5 contrary, it is prudent to assume that this</p> <p>6 finding is potentially qualitatively relevant</p> <p>7 to man."</p> <p>8 Correct?</p> <p>9 A. Correct.</p> <p>10 Q. And for the folks who don't have</p> <p>11 PhDs like you and have spent years and years</p> <p>12 in training and education and science, what</p> <p>13 that really means is that the findings they're</p> <p>14 seeing in these mouse studies indicate that</p> <p>15 paraquat gets into the brain the same way,</p> <p>16 or in generally the same way; right?</p> <p>17 MR. NARESH: Objection to form.</p> <p>18 THE WITNESS: What this says is</p> <p>19 that the findings of dopaminergic</p> <p>20 neuronal loss in mice cannot be ruled out</p> <p>21 as something that could happen in man</p> <p>22 if paraquat were to get into the brain</p> <p>23 of man to a sufficient concentration.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. And the way it would happen would be</p> |
| <p style="text-align: right;">Page 1198</p> <p>1 A. I believe that that would refer</p> <p>2 to what we were calling yesterday the</p> <p>3 "reference dose" document.</p> <p>4 Q. Okay. And "reference dose" meaning</p> <p>5 that which was discussed in Plaintiff's</p> <p>6 Deposition Exhibit 38?</p> <p>7 A. That is correct.</p> <p>8 Q. All right. And it says here, and</p> <p>9 follow along with me, please:</p> <p>10 "The one consistent finding in</p> <p>11 animal studies is the loss of dopaminergic</p> <p>12 neurons in the substantia nigra C57BL6J mice."</p> <p>13 Correct?</p> <p>14 A. That is correct.</p> <p>15 Q. "This finding is judged to be real,</p> <p>16 to be related to treatment and to be adverse in</p> <p>17 nature."</p> <p>18 Right?</p> <p>19 A. That is correct.</p> <p>20 Q. So it would meet all those criteria</p> <p>21 we walked through for reporting to the US EPA,</p> <p>22 so long as you deemed it relevant under your</p> <p>23 definition at Syngenta, correct?</p> <p>24 MR. NARESH: Objection; form.</p> <p>25 THE WITNESS: At that time in 2008,</p> | <p style="text-align: right;">Page 1200</p> <p>1 the way you and I talked yesterday, the</p> <p>2 so-called mode of action, which would be</p> <p>3 to create oxidative stress and damage, weaken</p> <p>4 or kill dopaminergic neurons, correct?</p> <p>5 A. That is correct, yes.</p> <p>6 Q. All right.</p> <p>7 Now, were minutes of meetings like</p> <p>8 these, in your view, things that should be</p> <p>9 edited by lawyers, outside lawyers?</p> <p>10 A. I don't -- didn't have a view on</p> <p>11 that. As I said earlier, we were happy to</p> <p>12 accept advice to -- from lawyers with regard</p> <p>13 to the way in which we documented our</p> <p>14 communications.</p> <p>15 Q. Well, do you think that a scientific</p> <p>16 meeting like this one that's draft notes of</p> <p>17 this meeting, talking about purely scientific</p> <p>18 matters, should be sent to lawyers for edit</p> <p>19 first? Is that something that you think is</p> <p>20 a standard scientific protocol?</p> <p>21 A. It's not a --</p> <p>22 MR. NARESH: Objection to form.</p> <p>23 THE WITNESS: It's not a standard</p> <p>24 scientific protocol, of course, but in</p> <p>25 the situation that we were in with</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1201</p> <p>1 potential litigation, we were happy 2 to receive appropriate advice, as long 3 as -- 4 BY MR. TILLERY: 5 Q. Well, where -- 6 A. -- as long as the scientific 7 position was not altered. 8 Q. Where was the potential litigation 9 coming from? Where did you hear that? 10 A. Well, if you remember, Dr. -- 11 sorry, Mr. Wolff had made a presentation in 12 the meeting that we were looking at earlier. 13 Q. And he told you, if you continue 14 to sell this product you're probably going 15 to get sued, didn't he? 16 MR. NARESH: Objection to form. 17 THE WITNESS: I can't remember the 18 detailed nature of what he told us but 19 he said there was potential litigation. 20 BY MR. TILLERY: 21 Q. And litigation means a lawsuit, just 22 for everybody's terms, right? 23 A. Correct. 24 Q. And you're going to get sued over 25 Parkinson's disease victims being exposed</p> | <p style="text-align: right;">Page 1203</p> <p>1 BY MR. TILLERY: 2 Q. Didn't you just tell the ladies and 3 gentlemen of the jury just a little bit ago, 4 before the break, that this meeting in Atlanta 5 was the creation location, that's where all of 6 you got together and the Brent study was 7 hatched, the Breckenridge study was hatched, 8 the Widnes study was hatched; all of that came 9 about as a result of that study, right -- 10 MR. NARESH: Objection to form. 11 BY MR. TILLERY: 12 Q. As a result of that meeting. 13 A. Yes, it did, but that meeting was 14 there as a launch pad for the team that was 15 going to manage this proactive program of 16 different scientific studies, and the 17 fact that -- 18 Q. Right, well -- 19 A. -- that we had potential litigation 20 was one bit of information that was part of 21 that, not the main driver for it. 22 Q. Okay. And let me ask you, then, why 23 in the world did you have all these lawyers 24 there telling you to protect all your 25 communications, if this wasn't about potential</p> |
| <p style="text-align: right;">Page 1202</p> <p>1 to paraquat; that's what he told you, wasn't 2 it? 3 MR. NARESH: Objection to form, 4 foundation. 5 THE WITNESS: Yes, that was the 6 essence of what he said. 7 BY MR. TILLERY: 8 Q. And he said that in 2008, didn't he, 9 12 years ago? 10 A. Yes. 11 Q. And for that reason you better get 12 going and you better start defending yourself; 13 and you had a meeting in Atlanta where you 14 started that process and did just that, didn't 15 you? 16 MR. NARESH: Objection to form. 17 THE WITNESS: I wouldn't put it 18 that way. This was -- we did not get 19 going, as you put it, because of the 20 potential for litigation; we got going 21 because it's a duty of care on 22 the company, and always has been, for us 23 to be clear about the potential dangers 24 that could be associated with our 25 product.</p> | <p style="text-align: right;">Page 1204</p> <p>1 litigation, and the speech starting off the 2 whole process was from an outside counsel 3 talking to you about your potential litigation, 4 and then you sat around and go through -- we 5 went through that whole list of all the things 6 you're going to do? 7 Are you trying to tell us with 8 a straight face that this wasn't because you 9 were trying to create the science to defend the 10 product? Is that what you're telling us? 11 MR. NARESH: Objection to form. 12 THE WITNESS: I'm telling you that 13 this science program was primarily 14 to ensure that we were creating our own 15 science evidence base to see whether 16 paraquat could be a causative agent in 17 Parkinson's disease. The prime driver 18 was not potential litigation. 19 BY MR. TILLERY: 20 Q. Okay. So all of those things that 21 I pointed out earlier that we read to the court 22 and jury that talk about all these studies 23 you're going to do, everything else, the threat 24 of litigation, how you're going to create other 25 alternative explanations for Parkinson's</p> |

| Page 1205 | Page 1207 |
|--|---|
| <p>1 disease, they were never published in 2 scientific journals, were they -- 3 MR. NARESH: Object -- 4 BY MR. TILLERY: 5 Q. These were changed -- designed 6 to protect your product. You even sent people 7 to the high leadership in the company telling 8 them about the threat to the business of 9 paraquat, didn't you? 10 MR. NARESH: Objection to form. 11 BY MR. TILLERY: 12 Q. That all happened as a result of 13 that meeting, correct? 14 MR. NARESH: Same objection. 15 THE WITNESS: I think I said before 16 that people went to the senior leaders in 17 the company to tell them about the 18 proposed program, which was to do exactly 19 what I've said, which is to try to get 20 nearer to the scientific truth of what 21 relationship there could be between 22 paraquat and Parkinson's disease, and 23 that the outcome of that science program 24 could be a threat to the paraquat 25 business if the science was taking us</p> | <p>1 to be real, to be related to paraquat 2 treatment, and to be adverse in nature. It 3 is not clear if neuronal cell loss in response 4 to paraquat exposure is peculiar to this 5 particular mouse model, but in the absence of 6 evidence to the contrary, it is prudent to 7 assume ... this finding is potentially relevant 8 to man." 9 Do you agree with that statement, 10 sir? 11 A. Absolutely. I stood behind that 12 statement as it was believed to be the correct 13 science position in 2008. 14 Q. Okay. 15 Did you, at that time in 2008, 16 convey that particular statement to the United 17 States Environmental Protection Agency? 18 A. I don't know whether any of our 19 regulatory colleagues were having those kind 20 of discussions with the EPA. I can't confirm 21 that. 22 Q. Are you aware of them having done 23 so? Have you ever seen documentation, any 24 internal communication, where they conveyed 25 that to the US EPA?</p> |
| Page 1206 | Page 1208 |
| <p>1 more in that direction. 2 MR. TILLERY: I move to strike your 3 answer as unresponsive. 4 BY MR. TILLERY: 5 Q. So before we leave this document, 6 which is number 95, if you would take charge of 7 the document, or, if you can, just go to .07 -- 8 .007, which is about six pages back, and it's 9 this page right here, "Product Safety 10 Evaluation." 11 Do you see that, "Product Safety 12 Evaluation of the Animal Studies"? 13 A. I do. 14 Q. You were there for this, weren't 15 you? You were there for this meeting? 16 A. I was there at that meeting, yes. 17 Q. If we look at this, it says your 18 meeting concluded: 19 "The one consistent finding from the 20 body of animal studies ..." 21 Consistent finding. Consistent 22 finding. 23 "... is the loss of dopaminergic 24 neurones in the substantia nigra pars compacta 25 of male C57Bl6J mice. This finding is judged</p> | <p>1 A. As I say, I can't recall seeing 2 anything which showed if that happened. 3 Q. Are you aware of that communication 4 ever appearing on the paraquat.com website? 5 A. As we were discussing earlier, 6 communications on the paraquat.com website 7 depended on exactly what period of time we're 8 talking about and how quickly the paraquat.com 9 had caught up with the science. 10 Q. Dr. Botham, could you answer my 11 question. Did you put that on the website or 12 not? 13 A. I don't know if we put that on the 14 website. 15 Q. You never saw it on the website, did 16 you? 17 A. I don't recall seeing that on the 18 website. 19 Q. Okay. All right. 20 MR. TILLERY: We're going to 21 a completely different topic list now. If you 22 could pull this one up. 23 We don't have to go off the record 24 but we're switching gears to a new subject area 25 so if you'd give us about -- actually, let's go</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|---|--|
| <p style="text-align: right;">Page 1209</p> <p>1 off for two minutes or three minutes, okay, 2 so we can get started on a completely different 3 topic. 4 THE VIDEOGRAPHER: We are going off 5 the record. The time is 12:00 p.m. 6 (Off the record.) 7 THE VIDEOGRAPHER: We are back on 8 the record. The time is 12:04. 9 BY MR. TILLERY: 10 Q. Dr. Botham, would you please confirm 11 for this record that Syngenta is not legally 12 allowed to sell paraquat in these 70 countries: 13 Albania, do you sell it there? 14 A. You're now going to ask me about 15 individual countries which I don't have that 16 level of detailed knowledge of, so to save 17 time, I'm sure -- I wouldn't want to dispute 18 any list that you've got. 19 Q. Well, let me just say this to you. 20 I sent to your counsel a list of countries that 21 our research indicated that paraquat was not 22 legal to sell in those countries. I sent them 23 to them, and they came back and told me that as 24 to those countries, two of them they disputed 25 and I think actually one on my list, and that</p> | <p style="text-align: right;">Page 1211</p> <p>1 Luxembourg; Malta; Netherlands; Poland; 2 Portugal; Romania; Slovakia; Slovenia; Spain; 3 Sweden; Fiji; Kuwait; Laos; Lebanon; Libya; 4 Macedonia (and I note that is now called the 5 Republic of North Macedonia); Malaysia; 6 Montenegro; Norway; Oman; Qatar; Saudi Arabia; 7 Serbia; South Korea; Sri Lanka; Switzerland; 8 Syria; Taiwan; Thailand; Tunisia; United Arab 9 Emirates; United Kingdom; Vietnam; and Yemen. 10 Would you agree with those? 11 A. I have no information available 12 to me that would allow me to disagree with any 13 of those. 14 Q. Okay. And I will just tell you that 15 the response was admitted to all of these 16 countries; so in the court, that admission was 17 made that Syngenta is not legally allowed 18 to sell paraquat in those countries. 19 You don't dispute that, do you, sir? 20 A. I do not dispute that. 21 Q. All right. 22 Now, scientists often use laboratory 23 animals to determine the effects of a chemical, 24 don't they? 25 A. They do.</p> |
| <p style="text-align: right;">Page 1210</p> <p>1 was Brazil. 2 A. Mmm. 3 Q. So let me read the list to you 4 to save time and then you tell me if this 5 recitation of 70 different countries where this 6 product is not lawful to sell or to distribute 7 or to use is accurate or inaccurate. And if it 8 is, if you wouldn't mind making a note of which 9 country you find in -- you have a dispute with, 10 and stop me if I'm going too quickly, please. 11 Okay? Are you with me? 12 A. Okay, yeah, that's fine. Happy to 13 do that. 14 Q. Do we understand the assignment? 15 A. Absolutely. Please go ahead. 16 Q. All right. Thank you, sir. 17 So admit that you're not legally 18 allowed to sell paraquat in: Albania; Algeria; 19 Bosnia and Herzegovina; Cambodia; China; Benin; 20 Burkina Faso; Cape Verde; Chad; Gambia; Guinea; 21 Guinea-Bissau; Ivory Coast; Mali; Mauritania; 22 Niger; Senegal; Togo; Egypt; Austria; Belgium; 23 Bulgaria; Croatia; Cyprus; Chechnya; Denmark; 24 Estonia; Finland; France; Germany; Greece; 25 Hungary; Ireland; Italy; Latvia; Lithuania;</p> | <p style="text-align: right;">Page 1212</p> <p>1 Q. Scientists do not administer known 2 toxic compounds to humans because of the fact 3 that that would be unethical, correct? 4 A. That is largely true, yes. 5 Q. So if a scientist wants to study 6 the effects of a chemical, he or she would put 7 the chemical into the animal in some way and 8 studies -- or study the effects it has on the 9 laboratory mouse or rat or whatever animal 10 they're using, correct? 11 A. Correct. 12 Q. Scientists develop animal models 13 showing the effects of chemicals, right? 14 A. They do. 15 Q. Sometimes scientists use animal 16 models in the course of studying a chemical 17 to induce pathology that is similar to a human 18 disease; is that correct? 19 A. Yes, they do. 20 Q. Again, that's because we obviously 21 cannot induce that same disease in humans and 22 then study its effects. That would be 23 obviously grossly unethical, right? 24 A. Yes, that's correct. 25 Q. Scientists often need to recreate</p> |

24 (Pages 1209 to 1212)

| | |
|--|---|
| <p style="text-align: right;">Page 1213</p> <p>1 a human disease in an animal model in order 2 to try to find medicines that will cure the 3 disease, or to treat or lessen the effects of 4 the disease; is that right? 5 A. That's right. 6 Q. So the disease is modeled in an 7 animal, and if a chemical can induce the key 8 hallmarks of the disease, these biological 9 pathways are studied for possible cures. 10 Would that also be correct? 11 A. Yes, it would. 12 Q. To be sure a specific intended dose 13 is delivered to the animal, injection is 14 probably the most accurate means of 15 administering the chemical, correct? 16 MR. NARESH: Objection to form. 17 THE WITNESS: Not necessarily, no. 18 BY MR. TILLERY: 19 Q. Tell me a method of delivery which 20 is more accurate -- I use the word accurate -- 21 in terms of administration of a dose. 22 A. It depends on the purpose of the 23 model, a disease model. Sometimes it's 24 important, for example, to give a chemical by 25 inhalation because you want to give an</p> | <p style="text-align: right;">Page 1215</p> <p>1 BY MR. TILLERY: 2 Q. Well, can you point me to 3 a laboratory manual or to a book, a laboratory 4 science, anything, anywhere, where that 5 statement has ever been made by an 6 authoritative source? Ever. 7 A. Well, we may be talking at 8 cross-purposes here. I mean, if you are 9 saying to me that the way in which you can be 10 really sure about how much you get of a 11 chemical you can get into an animal's tissues 12 and cells throughout its body, then I would 13 agree with you that intravenous dosing is the 14 most accurate way of doing that. I -- 15 Q. That's what I asked you, sir. That 16 was my question. 17 Let me go back to my question. 18 Maybe you answered a different one. I said, 19 to be sure a specific intended dose 20 is delivered to the animal, injection is the 21 most accurate means of administering the 22 chemical. Would you agree with that? 23 A. I don't think I want to disagree 24 with you because I think we were talking at 25 cross-purposes and I'm saying --</p> |
| <p style="text-align: right;">Page 1214</p> <p>1 accurate dose to the lung; so that was the 2 reason for my response saying "not 3 necessarily." 4 Q. So are you telling me that using 5 an inhalation technique is more accurate than 6 a measured dose of injected? 7 A. It can be as accurate, but I'm 8 talking to you in general terms here about 9 disease models. Disease models would want 10 to create the pathology and sometimes they 11 would do that using a route other than 12 injection. 13 Q. Well, since we're going to come back 14 and take your dep and finish it -- we won't 15 have time today -- would you mind giving me 16 your references for that, where you say using 17 inhalation of a chemical is as accurate a means 18 of administering a chemical as injection? 19 I want to hear your references so I can go look 20 them up. What are they? 21 MR. NARESH: Objection to form. 22 THE WITNESS: Well, I'm talking in 23 very broad terms. I can't give you 24 a reference off the top of my head. 25 ///</p> | <p style="text-align: right;">Page 1216</p> <p>1 Q. Right. 2 A. -- you can use other routes of 3 administration and accurately calculate how 4 much gets in there, but let's not take that 5 any further. I don't think we need to. 6 Q. Well, you agree with me, don't you? 7 A. I'm -- yes. I'm saying I agree 8 with you that intravenous dosing is the most 9 accurate way of ensuring that you know how 10 much chemical has got into a chemical [sic] 11 generally internally. 12 Q. So injection, where you have 13 a measured amount that you're injecting in an 14 animal, is the most accurate means of 15 administering the chemical; would you agree? 16 Whether or not it's the most effective, whether 17 it's the most appropriate, that's not the 18 question. 19 It's the most accurate means of 20 measuring the amount of chemical you're dosing 21 to the animal; is that right? 22 A. Yeah. I'm happy to agree with you 23 on that. We can move on, certainly, yes. 24 Q. All right. 25 A. Mmm.</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 1217</p> <p>1 Q. Okay. Injection allows a scientist 2 to ensure that the exact amount is being 3 delivered to the animal, right? 4 A. Okay, yes. 5 Q. All right. This allows the 6 experimenter to know what the effects may be 7 at a specific dose, right? 8 A. Yes. 9 Q. All right. Scientists cannot always 10 mimic the quantity of human/environmental 11 exposures. That seems pretty obvious, doesn't 12 it? 13 A. Yes. 14 Q. So scientists use a dose that may or 15 may not be environmentally relevant when they 16 do these tests, right? 17 A. They do, yes. 18 Q. And whether the dose is 19 environmentally relevant or not, scientists are 20 looking for a biological pathway that is the 21 same as in the human disease, correct? 22 A. If they are trying to develop an 23 animal model of the disease, that is correct. 24 Q. Right. Louise Marks's studies, for 25 example, showed that the paraquat she used</p> | <p style="text-align: right;">Page 1219</p> <p>1 A. It can but very slowly. 2 Q. And paraquat spray mist can be 3 inhaled, can't it? 4 A. It can, yes. 5 Q. Paraquat spray mist can be swallowed 6 or ingested, too; if it's on your lips or you 7 put your fingers in your mouth. Correct? 8 A. Correct. 9 Q. What route of paraquat 10 administration was used in the Marks study? 11 A. That was intravenous injection -- 12 sorry, intraperitoneal injection. Excuse me, 13 intraperitoneal injection. 14 Q. It was what you refer to, scientists 15 refer to, who hang around laboratories as an 16 "ip use," right, intraperitoneal? 17 A. ip, yes, intraperitoneal. 18 Q. So when the court and the jury sees 19 references in documents to "i.p.," you 20 abbreviate that for intraperitoneal injection, 21 right? 22 A. That's right. 23 Q. And that's to take a needle and 24 stick it into what's part of the body and the 25 belly of the animal called the peritoneum,</p> |
| <p style="text-align: right;">Page 1218</p> <p>1 caused a loss of dopaminergic neurons in the 2 substantia nigra and an up-regulation of 3 alpha-synuclein. Correct? 4 A. Correct, yes. 5 Q. And those are two hallmarks of human 6 Parkinson's disease, aren't they? 7 A. They are. 8 Q. Paraquat's ability to do that was 9 through oxidative stress, as we've discussed 10 before, correct? 11 A. Correct. 12 Q. The pathology that caused 13 paraquat -- strike that. 14 The pathology caused by paraquat 15 is consistent with human Parkinson's disease, 16 correct? 17 A. That part of the pathology we've 18 just spoken about is consistent, yes. 19 Q. So it was a similar pathway, 20 correct? 21 A. It's assumed that the mechanism 22 that that had been caused by was through the 23 same pathway, yes. 24 Q. Paraquat can also be absorbed 25 dermally, can't it?</p> | <p style="text-align: right;">Page 1220</p> <p>1 in that area, correct? 2 A. Yes. It's the liquid surrounding 3 the gastro-intestinal tract. 4 Q. Right. What route of exposure was 5 used in the Breckenridge 2013 study? 6 A. That was also intraperitoneal. 7 Q. What route of exposure was used in 8 the Minnema 2014 study? 9 A. It's what we call dietary exposure; 10 so it was -- paraquat was part of what the 11 animals were given to eat. 12 Q. So they eat materials/food laced 13 with paraquat? 14 A. That's right. 15 Q. Okay, right? 16 A. Yes. 17 Q. And then they then ingest this and 18 then digest the material and it works its way 19 into the bloodstream, right? 20 A. That's correct. 21 Q. Okay. What route of exposure was 22 used for the Smeyne 2016 study? 23 A. That was back to intraperitoneal. 24 Q. Right. 25 Now, applicators, mixers and loaders</p> |

| Page 1221 | Page 1223 |
|---|---|
| <p>1 of paraquat are those who are most exposed 2 to paraquat. We've talked about that. 3 Correct? 4 A. Potentially that is the case. 5 Q. All right. And can we call that 6 occupational use? 7 A. That's fine. 8 Q. All right. Syngenta contends that 9 occupational exposure to paraquat occurs mainly 10 by dermal exposure, right? 11 A. Yes, that's right. 12 Q. So your position has been that 13 dermal exposure -- and this is set out in 14 Mr. -- or Dr. Breckenridge's 2013 study on 15 page 2 -- that dermal exposure is the main 16 route by which occupational users of paraquat 17 get paraquat in to their system, right? 18 A. Yes, that's been our assumption 19 based on, in some cases, real monitoring 20 of people working in the field. 21 Q. Okay. You've done a lot of that, 22 right? 23 A. We have. 24 Q. All right. So dermal absorption 25 would potentially lead to systemic toxicity --</p> | <p>1 inhalation -- is certainly more relevant that 2 intraperitoneal or intravenous. 3 Q. What does the EPA consider to be 4 the environmentally relevant route of exposure 5 for paraquat? 6 A. Well, it says much the same as 7 I've said; it considers that oral ingestion, 8 dermal absorption and inhalation exposure are 9 all relevant. 10 Q. So is there any one of them that 11 they consider to be more environmentally 12 relevant than another? 13 A. I think that would depend on the 14 nature of the risk that's being assessed. 15 So if they are concerned about you and 16 I eating food that may have residues, they 17 would be saying that ingestion is the most 18 appropriate route. If they're talking about 19 farmers and growers, then it could be dermal 20 or inhalation. 21 Q. So as they have evaluated the safety 22 of paraquat, have they evaluated it more from 23 the standpoint of farmer applicators or for the 24 millions of people who eat products, food 25 products, which have been sprayed with paraquat</p> |
| Page 1222 | Page 1224 |
| <p>1 could potentially, right? 2 A. Yes, it could. 3 Q. And that means it gets into the 4 bloodstream, right? 5 A. That's correct. 6 Q. What does an environmentally 7 relevant route of exposure mean? 8 A. Well, it would be to use a route 9 of exposure which was similar to the route 10 of exposure that people using a product or 11 being exposed to a product would receive. 12 Q. Okay. What is the environmentally 13 relevant route of exposure for paraquat? 14 A. Well, it would potentially include 15 the dermal route. 16 Q. Well, is it dermal? Is that what 17 it is? 18 A. It could be dermal. It could be 19 inhalation. It could be oral because of 20 residues in food. There are a number of 21 different routes which are relevant. 22 Q. And do you find one of them more 23 relevant than others? 24 A. We believe that the route of giving 25 it -- I would say dermal or oral or by</p> | <p>1 and therefore ingest the paraquat; do you know? 2 MR. NARESH: Objection; foundation. 3 THE WITNESS: I believe, from what 4 I've seen, that the EPA have more focused 5 on the applicator of paraquat, so through 6 dermal and inhalation absorption, but 7 they've also certainly addressed residues 8 on food. 9 BY MR. TILLERY: 10 Q. So the food residues would be the 11 oral portion most likely because you don't 12 expect the farmers to be drinking or swallowing 13 this chemical, right? 14 A. That's correct. 15 Q. And the inhalation or dermal route 16 would be the most likely for the farmer 17 applicator, mixer, loaders, correct? 18 A. That's correct. 19 Q. All right. 20 Did Syngenta recommend to the EPA 21 that the environmentally relevant dose of 22 exposure to paraquat is the oral route? 23 A. I don't know whether we made such 24 a recommendation. 25 Q. When paraquat enters the body,</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|---|---|
| <p style="text-align: right;">Page 1225</p> <p>1 regardless of the route of exposure, is it 2 metabolized? 3 A. No, it's not. 4 Q. Doesn't break down in the body, does 5 it? 6 A. No, it does not. 7 Q. Okay. It doesn't break down into 8 a different chemical, which is very common in 9 cellular metabolism that happens frequently, 10 correct? 11 A. That's correct. 12 Q. Let's explain this for the jury, 13 if we can. A chemical can, because of its 14 certain properties, be dumped out on to the 15 ground, and because of the sun or because of 16 wind or rain or whatever, it can go through 17 a fate -- environmental fate impact which 18 converts it to a metabolite, correct? 19 A. That's right. 20 Q. And that same thing happens to the 21 human body; the chemicals in the human body 22 sometimes work to cause chemicals to break 23 down. Some pharmaceutical products are 24 designed for that purpose, aren't they? 25 A. Exactly, yes.</p> | <p style="text-align: right;">Page 1227</p> <p>1 that's dropped off so we'll go off the 2 record. 3 We are going off the record. 4 The time is 12:27. 5 (Off the record.) 6 THE VIDEOGRAPHER: We are back on 7 the record. The time is 1:53. 8 BY MR. TILLERY: 9 Q. Dr. Botham, are you there? I can't 10 see you. 11 A. Yes, I'm here. I can see you. 12 Q. There we go. All right. 13 Are you ready to proceed, sir? 14 A. I am ready. 15 Q. All right. Apparently I continued 16 to talk after the system went down, and we were 17 on the topic of the breakdown of paraquat in 18 the body, and you had explained that it did not 19 metabolize in the human body. Is that correct? 20 A. Yes, indeed, that's what we had 21 indicated, that's correct. 22 Q. Okay. When paraquat is administered 23 orally, it becomes systemic, doesn't it? 24 A. Some of it becomes systemic, yes. 25 Q. Some of it becomes systemic and some</p> |
| <p style="text-align: right;">Page 1226</p> <p>1 Q. They become effective when they 2 break down and -- 3 A. Exactly. 4 Q. -- the metabolites are what's re -- 5 A. Yes. 6 Q. Right. But paraquat is different, 7 isn't it? 8 A. Paraquat is not metabolized, that's 9 correct. 10 Q. Paraquat stays paraquat, it does not 11 change its characteristic. It stays in the 12 body and until it leaves the body it's still 13 paraquat, isn't it? 14 A. Yes. 15 Q. The chemical structure of paraquat 16 that you and I talked about from 1933 in the -- 17 THE WITNESS: We've lost the 18 connection. 19 MR. NARESH: Okay. Yeah, I can't 20 hear him either. Can we go off the 21 record. 22 THE WITNESS: Wendy, can you hear 23 us? 24 THE VIDEOGRAPHER: Yeah. I can 25 hear you fine. It must be Mr. Tillery</p> | <p style="text-align: right;">Page 1228</p> <p>1 of it passes through the body without getting 2 into the bloodstream, right? 3 A. Yes, that's right. 4 Q. All right. So to the extent that 5 it would affect -- let's pick a target organ 6 like the lungs, okay. To the extent that 7 paraquat orally ingested affected the lungs, 8 would you walk me through the physiology of how 9 that would happen. 10 A. So paraquat would, if ingested, 11 would go through the stomach into the 12 intestines, the small intestine, where it is 13 possible for molecules that are in your diet 14 to be absorbed into the bloodstream at that 15 point. How readily that happens depends on 16 the chemical but some paraquat does enter the 17 bloodstream that way, and once in the 18 bloodstream it can circulate to an organ like 19 the lung, get taken up into lung cells and 20 potentially do damage to those lung cells. 21 Q. If the dermal route were the route 22 of exposure, how would that happen? 23 A. So the skin has a pretty thick 24 layer, or an impermeable layer, which means 25 that chemicals like paraquat don't get across</p> |

28 (Pages 1225 to 1228)

| | |
|--|--|
| <p style="text-align: right;">Page 1229</p> <p>1 that layer very easily, so that's what's</p> <p>2 called dermal penetration, but some does get</p> <p>3 across. And when it does so, it can similarly</p> <p>4 get into blood vessels under the skin.</p> <p>5 Once there, it likewise can get transported</p> <p>6 around the body, including to the lung.</p> <p>7 Q. Okay. And if it's inhaled, how does</p> <p>8 it happen?</p> <p>9 A. So if it's inhaled, it depends on</p> <p>10 the size of the droplet. Some larger droplets</p> <p>11 will just go as far as back of the nose and</p> <p>12 maybe into the throat. It's possible that you</p> <p>13 can similarly then get transfer across into</p> <p>14 blood vessels. Smaller particles will get</p> <p>15 deeper into the lung, and again there, there's</p> <p>16 a ready blood supply which can take</p> <p>17 it elsewhere, as well as it doing direct</p> <p>18 damage to the lungs.</p> <p>19 Q. And if it's by intraperitoneal</p> <p>20 injection, how would it get back to the lung?</p> <p>21 A. If it's by intraperitoneal</p> <p>22 injection, likewise because you're already</p> <p>23 into the cavity around the intestines, those</p> <p>24 intestines have got an adequate blood supply</p> <p>25 around them so some can get from that</p> | <p style="text-align: right;">Page 1231</p> <p>1 bloodstream, yes --</p> <p>2 Q. Yes.</p> <p>3 A. -- but there's -- yes, yes.</p> <p>4 Q. So the route by which it got to the</p> <p>5 brain would be through the blood depending on,</p> <p>6 obviously, if you talk about absorption and</p> <p>7 passage through the blood-brain barrier.</p> <p>8 But beyond that, the method by which the</p> <p>9 chemical got to the brain would be through the</p> <p>10 bloodstream; is that right?</p> <p>11 A. That's right, it --</p> <p>12 Q. Irrespective --</p> <p>13 A. Yeah, you don't --</p> <p>14 Q. Irrespective of intraperitoneal</p> <p>15 injection or subcutaneous or oral ingestion</p> <p>16 or dermal absorption, it wouldn't matter, would</p> <p>17 it?</p> <p>18 A. No. In terms of getting to the</p> <p>19 blood-brain barrier then, yes, it's the same.</p> <p>20 So getting across the blood-brain barrier</p> <p>21 is another mechanism which changes the amount</p> <p>22 of paraquat, of course, but that's another</p> <p>23 story which we've been into before.</p> <p>24 Q. Right. So paraquat reaches the</p> <p>25 brain regardless of the route of exposure</p> |
| <p style="text-align: right;">Page 1230</p> <p>1 peritoneal fluid into the blood vessels, just</p> <p>2 as I've described.</p> <p>3 Q. Okay. And if it's by subcutaneous</p> <p>4 injection, how does it happen?</p> <p>5 A. Subcutaneous injection is basically</p> <p>6 bypassing that dermal layer that I was talking</p> <p>7 about before in the skin, so you're injecting</p> <p>8 just below that into the subcutaneous fat, and</p> <p>9 that just gives you more ready access to the</p> <p>10 blood supply than directly on to the skin.</p> <p>11 Q. And no matter how you cut it,</p> <p>12 really, it, through one route or another, gets</p> <p>13 into the blood stream and finds its way passing</p> <p>14 through body to the lung, right?</p> <p>15 A. Yeah, and, depending on the routes,</p> <p>16 the amount that gets through will change.</p> <p>17 Q. Right. But eventually, the means by</p> <p>18 which it gets to the lungs is the same,</p> <p>19 correct, through the bloodstream?</p> <p>20 A. That's right, it travels through</p> <p>21 the bloodstream.</p> <p>22 Q. All right. And now let's pick a</p> <p>23 target organ of the brain. Would each one</p> <p>24 of those be the same?</p> <p>25 A. In terms of getting to the</p> | <p style="text-align: right;">Page 1232</p> <p>1 through the bloodstream, correct?</p> <p>2 MR. NARESH: Objection to form.</p> <p>3 THE WITNESS: It can get into the</p> <p>4 brain and the route of exposure will</p> <p>5 change how much gets there.</p> <p>6 BY MR. TILLERY:</p> <p>7 Q. But it does get there, that's what</p> <p>8 I'm saying --</p> <p>9 A. Yes.</p> <p>10 Q. -- regardless, yes.</p> <p>11 A. Yes.</p> <p>12 Q. Let me ask you a question. If I</p> <p>13 dose two mice with the same amount of paraquat</p> <p>14 using different routes of exposure and I then</p> <p>15 gave you their brains to examine, would you be</p> <p>16 able to tell me what route of exposure I used</p> <p>17 to dose each one?</p> <p>18 A. Possibly. If you told me the</p> <p>19 concentration that you had given, it may be</p> <p>20 possible for us to predict that, yeah.</p> <p>21 Not necessarily 100 percent but it may be</p> <p>22 possible.</p> <p>23 Q. Okay. And you talked about dermal</p> <p>24 absorption a minute ago. Do non-ionic</p> <p>25 surfactants increase dermal absorption?</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1233</p> <p>1 A. Yes, they can do.</p> <p>2 Q. And what studies has Syngenta</p> <p>3 undertaken to determine what level of dermal</p> <p>4 absorption or what change occurs in dermal</p> <p>5 absorption of paraquat as a result of the</p> <p>6 addition of non-ionic surfactants to the</p> <p>7 chemical?</p> <p>8 A. Well, we've done many dermal</p> <p>9 absorption studies, not just of the technical</p> <p>10 paraquat chemical but of paraquat with --</p> <p>11 in its formulated product form where there are</p> <p>12 different surfactants, including ionic</p> <p>13 surfactants, as you describe.</p> <p>14 Q. And have you published those</p> <p>15 studies?</p> <p>16 A. Those have all been written up as</p> <p>17 what we call regulatory reports and submitted</p> <p>18 to regulatory agencies.</p> <p>19 Q. And do you understand that the</p> <p>20 addition of non-ionic surfactants increases</p> <p>21 dermal absorption of paraquat?</p> <p>22 A. Yes. We know that can happen.</p> <p>23 Q. And you know that that means that</p> <p>24 it gets into the bloodstream faster when</p> <p>25 you add the non-ionic surfactants, right?</p> | <p style="text-align: right;">Page 1235</p> <p>1 MR. TILLERY: Now, can we go to --</p> <p>2 what will the next exhibit be?</p> <p>3 MS. BRUMITT: 96.</p> <p>4 MR. TILLERY: 96. We'll go to</p> <p>5 Plaintiff's Deposition Exhibit 96.</p> <p>6 For counsel, this is 502(d)-002434.0001.</p> <p>7 (Botham Exhibit 96 marked for</p> <p>8 identification.)</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. Do you have it, sir?</p> <p>11 A. Yes, it has just arrived, thank</p> <p>12 you.</p> <p>13 Okay. I've read that.</p> <p>14 Q. All right.</p> <p>15 MR. TILLERY: If we'd open that up,</p> <p>16 please.</p> <p>17 Excuse us just a second. We're</p> <p>18 logging in again.</p> <p>19 BY MR. TILLERY:</p> <p>20 Q. Okay. At the top it says Dave</p> <p>21 Berry, right?</p> <p>22 A. Yes.</p> <p>23 Q. Who is Dave Berry?</p> <p>24 A. He was a junior product</p> <p>25 toxicologist, so he supported Mike Clapp and</p> |
| <p style="text-align: right;">Page 1234</p> <p>1 MR. NARESH: Objection to form.</p> <p>2 THE WITNESS: Yes. If you get</p> <p>3 greater dermal absorption, then you've</p> <p>4 got the potential for more to get into</p> <p>5 the bloodstream.</p> <p>6 BY MR. TILLERY:</p> <p>7 Q. And the reason for adding non-ionic</p> <p>8 surfactants to paraquat is what?</p> <p>9 A. Because paraquat is a herbicide</p> <p>10 which needs to kill the weed and if you want</p> <p>11 to, for example, increase the absorption of</p> <p>12 paraquat across the cuticle of the plant,</p> <p>13 the outer layer of the plant, a surfactant</p> <p>14 will enable that to happen.</p> <p>15 Q. Actually, not just the outer layer</p> <p>16 but the cellular membrane that you're trying</p> <p>17 to kill, correct?</p> <p>18 A. It will help the paraquat to get</p> <p>19 to the site of action in the plant, yes.</p> <p>20 Q. Okay. And what that does is</p> <p>21 facilitate the killing mechanism of paraquat</p> <p>22 on the plants that you're targeting, right?</p> <p>23 A. It increases the concentration of</p> <p>24 paraquat inside the plant.</p> <p>25 Q. Right. Okay.</p> | <p style="text-align: right;">Page 1236</p> <p>1 Barry Elliott and was based at CTL.</p> <p>2 Q. And this is an email communication</p> <p>3 dated April 9, 2009, to Alan Nadel, Cc Lewis</p> <p>4 Smith, re Marlow meeting. Lewis Smith was at</p> <p>5 that time what at Syngenta?</p> <p>6 A. Yeah, I think as we said yesterday,</p> <p>7 I can't exactly remember the chronology.</p> <p>8 I think he was no longer the head of CTL.</p> <p>9 I think by that time he was head of crop</p> <p>10 protection development.</p> <p>11 Q. Okay. And the letter, or email,</p> <p>12 says it's confidential and privileged, just</p> <p>13 like we've been talking about before, right?</p> <p>14 A. Yes.</p> <p>15 Q. And it says:</p> <p>16 "Alan, I spoke to Matthew Bayliss</p> <p>17 this morning who reminded me that all materials</p> <p>18 intended for display at the Marlow Meeting</p> <p>19 should first be cleared with yourself or Jeff</p> <p>20 Wolff."</p> <p>21 Is that the same Jeff Wolff at</p> <p>22 Fulbright & Jarwoski we've been talking about?</p> <p>23 A. Yes, I would -- I'm sure it</p> <p>24 would be.</p> <p>25 Q. All right.</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1237</p> <p>1 "Two of the guest speakers, 2 Prof Abbott and Prof Brooks will be giving 3 (essentially) generic talks on PET imaging and 4 BBB ..." 5 What is BBB? 6 A. Blood-brain barrier. 7 Q. "... though you should be aware that 8 Prof Abbott will have put the PQ structure ..." 9 That's paraquat, right? 10 A. That's correct. 11 Q. "... through her computational model 12 to assess the feasibility of [paraquat] 13 crossing the [blood-brain barrier] and will 14 report back on this." 15 Correct? 16 A. That's correct. 17 Q. "In addition, Prof DiMonte has 18 offered to give us an update on his most recent 19 work with [paraquat] and primates." 20 Right? 21 A. Correct. 22 Q. Okay. So Professor Joan Abbott 23 would be presenting at the Marlow meeting, and 24 that is the same one we've talked about 25 previously, isn't it?</p> | <p style="text-align: right;">Page 1239</p> <p>1 A. I think this is -- 2 MR. TILLERY: Can you open it? 3 THE WITNESS: -- the one we saw 4 yesterday. 5 BY MR. TILLERY: 6 Q. I think so, and it just 7 demonstrates -- I'm just orienting you so you 8 understood what we were talking about, okay? 9 A. Mmm. Mmm. 10 Q. This is a document which contains 11 the meeting agenda for who is talking and the 12 order in which they're speaking, correct? 13 A. These are action minutes, not an 14 agenda. 15 Q. Okay. But it tells you what they 16 did and what they talked about, right? 17 A. That's correct. 18 Q. All right. And this mentions 19 Dr. Di Monte's presentation of his monkey 20 research at the Marlow meeting, right? 21 A. It does. 22 Q. Dr. Abbott's presentation about the 23 meeting, right? 24 A. That's right. 25 Q. All right.</p> |
| <p style="text-align: right;">Page 1238</p> <p>1 A. Yes, this would be the meeting in 2 the first half of 2009, correct. 3 Q. Okay. Now, who is Professor Abbott? 4 A. Professor Abbott is an academic 5 research worker in the United Kingdom. 6 Q. And did you agree with Dave Berry's 7 assessment of Dr. Abbott and Dr. Di Monte as 8 eminent experts? 9 A. Yes. We -- I agree. 10 Q. Okay. And that's why you invited 11 them, because you thought their research was 12 leading and cutting edge and you wanted to hear 13 about it, correct? 14 A. That's right. 15 Q. All right. 16 MR. TILLERY: So let's go to 17 Exhibit 96, which is 588. 97, I'm sorry, 18 Plaintiff's Deposition Exhibit 97. 19 While that's being pulled up, 20 this is SYNG-PQ-04982646. 21 (Botham Exhibit 97 marked for 22 identification.) 23 BY MR. TILLERY: 24 Q. I think you'd seen this document 25 before perhaps. I think we did.</p> | <p style="text-align: right;">Page 1240</p> <p>1 MR. TILLERY: Now let's go to 589, 2 which will be Exhibit 98. 3 (Botham Exhibit 98 marked for 4 identification.) 5 BY MR. TILLERY: 6 Q. This is a quite substantial document 7 and I'll represent to you, as it's being pulled 8 up, that this is the entire presentation that 9 Dr. Abbott brought with her for the 10 presentation to all the Syngenta scientists in 11 attendance, and it is 143 slides long. 12 So I'm not going to ask you to go 13 through all of them but I'm going to direct 14 your attention to at least the conclusions of 15 this. 16 But first of all can you identify 17 it? And this is SYNG-PQ-00471694. 18 Can you see it? "CNS barriers: 19 critical interfaces for CNS entry of paraquat." 20 Do you see that? 21 A. I do. 22 Q. What does CNS stand for -- 23 MR. NARESH: Dr. -- 24 MR. TILLERY: I'm sorry. 25 MR. NARESH: Dr. Botham, you</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1241</p> <p>1 certainly don't need to review the whole 2 thing but if you do want to take a moment 3 to just familiarize yourself with the 4 document, please feel free. But, like 5 Mr. Tillery said, I don't think you need 6 to read the whole thing, but -- 7 BY MR. TILLERY: 8 Q. And you're welcome to take as much 9 time as you need to just go through it. 10 It's her presentation. I think you were in 11 attendance at the meeting, right? 12 A. I was, yes. 13 Q. All right. Can you take charge of 14 this to see if you want to look at it and 15 familiarize yourself with it? 16 A. I currently do have charge of it. 17 It's going to take -- 18 Q. All right, let me -- 19 A. It's going to take a long time for 20 me to go through it, though, because each 21 slide is taking quite a long time to come up. 22 So maybe -- can we just proceed and if I need 23 to take some more time as your specific 24 questions arise, I'd prefer to do it that way, 25 otherwise I suspect I'll be spending a lot of</p> | <p style="text-align: right;">Page 1243</p> <p>1 Q. All right. And she was invited, as 2 we've indicated, because she was an expert in 3 the blood-brain barrier and penetration into 4 the brain by chemicals through the blood-brain 5 barrier, correct? 6 A. That's correct. 7 Q. All right. So if we look at these, 8 she told you in her conclusion, after 70 pages 9 of analysis, she said: 10 "[Paraquat] enters rodent brain 11 rapidly, and cleared slowly." 12 Doesn't she? 13 A. She does. 14 Q. And then she says blood-brain 15 barrier -- that's BBB, right? 16 A. That's correct. 17 Q. "... uptake transporters L1 (+OCT? 18 PAT?) + leak into CSF implicated." 19 And that CSF stands for cerebral 20 spinal fluid, doesn't it? 21 A. It does, yes. 22 Q. All right. What does that second 23 bullet point mean to you? 24 A. It means that -- Dr. Abbott is an 25 expert in what are called transporter</p> |
| <p style="text-align: right;">Page 1242</p> <p>1 time trying to -- 2 Q. Right. 3 A. -- download the slides. 4 Q. Right. If you wouldn't mind, just 5 go to 00471764. It's what's called 6 Conclusions. 7 A. Right, okay. This will take a long 8 time because it's -- to do it manually 9 it's taking about 10 to 20 seconds per slide, 10 so I can't -- it might be quicker for you 11 to take control and go straight to it. 12 Q. All right. We'll do that, sir. 13 MR. TILLERY: So we're on -- it's 14 1764, this page right here. 15 BY MR. TILLERY: 16 Q. All right. Those are the conclusion 17 pages that reference page 71 of 143. 18 Do you see that? 19 A. Yeah, I can now see that. Thank 20 you. 21 Q. All right. Now, these were the 22 conclusions that Dr. Joan Abbott presented 23 to the Syngenta scientists during the Marlow 24 meeting, weren't they? 25 A. They were.</p> | <p style="text-align: right;">Page 1244</p> <p>1 molecules in membranes, including at the 2 blood-brain barrier, and she is suggesting 3 that a compound like paraquat can cross the 4 blood-brain barrier using these transporter 5 molecules. 6 Q. And the transporter molecules 7 provide sort of like a vehicle for transport 8 across this membrane, correct? That's what she 9 told you? 10 A. Yeah. That's right, yes. 11 Q. And then the next one she says, 12 there's no metabolic breakdown in the CNS. 13 What does that mean? 14 A. That the paraquat, as we've been 15 discussing, is not broken down or metabolized 16 in the central nervous system. 17 Q. So as of the date of this 18 presentation, Syngenta knew that once this 19 chemical got into the brain, there was 20 no metabolic breakdown of it, right? 21 A. That's right. 22 Q. And then it says, in the next bullet 23 point: 24 "No clear efflux transporter from 25 CNS."</p> |

Page 1245

1 Do you want to explain to the court
2 and jury what that means.
3 A. Okay. So the transporters we were
4 talking about before were uptake transporters,
5 so that means transporter molecules that could
6 take substance into a compartment like the
7 brain. An efflux transporter is a similar
8 kind of molecule that would take a compound
9 like paraquat out of the brain.
10 Q. But she couldn't find that.
11 So while it got in the brain, she wasn't
12 finding a clear efflux transporter from outside
13 the central nervous system, is that correct?
14 A. Yeah, what she was saying is that
15 she could find no evidence for paraquat
16 getting out of the brain through the mechanism
17 of an efflux transporter. That's not
18 to say --
19 Q. All right.
20 A. -- she wasn't telling us that
21 paraquat doesn't get out of the brain; she
22 said there was no evidence for it hitching
23 a ride on an efflux transporter.
24 Q. Why don't you look at the very next
25 bullet point:

Page 1246

1 "Very slow clearance suggests
2 intracellular sequestering in [the central
3 nervous system] - potential for long-term
4 toxicity."
5 Would you agree with me that "very
6 slow clearance" means once it's in your brain
7 it stays in there a long time? Would you agree
8 with that?
9 A. Yes. That's what that was
10 suggesting from her work, and we subsequently
11 measured that clearance ourselves very
12 accurately.
13 Q. And so let's stay on Dr. Abbott,
14 though, if we can here, okay.
15 A. Mmm-hmm.
16 Q. So "very slow clearance" suggests
17 intracellular sequestering in the central
18 nervous system, which means that it stays in
19 the brain and intracellular sequestering means
20 it accumulates in the central nervous system.
21 That's what she was saying.
22 A. Yes, it does --
23 Q. Right?
24 A. Yes, it does mean that.
25 Q. It's an accumulation of this

Page 1247

1 chemical.
2 Then it says -- the very last is
3 most important for that. It says what?
4 "Potential for long-term toxicity," doesn't it?
5 A. It does.
6 Q. Now, when you knew, putting the
7 pieces together, that paraquat got into the
8 brains of users of your product, like sprayers
9 and applicators when they were using it as you
10 intended for them to use it, you also learned
11 here that when it got in their brains it was
12 very slow to clear and there was a potential
13 for long-term toxicity. Would that be a
14 correct statement?
15 A. That would be correct.
16 MR. NARESH: Objection.
17 BY MR. TILLERY:
18 Q. All right. And did you tell the
19 users, the end-users of the product, what you
20 knew about that?
21 A. No, because this is still -- it's
22 still very important to know how much gets in
23 there in the first place. So this -- these
24 measurements were all made using her
25 experimental systems. There was no

Page 1248

1 measurement at this point in exactly how much
2 might get into the brain.
3 So, yes, it may get in there, it
4 may come out slowly, but if there's only
5 a small amount gets in there, even if it's
6 only cleared slowly, what we didn't know
7 is whether that potential for toxicity may
8 actually be a real issue.
9 This was another reason why
10 we wanted to continue with our science
11 program, to try and answer some of these
12 questions.
13 MR. TILLERY: I move to strike your
14 answer as unresponsive.
15 BY MR. TILLERY:
16 Q. Doctor, did you tell the end-users
17 of your products -- sprayers, applicators --
18 that once they used it, and in their normal use
19 of the product, it would get in their brain and
20 that it was very, very slow clearance and
21 accumulated in their brain and that there was
22 a potential for long-term toxicity? Did you
23 tell them that?
24 A. No, we didn't --
25 MR. NARESH: Objection to form.

Page 1249

1 THE WITNESS: No, we did not tell
 2 them that.
 3 BY MR. TILLERY:
 4 Q. Okay. And did you put that on your
 5 paraquat.com website?
 6 A. No, we did not put that on
 7 paraquat.com because we still needed
 8 to understand that and put it into proper
 9 context.
 10 Q. Did you ever tell any regulator,
 11 US EPA or any other regulator worldwide, that
 12 there was very slow clearance suggesting
 13 intracellular sequestering in the central
 14 nervous system and the potential for long-term
 15 toxicity. Did you ever tell them that?
 16 A. As I said earlier, we had certainly
 17 told regulators that paraquat is able to cross
 18 the blood-brain barrier, but this issue here
 19 of slow clearance and potential for long-term
 20 toxicity was something which we were only just
 21 beginning to understand.
 22 Q. Okay. Well, let's look at the next
 23 bullet. It says:
 24 "Similarities between species in
 25 [central nervous system] barrier organization

Page 1250

1 and uptake transporters mean it is likely that
 2 similar toxicokinetics and dynamics will apply
 3 in humans."
 4 Correct?
 5 A. Yes.
 6 Q. She was telling you that the animal
 7 studies would be very predictive about how this
 8 chemical would react to human beings, too,
 9 wasn't she?
 10 A. She was.
 11 Q. And she says:
 12 "Low dose [paraquat] bolus PET study
 13 in primates may not be sufficiently sensitive
 14 to show CNS distribution."
 15 What does that mean?
 16 A. So the other presenter that was
 17 referred to in this meeting, Dr. Brooks, was
 18 telling us about studies done by another
 19 group -- and I'm not sure whether Dr. Brooks
 20 was himself part of that group -- where people
 21 had done PET, which is a positron electron
 22 tomography. It's an imaging to look at
 23 paraquat in the brain of primates.
 24 Q. She was suggesting that different
 25 types of studies would be more appropriate.

Page 1251

1 correct?
 2 A. Yeah, she was saying that that
 3 tomography, that imaging, may not be
 4 sufficiently precise to show exactly where
 5 in the brain chemicals like paraquat may
 6 get to.
 7 Q. Then she says, in the next one:
 8 "CNS [central nervous system]
 9 barriers more leaky/vulnerable in neonate ..."
 10 That's like brand-new babies, right?
 11 A. Yes.
 12 Q. And possibly in old age. Right?
 13 A. Yes.
 14 Q. So you knew that people were more
 15 vulnerable when they were very, very young or
 16 when they were older, right?
 17 A. Yes. This is a biological fact
 18 that was relatively well-known.
 19 Q. And didn't you find with
 20 Dr. Di Monte's squirrel monkeys that paraquat
 21 was persistent in the brain?
 22 A. It was still present at the time
 23 that we actually did the analyses, a week or
 24 two, I think it was, after he had administered
 25 paraquat, yes.

Page 1252

1 Q. Well, Dr. Di Monte's squirrel
 2 monkeys, you found paraquat persisted in the
 3 brain beyond eight weeks. Do you want to be
 4 reminded of that?
 5 A. Yeah, I'm sorry, I couldn't
 6 remember the -- several weeks, you're right,
 7 yes.
 8 Q. So it was eight weeks. And wasn't
 9 it clear what Dr. Travis submitted to the
 10 PRF Approach Committee, that paraquat persisted
 11 in the brain longer than you had previously
 12 believed, correct?
 13 A. Can you remind me exactly how you
 14 think we said that?
 15 Q. I'm just saying that when you, as
 16 a -- on the PRF Committee, heard from
 17 Dr. Travis, Dr. Travis was indicating that this
 18 was one of the features that you were learning;
 19 that in Dr. Di Monte's squirrel monkeys the
 20 residue studies demonstrated that the paraquat
 21 persisted in the brain beyond eight weeks,
 22 right?
 23 A. I don't think we were saying beyond
 24 eight weeks, were we?
 25 Q. Actually, it wasn't on the PRF

Page 1253

1 committee report, that's correct. It was
 2 in the study of the residue studies. You knew
 3 that it lasted beyond eight weeks, right?
 4 A. We knew that it was still present
 5 in the samples that were taken the last
 6 time -- at the last time point, which you
 7 reminded me was eight weeks.
 8 Q. Well, let's put it this way: The
 9 findings you had are consistent with what
 10 Dr. Abbott told you at the Marlow meeting,
 11 correct?
 12 A. Indeed, yes.
 13 Q. All right.
 14 Now let's continue on, if we can.
 15 It says:
 16 "Entry [paraquat] into [the] brain
 17 can be increased by other toxicants ..."
 18 And then it says:
 19 "Neuronal damage by [paraquat]
 20 exacerbated by LPS/infection - priming role of
 21 microglial activation."
 22 Okay? And that was -- then she ends
 23 by referencing more studies, right?
 24 A. Yes.
 25 Q. All right. Did anyone take umbrage

Page 1254

1 or offense or a different view at the meeting,
 2 during her presentation or afterwards?
 3 A. I don't remember anybody doing so.
 4 My memory is that these were very important
 5 conclusions and hypotheses that we were
 6 hearing from Dr. -- from the doctor concerned.
 7 Q. Abbott. Yes, Dr. Abbott.
 8 A. Dr. Abbott, yes, yes. Dr. Abbott,
 9 yes.
 10 Q. Yeah, yeah.
 11 And were they accepted by the
 12 scientific team at Syngenta, as far as
 13 you know?
 14 A. That's certainly my memory because
 15 we -- that's in part why we were designing our
 16 future studies to look at some of these
 17 challenges.
 18 Q. Sure, okay.
 19 We're going to switch topics just
 20 a little bit now, okay. Dr. Botham, paraquat
 21 is extremely toxic to mammals when it's
 22 ingested orally, isn't it?
 23 A. Yes, it is.
 24 Q. And that includes human beings,
 25 doesn't it?

Page 1255

1 A. It does.
 2 Q. ICI, the predecessor to Syngenta --
 3 we've been referring to them collectively as
 4 Syngenta -- knew that paraquat was extremely
 5 toxic to mammals when ingested orally before
 6 it even began selling it in the United States
 7 in 1965, didn't it?
 8 A. Yes. I think we had that
 9 discussion in the first part of my deposition.
 10 Q. Correct. And they knew -- without
 11 trying to pin the date down, they knew
 12 certainly from testing, from animal testing,
 13 that it was extremely lethal, correct?
 14 A. It's a very toxic molecule, yes.
 15 Q. Okay. Just from a general
 16 standpoint, explain, when you ingest it, what
 17 it does to your body, to the human body.
 18 A. When it's ingested, as we were
 19 saying a few moments ago, it then is able to
 20 cross from the intestine into the blood
 21 supply, into the blood vessels, be circulated
 22 around the body, and it primarily expresses
 23 this toxicity that we're talking about in
 24 two main organs of the body; the lung and the
 25 kidney. It's damage to those organs which

Page 1256

1 most frequently causes the acute toxicity that
 2 you're referring to.
 3 Q. And we have gone through, through
 4 previous depositions and earlier this week,
 5 references to postmortem analyses where you've
 6 pointed out that -- or acknowledged that it
 7 also gets into the human brain, doesn't it?
 8 A. Yes.
 9 Q. Now, 1967, A.B. Swan was the
 10 director of CTL's predecessor, that's ICI's
 11 industrial hygiene laboratories, from about
 12 1963 to 1981, wasn't he?
 13 A. He was.
 14 Q. Is there an antidote for paraquat
 15 poisoning?
 16 A. I'm not aware of an antidote, no;
 17 just treatment.
 18 Q. Okay. ICI, Zeneca and Syngenta all
 19 collected information on the occurrence and
 20 circumstances of paraquat poisonings over the
 21 years, haven't they?
 22 A. They have.
 23 Q. As a matter of fact, during the
 24 meeting that you had in Atlanta, there was
 25 a reference on the many meeting minutes

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|--|---|
| <p style="text-align: right;">Page 1257</p> <p>1 afterwards, or the summaries, that there was an 2 entire database of people who had died from 3 ingesting paraquat in Sri Lanka and Korea, 4 correct? 5 A. Yes, those are two examples of such 6 a database. 7 Q. Okay. And you keep that database 8 for the entire world, don't you? 9 A. We do have a global database, and 10 we also have access to databases that other 11 people maintain. 12 Q. How many people worldwide have died 13 from ingesting paraquat? 14 A. I'm afraid I can't give you 15 a number off the top of my head. 16 Q. By that I mean accidental ingestion, 17 intentional ingestion. There have actually 18 even been cases of murder using paraquat as 19 well, haven't there? 20 A. Yes, that's true. 21 Q. Now, would you -- knowing that 22 paraquat has caused so many deaths around the 23 world, would you think that number to be in the 24 thousands? 25 A. It is of -- it is a high number.</p> | <p style="text-align: right;">Page 1259</p> <p>1 slides were precisely that. They were slides 2 of people whose doctors or pathologists had 3 sent them on because they were the distributor 4 of paraquat and they wanted them to be aware 5 of the pathology associated with the ingestion 6 of the chemical. 7 You're aware of that? 8 A. Yes. 9 Q. Okay. 10 Now, do Syngenta's current products 11 of paraquat, formulated products, contain an 12 ingredient called an emetic? 13 A. They do. 14 Q. Is an emetic a substance that 15 induces vomiting? 16 MR. NARESH: I'll object here on 17 scope and foundation. 18 MR. TILLERY: I'm looking at 19 topic 31: 20 "The Methodologies, results, 21 significance, and replication of, and 22 Syngenta's internal and external 23 communications about, studies 24 investigating the health effects [and] 25 other aspects of the safety of paraquat,</p> |
| <p style="text-align: right;">Page 1258</p> <p>1 As I say, I can't give you the number right 2 now. 3 Q. Okay. 4 ICI and Zeneca exchanged information 5 with Chevron on the occurrence and 6 circumstances of paraquat poisoning, didn't 7 they? 8 A. Yes. 9 Q. And actually, Chevron exchanged 10 information with ICI about this topic, 11 didn't it? 12 A. Yes. 13 Q. And when it was first marketed in 14 the United States, Chevron actually received 15 from the pathologist's office in postmortem 16 exams all around the country, of the United 17 States, different tissue specimens and samples 18 which they analyzed in their own labs because 19 they were the sole distributor and formulator 20 in the United States of your product, correct? 21 A. I believe so. I think that might 22 have been some of the information we talked 23 about earlier. 24 Q. That is exactly right. Some of the 25 slides I'm representing to you, some of the</p> | <p style="text-align: right;">Page 1260</p> <p>1 or any paraquat product or formulation, 2 whether published or unpublished ..." 3 To go further into that and other 4 topics, it's mentioned more and more and 5 more again. I can go over them all but 6 there's multiple ones of them. 7 So I think it's clearly within the 8 formulation of the chemical. 9 MR. NARESH: Steve, I haven't 10 instructed the witness not to answer but 11 I'm objecting on foundation and scope -- 12 MR. TILLERY: Okay. 13 MR. NARESH: -- but if the witness 14 knows the answer, he should feel free to 15 answer. 16 MR. TILLERY: All right. 17 THE WITNESS: Okay. I'm willing to 18 answer the -- 19 THE STENOGRAPHER: Sorry. 20 Mr. Naresh, I didn't get the end -- 21 I didn't get -- 22 MR. NARESH: I said I haven't 23 instructed the witness not to answer. 24 I'm objecting on foundation and scope but 25 if the witness knows the answer.</p> |

36 (Pages 1257 to 1260)

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|--|--|
| <p style="text-align: right;">Page 1261</p> <p>1 he should feel free to answer. 2 THE STENOGRAPHER: Thank you. 3 THE WITNESS: And I was just saying 4 I'm willing to give an answer to that. 5 MR. TILLERY: Okay. 6 BY MR. TILLERY: 7 Q. And the question was is an emetic 8 a substance that induces vomiting? 9 A. It is. 10 Q. Okay. Is the purpose of including 11 an emetic in paraquat products to cause 12 vomiting quickly enough after a person ingests 13 the product to eliminate paraquat from the body 14 before it absorbs a lethal dose? 15 A. That is the purpose, yes, to take 16 paraquat out as quickly as possible. 17 Q. So, in other words, you want that 18 poison out of the system before it gets to 19 a position where it can do what we talked about 20 before, that is to absorb into the bloodstream, 21 reach vital organs and ultimately cause death, 22 correct? 23 A. That's right, as much as possible. 24 Q. And the quicker that you do it, the 25 more likely it is that the person who ingested</p> | <p style="text-align: right;">Page 1263</p> <p>1 being considered around that time, I would 2 agree. Again, I can't give you a precise 3 date. 4 MR. TILLERY: All right. Let's 5 pull up, if we can -- what exhibit number 6 would this be? 7 MS. BRUMITT: 99. 8 MR. TILLERY: This is number 99. 9 This is SYNG-PQ-02518325. 10 (Botham Exhibit 99 marked for 11 identification.) 12 BY MR. TILLERY: 13 Q. If you would take a look at the 14 document when you get it, sir. 15 A. Okay. Received and now reading. 16 I can't read it now because you've 17 taken it away from me. 18 Q. Okay, I'm sorry. Actually, can you 19 read it from there? 20 A. Yeah, okay. As it's only one -- 21 Q. I think that -- 22 A. As it's only one page, I can read 23 it, yes, thank you. Just give me a few more 24 minutes to read it. 25 Q. Take your time, sir.</p> |
| <p style="text-align: right;">Page 1262</p> <p>1 the paraquat survives, right? 2 A. Yes, that's right. 3 Q. Okay. ICI first added an emetic 4 to paraquat products it sold outside the United 5 States in 1978, didn't it? 6 A. I can't give you a date. That's 7 nothing -- something that I haven't got in my 8 head, I'm afraid. 9 Q. I'll show you some information in 10 a little bit and perhaps it will refresh your 11 recollection. But I'll represent to you that 12 that's what the documents say, but we'll get 13 to that later. 14 The same emetic was added to the 15 paraquat products that Chevron and ICI America 16 sold in the United States beginning in about 17 1983. Would that sound right, sir? 18 A. Again, I take your word for it. 19 I don't carry those dates in my head, 20 I'm afraid. 21 Q. Yes. ICI first considered including 22 an emetic in its paraquat products to prevent 23 fatal poisoning by ingestion as early as 1968, 24 didn't it? 25 A. Certainly that kind of thought was</p> | <p style="text-align: right;">Page 1264</p> <p>1 A. Thank you. 2 Okay, thank you. I've read that 3 now. 4 Q. Okay. So Dr. Swan was the director 5 of IHRL at that time, right? 6 A. That's correct. 7 Q. Okay. And we've mentioned his name 8 many times but it's been some time ago in the 9 transcript so, one more time, acquaint us with 10 -- acquaint all of us with who he was at that 11 time? 12 A. He led the laboratory that was 13 involved in doing the toxicology testing for 14 ICI at the time. It was the predecessor of 15 the Central Toxicological Laboratory which 16 we've mentioned many times. 17 Q. All right. In that letter, Dr. Swan 18 tells Mr. Darter in substance that a centrally 19 acting emetic, one that induces vomiting by 20 acting on the central nervous system, would act 21 quickly enough to induce vomiting before a 22 fatal level of paraquat could be absorbed. 23 Correct? 24 A. That's what this says, yes. 25 Q. And in his opinion, an emetic would</p> |

37 (Pages 1261 to 1264)

| | |
|---|--|
| <p style="text-align: right;">Page 1265</p> <p>1 have to act within a few minutes in order to 2 work? 3 A. That's what he's indicating here. 4 Q. He said that using a local emetic 5 that would act quickly enough would not make 6 economic sense in attempting to cope with 7 a rare event, didn't he? 8 A. That's what it says in the middle 9 of the second paragraph, yes. 10 Q. In other words, it would cost too 11 much to try to prevent the rare event of people 12 dying from paraquat poisoning, right? 13 A. That's what that says. 14 Q. All right. 15 MR. TILLERY: Let's go to 16 Exhibit No. 100. This is 17 SYNG-PQ-02517085. 18 (Botham Exhibit 100 marked for 19 identification.) 20 BY MR. TILLERY: 21 Q. And let me know when you're ready 22 to talk about it. I think this is a one-page 23 document as well, sir. 24 A. Thank you. 25 Okay. I've read that, thank you.</p> | <p style="text-align: right;">Page 1267</p> <p>1 interpretation, yes. 2 Q. So does Mr. Wright also note that 3 paraquat itself is an emetic that induces 4 vomiting? 5 A. He does. 6 Q. But paraquat doesn't induce vomiting 7 quickly enough so that a person's life is 8 saved, does it? 9 A. That's what -- that's what he's 10 saying here, yes. 11 Q. And that's what you know, too, 12 yourself, correct? 13 A. It is, which is why we eventually 14 did put an emetic into the paraquat 15 formulations. 16 Q. Right. We're getting to there. 17 MR. TILLERY: All right. Now we're 18 going to Exhibit 101. 19 (Botham Exhibit 101 marked for 20 identification.) 21 BY MR. TILLERY: 22 Q. Now, have you ever seen this report? 23 A. I have, yes. 24 Q. You're familiar with this one, 25 aren't you?</p> |
| <p style="text-align: right;">Page 1266</p> <p>1 Q. This is another example of 2 correspondence from one ICI employee to another 3 on this subject, dated November 1970, isn't it? 4 A. Yes, although I don't -- I'm not 5 familiar with either of the individuals. 6 Q. One of them is Nigel, or Nigel, 7 Wright and he tells a Mr. Magee at 8 ICI (Ireland) -- what was ICI (Ireland)? 9 A. That would be the marketing 10 organization of ICI in the Republic of 11 Ireland. 12 Q. Okay. He tells Mr. Magee at 13 ICI (Ireland) that no emetic, no matter how 14 powerful, would act quickly and strongly enough 15 to prevent the absorption of paraquat following 16 ingestion of a lethal dose, doesn't he? 17 A. That's what he asserts here, yes. 18 Q. He also says including a large 19 enough quantity of the emetic in the produce 20 would be commercially undesirable. 21 Correct? 22 A. That's what this says. 23 Q. Commercially undesirable means it 24 just costs too much, correct? 25 A. That would be a reasonable</p> | <p style="text-align: right;">Page 1268</p> <p>1 A. I am. 2 Q. This Exhibit No. 101, which is 3 SYNG-PQ-14420786 for the record, is an ICI 4 pharmaceutical report written by Dr. Bayliss, 5 entitled "A summary of clinical results of the 6 phosphodiesterase inhibitor ICI 63,197 in a 7 variety of disease states." 8 Correct? 9 A. Correct. 10 Q. Does this report relate to an 11 attempt by ICI Pharmaceuticals Division, in the 12 late 1960s and early 1970s, to develop 13 a compound called ICI-63197 as a therapeutic 14 drug to treat asthma or other certain medical 15 conditions? 16 A. That's correct. 17 Q. And that attempt was abandoned after 18 ICI-63197 was found, during human-volunteer 19 trials, to have no beneficial effects and 20 numerous side effects, including vomiting, 21 dizziness, et cetera, correct? 22 A. That's correct. 23 Q. Exhibit 101 is the report of the 24 results of those studies, including all the 25 data collected during the human trials, right?</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1269</p> <p>1 A. That's right.</p> <p>2 Q. And over the years, did ICI refer</p> <p>3 to this same compound at various times as</p> <p>4 63197, R-50796, and PP796?</p> <p>5 A. That is correct.</p> <p>6 Q. It's the same chemical?</p> <p>7 A. It is.</p> <p>8 Q. It's just used -- yes.</p> <p>9 MR. TILLERY: Now let's go to</p> <p>10 Exhibit 102. Exhibit 102 is</p> <p>11 SYNG-13098675.</p> <p>12 (Botham Exhibit 102 marked for</p> <p>13 identification.)</p> <p>14 BY MR. TILLERY:</p> <p>15 Q. Is Exhibit 102 correspondence</p> <p>16 between two ICI employees concerning the</p> <p>17 potential use of ICI-63197 in paraquat as an</p> <p>18 emetic?</p> <p>19 A. Just let me read it. I'm not sure</p> <p>20 I've seen --</p> <p>21 Q. Okay.</p> <p>22 A. -- this particular memo before.</p> <p>23 Q. Take your time, sir.</p> <p>24 A. Yes, okay. I've read it. And</p> <p>25 please ask your question again.</p> | <p style="text-align: right;">Page 1271</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Can you see it, sir?</p> <p>3 A. It just disappeared because you</p> <p>4 were taking control, so -- yeah, I've got it</p> <p>5 back now, thank you.</p> <p>6 Q. Yeah, and while you're looking at</p> <p>7 it, the preceding exhibit, I said --</p> <p>8 I misspoke. It was Davies's view, not</p> <p>9 Winchester's. I had the two people mixed up</p> <p>10 in my comment. I just wanted to clarify that</p> <p>11 for the record. I didn't mean to misspeak</p> <p>12 about that, okay.</p> <p>13 A. Okay, thank you. All right.</p> <p>14 Q. You're welcome. All right.</p> <p>15 Now we're looking at number 70 --</p> <p>16 103, I'm sorry.</p> <p>17 A. Okay.</p> <p>18 Q. This is also correspondence between</p> <p>19 ICI employees regarding the inclusion of 63197</p> <p>20 as an emetic in PQ products, right?</p> <p>21 A. It is.</p> <p>22 Q. And I should have said paraquat</p> <p>23 products instead of PQ.</p> <p>24 In the first paragraph,</p> <p>25 Dr. Fletcher -- who is he?</p> |
| <p style="text-align: right;">Page 1270</p> <p>1 Q. Of course. Is this -- this is</p> <p>2 correspondence between two ICI employees</p> <p>3 about using it, 63197, as an emetic in</p> <p>4 paraquat, right?</p> <p>5 A. Yes, that's correct.</p> <p>6 Q. And the letter indicates</p> <p>7 Dr. Winchester's view that the emetic dose of</p> <p>8 ICI-63197 is between 4 and 8 milligrams,</p> <p>9 correct?</p> <p>10 A. That's what this says, yes.</p> <p>11 Q. And that information -- strike that.</p> <p>12 That formulation of paraquat would</p> <p>13 have to include an amount of 63197 sufficient</p> <p>14 to ensure this 4-8 milligrams was taken in with</p> <p>15 whatever volume of paraquat was likely to be</p> <p>16 toxic, correct?</p> <p>17 A. That's correct.</p> <p>18 Q. All right.</p> <p>19 MR. TILLERY: Let's look at</p> <p>20 Exhibit 103. This, while she's pulling</p> <p>21 it up, is PQ-02450187.</p> <p>22 (Botham Exhibit 103 marked for</p> <p>23 identification.)</p> <p>24 MR. TILLERY: Leave that up,</p> <p>25 please.</p> | <p style="text-align: right;">Page 1272</p> <p>1 A. I believe this would be the same</p> <p>2 Dr. Fletcher we have discussed in the past.</p> <p>3 He would have been at the CTL IHRL laboratory.</p> <p>4 Q. Right. Dr. Fletcher notes that ICI</p> <p>5 scientists had already considered and rejected</p> <p>6 including an emetic for a number of reasons.</p> <p>7 Can you read those into the record?</p> <p>8 A. Yes.</p> <p>9 "(a) 'Gramoxone' itself is quite a</p> <p>10 good emetic, (b) there was no really suitable</p> <p>11 agent to add which would be effective, and (c)</p> <p>12 the expense would be prohibitive."</p> <p>13 Q. Okay. In the second paragraph,</p> <p>14 Dr. Fletcher notes that an emetic dose of</p> <p>15 ICI 63,197 is about 10 milligrams, right?</p> <p>16 A. Yes.</p> <p>17 Q. And then he again mentions that one</p> <p>18 obstacle to incorporating it into paraquat</p> <p>19 products would be cost, right?</p> <p>20 A. Yes.</p> <p>21 MR. TILLERY: Let's go to</p> <p>22 Exhibit 104. While she's pulling this</p> <p>23 up, this is SYNG-PQ-13098673.</p> <p>24 (Botham Exhibit 104 marked for</p> <p>25 identification.)</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1273</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Tell me when you're ready to talk</p> <p>3 about it.</p> <p>4 A. I can't see it at the moment.</p> <p>5 Q. I'm sorry. We're having technical</p> <p>6 trouble.</p> <p>7 A. Okay. I can now see this.</p> <p>8 Q. Can you read it from there, sir?</p> <p>9 A. I can, yes.</p> <p>10 Q. All right. Take your time, read it,</p> <p>11 and let me know when you're ready to talk</p> <p>12 about it.</p> <p>13 A. Okay, I can see that and have read</p> <p>14 that.</p> <p>15 Q. And this is further correspondence</p> <p>16 between ICI employees on this subject, isn't</p> <p>17 it?</p> <p>18 A. It is.</p> <p>19 Q. From 1971, right?</p> <p>20 A. The -- yes, I can see that now,</p> <p>21 yes. 1971, correct.</p> <p>22 Q. Yes. Dr. Bayliss was from the</p> <p>23 clinical research department in the</p> <p>24 pharmaceutical divisions that ran the initial</p> <p>25 human trials on 63197 when it was being</p> | <p style="text-align: right;">Page 1275</p> <p>1 he said?</p> <p>2 A. Yes, that's a fair statement of</p> <p>3 what he said here.</p> <p>4 Q. All right. And you have no reason</p> <p>5 to dispute anything about that up to that point</p> <p>6 in time, do you?</p> <p>7 A. No, not at that point in time.</p> <p>8 MR. TILLERY: All right. Let's go</p> <p>9 to 105. This is SYNG-02469717.</p> <p>10 (Botham Exhibit 105 marked for</p> <p>11 identification.)</p> <p>12 MR. TILLERY: I don't know if this</p> <p>13 is a single page that you can see here or</p> <p>14 if it's more than that but we can --</p> <p>15 let's try to pull it up -- no, it's</p> <p>16 1 of 2.</p> <p>17 Would you mind handing that to him</p> <p>18 so he can read it.</p> <p>19 THE WITNESS: Yeah, I've got it</p> <p>20 now, thank you, Mr. Tillery. I can see</p> <p>21 it now.</p> <p>22 MR. TILLERY: All right. You're</p> <p>23 welcome, sir.</p> <p>24 THE WITNESS: Okay, thank you.</p> <p>25 I've read that.</p> |
| <p style="text-align: right;">Page 1274</p> <p>1 developed as a drug, right?</p> <p>2 A. That's correct.</p> <p>3 Q. Dr. Bayliss says that ICI 63197 has</p> <p>4 no clearly defined emetic dose, doesn't he?</p> <p>5 A. That's what he says here, yes.</p> <p>6 Q. And he said it would be very hard</p> <p>7 to settle on a dose that would be certain</p> <p>8 to induce vomiting in most individuals unless</p> <p>9 it's a very high dose, right?</p> <p>10 A. That's what it says, yes.</p> <p>11 Q. And he says also it'd take about</p> <p>12 15 minutes for 63197 to induce vomiting,</p> <p>13 correct?</p> <p>14 A. Correct.</p> <p>15 Q. In his opinion, 15 minutes is too</p> <p>16 long because a toxic dose of paraquat would</p> <p>17 already have been absorbed in the human body,</p> <p>18 correct?</p> <p>19 A. That was his assertion, yes.</p> <p>20 Q. Dr. Bayliss concludes the 63197</p> <p>21 would not be suitable for use as an emetic in</p> <p>22 paraquat and that including an emetic in</p> <p>23 paraquat generally would likely not be much</p> <p>24 benefit because paraquat is absorbed rapidly.</p> <p>25 Is that a fair statement of what</p> | <p style="text-align: right;">Page 1276</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. All right. This is also</p> <p>3 correspondence between ICI employees, isn't it?</p> <p>4 We're putting it on the screen for display at</p> <p>5 this moment.</p> <p>6 A. It is, yes.</p> <p>7 Q. Dr. Fletcher is one of the people,</p> <p>8 right?</p> <p>9 A. He is.</p> <p>10 Q. All right. And he concludes that</p> <p>11 including a centrally acting emetic like 63197</p> <p>12 in a paraquat formulation would be expensive</p> <p>13 and of marginal use because they take too long</p> <p>14 to induce vomiting, right?</p> <p>15 A. Yes, reinforcing what had been said</p> <p>16 before.</p> <p>17 Q. All right. If you go, I think,</p> <p>18 to 718, I think -- this is the 717, so if you</p> <p>19 go to the next page. Do you see where he says,</p> <p>20 that is Dr. Fletcher, K. Fletcher, that the</p> <p>21 only real way to reduce paraquat's toxicity is</p> <p>22 by considerable dilution.</p> <p>23 Right?</p> <p>24 A. Yes.</p> <p>25 Q. What's he mean by that?</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1277</p> <p>1 A. By adding more water to the 2 paraquat -- to the Gramoxone, which is the 3 trade name for paraquat, when it is sold. 4 Q. So adding water to -- would dilute 5 it and make it less toxic to the person who 6 consumed it, correct? 7 A. That's correct, yes. 8 Q. Okay. And does he also say that 9 if they do something sensible, even if not 10 effective, they will be seen to be trying? 11 Do you see that right at the very last 12 paragraph? 13 A. Yes. 14 Q. "We have a considerable amount of 15 sympathy for our position and if we do 16 something sensible, even though it proves not 17 to be very effective, we would be seen to be 18 trying. At the moment I would sympathise with 19 a registration authority ..." 20 "At the moment I would sympathise 21 with a registration authority that said it was 22 trying its best without very much support from 23 ICI." 24 Do you see that? 25 A. I do.</p> | <p style="text-align: right;">Page 1279</p> <p>1 BY MR. TILLERY: 2 Q. Go ahead, sir. 3 A. Yeah. No, I would interpret that 4 to say that it would -- he is suggesting that 5 some work is done in this area, even if, 6 in the end, it proves not to be something that 7 is making a difference. 8 Q. Well, actually, it means that you 9 would be perceived to be making a difference. 10 "We would be seen to be trying," that's what 11 it said -- 12 A. Seen to be making best endeavors 13 to try and do something, I think, yes, quite. 14 Q. We'd be perceived by people in 15 charge that we're trying to do something even 16 though we're not, correct? 17 A. Well, I don't know -- that's the 18 bit that I would just be not so sure about. 19 You could say that this is to say 20 it would seem to be worth trying to look for 21 things that might have some benefit. I don't 22 think it's saying we should try it knowing all 23 along that it won't have any benefit. 24 Q. Okay. Well, I guess we disagree. 25 We'll agree to disagree and move on, okay?</p> |
| <p style="text-align: right;">Page 1278</p> <p>1 Q. So you got to look like you're 2 making it safer whether or not you are or not; 3 is that a fair statement of what he said? 4 MR. NARESH: Objection to form. 5 THE WITNESS: Well, I think you're 6 reading into what was behind there. 7 I couldn't comment if that's what he was 8 really getting to. 9 BY MR. TILLERY: 10 Q. Well, call me stupid but what 11 I read: 12 "We have a considerable amount of 13 sympathy for our position and if we do 14 something sensible, even though it proves not 15 to be very effective, we would be seen to be 16 trying." 17 Seems to be elevating form over 18 substance is what I guess I'm saying. 19 MR. NARESH: Objection -- 20 THE WITNESS: Yeah, I think -- 21 I would interpret that to -- 22 MR. NARESH: Let me just get my 23 objections in. 24 Object to the form, please. 25 MR. TILLERY: Yeah.</p> | <p style="text-align: right;">Page 1280</p> <p>1 A. Okay. 2 MR. TILLERY: Let's go to 106. 3 Let's go to 106, and this is 4 SYNG-PQ-02491713. 5 (Botham Exhibit 106 marked for 6 identification.) 7 BY MR. TILLERY: 8 Q. You take charge of the document, 9 look it over. I'm going to focus you on 10 page 715. 11 MR. TILLERY: Does he have it? 12 THE WITNESS: I have got it now and 13 I can read it, thank you. 14 BY MR. TILLERY: 15 Q. And take a look at 715, too. 16 A. Okay, that's quite difficult 17 to read. It's small print and a little bit 18 indistinct in places, if you're looking at 19 Table 1. 20 Q. I am. That's exactly what 21 I'm looking at. Can you enlarge that at all, 22 or do you want us to try to do that? 23 A. No, I can enlarge it. If you want 24 to look at certain parts, I can certainly 25 enlarge it.</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 1281</p> <p>1 Q. Okay. So if you would, just look at 2 the columns. Do you see the columns? 3 A. I do, yes. 4 Q. All right. If you look at the sixth 5 column, where it says "Containing emetics." 6 A. Yes. 7 Q. Can you enlarge that and read it out 8 loud for the ladies and gentlemen of the jury 9 and the court, the first paragraph. 10 A. So under "Containing emetics," 11 in answer to the question "Is the formulation 12 safer," is that what you'd like me to read 13 out? 14 Q. It says "Is the formulation safer?" 15 and then go to the seventh column over, 16 "Containing emetics." 17 A. Yeah, got it. 18 Q. Can you read that? 19 A. It says: 20 "Would be safer if a suitable 21 emetic existed. I.H.R.L. state that large 22 quantities are required or they are too slow 23 in action. I.H.R.L. advise there is little 24 scope here." 25 Q. And then go over two columns where</p> | <p style="text-align: right;">Page 1283</p> <p>1 MR. TILLERY: Yeah, I will, 2 thank you. 3 BY MR. TILLERY: 4 Q. Does it say there that paraquat 5 would be safer if an effective emetic existed 6 but that large quantities would be needed and 7 they are too slow-acting? 8 Is that what that one column says? 9 MR. NARESH: I'll object to the 10 form. I still think you're misreading 11 the document. 12 MR. TILLERY: If you want to read 13 it again, you can, but I thought that's 14 what it said. 15 THE WITNESS: Well, it says -- 16 my version says "would be safer if 17 a suitable emetic existed. I.H.R.L. 18 state that large quantities are required 19 or they are too slow in action." 20 MR. TILLERY: Right. That's what 21 I meant. 22 Now let's go to 107. This is 23 SYNG-PQ-02508147. 24 (Botham Exhibit 107 marked for 25 identification.)</p> |
| <p style="text-align: right;">Page 1282</p> <p>1 it says "Reduced uptake." Do you see that? 2 A. Yep. So under the same question -- 3 Q. Read that first sentence. Read that 4 first sentence. 5 A. "Leaving out wetters or changing 6 to others can substantially reduce uptake into 7 dogs." 8 Q. Okay. ICI was well aware of 63197's 9 emetic properties when this was written, wasn't 10 it? 11 A. Yes. 12 Q. And this says that paraquat would be 13 safer if an effective emetic existed but that 14 large quantities would be needed and they're 15 too slow in acting, right? 16 MR. NARESH: Objection; I think you 17 misspoke. 18 MR. TILLERY: I'm sorry? They're 19 too slow-acting. 20 MR. NARESH: I don't think you did 21 this on purpose but I think you misread 22 the first -- 23 MR. TILLERY: Okay. 24 MR. NARESH: You missed a word. 25 Can you just start over, please?</p> | <p style="text-align: right;">Page 1284</p> <p>1 THE WITNESS: Okay. I'm looking at 2 that document. Do you need me to look at 3 any particular part? 4 BY MR. TILLERY: 5 Q. Actually, I may ask you, over all 6 the pages, a few things. 7 Do you know generally from looking 8 at this what it is? 9 A. Well, this is a Chevron document 10 and it looks like it's the meeting of a -- 11 involving people from Chevron toxicology, 12 registration, R&D, and certainly at least one 13 person from ICI. 14 Q. Right. This was one of their 15 meetings concerning paraquat, wasn't it? 16 A. That would be the likely scenario 17 here, yes, I agree. 18 Q. Okay. And the topic or title to the 19 exhibit is "Notes of Meeting with Chevron 20 Chemical Company, Richmond, on Wednesday, 21 27 February 1974." 22 Right? 23 A. Yes. 24 Q. "Paraquat toxicological problems in 25 the [United States] and proposed label change."</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1285</p> <p>1 Is that what it says?</p> <p>2 A. That's what it says.</p> <p>3 Q. It indicates that Mr. Cavalli was</p> <p>4 there from Chevron, a toxicologist. It says</p> <p>5 a Mr. Kamienski was there, Ospenson was there,</p> <p>6 Lewis, and a Calderbank.</p> <p>7 Who was Mr. Calderbank --</p> <p>8 Dr. Calderbank?</p> <p>9 A. Yeah, he was the person who I</p> <p>10 recognized as being from ICI, so from the</p> <p>11 plant protection part of ICI.</p> <p>12 Q. And the basis of concern was:</p> <p>13 "Increasing numbers of reports of</p> <p>14 toxicological effects of paraquat to</p> <p>15 applicators in the field."</p> <p>16 Right?</p> <p>17 A. Yes.</p> <p>18 Q. "... growing concern amongst</p> <p>19 California State Officials brought about by ...</p> <p>20 fatal poisoning incidents (by swallowing) and</p> <p>21 drift damage."</p> <p>22 And then third:</p> <p>23 "It is believed that EPA are</p> <p>24 particularly influenced by California State</p> <p>25 Officials."</p> | <p style="text-align: right;">Page 1287</p> <p>1 page (ii) for him, please.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. If you go to (ii), do you see?</p> <p>4 A. Yeah.</p> <p>5 Q. The Department of Health has</p> <p>6 20 poisoning incidents of paraquat for '73 by</p> <p>7 the Public Department of Health and forwarded</p> <p>8 to PPL by Carl Tanner.</p> <p>9 Do you see that?</p> <p>10 A. I do.</p> <p>11 Q. Okay. Then, if you go down to (vi),</p> <p>12 it says:</p> <p>13 "Chevron have a representative ...</p> <p>14 whose main function is to liaise with officials</p> <p>15 in California ..."</p> <p>16 Then the last sentence:</p> <p>17 "He learned in time of the proposal</p> <p>18 that farm employees should take a</p> <p>19 cardio-pulmonary medical exam. prior to using</p> <p>20 paraquat - which Chevron were subsequently able</p> <p>21 to hold off."</p> <p>22 Do you see that?</p> <p>23 A. I do.</p> <p>24 Q. So ICI and Chevron feared that</p> <p>25 US regulators might cancel paraquat's</p> |
| <p style="text-align: right;">Page 1286</p> <p>1 And then goes down to (e):</p> <p>2 "If incidents with paraquat</p> <p>3 continue, it is believed that officials may</p> <p>4 recommend Glyphosate when it is registered."</p> <p>5 What is glyphosate?</p> <p>6 A. Glyphosate is another herbicide.</p> <p>7 Q. That's one that's not made by</p> <p>8 Syngenta, isn't it?</p> <p>9 A. We do not -- no. We're not a</p> <p>10 principal registrant of glyphosate, that's</p> <p>11 true.</p> <p>12 Q. Okay. And then it talks about</p> <p>13 a suicide, and it talks about a 17-year-old boy</p> <p>14 drinking from a beverage bottle and</p> <p>15 accidentally consuming this, and they're both</p> <p>16 dead, right?</p> <p>17 A. Yes.</p> <p>18 Q. And then the action taken by Chevron</p> <p>19 was to want to strengthen the label to say</p> <p>20 "May cause death if swallowed. Harmful if</p> <p>21 splashed in the eyes ..."</p> <p>22 Do you see that?</p> <p>23 A. I do, yes.</p> <p>24 Q. All right.</p> <p>25 MR. TILLERY: Now, can we go to</p> | <p style="text-align: right;">Page 1288</p> <p>1 registration in part because of poisoning</p> <p>2 problems, or recommend glyphosate as a safer</p> <p>3 alternative, correct?</p> <p>4 A. Correct.</p> <p>5 Q. Would you agree that's a fair --</p> <p>6 yes, all right.</p> <p>7 A. Yes, correct, that's fair.</p> <p>8 Q. All right.</p> <p>9 MR. TILLERY: That was Exhibit 107.</p> <p>10 Let's go to 108.</p> <p>11 (Botham Exhibit 108 marked for</p> <p>12 identification.)</p> <p>13 MR. TILLERY: This is</p> <p>14 SYNG-PQ-03719628.</p> <p>15 BY MR. TILLERY:</p> <p>16 Q. Please take your time looking at</p> <p>17 this. It's a one-page document. We can just</p> <p>18 pull it up so you can read it.</p> <p>19 A. Okay. I've read that, thank you.</p> <p>20 Q. Okay. And Dr. Winchester, who's he?</p> <p>21 A. I'm not sure what Dr. Winchester's</p> <p>22 role was but he was obviously in the plant</p> <p>23 protection department of ICI.</p> <p>24 Q. And he's sending a letter to</p> <p>25 Mr. Swan, whose name appears in a lot of these</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1289</p> <p>1 communications, the same Swan, correct?</p> <p>2 A. That's the same Swan, yes.</p> <p>3 Q. Okay. Dr. Winchester suggests here</p> <p>4 that it would be worth a substantial monetary</p> <p>5 investment, several hundred thousand British</p> <p>6 pounds, to try to develop a new, faster-acting</p> <p>7 emetic compounds, doesn't he?</p> <p>8 A. Yes.</p> <p>9 Q. And he was aware of the internal</p> <p>10 debate over 63197 at the time, wasn't he?</p> <p>11 A. I assume he was.</p> <p>12 Q. Did, to your knowledge, Syngenta or</p> <p>13 ICI ever do the research that Dr. Winchester</p> <p>14 suggested?</p> <p>15 A. I'm not aware of any research on</p> <p>16 alternative emetics, but that may be a line of</p> <p>17 research that I was never involved in.</p> <p>18 MR. TILLERY: Okay. Let's go to</p> <p>19 Exhibit 109. That's SYNG-PQ-02450112.</p> <p>20 (Botham Exhibit 109 marked for</p> <p>21 identification.)</p> <p>22 BY MR. TILLERY:</p> <p>23 Q. This is a one-page exhibit, sir, so</p> <p>24 we can put it up and make sure you can read it.</p> <p>25 It may be -- thank you. Take your time,</p> | <p style="text-align: right;">Page 1291</p> <p>1 Right?</p> <p>2 A. Yeah, I --</p> <p>3 Q. I've not said that very well but you</p> <p>4 get the gist of what I'm saying?</p> <p>5 A. Yeah, I --</p> <p>6 Q. He suggested -- go ahead, sir.</p> <p>7 You'll say it better.</p> <p>8 A. No, I read this to say that</p> <p>9 Dr. Swan was suggesting a group with Dr. Rose,</p> <p>10 which Dr. Rose would head, to look at the</p> <p>11 possibility of other emetics, essentially,</p> <p>12 that could be added to paraquat.</p> <p>13 MR. TILLERY: All right. Let's go</p> <p>14 to Exhibit 110. This is SYNG-PQ-0319624</p> <p>15 [sic].</p> <p>16 (Botham Exhibit 110 marked for</p> <p>17 identification.)</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. It is a one-page document.</p> <p>20 We'll pull it up for viewing on the record.</p> <p>21 A. Okay, thank you. I've read that.</p> <p>22 Q. Okay. Now, this is -- again, it's</p> <p>23 a Dr. M.S. Rose, right?</p> <p>24 A. Yes.</p> <p>25 Q. He wrote the letter. And who is</p> |
| <p style="text-align: right;">Page 1290</p> <p>1 please.</p> <p>2 A. Okay.</p> <p>3 Yes, okay. I've read that,</p> <p>4 thank you.</p> <p>5 Q. Who is Mike Rose?</p> <p>6 A. Mike Rose was an investigative</p> <p>7 toxicologist in CTL.</p> <p>8 Q. He worked there during the '70s,</p> <p>9 didn't he?</p> <p>10 A. He did. He had come there from the</p> <p>11 pharmaceuticals division.</p> <p>12 Q. Okay. And was he in charge of the</p> <p>13 department responsible for studying the</p> <p>14 mechanisms of pesticide toxicity at that time?</p> <p>15 A. He was in charge at one point in</p> <p>16 time of what we call our investigative</p> <p>17 toxicology department, yes, which looked at</p> <p>18 effects of pesticides.</p> <p>19 Q. In this letter, that's marked as</p> <p>20 Plaintiff's Deposition Exhibit 109, Dr. Swan</p> <p>21 suggests that instead of immediately jumping</p> <p>22 into the research to attempt to develop a new</p> <p>23 emetic for use in paraquat products, that</p> <p>24 Dr. Rose would hold a team or head a team into</p> <p>25 the feasibility of doing research instead.</p> | <p style="text-align: right;">Page 1292</p> <p>1 he writing it to?</p> <p>2 A. To some people from the company,</p> <p>3 from both the plant protection division and</p> <p>4 from the pharmaceuticals division, who</p> <p>5 I assume were being invited into the group</p> <p>6 that was asked to be formed in the previous</p> <p>7 memo.</p> <p>8 Q. So in this document, Dr. Rose states</p> <p>9 that an emetic that induces vomiting within</p> <p>10 an hour might prevent the absorption of a fatal</p> <p>11 dose, doesn't he?</p> <p>12 A. He does.</p> <p>13 Q. He mentions an hour. The earlier</p> <p>14 correspondence that you reviewed just a little</p> <p>15 bit ago indicated variously that vomiting would</p> <p>16 have to be induced within a few minutes, or</p> <p>17 within 15 to 30 minutes to be effective, right?</p> <p>18 A. It did, you're right.</p> <p>19 Q. And Dr. Rose wrote in this letter,</p> <p>20 ICI didn't have any scientific data that</p> <p>21 supported the statement that an emetic that</p> <p>22 induced vomiting up to an hour after ingestion</p> <p>23 could be sufficient to prevent the absorption</p> <p>24 of a fatal dose, did it?</p> <p>25 A. Yes. He's saying that -- he says</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1293</p> <p>1 there:</p> <p>2 "... if an agent which caused</p> <p>3 emesis within [the] hour, could be added ...</p> <p>4 it might be possible to prevent the absorption</p> <p>5 of a lethal quantity of paraquat."</p> <p>6 That's what this says.</p> <p>7 Q. Yeah. What I'm asking you is was</p> <p>8 there any intervening scientific analysis that</p> <p>9 would be responsible for reducing that period</p> <p>10 of time from a few minutes to an hour, that</p> <p>11 you're aware of?</p> <p>12 A. I'm not aware of anything that</p> <p>13 might have resulted in that change of time.</p> <p>14 Q. Okay. ICI know -- strike that.</p> <p>15 ICI knew when Dr. Rose wrote this</p> <p>16 letter that paraquat was rapidly absorbed</p> <p>17 in the human gut, didn't it?</p> <p>18 A. Yes.</p> <p>19 MR. TILLERY: Let's move to</p> <p>20 Exhibit 111. This is SYNG-PQ-03719623.</p> <p>21 It's a single-page letter.</p> <p>22 (Botham Exhibit 111 marked for</p> <p>23 identification.)</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. Please look at it, Dr. Botham.</p> | <p style="text-align: right;">Page 1295</p> <p>1 Gramoxone, right?</p> <p>2 A. Yes.</p> <p>3 Q. Now, what's a lethal volume of</p> <p>4 Gramoxone for a person, say, your size?</p> <p>5 A. It depends on the concentration of</p> <p>6 paraquat in the Gramoxone because that depends</p> <p>7 on the formulation, whether it's been diluted</p> <p>8 or not. But it can be as little as 15mls,</p> <p>9 10 to 15mls, as we've discussed before;</p> <p>10 a couple of teaspoonfuls, as I think you</p> <p>11 indicated.</p> <p>12 Q. Yeah, I'm not talking about diluted</p> <p>13 stuff out of a spray tank. I'm talking about</p> <p>14 stuff that's coming out of a</p> <p>15 two-and-a-half-gallon jug.</p> <p>16 A. Yeah. So my answer reflects that</p> <p>17 situation.</p> <p>18 Q. All right. Okay.</p> <p>19 So another criterion is that it be</p> <p>20 an established emetic agent obviating the need</p> <p>21 for extensive toxicological testing, correct?</p> <p>22 A. Yes.</p> <p>23 Q. ICI would want to obviate the need</p> <p>24 for extensive toxicological testing because</p> <p>25 testing of that sort's very expensive, correct?</p> |
| <p style="text-align: right;">Page 1294</p> <p>1 A. Okay, I've got that.</p> <p>2 Q. In this letter --</p> <p>3 A. Yes, I've read that, thank you.</p> <p>4 Q. All right. In this letter,</p> <p>5 Dr. Foulkes, who is he?</p> <p>6 A. Well, again, I don't know the</p> <p>7 person but the header would suggest that</p> <p>8 he was in the registration section.</p> <p>9 Q. Of ICI?</p> <p>10 A. Of ICI Plant Protection Division,</p> <p>11 excuse me.</p> <p>12 Q. Right. And he sets forth ideal</p> <p>13 criteria for a PQ emetic, an emetic -- a</p> <p>14 formulation that would cause people to throw up</p> <p>15 to save their lives, right?</p> <p>16 A. That's right.</p> <p>17 Q. Get it out of their system?</p> <p>18 A. Yes.</p> <p>19 Q. All right. He says he can't imagine</p> <p>20 using a compound far removed from these</p> <p>21 criteria, doesn't he?</p> <p>22 A. These seem to be the criteria that</p> <p>23 he believes to be important, yes.</p> <p>24 Q. One of the criteria is that the</p> <p>25 emetic be effective in a lethal volume of</p> | <p style="text-align: right;">Page 1296</p> <p>1 A. It would be, yes. Yes.</p> <p>2 Q. In effect, Dr. Foulkes was</p> <p>3 instructing Dr. Rose's team to focus on known</p> <p>4 emetics and not on the more expensive process</p> <p>5 for trying to develop new emetic compounds;</p> <p>6 would that be fair?</p> <p>7 A. I don't think he was instructing.</p> <p>8 I don't think Mr. Foulkes, or Dr. Foulkes,</p> <p>9 in registration will be doing anything other</p> <p>10 than offering his opinion.</p> <p>11 Q. Okay. Was he their boss?</p> <p>12 A. I don't know exactly what his role</p> <p>13 would be but he certainly wasn't the boss of</p> <p>14 Dr. Rose or other people involved here.</p> <p>15 Q. Do you agree that in order to be</p> <p>16 an effective emetic, the proper dose has to be</p> <p>17 determined?</p> <p>18 A. Yes, you clearly do need to</p> <p>19 estimate what an effective dose is likely</p> <p>20 to be.</p> <p>21 Q. Do you agree that the proper dose</p> <p>22 would be the dose that, after the ingestion of</p> <p>23 a minimally lethal volume of a paraquat</p> <p>24 formulation, induces vomiting within the time</p> <p>25 required to absorb a minimally lethal dose of</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| | |
|--|---|
| <p style="text-align: right;">Page 1297</p> <p>1 paraquat?</p> <p>2 A. Yes. You're describing the</p> <p>3 optimal, desirable properties, yes.</p> <p>4 Q. Well, the optimal meaning trying</p> <p>5 to save the greatest number of lives?</p> <p>6 A. Exactly.</p> <p>7 Q. Okay.</p> <p>8 MR. TILLERY: Let's move to</p> <p>9 Exhibit 112, and this is</p> <p>10 SYNG-PQ-02450023.</p> <p>11 (Botham Exhibit 112 marked for</p> <p>12 identification.)</p> <p>13 BY MR. TILLERY:</p> <p>14 Q. This is a three-page letter so we'll</p> <p>15 give it to you. A four-page letter. We'll</p> <p>16 give it to you to read, please, if you'd look</p> <p>17 at this?</p> <p>18 MR. NARESH: And Steve, I suggest</p> <p>19 that we -- we've been going for about an</p> <p>20 hour and a half. After this document,</p> <p>21 how about we take a break?</p> <p>22 MR. TILLERY: Absolutely.</p> <p>23 THE WITNESS: Okay, thank you.</p> <p>24 I've had a look through that.</p> <p>25 ///</p> | <p style="text-align: right;">Page 1299</p> <p>1 red cover that we saw earlier, which described</p> <p>2 the clinical trials, so this will be --</p> <p>3 Q. Okay.</p> <p>4 A. -- an estimate. This will be</p> <p>5 an estimate. These numbers -- these number of</p> <p>6 milligrams will be an estimate of the dose</p> <p>7 that caused emesis in those clinical trial</p> <p>8 studies.</p> <p>9 Q. That's your assumption. It came</p> <p>10 from the Bayliss report --</p> <p>11 A. Yes.</p> <p>12 Q. -- is that what your assumption is?</p> <p>13 A. That's my assumption.</p> <p>14 Q. All right. The Bayliss report is</p> <p>15 the '73 report we've referred to earlier,</p> <p>16 right?</p> <p>17 A. That's the one, yes.</p> <p>18 Q. All right. There was and is no</p> <p>19 scientific date that supports that statement by</p> <p>20 Dr. Rose to a reasonable degree of scientific</p> <p>21 certainty that you're aware of other than that</p> <p>22 scientific report, correct?</p> <p>23 A. Yeah, and I'm familiar with that</p> <p>24 scientific report we just described by</p> <p>25 Bayliss, and there are -- so I know the data</p> |
| <p style="text-align: right;">Page 1298</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Okay. According to this report,</p> <p>3 Dr. Rose's working party considered only</p> <p>4 existing emetics, right?</p> <p>5 A. It did.</p> <p>6 Q. One of which was 6397, right?</p> <p>7 A. Yes. 63197, yes.</p> <p>8 Q. And if you look at page 23, going on</p> <p>9 to page 24, Dr. Rose describes ICI 63197 as</p> <p>10 "a potent, centrally acting emetic, causing</p> <p>11 vomiting in man with oral doses of the order of</p> <p>12 5mg."</p> <p>13 Correct?</p> <p>14 A. Correct.</p> <p>15 Q. Do you see it -- okay.</p> <p>16 You can't reconcile that statement</p> <p>17 with the earlier statements in the</p> <p>18 correspondence you received today that the</p> <p>19 emetic dose of ICI 63197 was between 4 to</p> <p>20 8 milligrams, the dose was 10 milligrams, and</p> <p>21 there was no clearly defined emetic dose of</p> <p>22 63197, can you, unless there's intervening</p> <p>23 signs?</p> <p>24 A. Well, I assume that this dose has</p> <p>25 come from that original ICI report in the</p> | <p style="text-align: right;">Page 1300</p> <p>1 in there don't give a very precise estimation</p> <p>2 of what that dose could be. There's an</p> <p>3 estimate of what it could be.</p> <p>4 Q. Does Dr. Rose also state that</p> <p>5 ICI 63197 is fast-acting?</p> <p>6 A. I can't remember whether he has</p> <p>7 said that.</p> <p>8 Q. Well, let's assume he says it.</p> <p>9 We can look at it at the break.</p> <p>10 A. Mmm. Okay.</p> <p>11 Q. Okay. Is that term -- is that</p> <p>12 term -- is that term --</p> <p>13 MR. NARESH: Well --</p> <p>14 MR. TILLERY: I'm sorry?</p> <p>15 MR. NARESH: Rather than making an</p> <p>16 assumption, I think the discussion of</p> <p>17 63197 is only one paragraph. I would</p> <p>18 suggest that we read it rather than</p> <p>19 making an assumption and having to redo</p> <p>20 it.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. Go ahead and read it.</p> <p>23 A. What are we reading here?</p> <p>24 Q. We're looking for where Dr. Rose</p> <p>25 says it's fast-acting. Does he say that?</p> |

46 (Pages 1297 to 1300)

| Page 1301 | Page 1303 |
|---|---|
| <p>1 A. Well, it says here that the 2 compounds are examined for their suitability 3 against the following criterion, including 4 fast-acting. 5 It then says, under paragraph 4, 6 "63197 was chosen as meeting all of the above 7 criteria," so I think that's perhaps where the 8 reference is that you're looking for. 9 Q. All right. Is that term defined 10 anywhere in the report, to your knowledge? 11 A. By which you mean what does 12 fast-acting mean? 13 Q. Yes, sir. 14 A. Well, I don't think I've seen a 15 clear definition of what fact-acting might 16 mean, no, not to date. 17 MR. TILLERY: Okay. 18 That's the end of that topic, 19 Mr. Naresh, if you want to move on -- 20 MR. NARESH: Yeah. 21 MR. TILLERY: -- to a very brief -- 22 let's make a -- I'd like to get finished 23 with this topic today before the end of 24 the dep so let's make it a very brief 25 break, sir, okay. Five minutes and we're</p> | <p>1 so we'll let you take a look at this and 2 control it yourself. 3 (Botham Exhibit 113 marked for 4 identification.) 5 THE WITNESS: Okay, thank you, 6 I've read that. 7 BY MR. TILLERY: 8 Q. All right. This is a document 9 SYNG-PQ-02450073, marked as Plaintiff's 10 Deposition Exhibit 113. 11 The front page, does Dr. Foulkes 12 say -- and that's the same Foulkes we've talked 13 about before, it's F-o-u-l-k-e-s. 14 Does Dr. Foulkes say that the 15 existing human data show that 0.05 grams per 16 liter of the emetic in Gramoxone is likely to 17 produce emesis following ingestion of 18 10 milliliters of Gramoxone? 19 A. Yes, that's what that says. 20 Q. At that time, ICI did not have any 21 human data on the subject other than the data 22 from the volunteer in clinical trials that 23 we discussed was conducted by Dr. Bayliss, 24 correct? 25 A. I believe that's the case, yes.</p> |
| Page 1302 | Page 1304 |
| <p>1 back on, okay. 2 MR. NARESH: Wendy, can you put us 3 in the breakout room. Thank you. 4 THE VIDEOGRAPHER: Of course. 5 We are going off the record. 6 The time is 3:31. 7 (Off the record.) 8 THE VIDEOGRAPHER: We are back on 9 the record. The time is 3:40. 10 BY MR. TILLERY: 11 Q. And before we leave Exhibit 112, 12 Dr. Botham, I just wanted to put on the record 13 that the title of that document was "Report of 14 Working Party on the feasibility of adding 15 an emetic to Gramoxone," dated July 29, 1976, 16 and it is listed at the top "Company Secret," 17 "Highly Confidential," and it's composition of 18 working party is a Mr. Davies, Mr. Samuels, 19 Mr. Nicholls, Mr. Foulkes and Mr. Rose. 20 Those were all ICI people as far as 21 you know, correct? 22 A. As far as I know, yes. 23 Q. All right. 24 MR. TILLERY: Let's go to 25 Exhibit 113. This is a two-page document</p> | <p>1 Q. All right. 2 MR. TILLERY: Let's move to 114. 3 This is a single-page document so we'll 4 display it. 5 (Botham Exhibit 114 marked for 6 identification.) 7 BY MR. TILLERY: 8 Q. It says, at the top, "Company 9 Secret," "Highly Confidential." It's 10 SYNG-PQ-0250068. 11 Have you seen this before? 12 A. I don't believe I have. 13 Q. At the bottom it's got "Monkey study 14 being undertaken at Huntingdon." Do you see 15 that? 16 A. I do. 17 Q. Okay. What is Complan? 18 C-o-m-p-l-a-n. 19 A. I assume that that is the kind of 20 dietary substance that is used to, in humans 21 actually, to promote good digestion. 22 Q. Actually to add weight, too, right? 23 A. Mmm. Yes. Through better 24 digestion, yes. Mmm. 25 Q. Why was Complan being used as</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1305</p> <p>1 a carrier in paraquat and paraquat plus emetic 2 given to the dogs by oral gavage in the study? 3 A. I'm afraid I don't know the answer 4 to that. 5 Q. Okay. Using Complan as a carrier 6 would make the dosing solution thicker, or more 7 viscose, wouldn't it, if you understand the 8 characteristics of Complan? 9 A. It's possible, yes. Yes. 10 Q. Using Complan as a carrier would 11 keep the solution in the stomach longer, 12 delaying paraquat from reaching the parts of 13 the digestive system where it would be more 14 readily absorbed than it is in the stomach, 15 wouldn't it? 16 A. I don't know whether that would be 17 the case. I couldn't comment from a science 18 perspective. 19 Q. Well, let's walk it through. You're 20 the scientist and I know just a little bit 21 about it. If it's a thick, viscose material 22 that is highly adherent to the molecules of 23 paraquat, would it -- without adhering any 24 more, would it be likely to retain in the 25 stomach before passage into the small intestine</p> | <p style="text-align: right;">Page 1307</p> <p>1 BY MR. TILLERY: 2 Q. Okay. Using Complan as a carrier 3 would also increase the effectiveness of 4 vomiting if vomiting did occur, wouldn't it? 5 MR. NARESH: Objection to form, 6 foundation. 7 THE WITNESS: And the basis for you 8 saying that is what, may I ask? 9 BY MR. TILLERY: 10 Q. Reverse peristalsis, the methodology 11 by which it occurs is if there's volume in the 12 gut and reverse peristalsis occurs, it is 13 a muscular reaction that forces the contents of 14 the stomach upward, out through the esophagus, 15 out of the body? 16 A. Yes. 17 Q. If there's volume there it takes the 18 rest with it. You understand that part of it, 19 right? 20 A. Yes. I understand that, yes. 21 Q. Does that make sense to you? 22 A. That does, yes. 23 Q. All right. 24 MR. TILLERY: Let's go to 115. 25 This is a one-page document.</p> |
| <p style="text-align: right;">Page 1306</p> <p>1 the chemical paraquat? 2 A. Well, you've made an assumption 3 there that paraquat would essentially bind 4 to Complan and I don't know whether that 5 happens. 6 Q. Okay. So you don't know whether or 7 not it binds or not, right? 8 A. I don't know. 9 Q. Do you know anything else of -- 10 okay. 11 A. I don't know, sorry. 12 Q. If it does bind, if it turns out you 13 leave the deposition and you find out that 14 I'm right, that Complan actually binds with 15 paraquat, then using Complan as a carrier would 16 keep the solution in the stomach longer, 17 delaying paraquat from reaching the parts of 18 the digestive system, and that's primarily the 19 small intestine as you indicated, where it is 20 more readily absorbed than it is in the 21 stomach; correct? 22 MR. NARESH: Objection to form. 23 THE WITNESS: That is -- that is 24 a potential scenario, yes. 25 ///</p> | <p style="text-align: right;">Page 1308</p> <p>1 Can you display the whole thing so 2 he can see it. Yes, thank you. 3 Take your time reading this, 4 Doctor. It's Plaintiff's Deposition 5 Exhibit 115. 6 (Botham Exhibit 115 marked for 7 identification.) 8 THE WITNESS: Okay, I've read that. 9 BY MR. TILLERY: 10 Q. Okay. Dr. Rose -- strike that. 11 Dr. Smith tells Dr. Rose the reason 12 for using Complan is what? 13 "... in order to optimise the 14 effectiveness of vomiting." 15 Right? 16 A. That's what that says, yes. 17 Q. Did ICI ever intend to put Complan 18 in its formulated paraquat products? 19 A. I've never heard that before. 20 Q. Did ICI, to your knowledge, ever put 21 Complan in its formulated products? 22 A. I don't believe so. 23 Q. Does Syngenta currently put Complan 24 in its formulated paraquat products? 25 A. No.</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1309</p> <p>1 Q. Did ICI have reason to believe 2 people were ingesting Complan along with 3 paraquat in poisoning cases? 4 A. I doubt it. 5 Q. Okay. You had no anecdotal reports 6 they were drinking a British product called 7 Complan in America while they were also 8 ingesting, accidentally, a mouthful of 9 paraquat, were you? 10 A. No. 11 Q. All right. Then how well would 12 adding Complan to dosing solutions in animal 13 experiments help in modeling real-world 14 poisoning incidents? 15 MR. NARESH: Objection; form, 16 foundation. 17 THE WITNESS: Well, I'm not sure 18 exactly why this was done, but whilst 19 we have this -- because I've never seen 20 this before. 21 Whilst we're looking at this, I 22 would just point out the NB, which says 23 that: 24 "I understand the LD50 in monkeys 25 to be approximately 70 mgs/kg and when</p> | <p style="text-align: right;">Page 1311</p> <p>1 Q. Well, because they answered it for 2 you, Dr. Smith said, because it increases the 3 effectiveness of vomiting. It's a viscose 4 material. When you have convulsive effect from 5 vomiting, it increases the effectiveness. 6 That's what Dr. Smith said. That's 7 what he told Dr. Rose, didn't he? 8 A. Yeah, I think I'm still -- 9 MR. NARESH: Objection to form. 10 THE WITNESS: -- struggling to know 11 why that -- how that would be the case, 12 unless it was, in some way -- I don't 13 know if this is what you were referring 14 to, the presence of a bulk in the stomach 15 somehow helps the process of vomiting, 16 but I don't -- I honestly don't know what 17 that statement was based on. 18 BY MR. TILLERY: 19 Q. And you don't know one way or 20 another whether that's the case? 21 A. No, I really don't know. I'm 22 sorry, I wasn't involved in the research at 23 this stage. 24 Q. All right. But can you tell me how 25 adding Complan to dosing solutions in animal</p> |
| <p style="text-align: right;">Page 1310</p> <p>1 given in Complan to be approximately 2 80 mgs/kg." 3 Well, actually, as a toxicologist, 4 that's pretty much the same. That's 5 within the margin of error of those kind 6 of studies, so that suggests to me that 7 actually the presence of Complan doesn't 8 affect the toxicity of paraquat. 9 BY MR. TILLERY: 10 Q. Well, it says here it optimizes the 11 effectiveness of vomiting. Right? 12 A. Yeah, I guess I was reading that 13 out in answer to the discussion or to build on 14 the discussion we had a few moments ago, that 15 Complan does not necessarily -- and any 16 binding to paraquat doesn't necessarily seem 17 to have an effect on the toxicity of paraquat. 18 Q. Okay. 19 A. So as I haven't seen that before, 20 I thought I would just add that. 21 Q. If it doesn't do anything to the 22 analysis, then why were they adding it to the 23 test animals? Why? 24 A. I really don't -- I'm afraid, I'm 25 sorry, I really don't know why.</p> | <p style="text-align: right;">Page 1312</p> <p>1 experiments would help in modeling real-world 2 poisoning accidents? 3 A. I would say, this is just purely 4 hypothesis of opinion, it may be that in some 5 way it's trying to mimic the reality that when 6 human beings perhaps take paraquat, they will 7 have eaten a meal so their stomach might be -- 8 might contain undigested food. 9 Q. Is that your -- is that what you 10 think they were doing this for? 11 A. No, I'm giving you my potential 12 explanation. I honestly do not know why they 13 did it, what their explanation was. 14 Q. It actually wouldn't help; it would 15 hurt by making the experience unreflective of 16 real-world incidence, wouldn't it? Adding 17 Complan that doesn't exist -- or didn't exist 18 in the United States, adding that to these test 19 animals would create an experiment result that 20 would not be reflective of real-world 21 incidents, correct? 22 MR. NARESH: Objection to form, 23 foundation. 24 THE WITNESS: Real-world situations 25 would always be hugely variable, wouldn't</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1313</p> <p>1 they? What's in the stomach from one 2 individual to another will be very 3 variable, and so I don't know that you 4 can say what you're saying is true, but, 5 equally, I don't know whether they added 6 Complan in some way to compensate for 7 that. I think it's not possible for me 8 to comment any further. 9 BY MR. TILLERY: 10 Q. So according to this, Dr. Rose was 11 to conduct or have conducted animal studies in 12 part to estimate the dose-response relationship 13 of 63197, correct? 14 A. Yes. 15 Q. Is that right? 16 A. Well, this -- not the dose-response 17 of 63197. Excuse me, I was still reading this 18 document. This -- 19 Q. Actually, it'd be the 63197/PP796. 20 A. Yeah. What I've just -- what 21 I'm reading here, I'm just checking again my 22 understanding, is that they are -- I assume 23 that they've got a dose of emetic, PP796, 24 which they've added to the paraquat and they 25 have tested here two doses of paraquat.</p> | <p style="text-align: right;">Page 1315</p> <p>1 milligram-per-kilogram body weight than the 2 minimally lethal milligram-per-kilogram dose in 3 humans that was used in setting the 4 concentration of the emetic in Gramoxone? 5 A. So they were given -- just repeat 6 that, sorry, so I can understand that again. 7 Q. Do you agree that the animals in 8 these studies were given a far greater dose of 9 the emetic, in terms of milligrams per kilogram 10 of body weight, than the minimally lethal 11 milligram-per-kilogram dose in humans that was 12 used in setting the concentration of the emetic 13 in Gramoxone? 14 A. So what concentration of emetic in 15 Gramoxone do you think is being added to make 16 that comparison -- 17 Q. I'm asking -- yeah, I'm asking you 18 if this dose was far greater than the dose that 19 ended up in Gramoxone, by milligrams per 20 kilogram for these test animals. 21 A. I'm just trying to remember what 22 that dose is. So what dose do you think is 23 added? 24 Q. Actually, I don't have it in my mind 25 right now. We'll get to it down there.</p> |
| <p style="text-align: right;">Page 1314</p> <p>1 How they varied the emetic level, if at all, 2 I don't think you can see from this telex 3 here. 4 Q. Those studies were conducted in 5 pigs, dogs and primates having a vomit reflex, 6 correct? 7 A. That's the reason for choosing 8 those species, yes, of course, so that they're 9 able to vomit. 10 Q. Yes. 11 MR. TILLERY: Let's go to 116, 12 please. This is SYNG-02450705. Please 13 take a look at this one-page document, 14 sir. 15 (Botham Exhibit 116 marked for 16 identification.) 17 BY MR. TILLERY: 18 Q. If you wouldn't mind, as you read 19 this, take note of the amount of the emetic 20 that they were given. 21 A. Okay, so they were given 22 2 milligrams per kilogram of the emetic. 23 Q. Okay. Do you agree that the animals 24 in these studies were given a far greater dose 25 of the emetic in terms of</p> | <p style="text-align: right;">Page 1316</p> <p>1 Without that reference -- and I'm 2 not trying to test your recollection of it. 3 You don't know what it is? 4 A. I think I just need to be -- these 5 dose levels are often shown in different ways, 6 so, yeah, let's just make sure we understand 7 what that dose level in humans is. 8 Q. Okay. I don't have that number with 9 me right now so I really don't often -- I think 10 it's going to be apparent in the next line of 11 questions. 12 A. Okay. 13 Q. We can mark this back if you want. 14 Do you agree that the animals in 15 these studies were given a greater dose of the 16 emetic in relation to the dose of paraquat they 17 were given -- in other words, emetic to 18 paraquat -- than the dose of emetic in relation 19 to the dose of paraquat that was ultimately 20 decided to be included in Gramoxone? 21 A. Well, for the same reason, I think 22 it would be helpful to try and look at those 23 data as we move through this so I can be sure 24 that I'm answering -- 25 Q. All right. So we'll --</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1317</p> <p>1 A. -- that I can answer your question 2 accurately. 3 Q. We'll come back to 116, okay. 4 MR. TILLERY: Let's go to 117 at 5 this time. This is a multipage document. 6 If you would take a look at it, please. 7 (Botham Exhibit 117 for 8 identification.) 9 THE WITNESS: Okay. Yeah, 10 thank you, I can -- I'm familiar with 11 what this document is now. 12 BY MR. TILLERY: 13 Q. All right. This is correspondence 14 from ICI to Chevron enclosing a draft of 15 Dr. Rose's report regarding his emetic testing 16 in animals and his estimation of the emetic 17 dose for humans, correct? 18 A. That's right. 19 Q. At the second page, the report 20 states -- if we could pull up that second page 21 and display it -- that at 5 milligrams in 22 10 milliliters, 0.05%, it is estimated that 23 about 70% of those ingesting -- 24 A. Sorry, I can't see what you're now 25 reading.</p> | <p style="text-align: right;">Page 1319</p> <p>1 to you to look at. 2 The question I'm going to read -- 3 give you the question -- 4 A. Mmm. 5 Q. -- and then you can look for this 6 as I go through it, okay, as you go through the 7 exhibit. 8 A. Okay. 9 Q. This document here appears to be 10 related directly to the exhibit we just saw, 11 right? 12 A. Yes, I think that's probably true. 13 Q. And Dr. Cavalli we've talked about 14 several times, of Chevron, states in this 15 document that he had reviewed the studies 16 provided by ICI and was concerned: 17 "... [an] argument for 5 milligrams 18 being an effective emetic dose in man is weak 19 and still does not support the statement that 20 it will cause emesis in 85 percent by 15 21 minutes. I believe EPA will likely require 22 actual data regarding effectiveness of dose 23 recommended in humans." 24 Do you see that? 25 A. Yes.</p> |
| <p style="text-align: right;">Page 1318</p> <p>1 Q. All right. Let's go back to the 2 document. Let me see if I can give you the 3 exact -- actually -- yeah, where it says 4 "Summary." It should be page 3, 444. 5 Do you see the summary information, 6 sir? 7 A. Yes, I can see that, thank you. 8 Q. The report states that at 9 5 milligrams in 10 milliliters -- 10 A. Yeah. 11 Q. -- or 0.05%. Do you understand that 12 percentage, okay? 13 A. Yes. 14 Q. It is estimated that about 15 70 percent of those ingesting 10 milliliters of 16 the paraquat formulation will vomit within 17 an hour. Is that right? 18 A. Yes, that's what that says. 19 Q. All right. 20 MR. TILLERY: Now let's go to 118. 21 This is CUSA-00088433. 22 (Botham Exhibit 118 marked for 23 identification.) 24 BY MR. TILLERY: 25 Q. It's like a telex. We'll give it</p> | <p style="text-align: right;">Page 1320</p> <p>1 Q. And then Dr. Cavalli then suggests 2 a volunteer human trial to evaluate the 3 dose-response relationship for the emetic, 4 doesn't he? 5 A. Yes. 6 Q. That trial was never done, to your 7 knowledge, was it? 8 A. I have got no record of such 9 a trial having been done, no. 10 Q. All right. 11 MR. TILLERY: Let's go to 119. 12 (Botham Exhibit 119 marked for 13 identification.) 14 BY MR. TILLERY: 15 Q. Now, this is one lengthy, like 16 a legal-sized sheet that was provided to us, so 17 you'll probably have to go down the sheet when 18 you take charge. 19 A. Yeah, I've not got -- I don't have 20 charge of this document, sorry. 21 Q. Okay. 22 MR. TILLERY: Let's give it to him 23 and let him look at it. 24 BY MR. TILLERY: 25 Q. Actually, looking at just the first</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 1321</p> <p>1 half of the page for questions but take a look 2 at the whole thing. 3 A. Okay. Can you give me control, 4 please? 5 Q. Oh, I thought we did. It shows we 6 did. I'm sorry. 7 A. I can still only see the top of 8 this document. 9 MR. TILLERY: Why don't we just -- 10 let's move it forward for him. It's one 11 lengthy page. There we go. Just keep 12 moving it down slowly for him to see. 13 Keep going down now. If you go down 14 until where the 1 is at the top. There, 15 stop there for him. 16 THE WITNESS: Okay, I can see that 17 bit. 18 BY MR. TILLERY: 19 Q. I'm not going to ask about number 2 20 but we can show it to you in case you want 21 to see this. 22 MR. TILLERY: Advance it so he can 23 see the rest of the letter. That's the 24 end of it. 25 THE WITNESS: Okay.</p> | <p style="text-align: right;">Page 1323</p> <p>1 the evidence for it is certainly weak, a 2 responsible and reasonable step that a prudent 3 scientist would make under these circumstances, 4 in your opinion, sir? 5 A. Well, as it happens, I mean, 6 I relatively recently looked at those same 7 clinical data myself. I agree that with 8 today's standards of clinical trials you could 9 describe them as weak. They're not perfect, 10 but a reasonable estimate of effective dose 11 was and could still be made. So it's not 12 ideal but it was not -- not completely 13 unreasonable. 14 Q. When did you look at them? 15 A. I've looked at them a couple of 16 times in the last two years. 17 Q. Okay. What were the circumstances 18 by which you started looking at emetics data 19 back in the '70s? What caused you to do that? 20 A. Are you talking about me 21 personally? 22 Q. Yes. 23 A. I wasn't looking at -- 24 Q. Why did you start -- 25 A. Sorry, I didn't look at them in the</p> |
| <p style="text-align: right;">Page 1322</p> <p>1 BY MR. TILLERY: 2 Q. Okay? 3 A. Yeah, sure. 4 Q. All right. 5 MR. TILLERY: If you would go back 6 up to number 1, paragraph number -- 7 that's it right there. 8 BY MR. TILLERY: 9 Q. This is Dr. Rose's response 10 to Dr. Cavalli's concerns, isn't it? 11 A. Yes. 12 Q. And at 732, this first page here, 13 he admits that the clinical data is certainly 14 weak, doesn't he? 15 A. Yes. 16 Q. Does Dr. Rose go on to say: 17 "In the absence of hard evidence, 18 I have produced a draft report making the case 19 for addition at 5mgs in 10mls ... We believe 20 this case adequate for proposed European 21 registration." 22 Do you see that? 23 A. Yes, that's what that says. 24 Q. Is the belief that a regulator can 25 be convinced to accept a claim, even though</p> | <p style="text-align: right;">Page 1324</p> <p>1 1970s. 2 Q. No, I said -- you said you started 3 in the last couple of years looking at 4 documents and one of these documents date 5 back to the 1970s. 6 A. Yes. 7 Q. Why would you start -- why in the 8 world would you start looking at archival 9 emetics data? 10 A. Because we have been asked again 11 if we could look at the evidence for the 12 effectiveness of the emetic. 13 Q. Who was it that caused that? 14 Who asked again? 15 A. We were asked by a former employee 16 of ICI. 17 Q. And who was that? 18 A. Professor Jon Heylings. 19 Q. And why did he ask you to do this? 20 A. Because he felt that there were 21 other ways in which those clinical data could 22 have been interpreted. 23 Q. Okay. And he had a different 24 interpretation than who? 25 A. Than, for example, Dr. Rose.</p> |

| Page 1325 | Page 1327 |
|---|--|
| <p>1 Q. So did he have some position that 2 he took with your company? 3 A. Did who have -- did Dr. Heylings 4 have a physician? 5 Q. Yes, did he have something that was 6 on his mind that he -- 7 A. Oh, position -- 8 Q. -- wanted to share with Syngenta? 9 A. Sorry, your question was did 10 he have a position, not a physician? 11 Q. Yes, a position. 12 A. Yeah, yeah. Yes, he had a position 13 that he believed that an incorrect 14 interpretation had been made by Dr. Rose. 15 Q. Do you know or understand that 16 Dr. Cavalli from Chevron looked at the data 17 of 1976 and said that he didn't believe it 18 supported 5 milligrams per kilogram? Were you 19 aware of that? 20 A. No, I was not aware of that. 21 Q. Okay. 22 MR. TILLERY: Do you have the next 23 one, 742? Okay. Let's go to -- what 24 number's that? 120. 25 We'll go to Plaintiff's Exhibit</p> | <p>1 aren't you, sir? 2 A. You know, I don't know that I've 3 actually seen this particular document. 4 Q. This was sort of how it was 5 launched, wasn't it, worldwide, the emetic? 6 A. Well, that would suggest -- from 7 the title it would suggest so, yes. 8 Q. All right. This document indicates 9 that ICI intended to use its patents on PP796, 10 the compound itself, and in formulations with 11 paraquat to preclude competitors from entering 12 paraquat markets around the world, by 13 convincing regulators to mandate only emetic 14 formulation of paraquat, doesn't it? 15 A. Well, I have not -- you have not 16 pointed that out in the document so I can only 17 confirm if you'll allow me to do that. 18 Q. Right. Well, you know, let me just 19 say this, that if you're saying you don't know 20 the document, you don't understand that or 21 you've never learned of that or never known 22 it as the worldwide or chief of science, and 23 in all of the meetings you've had over 24 Dr. Heylings's complaints in his reports 25 worldwide about the number of deaths that have</p> |
| Page 1326 | Page 1328 |
| <p>1 No. 120, and that's SYNG-PQ-04262668 - 2 2695. 3 (Botham Exhibit 120 marked for 4 identification.) 5 THE WITNESS: Okay. 6 BY MR. TILLERY: 7 Q. Do you have -- 8 A. I can see it. Is there a 9 particular part of the document you would like 10 me to read? 11 Q. Actually, I don't think so. 12 This document sets forth ICI's 13 worldwide strategy for the introduction of 14 an emetic formulation of paraquat, doesn't it? 15 A. Yes, that's what that says. 16 Q. And this is the "Emetic Formulation 17 of Paraquat: Proposed Strategy For Introduction 18 Worldwide," EDC Paper No. 729. Correct? 19 A. Yes, correct. 20 Q. It says, at the top, "... Original 21 report by M.S. Rose as appendix," right? 22 A. Yes, that's correct. 23 Q. And this author is P. Slade, right? 24 A. Yes. 25 Q. You are familiar with this document,</p> | <p>1 occurred unnecessarily over the years from not 2 putting the appropriate emetic in this chemical 3 paraquat, you're telling me you've not looked 4 at this document, right? 5 A. I don't think I've seen -- 6 MR. NARESH: Object -- 7 THE WITNESS: -- this particular 8 document. 9 I'm sorry, Ragan's saying 10 something. 11 MR. NARESH: Please let me get my 12 objections in. 13 I'm objecting to the form of the 14 question. 15 Go ahead. 16 THE WITNESS: Yeah. So I do not 17 believe that I have read this specific 18 document. I don't recall having done so. 19 BY MR. TILLERY: 20 Q. Well then let me ask the question 21 this way. Did ICI intend to use its patents on 22 this emetic, which is referred to as PP796 23 here, which you identified is the same 24 compound, correct? 25 A. Correct.</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1329</p> <p>1 Q. Did it intend to use these patents 2 themselves in formulation with paraquat 3 to preclude competitors from entering the 4 paraquat markets around the world? 5 A. Well, I can't comment on that 6 commercial strategy. I certainly know that 7 it was important that PP796 could confer some 8 commercial advantage. That's as much as 9 I know from the information that I've been 10 given. 11 Q. And how would commercial advantage 12 be defined in that comment, sir? 13 A. Well, it could potentially include 14 what you just said, but these are discussions 15 that I was never a part of, and my recent 16 investigation of this literature really didn't 17 go into that -- into that part of the history. 18 Q. You wouldn't disagree with the 19 notion that this was included for paraquat 20 markets around the world as a formulated 21 product with this emetic to maintain control 22 over the paraquat market? You wouldn't 23 disagree with that, would you? 24 A. I would say that the main reason, 25 as we've been going through this this</p> | <p style="text-align: right;">Page 1331</p> <p>1 believed that the prospects of competitors 2 discovering suitable alternative emetics 3 to 796, PP796, were very remote? 4 MR. NARESH: Objection; foundation. 5 THE WITNESS: Again, I can't 6 comment. I don't know. 7 BY MR. TILLERY: 8 Q. Would you agree that ICI's goal in 9 introducing the emetic around the world, as set 10 forth in this document, was to leverage the 11 emetic to maintain ICI's monopoly on the market 12 for paraquat products after its patents on 13 herbicidal formulations of paraquat expired? 14 MR. NARESH: Objection; form, 15 foundation. 16 THE WITNESS: Well, as I believe 17 that I've never read this document, 18 I can't, equally, give you an accurate 19 answer to that question. 20 BY MR. TILLERY: 21 Q. Do you have any information from any 22 source that what I just asked you is wrong? 23 A. No, I don't have any information 24 which says that your interpretation is wrong. 25 MR. TILLERY: Okay. Let's go</p> |
| <p style="text-align: right;">Page 1330</p> <p>1 afternoon, was that we wanted to make paraquat 2 a safer product. 3 Q. Okay. Would you also include as one 4 of the reasons that by adding it in 5 formulations with paraquat and patenting it, 6 that it precluded competitors from entering the 7 paraquat markets around the world? 8 A. Well, I'm not an expert on the 9 implication of patenting on marketing, so, 10 you know, I don't think it's right that 11 I should speculate on an area which is not 12 in my area of expertise. 13 Q. Okay. Do you have any information 14 that says that I'm just wrong about that? 15 A. No, I'm not saying you're wrong. 16 I'm just not able, from my knowledge base, 17 to give you confirmation. 18 Q. Would you agree with me that ICI 19 believed the prospects of competitors 20 discovering suitable alternative emetics were 21 remote -- 22 A. Again, I can't -- 23 Q. -- suitable to -- strike that. 24 I'm striking the question. 25 Would you agree with me that ICI</p> | <p style="text-align: right;">Page 1332</p> <p>1 to 121. This is CUSA-00088398. 2 (Botham Exhibit 121 marked for 3 identification.) 4 BY MR. TILLERY: 5 Q. This is a one-page document so 6 she'll just display it for you, sir. I'll give 7 you a second to look at it and then I have some 8 questions. 9 A. Okay, I've read that. 10 Q. This is a letter to Chevron, 11 enclosing a copy of Dr. Rose's final report, 12 CTL/R/390, which is the report in which 13 Dr. Rose determined the concentration of PP796 14 that was ultimately included as an emetic in 15 paraquat products, correct? 16 A. Correct. 17 Q. In that report, Dr. Rose concluded 18 that the emetic concentration should be 19 0.5 grams per liter in the standard 200 gram 20 per liter Gramoxone product, correct? 21 A. Yes, that's correct. 22 MR. NARESH: Objection; foundation. 23 BY MR. TILLERY: 24 Q. He also concluded in that report 25 that this concentration would be expected</p> |

| Page 1333 | Page 1335 |
|---|--|
| <p>1 to produce vomiting within one hour in the 2 majority of those people ingesting such 3 quantity, which is the approximate minimum 4 lethal dose of Gramoxone in man, correct? 5 A. That's correct. 6 Q. If you look up at this document, 7 there's references to -- it says copies 8 to Waitt, Calderbank, Foulkes, Barratt, Smith, 9 Litchfield. Who are those people? 10 A. Well, starting from the bottom, 11 Dr. Litchfield was a product toxicologist in 12 CTL. Dr. Smith is the same Dr. Lewis Smith 13 we have mentioned various -- many times. 14 Dr. Barratt, I don't know who he was, but 15 clearly it says he was in the United States 16 for ICI. Dr. Foulkes we've talked about. 17 Dr. Calderbank was in the plant protection 18 division of ICI in Jealott's Hill. And 19 Mr. Waitt, again, I'm not sure what his role 20 was. 21 Q. Okay. He also concluded in the 22 report that this concentration -- I'm sorry, 23 strike that. 24 The conclusion that Dr. Rose reached 25 was based on dose-response curves that he said</p> | <p>1 selecting data to support a desired conclusion 2 cherry-picking, don't they? 3 MR. NARESH: Objection to form, 4 foundation. 5 THE WITNESS: Yes, that sometimes 6 is an accusation. 7 BY MR. TILLERY: 8 Q. Okay. It's a practice that good 9 scientists frown upon, don't they? 10 A. It is. 11 Q. What Dr. Rose did was 12 cherry-picking, wasn't it? 13 A. Well, as we -- as you now have had 14 confirmed by me, I've looked at those data 15 with other colleagues more recently and we -- 16 we actually think that Dr. Rose made a not 17 unreasonable job of trying to find an 18 appropriate dose response from those very -- 19 I mean, they were described as weak data 20 earlier. They were not particularly good data 21 to try and do that. 22 Q. Was he cherry-picking or not? 23 Can you answer straight up yes or no? 24 A. My judgment is that he was not 25 cherry-picking.</p> |
| Page 1334 | Page 1336 |
| <p>1 showed that ICI 63197/PP796 was ten times more 2 potent in man than in the three other vomiting 3 animal species studied, correct? 4 A. Yes, indeed, that is correct. 5 Q. All right. And -- we'll wait until 6 you're finished there, sir. 7 A. Yeah, okay. 8 Q. Are you -- 9 A. I'm just making -- checking so 10 I've got a record of some of these numbers. 11 Do go ahead. 12 Q. All right. Do you need to consult 13 some other document to -- 14 A. No, no, no, I'm just making sure 15 I've got some of these figures to hand that 16 we were talking about. 17 Q. All right. Okay. The human data on 18 which Dr. Rose based the human dose response 19 curve was some but not all of the data on 20 vomiting as a side effect collected during the 21 volunteer trials, and some but not all of the 22 data on vomiting as a side effect collected 23 in the ICI pharmaceutical trials, wasn't it? 24 A. That's correct. 25 Q. Scientists call the practice of</p> | <p>1 Q. Okay. That's what I needed to hear, 2 one way or nothing. 3 There was no other human data on 4 which Dr. Rose relied, was there? 5 A. I'm not aware of any other human 6 data, no. 7 Q. No. For the main global product 8 Gramoxone, containing 200 grams per liter of 9 paraquat ion, ICI followed Dr. Rose's 10 suggestion and set the emetic concentration at 11 0.05 gram per liter, or 0.05 percent, correct? 12 A. Did we not just say 0.5, not 0.05? 13 Q. It's 0.05 percent, 0.5 gram per 14 liter. 15 A. Yes, yeah, I thought you'd -- 16 I think you'd said 0.5 -- 17 Q. The same measure -- it's the same 18 measurement. 19 A. Yeah. 20 Q. If I misspoke, it's -- 21 A. I think you -- yeah, 0.5 grams per 22 liter, yes. 0.05 percent. 23 Q. 0.5 grams per liter is the same as 24 0.05 percent? 25 A. That's correct, yes.</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1337</p> <p>1 Q. Okay. All right. 2 The Rose report, an internal ICI 3 report that was never actually published, 4 is cited in the open literature, isn't it? 5 A. Yes, it is. 6 Q. For example, the Onyan and Bullins 7 Human Toxicology, as evidence for the effective 8 dose of an emetic in man, isn't it? 9 A. It is. 10 Q. Okay. The report was among data 11 Chevron submitted to the United States EPA that 12 helped convince the EPA not to put paraquat on 13 a list of products subject to a rebuttable 14 presumption against registration, wasn't it? 15 A. That bit, I'm not sure that I knew 16 that directly. 17 Q. Were you aware of the fact that the 18 number of deaths from ingestion had caused the 19 United States EPA to consider putting paraquat 20 on the list of rebuttable -- the list of 21 products subject to a rebuttable presumption 22 against continued registration in the 1970s? 23 A. No, because I wasn't around in 24 the company at that time. 25 Q. Okay. In deciding not to put</p> | <p style="text-align: right;">Page 1339</p> <p>1 these together. Look at the first one and then 2 pull up the second one. 3 A. The first one is a 643-page 4 document. 5 Q. That's correct. And what we're 6 trying to do is just direct your attention 7 to the beginning so you understand and can 8 identify it. 9 And these exhibits, for your 10 reference, sir, comprise Chevron's application 11 for an exemption from tolerance for the 12 inclusion of PP796 as an inert ingredient in 13 paraquat formulations. 14 If you would look at that and 15 confirm. 16 A. Right, well, I'm reading -- 17 Q. This is -- 18 A. I'm reading page 3. Is that the 19 place where I would find that? 20 Q. Actually, yes. And if you look at 21 page -- I think it's 015 in the first exhibit, 22 you'll see, I think, that's the third page. 23 It says to the United States -- to the 24 Environmental Protection Agency, April 1, 1977. 25 A. Okay.</p> |
| <p style="text-align: right;">Page 1338</p> <p>1 paraquat on that list, they call it the RPAR 2 list, the EPA required that the emetic be 3 included in Chevron's paraquat products, didn't 4 it? 5 A. Again, I can't confirm that because 6 I wasn't around at the time, I haven't seen 7 the documentation. 8 Q. Okay. 9 MR. TILLERY: Let's go to the next 10 exhibit. What number would that be? 11 122. We're going to 122 and 123. 12 We'll go to 122. This is 13 SYNG-PQ-01858013. 14 (Botham Exhibit 122 marked for 15 identification.) 16 MR. TILLERY: Okay. We also ought 17 to -- I think these are to be used 18 together. He'll have to look at 19 number 123 at the same time, and this is 20 exhibit SYNG-PQ-01857812, and we'll call 21 it Plaintiff's Deposition Exhibit 123. 22 (Botham Exhibit 123 marked for 23 identification.) 24 BY MR. TILLERY: 25 Q. So we're going to have you look at</p> | <p style="text-align: right;">Page 1340</p> <p>1 Q. Do you see that? 2 A. You've taken control now so could 3 you just go to the right page, please? 4 Q. We'll open it -- yes, we will. 5 MR. TILLERY: This page right here. 6 It's -- it would be -- there's a blank 7 and then this page, it'll be page 3. 8 BY MR. TILLERY: 9 Q. There we go. 10 A. Mmm-hmm. Okay, thank you. 11 Q. So "We are interested in obtaining 12 clearance for ..." and then it describes the 13 chemicals, and it describes this particular 14 inert as "an inert ingredient for [inclusion] 15 use as an emetic at not more than 0.1% in 16 paraquat dichloride herbicide formulations." 17 Do you see that? 18 A. Yes, I do. 19 Q. And this was done by Chevron 20 Chemical Company? 21 A. Yeah. 22 Q. Okay. And I'll ask you if the 23 support for the application includes the Rose 24 report and about 19 other ICI reports. 25 I think you'll find those, if you</p> |

| Page 1341 | Page 1343 |
|---|---|
| <p>1 want to reference them, on the listing of 2 documents on pages -- if you go to 020, which 3 is right here, list of documents, and 021. 4 MR. NARESH: Steve, do you want him 5 to confirm the Rose report or the 6 number 19? 7 MR. TILLERY: Actually, he can do 8 them all at the same time. 9 BY MR. TILLERY: 10 Q. And there's no mystery about it. 11 I'm just asking you to confirm what's in the 12 document. I'm not asking you to interpret it, 13 Dr. Botham, okay. 14 A. Okay. 15 MR. TILLERY: Is that the first 16 page, "Listing of Documents"? 020? 17 If you go up just a little bit, take that 18 down just a tiny bit. There you go. 19 More. 20 BY MR. TILLERY: 21 Q. Okay, "Title of Document." "Listing 22 of Documents," and it's got "Title of Document" 23 and the first one, "Submission of Evidence to 24 [the] Committee on Study of Drugs Prior to the 25 Introduction to Humans of I.C.I. 63,197 ...</p> | <p>1 next one below it, "A Summary of Clinical 2 Results of the Phosphodiesterase Inhibitor ..." 3 Imperial Chemical Industries Limited. 4 The next one, "The Concentration of 5 PP 796 ..." 6 Do you see that? 7 A. Yes. 8 Q. Next one, "The Emetic Effects of ICI 9 63,197 ..." 10 Do you see that? 11 A. Yes. 12 Q. These are documents that are created 13 by ICI that Chevron used when they made this 14 filing to the United States EPA for an order of 15 clearance for listing this emetic in paraquat, 16 for use in paraquat, right? 17 A. Okay, yes, fine with that. 18 Q. All right. 19 Would it have cost more to include 20 a larger concentration of the emetic in 21 paraquat products? 22 MR. NARESH: Objection; foundation. 23 THE WITNESS: I'm not an expert on 24 those matters, but, yeah, common sense 25 would tell you probably.</p> |
| Page 1342 | Page 1344 |
| <p>1 Chemistry and Pharmacy. Imperial Chemical 2 Industries Limited. July 1970." 3 Do you see that? 4 A. Yes. 5 Q. And then go to the -- push the page 6 up a little further. And if you go to the 7 bottom of the page, 8A, do you see that? 8 A. Yes. Yes. 9 Q. There. Thank you. All right. 10 That's I.C.I. 63,197. Volume III; I.C.I. 11 63,197 Volume IV; Paraquat Emetic Formulation. 12 Do you see that? 13 A. Yes. 14 Q. Okay. Let's go to the next page. 15 The first item, "The Effect of administration 16 of an Emetic (PP 796) on Paraquat Toxicity in 17 Dog and Monkey" by M.S. Rose, Report No. 18 CTL/R/391. November 1976." 19 Do you see that? 20 A. Yes. 21 Q. Then let's take the page up a little 22 further. And then you see where it says "ICI 23 63,197"? 24 A. Yes. 25 Q. That's another ICI document. The</p> | <p>1 BY MR. TILLERY: 2 Q. Was Zeneca, another corporate 3 predecessor, another name of one of the 4 entities preceding the formation of Syngenta? 5 A. It was. 6 MR. NARESH: Objection to the form. 7 MR. TILLERY: Okay. 8 MR. NARESH: Could you rephrase 9 that question, please? 10 MR. TILLERY: Absolutely. 11 BY MR. TILLERY: 12 Q. Was Zeneca another corporate 13 predecessor of Syngenta; and you said yes, 14 okay? 15 MR. NARESH: I'll object to the 16 form. 17 And Steve, we have reached an 18 agreement on discovery on topics like 19 this, and so I'd asked you to -- 20 MR. TILLERY: Yeah, yeah. I'm just 21 confirming for this record because 22 I'm going to ask him about Zeneca, okay. 23 MR. NARESH: Yeah, just ask him if 24 it's the name. 25 MR. TILLERY: Right.</p> |

Page 1345

1 BY MR. TILLERY:
2 Q. So in 1990, Zeneca or Syngenta
3 estimated it would cost an additional
4 £30 million per year to include an emetic dose
5 ten times higher than the dose Dr. Rose and ICI
6 settled on in 1976, didn't it?
7 A. I don't have that information
8 to hand to confirm.
9 Q. Did you find that when you went back
10 and looked at these historical documents
11 recently --
12 A. I --
13 Q. -- after Dr. Heylings paid you
14 a visit?
15 A. Yeah, I made -- I think probably
16 one of my colleagues looked at that particular
17 document. I was focusing on the technical
18 documents.
19 Q. Okay.
20 A. So, yes, I think the answer is it
21 was part of the information we were looking at
22 again. I won't disagree with you.
23 Q. Okay. ICI's patents on the emetic
24 formulations of paraquat made it commercially
25 beneficial for ICI to lobby regulators to

Page 1346

1 require an emetic in all paraquat products,
2 didn't it?
3 MR. NARESH: Objection; foundation,
4 form.
5 THE WITNESS: Yeah, the principal
6 reason to add emetic was to improve the
7 safety of paraquat. I think that needs
8 to be reasonably stated.
9 BY MR. TILLERY:
10 Q. Well, do you agree with me -- the
11 second document that we had in that list,
12 of course, was Exhibit 123, and that is
13 SYNG-PQ-01857812, and that's a document
14 entitled -- well, we'll look at it in a second.
15 I want you to identify it.
16 Let's go back to my question. ICI's
17 patent on 63197 and on emetic formulations of
18 paraquat made it commercially beneficial for
19 ICI to lobby regulators to require an emetic in
20 all paraquat products, didn't it?
21 MR. NARESH: Objection; form,
22 foundation.
23 THE WITNESS: It may have done but
24 I've not -- have never been involved in
25 those commercial discussions so I can't

Page 1347

1 personally confirm what you've said one
2 way or the other.
3 BY MR. TILLERY:
4 Q. But you also can't deny it either,
5 can you, sir?
6 A. No, I can't deny it.
7 Q. Okay.
8 Now, let's look at that next
9 exhibit, if you'd pull that up. If you would,
10 just look at the next page. This is a
11 July 1970 document. And the only reason
12 I raise it is for you to tell me what the
13 document is and to confirm that it's the
14 intellectual property protection for that
15 emetic?
16 MR. NARESH: Objection to form;
17 foundation, scope.
18 THE WITNESS: Well, I can't see
19 what that is from the --
20 MR. TILLERY: Can you give it to --
21 THE WITNESS: -- page that I can
22 see.
23 BY MR. TILLERY:
24 Q. She's turning it over to you now,
25 sir.

Page 1348

1 If you just take a look at it and
2 then I'm going to direct your attention
3 to a report that's referenced within that
4 document.
5 A. So I still can't see it.
6 Q. Oh, you can't see the document,
7 okay. It may be too large. It's a very large
8 document. The title of the document is
9 "ICI 63197."
10 MR. TILLERY: If you go to the next
11 page -- oh, I'm sorry. To the next page,
12 I apologize. Yes, there it is.
13 BY MR. TILLERY:
14 Q. This is what we have in the
15 document. SYNG-PQ-01857814 is the page we're
16 referring to and displaying on the record right
17 now. It says, "Volume II - Pharmacology and
18 Biochemistry."
19 I believe this was one of the
20 documents contained in the file and referenced
21 in that filing with the United States EPA that
22 you just looked at and confirmed for me, okay.
23 MR. TILLERY: Now, if we could --
24 if you can find a way to get to 7957 and
25 the following page, I just want to show

| | |
|--|---|
| <p style="text-align: right;">Page 1349</p> <p>1 those to Dr. Botham. 2 BY MR. TILLERY: 3 Q. Okay, do you see that? 4 A. Yes, I do. 5 Q. "The concentration of PP 796 6 required to produce emesis in experimental 7 animals and an estimation of the emetic dose in 8 man - M.S. Rose." That was one of the 9 documents filed. 10 Let's go to the next page, which is 11 the summary. 12 A. Yes, I can see that. 13 Q. It says -- would you read that into 14 the record, please. 15 A. "From the limited evidence of 16 clinical trials and data from experimental 17 animals, it is concluded that PP 796 should be 18 added to paraquat formulations at a level of 19 5mg in 10ml (0.05%). It is estimated that the 20 majority of those ingesting 10ml of this 21 formulation will vomit within an hour." 22 Q. And is it still your belief that one 23 hour saves the average person if the chemical 24 stays in their body for an hour? 25 A. No, we don't say that that would</p> | <p style="text-align: right;">Page 1351</p> <p>1 think -- 2 A. Well, of course it's -- 3 Q. -- Gee, this stuff gets in your 4 brain. It gets in your brain when you use 5 it as intended, it passes through the 6 blood-brain barrier, it hangs around in the 7 brain for a long time, it redox cycles, 8 if people accidentally ingest it, there's 9 no antidote. What's this all add up to? 10 You think you ought to be selling -- 11 MR. NARESH: Object to the form. 12 BY MR. TILLERY: 13 Q. You think you ought to be selling 14 this product, Dr. Botham? 15 A. This product can be sold -- 16 THE STENOGRAPHER: Sorry. 17 Sorry, Mr. Naresh, I saw your lips 18 move but I didn't hear you. Sorry. 19 MR. NARESH: I said objection to 20 form. 21 THE STENOGRAPHER: Thank you. 22 MR. TILLERY: Okay. 23 THE WITNESS: Okay. 24 This product, of course, 25 is dangerous, particularly with respect</p> |
| <p style="text-align: right;">Page 1350</p> <p>1 save everybody who invested paraquat. Again, 2 it depends how much they take, how much they 3 weigh, and so on; so we wouldn't make that 4 claim, certainly not. 5 Q. But if you put more emetic in, 6 they'd certainly vomit faster, wouldn't they? 7 A. That's a possibility. 8 Q. Yes. So the more emetic you put in, 9 the quicker they vomit, the less chance it is 10 for them to die; is that right? 11 A. Yes, although it's not quite as 12 straightforward as that because if you put 13 too much emetic in, then actually you can get 14 what's called uncontrolled vomiting. 15 And actually, you would then -- what happens 16 is that you can then -- in the regurgitation 17 process, you can start to inhale the vomit, 18 so there are -- and that can cause severe 19 problems, so you have to be very careful. 20 Q. This sounds like a product that's 21 just incredibly dangerous, doesn't it? 22 MR. NARESH: Objection to form. 23 BY MR. TILLERY: 24 Q. Doesn't it to you? Doesn't it 25 to you, Dr. Botham? Doesn't it make you</p> | <p style="text-align: right;">Page 1352</p> <p>1 to its acute toxicity, if it is 2 accidentally consumed or if it is 3 deliberately consumed. 4 We've taken enormous steps, made 5 enormous investment to try to avoid that 6 situation by adding things like the 7 emetic but also all the labels that we've 8 touched on before, all the training 9 that's used for farmers and growers. 10 So we have gone to great lengths to make 11 sure that it's possible to use this very 12 important herbicide safely. 13 BY MR. TILLERY: 14 Q. And have you added the amount of 15 emetic that Dr. Heylings says you should add? 16 A. No, because it's not as simple as 17 saying that Dr. Heylings's judgment of what 18 that level is necessarily being the right 19 level, and that's the more recent analysis 20 that we've been doing and have confirmed that 21 what Dr. Heylings has proposed is not 22 necessarily a way in which you can make 23 paraquat an awful lot safer. 24 MR. TILLERY: I move to strike the 25 answer as unresponsive.</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 1353</p> <p>1 BY MR. TILLERY:</p> <p>2 Q. Have you added the amount of emetic</p> <p>3 that Dr. Heylings said you should add --</p> <p>4 A. No --</p> <p>5 Q. -- can you tell me yes -- all right,</p> <p>6 thank you.</p> <p>7 I move to the next page, past</p> <p>8 Summary, and that's 959, if you look at that.</p> <p>9 Do you see the paragraph:</p> <p>10 "When PP 796 is included in</p> <p>11 a paraquat formulation in the amounts that will</p> <p>12 cause emesis within 1 hour in dogs and monkeys,</p> <p>13 the toxicity of the formulation in these</p> <p>14 species is reduced ... In order to reduce the</p> <p>15 toxicity of the paraquat formulation in man,</p> <p>16 therefore, it would be necessary to add</p> <p>17 sufficient PP 796 to cause emesis, in a volume</p> <p>18 of paraquat concentrate that would normally</p> <p>19 be lethal if ingested. A volume of 10ml of the</p> <p>20 20% ... paraquat concentrate is considered to</p> <p>21 be the smallest amount containing a possible</p> <p>22 lethal [dose] of paraquat to man ..."</p> <p>23 Is that all correct? Do you agree</p> <p>24 with --</p> <p>25 A. That's all --</p> | <p style="text-align: right;">Page 1355</p> <p>1 A. Yes.</p> <p>2 Q. Okay. And:</p> <p>3 "[The] clinical studies (Bayliss,</p> <p>4 1973) have indicated that man is more sensitive</p> <p>5 to the emetic effects of PP 796 than the</p> <p>6 experimental animal studied, emesis being seen</p> <p>7 with doses in the range of 0.03-0.11mg of</p> <p>8 PP 796 per kilogram body weight (equivalent to</p> <p>9 total doses in the range 2-8mg)."</p> <p>10 Do you see that?</p> <p>11 A. Yes.</p> <p>12 Q. And you see the rest of that</p> <p>13 paragraph, to the bottom of the page. Do you</p> <p>14 see that?</p> <p>15 A. Yes.</p> <p>16 Q. I want you to read it and tell me</p> <p>17 when you're finished.</p> <p>18 A. Yes.</p> <p>19 MR. TILLERY: Now give him the next</p> <p>20 page, put the next page on the screen.</p> <p>21 BY MR. TILLERY:</p> <p>22 Q. Now I want you to read that page and</p> <p>23 tell me if that's all correct information as</p> <p>24 well, and that which Syngenta stands behind</p> <p>25 today, after conducting all of this</p> |
| <p style="text-align: right;">Page 1354</p> <p>1 Q. -- that, sir?</p> <p>2 A. Yes, that's correct.</p> <p>3 Q. And the next paragraph:</p> <p>4 "An emetic dose in dogs, monkeys and</p> <p>5 pigs has been obtained in PP 796 over dose</p> <p>6 range of 0.1-1.0 mg/kg body weight. On this</p> <p>7 basis a dose of 2 mg/kg was chosen as one that</p> <p>8 would clearly ensure vomiting in dogs and</p> <p>9 monkeys, and this dose was, therefore, used for</p> <p>10 studying the effect of emesis on paraquat</p> <p>11 toxicity in these species ..."</p> <p>12 Do you stand behind the accuracy</p> <p>13 of that statement today, sir?</p> <p>14 A. Yes, we do.</p> <p>15 Q. Okay. And after you're doing all</p> <p>16 your research and all your analysis of</p> <p>17 Dr. Rose's work and his analysis of the Bayliss</p> <p>18 study, correct?</p> <p>19 A. Here we're talking about --</p> <p>20 Q. You stand behind that?</p> <p>21 A. Yeah, yeah, we're talking here</p> <p>22 about the study in dogs and monkeys.</p> <p>23 Q. Okay. And I'm talking about all</p> <p>24 of the information he referenced that included</p> <p>25 this, you're standing behind it today, right?</p> | <p style="text-align: right;">Page 1356</p> <p>1 investigation into the allegations made by</p> <p>2 Dr. Heylings.</p> <p>3 A. Yes. So we've looked at those</p> <p>4 clinical trials' data again, as you indicate,</p> <p>5 and as I mentioned earlier on, and those data,</p> <p>6 whilst not being great data to try to come</p> <p>7 to an accurate conclusion on this, certainly</p> <p>8 not by modern-day standards of clinical trial</p> <p>9 data, we were not able to say that -- well,</p> <p>10 what we -- putting it another way, we were</p> <p>11 able to say that Dr. Rose's interpretation</p> <p>12 was not unreasonable.</p> <p>13 Q. Okay. To answer my question</p> <p>14 directly, you stand behind these words by</p> <p>15 Dr. Rose, right, today, and his analysis of the</p> <p>16 Bayliss data and all of the information he had?</p> <p>17 You stand behind it?</p> <p>18 A. We are saying that it was not</p> <p>19 unreasonable but we equally say, because of</p> <p>20 the nature of those data, we can understand</p> <p>21 why Dr. Heylings, for example, said, well,</p> <p>22 you could interpret it a little bit</p> <p>23 differently.</p> <p>24 But, you know, this is because the</p> <p>25 data was essentially a little weak.</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 1357</p> <p>1 Q. Okay. What I'm saying to you is --</p> <p>2 strike that.</p> <p>3 I'm asking you, do you or don't you</p> <p>4 as a corporation -- you're speaking for</p> <p>5 Syngenta -- stand behind these statements of</p> <p>6 Dr. Rose in his calculation? Do you or don't</p> <p>7 you?</p> <p>8 A. Yes, I do --</p> <p>9 Q. All right. Thank you. Let's move</p> <p>10 on. You said you did. Let's move on.</p> <p>11 Let's go to the next page.</p> <p>12 (Stenographer interruption.)</p> <p>13 MR. NARESH: I wanted to note for</p> <p>14 the record my standing objection on scope</p> <p>15 to this line of questioning.</p> <p>16 THE STENOGRAPHER: Thank you very</p> <p>17 much.</p> <p>18 BY MR. TILLERY:</p> <p>19 Q. Your answer was "Yes, I do," wasn't</p> <p>20 it, sir?</p> <p>21 A. Yes, we can stand behind that data</p> <p>22 after our recent analysis.</p> <p>23 THE STENOGRAPHER: Thank you.</p> <p>24 BY MR. TILLERY:</p> <p>25 Q. Let's go to the next page. It's</p> | <p style="text-align: right;">Page 1359</p> <p>1 This is Plaintiff's Exhibit 124 and</p> <p>2 it is SYNG-PQ-13098668.</p> <p>3 MR. NARESH: Steve, we have been</p> <p>4 going for about an hour and 20. I would</p> <p>5 like to take at least one -- well, one</p> <p>6 more break before we end the deposition</p> <p>7 in about an hour. So I don't know if now</p> <p>8 is a good time or if you want to ask</p> <p>9 questions about this document but I would</p> <p>10 like to take -- I don't want to power</p> <p>11 through for 2.5 hours.</p> <p>12 MR. TILLERY: Here's what I've got.</p> <p>13 I would like to get through this today,</p> <p>14 if we can, so we can, before we move on,</p> <p>15 finish this topic area. It's not much,</p> <p>16 okay. So let me get through it --</p> <p>17 MR. NARESH: I don't want the</p> <p>18 witness to go two and a half hours</p> <p>19 without a break.</p> <p>20 MR. TILLERY: Yeah.</p> <p>21 MR. NARESH: We've been going for</p> <p>22 an hour and 20 without a break so we</p> <p>23 could break now or we could break in ten</p> <p>24 minutes --</p> <p>25 MR. TILLERY: We can break right</p> |
| <p style="text-align: right;">Page 1358</p> <p>1 before you, Table 1. The same question to you.</p> <p>2 Do you stand behind that data and those numbers</p> <p>3 that were submitted by Dr. Rose? The emetic</p> <p>4 action of PP796, do you stand behind those</p> <p>5 today after conducting this evaluation?</p> <p>6 A. Well, yes, we did a check to make</p> <p>7 sure that the accuracy of those data -- these</p> <p>8 are not interpreted data, these are</p> <p>9 essentially raw data, so they appear to be</p> <p>10 sound.</p> <p>11 Q. I'm sorry? So you stand behind</p> <p>12 those? I'm just asking you, you stand --</p> <p>13 A. Yeah, yeah.</p> <p>14 Q. And you conducted --</p> <p>15 A. I mean, these are the data that</p> <p>16 were generated so they've not been manipulated</p> <p>17 or interpreted in any way.</p> <p>18 Q. Okay. And who did this analysis at</p> <p>19 Syngenta?</p> <p>20 A. It was led by my colleague,</p> <p>21 Dr. Kim Travis.</p> <p>22 Q. Okay.</p> <p>23 MR. TILLERY: All right. We're</p> <p>24 moving to -- what page -- what paragraph?</p> <p>25 124? I'm sorry, what exhibit? 124.</p> | <p style="text-align: right;">Page 1360</p> <p>1 now but I'd like to get through this</p> <p>2 today. So we'll break very quickly,</p> <p>3 okay, thank you.</p> <p>4 THE VIDEOGRAPHER: We are going off</p> <p>5 the record. The time is 4:56.</p> <p>6 (Off the record.)</p> <p>7 THE VIDEOGRAPHER: We are back on</p> <p>8 the record. The time is 5:04.</p> <p>9 BY MR. TILLERY:</p> <p>10 Q. Dr. Botham, I'd like to direct your</p> <p>11 attention to Exhibit 124.</p> <p>12 (Botham Exhibit 124 marked for</p> <p>13 identification.)</p> <p>14 MR. TILLERY: This is</p> <p>15 SYNG-PQ-13098668.</p> <p>16 BY MR. TILLERY:</p> <p>17 Q. And, just very briefly, if you look</p> <p>18 at this, it's from -- it's an ICI document, if</p> <p>19 you can verify that, from the business area,</p> <p>20 P. Slade.</p> <p>21 Do you see that?</p> <p>22 A. I do.</p> <p>23 Q. Okay. And the date of this is what?</p> <p>24 A. 30 June 1976.</p> <p>25 Q. 1976. If you'd go to the second</p> |

Page 1361

1 page -- actually, just familiarize yourself
 2 with it. I want to make sure you understand
 3 what it's about. It's talking about an emetic
 4 formulation, isn't it?
 5 A. Yes.
 6 Q. If you look at the second paragraph,
 7 it says:
 8 "The planned rate of addition of
 9 the emetic agent should ensure that 80% or more
 10 of the people who ingest [it] will vomit. The
 11 present indication is that this rate of
 12 addition will add approximately 6.5p ..."
 13 What is that?
 14 A. It's a UK pence, so it's .65 of --
 15 0.065 of a pound.
 16 Q. "... to the cost of a litre of
 17 'Gramoxone' ..."
 18 Okay? Do you see that?
 19 A. Yes.
 20 Q. All right. Then let's go to the
 21 second page, first paragraph. Look at the last
 22 sentence. Read it, take your time, but I want
 23 to direct your attention to the first full
 24 paragraph, where it says "We believe ..."
 25 Do you see that?

Page 1362

1 A. Yes, I can see that.
 2 Q. All right. Am I reading this
 3 correctly when I say:
 4 "We believe that we should plan for
 5 a worldwide introduction as soon as possible.
 6 It is hoped that supplies of the emetic
 7 formulation will not be limited beyond 1977 but
 8 we should in any case establish which countries
 9 have priority for its introduction. In some
 10 cases, of course, delay in registration may be
 11 the limiting factor, [but] we shall need to
 12 know what is required for registration country
 13 by country."
 14 And then here is the key sentence
 15 I want you to focus on:
 16 "Needless to say, registration of an
 17 emetic formulation as the only permitted
 18 paraquat product would be highly desirable and
 19 we need to determine in which countries this
 20 might be achieved."
 21 Do you see that?
 22 A. I do.
 23 Q. Is that a verification of what I was
 24 asking you before, that --
 25 MR. NARESH: Object --

Page 1363

1 BY MR. TILLERY:
 2 Q. -- making this the only permitted,
 3 legally permitted, paraquat product to sell
 4 would be a very significant business
 5 achievement, correct?
 6 A. Yeah, I think --
 7 MR. NARESH: Objection; form,
 8 foundation.
 9 THE WITNESS: I think -- I think
 10 we agreed that I couldn't disagree with
 11 you.
 12 BY MR. TILLERY:
 13 Q. All right.
 14 ICI's US patent on paraquat as
 15 a herbicide expired February 1998.
 16 Did you know that?
 17 A. No, I don't think I did know that.
 18 Q. You wouldn't disagree with that,
 19 would you?
 20 A. Again, no, I'm happy to take your
 21 word for that.
 22 Q. So that was about two years after
 23 Mr. Slade made these statements, right?
 24 A. Yes.
 25 Q. So that would mean that by adding

Page 1364

1 this as the only lawful emetic in a formulated
 2 paraquat product, that it would extend the
 3 patent protection and the monopoly of the sale
 4 of paraquat in the United States for 17 more
 5 years, right?
 6 MR. NARESH: Objection; form,
 7 foundation.
 8 THE WITNESS: Again, I'm not an
 9 expert in the detail of this, so -- but
 10 what you say does not sound unreasonable.
 11 BY MR. TILLERY:
 12 Q. Okay. And eventually, of course,
 13 US regulators did indeed require that all
 14 paraquat products contain an emetic in the
 15 United States, right?
 16 A. Yes, that's my understanding.
 17 Q. And have you seen any patented
 18 emetic other than the one that you have?
 19 A. Not to my -- in my experience, no.
 20 Q. Okay.
 21 Who is Jon Heylings?
 22 A. Jon Heylings was an investigative
 23 toxicologist in the Central Toxicology
 24 Laboratory.
 25 Q. Has Dr. -- do you pronounce

| | |
|---|--|
| <p style="text-align: right;">Page 1365</p> <p>1 it Hay-lings [phonetics], sir?</p> <p>2 A. It's Hay-lings [phonetics], yes.</p> <p>3 Q. I'm sorry. I was mispronouncing it.</p> <p>4 Has Dr. Heylings reported to Syngenta</p> <p>5 management that he believed the human trial</p> <p>6 data ICI and Chevron used to substantiate the</p> <p>7 effective dose of ICI 63197 and PP796 was</p> <p>8 fabricated?</p> <p>9 MR. NARESH: And just for an</p> <p>10 abundance of caution, I'll have</p> <p>11 a standing scope objection to this line</p> <p>12 of questioning as well.</p> <p>13 MR. TILLERY: Yes. Again,</p> <p>14 my response stands the same: It's very</p> <p>15 clearly formulated products in his</p> <p>16 outline, in his topics. But, anyway,</p> <p>17 I'll give you the objection.</p> <p>18 MR. NARESH: If you're going to</p> <p>19 make that record, I will respond that</p> <p>20 in the 70 or so topics you have</p> <p>21 identified, the word "emetic" does not</p> <p>22 show up once.</p> <p>23 MR. TILLERY: Yeah, though</p> <p>24 "formulated" does.</p> <p>25 THE WITNESS: Could you ask the</p> | <p style="text-align: right;">Page 1367</p> <p>1 documentation showing this and we're going</p> <p>2 to show it in a minute.</p> <p>3 He did that after Dr. Lewis Smith</p> <p>4 had recommended in 1984 that the concentration</p> <p>5 of the emetic in paraquat products be</p> <p>6 increased, didn't he?</p> <p>7 A. Yes. There was an interchange of</p> <p>8 views at that time, we've seen those --</p> <p>9 Q. And Dr. Smith recommended that it be</p> <p>10 increased, didn't he?</p> <p>11 A. Yes, that was one thing -- at that</p> <p>12 time there was certainly a consideration from</p> <p>13 Dr. Smith that that could be -- that could be</p> <p>14 taken into account, yes.</p> <p>15 Q. And the recommendation was never</p> <p>16 acted on by ICI, was it?</p> <p>17 A. No, it was not.</p> <p>18 MR. TILLERY: Now, let's look at</p> <p>19 number 125, Plaintiff's Exhibit 125,</p> <p>20 which is SYNG-PQ-26134258 - 4265.</p> <p>21 (Botham Exhibit 125 marked for</p> <p>22 identification.)</p> <p>23 BY MR. TILLERY:</p> <p>24 Q. Now, we're going to give you this</p> <p>25 document to look at because of its length.</p> |
| <p style="text-align: right;">Page 1366</p> <p>1 question again, please, Mr. Tillery.</p> <p>2 BY MR. TILLERY:</p> <p>3 Q. Absolutely, yes, I will, sir.</p> <p>4 Has Dr. Heylings reported to</p> <p>5 Syngenta management that he believed the human</p> <p>6 trial data ICI and Chevron used to substantiate</p> <p>7 the effective dose of ICI 63197/PP796 was</p> <p>8 fabricated?</p> <p>9 A. No, that's not entirely accurate.</p> <p>10 He believes that a small section of the data</p> <p>11 was in some way fabricated or manipulated.</p> <p>12 Not the whole study or the whole data set.</p> <p>13 Q. And he said some of it was, right,</p> <p>14 would you agree with that?</p> <p>15 A. Yeah, some datapoints, correct.</p> <p>16 Q. And by whom was it -- does he say</p> <p>17 it was fabricated?</p> <p>18 A. He believes that Dr. Rose</p> <p>19 manipulated some of those data.</p> <p>20 Q. And he originally brought this issue</p> <p>21 to the attention of ICI's paraquat product</p> <p>22 manager in September 1990, 30 years ago, didn't</p> <p>23 he?</p> <p>24 A. That's what he claimed, yes.</p> <p>25 Q. Actually, you know, there's</p> | <p style="text-align: right;">Page 1368</p> <p>1 My question is not going to be specific to the</p> <p>2 document. I'm reasonably sure you've seen this</p> <p>3 in your investigation of this matter, but you</p> <p>4 take a look and see if it looks familiar.</p> <p>5 A. Yes, this is familiar.</p> <p>6 Q. All right. You've seen this. All</p> <p>7 I want to do is to say this for the record:</p> <p>8 This is the document from J.R. Heylings,</p> <p>9 biochemical toxicology, to Dr. Smith, isn't it?</p> <p>10 A. It is.</p> <p>11 Q. And they were both ICI employees</p> <p>12 at the time, correct?</p> <p>13 A. Correct.</p> <p>14 Q. And it's at ICI Central Toxicology</p> <p>15 Laboratory, Alderley Park, and in Cheshire,</p> <p>16 right?</p> <p>17 A. That's correct.</p> <p>18 Q. And it's dated 19 January, 1990,</p> <p>19 so about 30 and a half years ago, right?</p> <p>20 A. That's correct.</p> <p>21 Q. And it's entitled "Emetic</p> <p>22 Concentration in Paraquat Formulations."</p> <p>23 Do you see that?</p> <p>24 A. I do.</p> <p>25 Q. So we can go through and study</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 1369</p> <p>1 it entirely, but if you look at the second 2 paragraph, towards the end, "My conclusion ..." 3 do you see that? Towards the end, the third 4 line from the end in the second paragraph. 5 A. I think you have control of this, 6 so ... 7 Q. Yeah, you can see it on the screen: 8 "My conclusion from studying the 9 scientific evidence ..." 10 A. I can't see that at the moment. 11 I can only see page 1. 12 Q. That's what I mean. That's what 13 I'm looking at. It's page 1, the second 14 paragraph. Do you see that? 15 A. The second paragraph, sorry. 16 Q. Yes. "My conclusion ..." 17 A. Yes. I'm sorry, yes, I can see 18 that. Yes, thank you. 19 Q. Yeah. 20 "My conclusion from studying the 21 scientific evidence from clinical studies with 22 the emetic is that the concentration of PP796 23 recommended in 1976 is probably well below an 24 effective emetic dose in man." 25 That's what he says, right?</p> | <p style="text-align: right;">Page 1371</p> <p>1 pages. If you'd take your time and look at it. 2 A. Yes, okay. Again, I had relatively 3 recently seen this document so please go 4 ahead. 5 Q. You're familiar with this one, 6 I think, aren't you? 7 A. Yes, yes. 8 Q. All right. Now, if we go to the 9 first page and if you look where he says, 10 "I would like to point out ..." It's the 11 fourth paragraph, under "Human data with 12 paraquat emetic." 13 Do you see that? 14 A. Yes. 15 Q. He says: 16 "I would like to point out that the 17 human data presented in Report CTL/R/390(R) is 18 very misleading. In the attached table, I have 19 presented two sets of data. Data presented by 20 Rose in CTL/R/390(R) is shown at the top. The 21 actual data presented by Bayliss in PH20992C is 22 shown at the bottom. There are three important 23 differences between the data from CTL/R/390(R) 24 and PH20992C." 25 Do you see that?</p> |
| <p style="text-align: right;">Page 1370</p> <p>1 A. That is correct. 2 Q. They were saying this over 30 years 3 ago. 4 Now, would it be an accurate summary 5 of the document to say that Dr. Heylings 6 reports to Lewis Smith that he has reviewed 7 the scientific data underlying the original 8 conclusion to set the emetic level at 9 0.05 percent and determined the data did not 10 support the conclusion, that the level was too 11 low to be an effective emetic in humans and 12 that the amount should be increased tenfold. 13 Would that be an accurate assessment 14 of this report? 15 A. It would be accurate, yes. 16 Q. All right. 17 MR. TILLERY: Then let's move on. 18 This is Exhibit 126. And this is 19 SYNG-PQ-26134270 - 4272. 20 (Botham Exhibit 126 marked for 21 identification.) 22 BY MR. TILLERY: 23 Q. We'll give you this to look at 24 yourself. It's another Jon Heylings letter, 25 or memo, to Dr. Smith and it consists of three</p> | <p style="text-align: right;">Page 1372</p> <p>1 A. I do. 2 Q. And he then sets out those specific 3 distinctions, doesn't he? 4 A. He does. 5 Q. All right. And did you look at 6 the data to verify whether these comments were 7 correct? 8 A. Yes. We've -- in our more recent 9 analysis, we've been back to the original 10 Bayliss data and done our own analysis 11 of those data. 12 Q. Oh, so you redid the study of 13 Dr. Bayliss, right? 14 A. No, we didn't redo the study. 15 We went back and we looked -- we made sure 16 we didn't rely just on the Rose data in R390. 17 We went back to the original data to see 18 whether there was any substance which we -- 19 in terms of Dr. Heylings's interpretation 20 which might mean that a different 21 interpretation could be reached. 22 Q. What I'm wondering is did you go 23 back and redo or re-analyze the Bayliss data or 24 did you stick with the Bayliss conclusions and 25 results?</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1373</p> <p>1 A. No, we looked again at the Bayliss 2 data. This is what Dr. Travis did. 3 Q. Oh, so you -- you redid a study 46, 4 47 years after the fact. Is that what you're 5 saying? 6 A. Well, I think the way you've put 7 that is not quite right. We didn't redo the 8 study; we simply took the data from that study 9 and we tried to do our best with, again, some 10 relatively weak data, as we've been saying 11 throughout this, to see what Dr. Travis's 12 calculation would look like with regard to the 13 dose response, if you like, in an effective 14 dose. 15 Q. So the scientist you assigned, your 16 predecessors assigned to do this, who wrote a 17 report in 1973, which was undisturbed until Jon 18 Heylings raised this with you, what, recently, 19 the last couple of years, right? 20 A. Correct. 21 Q. Was perfectly fine, reliable, 22 sufficient to submit to the United States 23 Environmental Protection Agency, absolutely 24 fine in every other respect, and then when 25 he raises this in -- let's see, let's just do</p> | <p style="text-align: right;">Page 1375</p> <p>1 It was not made-up data, first of all. The 2 accusation was that at some -- a small number 3 of the data points he queried, and he believed 4 that the way in which you use those data to 5 calculate an effective emetic dose was not 6 correct. We have looked at those same data, 7 absolutely not changed the data, and we have 8 come to a conclusion that that effective dose 9 calculation that was done all those years ago 10 was not unreasonable. 11 Q. Okay. Let me ask you this: Did you 12 calculate the Bayliss data and come up with 13 different conclusions than Dr. Bayliss did of 14 his own study 47 years later? 15 A. No. We said -- Dr. Travis's 16 analysis suggested that Dr. Bayliss had not -- 17 Dr. Bayliss's conclusion was not unreasonable, 18 but there are -- because the data was so weak, 19 there is some margin of error in that, but 20 it wasn't fundamentally wrong. 21 Q. Did you adjust any of the underlying 22 facts of Dr. Bayliss's analysis of anything you 23 relied upon, or assign different weights 24 to that information? 25 A. No. All we simply did was -- and</p> |
| <p style="text-align: right;">Page 1374</p> <p>1 the calculus here. It would have been 30 -- 2 was it 36? 47 -- 46, 47 -- 45 years after the 3 fact, you decided maybe it would be a good idea 4 to re-look at the data. Right? 5 MR. NARESH: Objection to form. 6 THE WITNESS: Well, we had -- 7 BY MR. TILLERY: 8 Q. Is that right? 9 A. We had received Dr. Heylings's 10 communications about it and we thought that, 11 again, being a science-based organization, 12 we should take another -- a fresh look at it. 13 Q. Oh, so instead of relying on what 14 Dr. Rose relied on, what the United States 15 Government relied on, what the European Union 16 relied upon, what the UK relied upon, when 17 Dr. Heylings told you this was made-up data, 18 you went back and redid the study? Is that 19 what you're telling us? 20 A. No, I'm not telling you that. 21 That's -- 22 MR. NARESH: Objection; form. 23 BY MR. TILLERY: 24 Q. Okay. 25 A. That's really a misrepresentation.</p> | <p style="text-align: right;">Page 1376</p> <p>1 we did get the support of a professional 2 statistician, who has experience of clinical 3 trial data as well, to work with Dr. Travis; 4 so we were simply using the expertise of 5 a statistician, alongside Dr. Travis, to see 6 what conclusion that brought us to. 7 And, as I say, it does not take us 8 to a conclusion that is very far removed from 9 what Dr. Rose concluded. 10 Q. You've read Dr. Bayliss's report, 11 haven't you? 12 A. Yes. 13 Q. You're aware that he didn't do 14 calculations or draw a conclusion? 15 Did you know that? 16 A. Yeah, which is why I just said 17 it was Dr. Rose's conclusion that we 18 were not -- I said we didn't -- 19 Q. So you -- you went back after 20 Dr. Rose's, right? 21 A. We took the Bayliss data, we looked 22 at them with -- in modern-day -- with 23 modern-day expertise and said that the 24 Rose calculations from the Bayliss data 25 were not unreasonable.</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1377</p> <p>1 Q. Okay. Let's go on with this letter, 2 and this is Exhibit 126. Let's move on and 3 let's go to the next page. If you'd look at 4 the second paragraph, Dr. Heylings says: 5 "However, on examination of the full 6 data there is no such ... response. The 7 minimal effects observed at 4 and 8mg PP796 8 suggest that 4-8mg doses are probably nearer 9 threshold in man not maximal. [Therefore], the 10 dose response curves in pig, dog and monkey are 11 all very similar across the same dose range. 12 I would suggest that the emetic dose response 13 curve of PP796 in man is similar to these other 14 species. Thus, I disagree with the conclusions 15 in [the] report CTL/R/390(R), which suggest 16 that the emetic is 10 times more potent in 17 man." 18 Is that what he says? 19 A. That's what he said. 20 Q. All right. And now this was when 21 he was a full-time employee in the -- at a 22 biochemical toxicological section of ICI, 23 correct? 24 A. Correct. 25 Q. He came to you as an employee who</p> | <p style="text-align: right;">Page 1379</p> <p>1 Exhibit 127. And this is 2 SYNG-PQ-02639780. 3 (Botham Exhibit 127 marked for 4 identification.) 5 BY MR. TILLERY: 6 Q. And we're going to give this 7 document to you to look at. I'm going to 8 direct you to a few pages, page 6 and page 32. 9 Since it is 45 pages, I'd like to move quickly 10 because I've got just a few more questions for 11 this deposition first -- a few more documents 12 and we'll be finished. 13 A. Okay. Yes, I'm pretty familiar 14 with the background to this. 15 Q. All right. You're familiar with 16 this document, okay. Can I do this -- because 17 of our time sequence here, can I say this, with 18 respect to -- and I'll direct all counsel's 19 attention to SYNG-PQ-02639785, and that's 20 page 6 of this document if anybody wants to 21 look at it. 22 Is it an accurate summary of this 23 document that Syngenta knew that increasing 24 the amount of the emetic in its paraquat 25 products would increase their safety by two-</p> |
| <p style="text-align: right;">Page 1378</p> <p>1 was concerned, based upon his own personal 2 analysis as a scientist, of what he had seen, 3 correct? 4 A. Yes. 5 Q. Okay. He wasn't making public 6 statements or doing anything else. He was just 7 very concerned about this and he wanted 8 to bring it to the company's attention, 9 correct? 10 A. Yes, indeed -- 11 Q. All right, and -- 12 A. -- which is very laudable. 13 Q. And that's what he did? And you 14 understand -- 15 A. Yes, and that's very laudable, yes, 16 yes. 17 Q. And you understand him to be 18 a respected scientist and a respected 19 gentleman, don't you? 20 A. We do, absolutely. He's a long -- 21 Q. All right. 22 A. He's a long-standing colleague of 23 mine. 24 Q. All right. 25 MR. TILLERY: Now, let's go to</p> | <p style="text-align: right;">Page 1380</p> <p>1 to threefold but that introducing a product 2 with such level of emetic would not be possible 3 in all markets proactively due to, quote, 4 "price erosion"? 5 And if you want to go to page 6 to 6 verify that statement, please do so. 7 A. Maybe I can respond to that. 8 The two-to-threefold safety factor was 9 estimated from the results of further animal 10 studies/experiments that were being conducted. 11 So this is different to the issue we've been 12 talking about previously. 13 Q. Well, why don't you look at 9785, 14 okay. Do you see that? You're looking at the 15 screen there. 16 "During the course of this work 17 important conclusions have been reached 18 regarding the role of emetic (PP 796). 19 It has been found that increasing the 20 concentration of emetic in 'Gramoxone' by a 21 factor of 5 resulted in ... 2-3 fold safety 22 factor over standard 'Gramoxone'. 23 Did you see that? 24 A. I do. 25 Q. All right.</p> |

| Page 1381 | Page 1383 |
|---|---|
| <p>1 Now let's go to the next exhibit, 2 which is on page -- it's page 26 of the 3 document and it's found at SYNG-2639811 4 under "Strategy." 5 Do you see, under "Strategy," first 6 paragraph? 7 A. Yes. 8 Q. Read that into the record. 9 A. "A proactive approach would demand 10 promotion of the safer formulation in all 11 markets. Price erosion has ensured that this 12 is not now possible for the multiple emulsion 13 formulations without loss of significant 14 markets." 15 Q. Costs too much? Surprise, surprise, 16 you'd lose the market. You can't add that much 17 emetic. You can't make it two to three times 18 safer because you'd lose market share. 19 A. No -- 20 Q. Is that a fair statement? 21 A. I don't think that's entirely -- 22 Q. Is that correct -- 23 MR. NARESH: Objection, form. 24 THE WITNESS: I don't think that's 25 entirely accurate. I think this is --</p> | <p>1 Q. Your Inteon, that's the product 2 you're talking about, isn't it? 3 A. It is. 4 Q. Gramoxone Inteon? 5 A. It is. 6 Q. That's exactly what you're talking 7 about. You added this, you made it a little 8 safer but because of the cost you took it off? 9 A. No, incorrect. It wasn't because 10 of the cost. It was because we were getting 11 some technical problems with its use. 12 Q. As of the day this memo was 13 written -- let's make sure we're clear for the 14 ladies and gentlemen of the jury and the judge, 15 okay -- "a proactive approach would demand 16 promotion of the safer formulation in all 17 markets." 18 So we don't kill as many people. We 19 save thousands of lives. 20 "Price erosion has ensured that this 21 is not now possible for the multiple emulsion 22 formulations without loss of significant 23 markets." 24 That's what it says. Those are the 25 words, aren't they, sir?</p> |
| Page 1382 | Page 1384 |
| <p>1 what was trying to be developed here was 2 a new type of formulation, so it wasn't 3 just -- it wasn't simply increasing the 4 level of emetic, it was adding other 5 safening factors -- 6 BY MR. TILLERY: 7 Q. Okay, well, either one, however you 8 count it, you weren't going to do it -- you 9 weren't going to make it two to three times 10 safer because of the money, right? 11 A. Well, that's what this said here 12 but, as the record will also show, this 13 research program with safer formulations 14 continued for many years and we did bring 15 formulations based on some of this technology 16 to the market. 17 Q. And then you got rid of them, and 18 then you took them off -- 19 A. Unfortunately, in some -- 20 Q. -- because they continued to cost -- 21 A. Unfortunately, in some of the cases 22 because they'd had technical issues, nothing 23 to do with the -- 24 Q. Yeah, you -- 25 A. -- safety or price.</p> | <p>1 MR. NARESH: Objection to form. 2 THE WITNESS: That's right, but 3 I would say that that has to be read 4 within the -- 5 BY MR. TILLERY: 6 Q. Are these the words -- are those the 7 words I read correctly? 8 A. You did. 9 MR. NARESH: Objection. 10 BY MR. TILLERY: 11 Q. Yes. Thank you. 12 MR. TILLERY: Let's move on to the 13 next document. This is Exhibit 128. 14 (Botham Exhibit 128 marked for 15 identification.) 16 BY MR. TILLERY: 17 Q. It's a one-page document. 18 It shouldn't take long to read. 19 Have you seen this document, too? 20 A. Yes. 21 Q. You're familiar with it, aren't you? 22 A. Yes. 23 Q. All right. Who is Dr. Jagers, the 24 recipient of this correspondence? 25 A. He was one of the three members of</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 1385</p> <p>1 the senior executive group of CTL. 2 Q. This is SYNG-04262621. 3 Dr. Smith tells Dr. Jagers, 4 in response to the concerns that Dr. Heylings 5 has been raising, that he, too, believes the 6 amount of emetic in the PQ formulations needs 7 to be increased -- paraquat formulations need 8 to be increased with emetic, correct? 9 That's what he's saying? 10 A. Yes. 11 Q. In response to Dr. Heylings's 12 allegations that Dr. Rose "selected data 13 to arrive at a conclusion," Dr. Smith says 14 he thinks that "given the pressure at the time 15 to arrive at a decision the apparent omissions 16 in the arguments presented to the business were 17 accidental." 18 That's what he says, right? 19 A. That's what that says. 20 Q. So Dr. Smith agrees with 21 Dr. Heylings that Rose omitted data to reach 22 his conclusion regarding the amount of emetic 23 needed to be effective in animals but believes 24 it wasn't intentional, correct? 25 MR. NARESH: Objection; form,</p> | <p style="text-align: right;">Page 1387</p> <p>1 MR. TILLERY: This is 2 SYNG-04262618. 3 BY MR. TILLERY: 4 Q. I think this is a three-page -- a 5 two-page document. This is from Dr. Smith 6 to Dr. Heylings, if you would take a look at 7 this, sir. 8 A. Okay, I've seen the first page. 9 You have control. So do you want me to see 10 the second page as well? 11 Q. I do. I want you to look at the 12 second page. There you go. 13 A. Thank you. 14 Q. Go ahead and read that. I'm sure 15 you've read this recently as well. 16 A. Yes. 17 Q. All right. This exhibit -- I may 18 have read the number out. If I didn't, 19 I'm repeating it again, 04262618 -- is a memo 20 from Dr. Smith to Dr. Heylings. It's dated 21 November 6, 1990, again ICI Central Toxicology. 22 Right? 23 A. Yes. 24 Q. And it's "Re: Human data with 25 paraquat formulations containing PP796."</p> |
| <p style="text-align: right;">Page 1386</p> <p>1 foundation. 2 THE WITNESS: I mean, I can't put 3 words into Dr. Smith's mouth here, but 4 you're assuming that apparent omissions 5 in the arguments might mean 6 Dr. Heylings's accusation that there was 7 falsification of data. I think it's not 8 as simple as that. 9 BY MR. TILLERY: 10 Q. Well, I said that Dr. Smith 11 interpreted as a mistake, that he omitted data 12 to reach his conclusion, but because of the 13 pressure at the time to arrive at a decision, 14 this decision and this omission was not 15 intentional. 16 Would that be a fair interpretation? 17 A. Yes. However you interpret that, 18 that's right, it was accidental rather than 19 intentional, correct. 20 Q. But he says, okay. That's 21 Dr. Smith's evaluation. 22 MR. TILLERY: Now let's go to 23 Exhibit 129. 24 (Botham Exhibit 129 marked for 25 identification.)</p> | <p style="text-align: right;">Page 1388</p> <p>1 Right? 2 A. Yes, yes. 3 Q. Confidential letter. Dr. Smith 4 states to Dr. Heylings: 5 "It is clear from the data you 6 presented that there was probably some 7 misunderstanding or confusion in the way the 8 case for the inclusion rate of 796 at 0.05% was 9 arrived at. However, I am sure you will 10 appreciate that in attempting to reconsider the 11 thinking and knowledge in 1976 when this 12 decision was taken is extremely difficult." 13 So, again, Dr. Smith does not 14 disagree with Dr. Heylings's conclusions, 15 does he? 16 A. No, he doesn't. 17 Q. Dr. Smith also indicates, after the 18 time required for an emetic to work, that in 19 the mid 1970s, "... we were still influenced by 20 the data in rat which has an entirely different 21 plasma paraquat profile to that of man." 22 That's what he says, right? 23 A. Right. 24 Q. Dr. Smith must have been unaware of 25 the documents we have seen where ICI employees</p> |

| Page 1389 | Page 1391 |
|--|--|
| <p>1 said that in the late 1960s and 1970s, that 2 a fatal dose of paraquat could be absorbed 3 within as few as 15 minutes. 4 Do you agree with that? 5 MR. NARESH: Objection; form, 6 foundation. 7 THE WITNESS: You're suggesting 8 that Dr. Smith was not aware of that? 9 BY MR. TILLERY: 10 Q. I am. 11 A. Well, I can't comment on that. 12 Q. Okay. Can you read Dr. Smith's 13 conclusion on the next page into the record, 14 please, for me. 15 A. "In conclusion, I do not intend 16 to pursue any further the reasons for the 17 inclusion of PP796 at 0.05% as decided in the 18 early part of 1976. Rather, I wish to 19 concentrate our efforts in agreeing a strategy 20 with the Business that will prompt us to 21 evaluate formulations of paraquat that are 22 intrinsically less toxic and contain increased 23 concentrations of emetic." 24 Q. So he was saying let's forget about 25 the past and how this happened, whether it was</p> | <p>1 the lack of data supporting Dr. Rose's 2 conclusions and the fact that the level of 3 emetic in Syngenta's paraquat products needed 4 to be raised considerably to save lives? 5 How many times? 6 A. Well, I can't give you an accurate 7 number but what I can tell you is that from 8 that period onwards, when, as I've just said, 9 Dr. Heylings became, in part, a scientist 10 leading our efforts to do exactly what it said 11 here, a combination of something that was 12 intrinsically less toxic and increased 13 concentrations of emetic, he was involved in 14 that for 10, 15, 20 years and didn't, in my 15 knowledge, raise again the arguments that 16 we've been talking about now until about 17 two years ago. 18 MR. TILLERY: Well, let me -- 19 let me move to strike your answer as 20 unresponsive. 21 BY MR. TILLERY: 22 Q. Since that time, since the time you 23 first raised this, how many times has 24 Dr. Heylings come to the management of Syngenta 25 or ICI and brought this issue to the attention</p> |
| Page 1390 | Page 1392 |
| <p>1 a mistake that was the result of business 2 pressure or whether it was intentional, and 3 let's get this product fixed to where it's not 4 toxic. 5 A. Absolutely. 6 Q. Right? 7 A. Absolutely, which is -- 8 Q. That's what he was saying? 9 A. -- which is the same for the -- 10 Q. That's what he was saying? 11 A. -- paraquat project. Yes, that's 12 correct. 13 Q. Okay. All right. 14 Would you agree that a fair summary, 15 then, would be that it would be fruitless 16 to try to determine the reasons but they should 17 focus on making paraquat safer in the future? 18 A. I would agree, and Dr. Heylings 19 then became, actually, the project leader of 20 part of that effort that followed. 21 Q. Right. 22 Since the original series of 23 correspondence in 1990, how many times has 24 Dr. Heylings raised the issue of the lack of 25 effectiveness of the emetic in paraquat,</p> | <p>1 of them, saying that people were unnecessarily 2 dying because Syngenta would not pay to put 3 the emetic at the proper level in the product? 4 How many times did he say that to you? Over 5 and -- 6 MR. NARESH: Objection; form, 7 foundation. 8 THE WITNESS: Well -- 9 BY MR. TILLERY: 10 Q. Would it be safe to say he said that 11 many times? 12 A. No, it would not be safe to say 13 that. In my experience, he has made that 14 point in the emails and the discussions 15 we have had with him over the last two years. 16 So I wouldn't say that was many 17 times. So we've had some discussions and some 18 emails along that theme. 19 Q. He's actually made the point by 20 filing objections with the United States 21 Environmental Protection Agency recently, too, 22 hasn't he? 23 A. Yes, he has. 24 Q. Last year? 25 A. Yes, he has.</p> |

| | |
|--|---|
| <p style="text-align: right;">Page 1393</p> <p>1 Q. And he filed it with the World 2 Health Organization, telling them, too, right? 3 A. That's correct, yes. 4 Q. He's filed it with other people 5 because he thinks it's so dangerous and lives 6 are being lost needlessly because Syngenta 7 keeps selling this product. That's what 8 he says, doesn't he? 9 A. That's what he's been saying in the 10 last two years, yes. 11 Q. And this is a man who worked in this 12 company for many, many years and directed this 13 project, and he's brought this to your 14 attention and pleaded with you to either 15 withdraw the product or fix it so thousands of 16 people don't die unnecessarily. 17 Is that a fair statement? 18 A. He has -- he worked with us for the 19 previous 20 years on trying to develop 20 formulations of paraquat which did just that. 21 Q. Well, let's look at this. 22 MR. TILLERY: This would be 130, 23 Plaintiff's Exhibit No. 130. 24 (Botham Exhibit 130 marked for 25 identification.)</p> | <p style="text-align: right;">Page 1395</p> <p>1 people have died as a result of not increasing 2 the level of emetic in the product to the level 3 he requested since he first requested it? 4 Do you know -- 5 MR. NARESH: Object -- 6 BY MR. TILLERY: 7 Q. -- how many people around the world 8 have died in all these databases you keep of 9 people who die from ingesting this chemical? 10 How many? 11 MR. NARESH: Objection; form, 12 foundation. 13 THE WITNESS: I can't give you 14 a number off the top of my head, no, 15 so -- certainly specifically, you can't 16 make a calculation that says how many 17 people have died because we haven't taken 18 Dr. Heylings's view into consideration. 19 BY MR. TILLERY: 20 Q. What do you think the regulators 21 around the world would think if they knew that 22 a scientist in your organization had come 23 to you and literally pleaded with you 24 to increase the emetic formulation of your 25 product, when they find this out? What do you</p> |
| <p style="text-align: right;">Page 1394</p> <p>1 BY MR. TILLERY: 2 Q. And these are documents that are 3 emails of Dr. Heylings to a Mr. Cook regarding 4 the emetic, with attachments, and it's 5 SYNG-10783241. You've been copied with these, 6 haven't you? 7 A. Yes, I've seen these emails. 8 Q. Many of these? 9 A. I've seen these emails. 10 Q. And -- right. And there's numbers 11 of them, and we -- the point is is that you -- 12 he's made -- in the last several years he's 13 made constant efforts. He actually asked for 14 a meeting with you, didn't he? 15 A. Yeah, and we have met with him. 16 Q. And you met with him and he made 17 a presentation to you, didn't he? 18 A. He did. 19 Q. And the purpose of the presentation 20 was to once again ask you to fix the product, 21 wasn't it? 22 A. It was to lay out the reasons why 23 he felt that there was a case in his mind 24 to increase the level of emetic in paraquat. 25 Q. Let me ask you something. How many</p> | <p style="text-align: right;">Page 1396</p> <p>1 think the reaction would be? 2 MR. NARESH: Objection; form, 3 foundation. 4 THE WITNESS: Well, as you 5 indicate, we already know, for example, 6 that the US Environmental Protection 7 Agency have been informed and one of the 8 facts of the matter is that paraquat, 9 with the levels of emetic that are 10 present, has met the requirements of the 11 WHO, the other organization you describe, 12 what's called the FAO specification. 13 So there are data which show that 14 it has resulted in emesis/vomiting within 15 the prescribed period of time in the 16 specification of paraquat. 17 BY MR. TILLERY: 18 Q. Let me ask you something. How do 19 you know -- how do you know that the US EPA's 20 been informed? 21 A. Because our US regulatory manager 22 has been informed. 23 Q. By whom? 24 A. I can't give you a name. I've not 25 been involved in those discussions.</p> |

| Page 1397 | Page 1399 |
|--|--|
| <p>1 Q. Well, I find it interesting that you 2 knew this fact. You know why I find that 3 interesting? Because it's never been made 4 public. 5 So how is it that you got 6 information from the United States EPA that 7 isn't on the public docket -- 8 A. No, I'm not saying -- 9 Q. -- about Dr. Heylings's objection? 10 How did you find that out? Who told you? 11 MR. NARESH: Objection; foundation, 12 form, scope. 13 THE WITNESS: We found out first of 14 all from Dr. Heylings, first -- 15 BY MR. TILLERY: 16 Q. Where did you see that? It's not in 17 any of his emails. 18 A. No -- 19 Q. When did he tell you that? 20 A. Dr. Heylings told us that verbally. 21 Q. So there's no record now you know 22 that he told you, right? 23 It wasn't the regulatory person 24 in the United States who told you. The story 25 drifts a little bit. Now it was Dr. Heylings</p> | <p>1 person, that Dr. Heylings had been -- 2 had actually been in communication. 3 BY MR. TILLERY: 4 Q. So somebody in the EPA called 5 Syngenta and told them that a report had been 6 filed, right? 7 A. I don't know if -- I don't believe 8 it happened that way. I think it came up in 9 a regular conversation that it had between our 10 regulatory manager and the person responsible 11 for paraquat at the EPA. Part of the regular 12 calls that they have. 13 Q. I'm trying to understand how these 14 communications take place when everybody else 15 in America who looks at the US EPA docket 16 is unable to see this communication. How is it 17 that you knew that? Somebody called you and 18 told you. 19 And let me ask you: Is it odd that 20 it's never been placed in a public docket? 21 A. All right, two points -- 22 MR. NARESH: Object to the form, 23 foundation and scope. 24 BY MR. TILLERY: 25 Q. Let me ask you this, sir. Let me</p> |
| Page 1398 | Page 1400 |
| <p>1 who told you, right? 2 A. No, no -- 3 Q. Is that right? 4 MR. NARESH: Objection to form and 5 foundation. 6 THE WITNESS: Give me a chance 7 to answer this properly. We were first 8 told by -- 9 THE STENOGRAPHER: Sorry, 10 Dr. Botham. 11 I didn't get the objection. 12 MR. NARESH: Objection to form and 13 foundation. 14 THE STENOGRAPHER: Thank you. 15 Sorry, Dr. Botham. 16 THE WITNESS: So, yeah, let me 17 answer this fully. We were first told by 18 Dr. Heylings verbally about his intention 19 to communicate to the EPA and -- 20 actually, he gave us a name. I can't 21 remember the name of the person but 22 he gave us a name. 23 Subsequently, our North American 24 regulatory manager received verbal 25 confirmation. I think from the same</p> | <p>1 just ask you this -- 2 A. Can I answer the question, please? 3 Q. Sure. 4 A. Just, first of all, to say it's not 5 accurate, as far as I understand, that we were 6 called by the EPA to tell us that. By all 7 means check this. My understanding is that 8 in a regular call between our regulatory 9 manager and the EPA person, that that subject 10 was mentioned. 11 Q. Let me ask you, did you or anybody 12 working for Syngenta tell the EPA not to post 13 Jon Heylings's objection about this chemical 14 product and the emetic? Did you suggest that 15 not be publicly filed and posted? 16 MR. NARESH: Objection; form, 17 foundation and scope. 18 THE WITNESS: Having had a number 19 of discussions with my colleagues in the 20 United States, including the regulatory 21 manager, I am almost as certain as I can 22 be -- as I can be -- that no such 23 conversation has been had because our 24 regulatory manager has been regularly 25 monitoring the public docket of the EPA.</p> |

| | |
|--|--|
| <p style="text-align: right;">Page 1401</p> <p>1 expecting to see that communication from 2 Professor Heylings. 3 BY MR. TILLERY: 4 Q. And it has never appeared, has it? 5 A. It has never appeared and, as far 6 as I am concerned and aware, that lack of 7 appearance is not due to any action that 8 Syngenta has taken. 9 Q. Okay. 10 MR. TILLERY: Now let's go to 795, 11 please. Actually, let's go to 497. 12 I'm trying to get finished here in 13 the last two exhibits, sir, so we get it 14 concluded today, on this topic at least. 15 This is exhibit number what? 16 MS. BRUMITT: 131. 17 MR. TILLERY: 131. 18 (Botham Exhibit 131 marked for 19 identification.) 20 BY MR. TILLERY: 21 Q. If you pull this up. 22 Okay. Are you familiar with this 23 document? 24 A. I am. 25 Q. What is this document?</p> | <p style="text-align: right;">Page 1403</p> <p>1 defining a dose-response. There are no dose 2 groups of any significant size for which half 3 or more of the people vomited. This is 4 reflected in the very wide confidence 5 intervals for the dose resulting in a 50% 6 probability of vomiting at any time. At the 7 second highest dose one out of two people 8 vomited and ..." 9 Sorry, I can't see the rest. 10 Q. Yes. We'll get you the rest. 11 There you go. 12 A. "and" -- sorry. 13 "... at the highest dose one out of 14 one vomited. These datapoints are suggestive 15 of a steep dose response (see figure above), 16 but the tiny numbers of people involved mean 17 that these datapoints are highly uncertain and 18 the fitted dose response is therefore also 19 highly uncertain. The data for vomiting 20 within an hour or within 30 minutes are weaker 21 still, and do not support the fitting of a 22 dose-response relationship." 23 Q. So Dr. Kim Travis also agrees with 24 Dr. Heylings that the human data available 25 to Rose at the time, at best, weakly supported</p> |
| <p style="text-align: right;">Page 1402</p> <p>1 A. Well, this is a report of the 2 analysis that I was telling you about 3 previously where Dr. Travis, with support from 4 statisticians, has looked again at the 5 original clinical data on the emetic 6 properties of PP796. 7 Q. And this is where you reported that 8 Dr. Travis -- strike that. 9 This is where you referenced 10 Dr. Travis's conclusions or statements, 11 correct? 12 A. That's right. 13 Q. All right. If you go to the 14 discussions page at -- 15 MR. TILLERY: If we could pull it 16 up for him, please, he's familiar with 17 it, 976, which is this page of the 18 document. 19 BY MR. TILLERY: 20 Q. Under "Discussion." Can you read 21 the discussion, that paragraph and the next one 22 as it continues on to the next page, into the 23 record. 24 A. "The human clinical data for the 25 emesis caused by PP796 alone are not ideal for</p> | <p style="text-align: right;">Page 1404</p> <p>1 Rose's conclusion as to the proper 2 concentration of the emetic at 0.05 percent, 3 correct? 4 A. I think I've said all along that 5 we agree the data are weak. 6 Q. Okay. So you agree with the 7 statement I just said, right? 8 A. I said that the data are weak and 9 therefore you can understand why the 10 conclusions reached by Rose were made in terms 11 of the best endeavors to try and interpret it. 12 Q. Now why don't you read the next 13 paragraph to yourself. 14 A. Yes, and the key bit there is 15 to this extent, "despite the many differences 16 in approach, the two analyses produce a 17 similar best estimate of the effective PP796 18 emetic dose." 19 MR. TILLERY: I move to strike the 20 question -- or the answer. There was 21 no question on the table. 22 BY MR. TILLERY: 23 Q. I asked you to read the document 24 to yourself. Remember. 25 Now, here's my question. Do you</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

| Page 1405 | Page 1407 |
|---|---|
| <p>1 agree that a fair summary of this paragraph 2 is that while the data at the time was 3 "incapable of supporting a confident 4 conclusion," that the inclusion of a 5 0.05 percent emetic would be effective, by luck 6 or whatever you want to call it, experience has 7 proven that Rose was correct -- 8 A. Well -- 9 Q. -- was incorrect. 10 A. Yeah, would you like to restate 11 that. I don't think you -- 12 Q. Yeah, I think -- experience has 13 shown that Rose was incorrect? 14 A. No, I don't think -- this is not 15 saying that Rose was incorrect. This whole 16 document is saying that there is so much 17 uncertainty in the data that the judgment that 18 he made is not necessarily wrong. 19 Q. Okay. There was so much 20 uncertainty, yet there was no indication of 21 that when it was filed with the United States 22 Environmental Protection Agency -- 23 A. No, because at the time the view 24 was that they had made their best effort -- 25 or Rose had made the best effort to estimate</p> | <p>1 Exhibit No. 132. It's SYNG-PQ-04262400. 2 (Botham Exhibit 132 marked for 3 identification.) 4 BY MR. TILLERY: 5 Q. I think this is a document that 6 you've seen recently, sir, probably, as well 7 in your investigation. Have you seen this? 8 A. I'm not sure I have seen this 9 particular one recently, but let's take 10 a look. It may become more apparent as 11 we look at it. 12 Q. Absolutely. It's a February 20, 13 1986 document, and this is SYNG-PQ-04262400. 14 A. Okay. Is there a particular part 15 of the document you would like me to focus on? 16 Q. There is, sir. If you could go 17 to 2409. And this is an analysis of ICI Japan 18 Limited referencing the emetic, talking about 19 survival rates, people who have been sick by 20 ingesting it, correct? 21 A. Okay, yes. 22 Q. Okay. Why don't you now go towards 23 the end of this, and the value of emetic in the 24 product they sold in Japan, this Gramoxone 25 product. And if you go to page 2409.</p> |
| Page 1406 | Page 1408 |
| <p>1 that effective dose. 2 Q. Did ICI, Zeneca, Syngenta 3 conduct hospital surveys of paraquat-poisoning 4 incidents in the United Kingdom and -- 5 THE STENOGRAPHER: Sorry, 6 Mr. Tillery. Could you start that one 7 again, sorry, please? 8 MR. TILLERY: Absolutely, Leah. 9 BY MR. TILLERY: 10 Q. Did ICI, Zeneca and Syngenta conduct 11 hospital surveys of paraquat-poisoning 12 incidents in the UK and Asia in the 1980s? 13 A. Yes, we did. 14 Q. Did those surveys show any 15 improvement in terms of survival following 16 paraquat ingestion after the emetic being 17 included? 18 A. Yes. The analyses showed, for 19 example in the United Kingdom, that the emetic 20 was effective in terms of the FAO 21 specification. 22 MR. TILLERY: Okay. Let's go 23 to the next exhibit. What is it? 132. 24 Let's look at Exhibit 132. 25 This is Plaintiff's</p> | <p>1 A. Okay. Yes, I'm reading this now. 2 Q. All right. What's the first 3 sentence say? And this is Dr. Smith speaking, 4 if you want to verify that. The same 5 Dr. Smith, okay. 6 Actually -- I believe it is. Maybe 7 it's Dr. Calderbank. 8 A. I -- 9 Q. Can you verify, before you speak, 10 whether this is Smith or Calderbank speaking? 11 A. Well, could you go -- you need 12 to go to the top of the document again. 13 Q. It may be -- yes, it's Calderbank, 14 sir. It is Calderbank. 15 A. Yes. 16 Q. So if you look at this document, 17 "Value of emetic in ..." 18 What is that word? 19 A. "... in Preeglox." 20 Q. Preeglox, is that what you called 21 a -- 22 A. Preeglox. Yes, it was the trade 23 name. 24 Q. Trade name. For Gramoxone, right? 25 A. Yes, yes.</p> |

| | |
|---|--|
| <p style="text-align: right;">Page 1409</p> <p>1 Q. All right. And let's see what the 2 conclusion here is: 3 "There is no good evidence that the 4 presence of emetic in Gramoxone-100 (20% 5 paraquat ion) has helped to improve survival of 6 those ingesting the product." 7 This is 1986, right? 8 A. Yes. 9 Q. Ten years -- ten years -- after you 10 started putting it in, correct? 11 A. Yes. 12 Q. This is your own doctor. 13 MR. TILLERY: Now, finally, let's 14 go to 133. 15 (Botham Exhibit 133 marked for 16 identification.) 17 MR. TILLERY: This is 18 SYNG-PQ-03709695-9697. 19 BY MR. TILLERY: 20 Q. Please take a look at this document. 21 A. Yes, okay. I can see that and 22 I'm reasonably familiar with the messages 23 here. 24 Q. And you knew that the French had had 25 a problem with ingestion of this chemical and</p> | <p style="text-align: right;">Page 1411</p> <p>1 "As a consequence of our recent 2 findings with paraquat formulations containing 3 a higher level emetic PP796, we have examined 4 the effect of the French formulation ... in the 5 dog." 6 A. Yes. 7 Q. "This formulation contains 100g/L of 8 paraquat ... and was supplied by ICI Sopra, 9 France." 10 "This formulation was registered in 11 France following CTL studies in 1986/7. These 12 studies demonstrated that the acute oral LD50 13 in rats was similar to Gramoxone. However, as 14 far as I'm aware no dog studies were carried 15 out... Since we have identified that 1.5g/L 16 PP796 effectively reduces the toxicity of 17 Gramoxone in dogs by virtue of causing emesis 18 within 30 minutes, we have now examine the 19 safening potential of the French formulation in 20 six dogs. 21 "... The time to first emesis for 22 the French formulation was 15 +/- 6 minutes at 23 32mg/kg and 14 +/- 2 minutes at 64mg/kg. The 24 data fits very well with the predicted paraquat 25 AUC versus time to emesis... This is based on a</p> |
| <p style="text-align: right;">Page 1410</p> <p>1 had a lot of deaths, and they demanded a change 2 in the product and one was done. 3 You knew that, right? 4 A. Yes. 5 Q. Do you remember that? 6 A. Yes, I do. 7 Q. And you remember that it made 8 a dramatic change in the number of deaths, 9 percentage change, correct? 10 A. Well, if you go to the second 11 page -- I'm not sure that we -- yeah, here 12 we go. If you just go up a little bit, 13 it says here: 14 "I am unable to find evidence that 15 paraquat poisoning in France ... has had 16 no effect on reported poisonings or reported 17 deaths ..." 18 So -- 19 Q. Well -- 20 A. -- I think there was some 21 uncertainty about what the actual clinical 22 data were. I think that's what I understood 23 from this. 24 Q. Well, let's look at the front page, 25 the very first page. The first paragraph:</p> | <p style="text-align: right;">Page 1412</p> <p>1 curve fit of more than 100 Gramoxone/ 2 Magnoxone ..." 3 How do you pronounce that? 4 Magnoxone? 5 A. Magnoxone. Magnoxone. 6 Q. "... Magnoxone experiments with 7 various levels of emetic." 8 Then on this next page, he says: 9 "I would suggest that a 200g/L 10 version of this French paraquat formulation 11 containing the same concentration of PP796 12 would be equally as safe in dogs and provide a 13 safer ... concentration to Gramoxone. 14 Then the last sentence, he says: 15 "If increasing the level of PP796 by 16 3 fold in France has reduced the number of 17 fatal poisonings, this information would help 18 in resolving some of the technical, regulatory 19 and toxicological issues we [could] face in the 20 development of a Gramoxone or Magnoxone 21 formulation containing 1.5g/L PP796." 22 Is that what he said to you? 23 A. Yes, that's right, and I think 24 he was indicating that it was not possible, 25 for whatever reason, to find direct evidence</p> |

| Page 1413 | Page 1415 |
|--|--|
| <p>1 that the number of fatal poisonings had 2 reduced. 3 MR. TILLERY: Move to strike your 4 answer as unresponsive. 5 BY MR. TILLERY: 6 Q. Is that what he was reporting 7 to you, what I read? 8 A. Yeah, and I'm trying to tell you 9 in my response what I think he was saying 10 there, yes. 11 Q. Right. Yeah, and what I was trying 12 to do was get a straight answer. 13 Is that what he told you in this 14 letter? Did I read that correctly? 15 A. You read it correctly, yes. 16 Q. All right. And do you agree that 17 a combination of dilution prior to sale and 18 higher emetic content would produce a much 19 safer paraquat product and save lives? 20 A. Clearly, diluting paraquat would 21 make it less toxic. I think the case for how 22 effective changing the emetic is is not as 23 clear, and there's lots of other evidence 24 we could talk about which shows that. 25 Q. So you don't agree that increasing</p> | <p>1 changing? You've not looked at how many 2 people have died? 3 A. There have been a number of 4 estimates made of that figure, not just by us 5 but by independent people. 6 MR. TILLERY: Let's go off the 7 record for a moment. 8 THE VIDEOGRAPHER: We are going off 9 the record. The time is 6:10. 10 (Off the record.) 11 THE VIDEOGRAPHER: We are back on 12 the record. The time is 6:12. 13 MR. TILLERY: What's our exhibit 14 number at this point? 134. 15 MR. NARESH: Can we go off the 16 record for one moment, please. 17 MR. TILLERY: Absolutely. 18 THE VIDEOGRAPHER: We are going off 19 the record. The time is 6:12. 20 (Off the record.) 21 THE VIDEOGRAPHER: We are back on 22 the record. The time is 6:14. 23 (Technical difficulties.) 24 THE WITNESS: We can't hear you, 25 Ragan. We can't hear you too well.</p> |
| Page 1414 | Page 1416 |
| <p>1 the emetic would make it safer, even after this 2 time, correct? 3 A. It really isn't -- 4 Q. Is that -- 5 A. It really is not as clear as that. 6 We have increased the level of emetic in other 7 formulations around the world and it's not 8 very obvious that it makes the same degree of 9 safening in human beings as it appears to do 10 in some of these animal models. 11 BY MR. TILLERY: 12 Q. How many people have died from 13 intentionally or accidentally ingesting 14 paraquat since you've put it on the market? 15 MR. NARESH: Objection; foundation. 16 THE WITNESS: You've asked me that 17 several times and I can't give you 18 a number, I'm afraid. 19 BY MR. TILLERY: 20 Q. You have a database, don't you? 21 A. We have our databases, yes. 22 Q. And are you saying that's not 23 something you've looked at when you've 24 investigated this issue, this issue that this 25 man has pleaded with you about doing --</p> | <p>1 MR. NARESH: Can you hear me now? 2 THE WITNESS: That's better. 3 MR. TILLERY: We can. 4 MR. NARESH: Okay. 5 So to confirm what we said off the 6 record, plaintiffs are ending for the day 7 but intend to hold the deposition open. 8 As I mentioned at the end of Dr. Botham's 9 two days previously, we do have some 10 redirect examination for this witness and 11 Syngenta does object to the use of any or 12 all of this deposition until we have had 13 an adequate opportunity to do redirect, 14 which I understand my opportunity is not 15 now. 16 I just wanted to say that on the 17 record. 18 MR. TILLERY: And Dr. Botham, just 19 so we're just abundantly clear, you 20 will -- you fully intend to maintain your 21 employment through into the early fall, 22 so that if we got something set up in 23 July, that would be compatible with your 24 schedule at Syngenta, correct? 25 THE WITNESS: That will be okay.</p> |

CONFIDENTIAL PURSUANT TO PROTECTIVE ORDER

Page 1417

1 yes, that's correct.
 2 MR. TILLERY: All right. Thank you
 3 very much.
 4 Yes, thank you. We can close the
 5 record.
 6 And we have people here to remain
 7 to help Leah if she needs help with any
 8 of the terms or letters, records,
 9 documents necessary to complete the
 10 record.
 11 Thank you very much, and thank you,
 12 Dr. Botham.
 13 THE WITNESS: Thank you.
 14 THE VIDEOGRAPHER: This concludes
 15 the deposition. We are going off the
 16 record. The time is 6:16.
 17 (The deposition concluded.)
 18
 19
 20
 21
 22
 23
 24
 25

Page 1418

1 CERTIFICATE OF WITNESS
 2
 3 I, PHILIP BOTHAM, declare that I have read the entire
 4 transcript of Volume V of my deposition testimony, or
 5 the same has been read to me, and certify that it is a
 6 true, correct and complete record of my testimony given
 7 on Friday, June 19, 2020, save and except for changes
 8 and/or corrections, if any, as indicated by me on the
 9 attached Errata Sheet, with the understanding that
 10 I offer these changes and/or corrections as if still
 11 under oath.
 12
 13
 14
 15 Signed _____
 16 Philip Botham
 17
 18
 19 Signed and subscribed to before me.
 20 this _____ day of _____, 20____.
 21
 22
 23 _____
 24 Notary Public
 25

Page 1419

1 ***ERRATA SHEET ***
 2 TRANSPERFECT DEPOSITION SERVICES
 3 216 E. 45th Street, Suite 903
 4 NEW YORK, NY 10017
 5 (212) 400-8845
 6 CASE: Diana Hoffmann, et al., versus Syngenta
 7 Crop Protection, LLC, et al.
 8 DATE: June 19, 2020 (Volume V)
 9 WITNESS: Philip Botham REF: 27626
 10
 11 PAGE LINE FROM TO
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21 Philip Botham
 22 Subscribed and sworn to before me
 23 this _____ day of _____, 20____.
 24
 25 Notary Public

Page 1420

1 REPORTER CERTIFICATE
 2 I, LEAH WILLERSDORF, Accredited Verbatim Reporter,
 3 Member of the British Institute of Verbatim Reporters
 4 (Accreditation No. 166) and Qualified Realtime Reporter
 5 (Level 2), International Participating Member NCRA
 6 (USA), do hereby certify that: PHILIP BOTHAM appeared
 7 remotely before me via Zoom on Friday, June 19, 2020,
 8 was sworn by me, and was thereupon examined by counsel;
 9 that the foregoing is true and accurate to the best of
 10 my knowledge, skill and ability; that the testimony of
 11 said witness was taken and reduced to stenotype writing
 12 before me; that I am neither counsel for, related to,
 13 nor employed by any of the parties to the action in
 14 which this deposition was taken; and further, that I am
 15 not a relative or employee of any attorney or counsel
 16 employed by the parties thereto; nor financially or
 17 otherwise interested in the outcome of the action.
 18 IN WITNESS WHEREOF I have hereunto set my hand
 19 this July 1, 2020.
 20
 21
 22 LEAH M. WILLERSDORF
 23 Accredited Verbatim Reporter,
 24 Member of the British Institute
 25 of Verbatim Reporters - Accreditation No. 166,
 Qualified Realtime Reporter (Level 2),
 International Participating Member NCRA (USA)