

IN THE CIRCUIT COURT
TWENTIETH JUDICIAL CIRCUIT
ST. CLAIR COUNTY, ILLINOIS

DIANA HOFFMANN, individually and as
Independent Administrator of the
Estate of THOMAS R. HOFFMANN,
Deceased, et al.,
Plaintiffs,

Case No.: 17-L-517

v.

SYNGENTA CROP PROTECTION, LLC, et al.,
Defendants.

February 26, 2020
9:13 a.m.

CONTINUED VIDEO DEPOSITION of DR. PHILIP BOTHAM,
held at the offices of Kirkland & Ellis LLP, located
at 30 St. Mary Axe, London EC3A 8AF, United Kingdom,
before Laura Evans, Accredited Court Reporter of the
United Kingdom and Europe.

C O N F I D E N T I A L

A P P E A R A N C E S

Attorneys for the Plaintiff:

KOREIN TILLERY, LLC
One U.S. Bank Plaza
505 N. 7th Street, Suite 3600
St. Louis, MO 63101
(314) 241-4844

By: Stephen M. Tillery
stillery@koreintillery.com
John Craig
jcraig@koreintillery.com
Rosemarie Fiorillo
rfiorillo@koreintillery.com

WALKUP, MELODIA, KELLY & SCHOENBERGER
650 California Street, 26th Floor
San Francisco, CA 94108
(415) 981-7210

By: Michael A. Kelly
mkelly@walkuplawoffice.com

Attorneys for the Defendants and Witness:

KIRKLAND & ELLIS LLP
1301 Pennsylvania Avenue, N.W.
Washington, D.C. 20004
(202) 389-5267

By: Ragan Naresh
ragan.naresh@kirkland.com

Attorneys for the Chevron Defendant:

HUSCH BLACKWELL LLP
190 Carondelet Plaza, Suite 600
St. Louis, MO 63105
(314) 480-1927

By: Joseph C. Orlet
joseph.orlet@huschblackwell.com

Also Present:

Nicole Graham, paralegal, Korein Tillery
Mark Smith, in-house, Syngenta
Philip Viner, Videographer, Veritext

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

W I T N E S S I N D E X

Witness	Page
PHILIP BOTHAM (continued)	251
Examination by MR. TILLERY (continued)	251

E X H I B I T I N D E X

No.	Description	Page
Exhibit 21	Patent with256 "Inventors/Applicants" John Doe, Nicholas Sturgess, Kim Travis, dated 31 August 2006.	
Exhibit 22	Assignment of patent rights by256 John Doe, Nicholas Sturgess and Kim Zachary to Syngenta.	
Exhibit 23	Syngenta presentation "Paraquat &296 Parkinson's Disease", Bates SYNG-PA-00493318 through 00493392.	
Exhibit 24	Printed PowerPoint presentation318 "Paraquat and Parkinson's Disease Research Literature Update (External Publications)".	
Exhibit 25	Printed PowerPoint presentation328 "Paraquat & Parkinson's Disease".	
Exhibit 26	internal research report from330 Syngenta CTL by L. Marks.	
Exhibit 27	Abstract for presentation "Lack342 of Effect of Paraquat on the Nigrostriatal Dopaminergic System of the Mouse" at the Society for Neuroscience Annual Meeting, October 23-27, 2004, San Diego, California.	
Exhibit 28	Research report of study XM7258347 by Dr. Marks. Study initiation date 17 September 2003.	
Exhibit 29	Research report of study XM7371359 by Dr. Marks, initiated April 6, 2004.	
Exhibit 30	Document titled "Notes of370	

1		discussion with Lewis Smith to brief
2		him on the latest Parkinson's disease
3	Exhibit 31	Document headed "Thoughts On378
4		Options For Challenging The PQ and
5	Exhibit 32	C57Bl6 Mouse Model."
6		Research report for study XM7480392
7	Exhibit 33	by Dr. Marks, initiation date 18
8		February 2005.
9		Letter to the US EPA from400
10	Exhibit 34	Syngenta dated February 24, 2006.
11		Research report of study XM7570406
12	Exhibit 35	by Dr. Marks. Study initiation
13		date 3 April 2006.
14		Presentation "Investigating the408
15	Exhibit 36	Nigrostriatal Toxicity of Paraquat
16		Dichloride" by Dr. Marks.
17		Summary of a presentation410
18	Exhibit 37	"Paraquat & Parkinson's disease" at
19		the Atlanta meeting on February
20	Exhibit 38	13th-14th 2008.
21		Document Bates numbered414
22	Exhibit 39	SYNG-PQ-01586117 through
23		SYNG-PQ-01586606.
24	Exhibit 40	Product Safety Technical422
25		Evaluation Claimed Links Between
		Exposure to Paraquat and
		Development of Parkinson's Disease.
		Draft: September 2009.
		Product Safety Technical430
		Evaluation Claimed Links Between
		Exposure to Paraquat and
		Development of Parkinson's Disease.
		Draft: July 2011.
		Printed PowerPoint presentation443
		"Does the animal or human element
		support a causal relationship
		between Paraquat use and
		Parkinsonism", presented 2 March

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

2016, Brazil
Exhibit 41 Email exchange dated 22 June 2007 ...459
subject "Study titles".

1 PHILIP BOTHAM

2 having been duly sworn testified as follows:

3 THE VIDEOGRAPHER: Good morning. The date today
4 is February 26, 2020. The time of commencement is 9:13 am.
5 This is Day 2 in the deposition of Mr. Philip Botham.

6 I would just add for the record that the court
7 reporter today is Ms. Laura Evans, and the videographer is
8 Philip Viner, both of Veritext.

9 With that you may continue your questions.

10 MR. NARESH: Before you start, Steve, I think
11 I didn't do this on the record yesterday: we will read and
12 sign and the transcript should be marked "confidential" in
13 accordance with the protective order in the case.

14 MR. TILLERY: Are you ready to resume the
15 deposition, Mr. Botham?

16 THE WITNESS: I am.

17 EXAMINATION BY MR. TILLERY (continued):

18 Q. Can you give me your understanding and definition of
19 Parkinson's disease.

20 A. Parkinson's disease is a neurodegenerative disease
21 which is focused on the region of the brain called the
22 substantia nigra. The specifics neurodegeneration is what
23 are called dopaminergic cells in that region.

24 When a significant proportion of those cells have
25 died, then that results in the clinical symptoms of

1 Parkinson's disease, which are related to changes in motor
2 function but there are other clinical symptoms as well as,
3 but in short, that's -- that is Parkinson's disease.

4 Q. What is alpha synuclein?

5 A. Alpha synuclein is a protein which can be found
6 in -- including in cells in the brain.

7 Q. And what role does the misfolding of alpha synuclein
8 have in Parkinson's disease?

9 A. It's, again, perhaps -- as I said yesterday, I'm not
10 a clinical neurologist, but my understanding of alpha
11 synuclein is that it's believed to play some role in
12 Parkinson's disease.

13 Some people believe it has a pathological role, in
14 other words it's in some way causing some of the symptoms
15 that we have been describing; but I have heard others say
16 that it has a protective effect. So I think there is still
17 some uncertainty about precisely how alpha synuclein would
18 work.

19 Q. What are the risk factors for Parkinson's disease?

20 A. The biggest factor that I am aware of is genetic.
21 So there are a number of people who would have genetic
22 susceptibility. I believe that that is particularly so for
23 people who get early onset Parkinson's disease.

24 Q. And could you define what early onset Parkinson's
25 disease is?

1 A. Again saying I'm not a clinical neurologist so my
2 accuracy here may not be complete, but that would generally
3 mean people who are under the age of 60. There are other
4 risk factors which are known. Head jury is a similar one --

5 Q. I'm going to work through those.

6 A. Yes.

7 Q. Yes. When you used the word biggest factor, what do
8 you mean by that?

9 A. In terms -- quantitatively, yes.

10 Q. Okay. What is Syngenta's position or conclusion as
11 to whether exposure to paraquat causes or contributes to
12 cause Parkinson's disease?

13 A. Our position is that whilst there is biological
14 plausibility that the chemical properties of paraquat could
15 cause damage to cells in the substantia nigra, so we
16 certainly don't deny that that is a plausible hypothesis,
17 but the overall evidence based on studies in animals -- the
18 mouse model particularly -- and the epidemiology studies do
19 not allow you to conclude that paraquat is a causative
20 factor in Parkinson's disease.

21 Q. And were Syngenta to see the mass of scientific
22 evidence shift to the point where all the key studies are
23 replicable in independent laboratories, what would
24 Syngenta's position be about the ongoing manufacture and
25 sale of paraquat?

1 MR. NARESH: I object to the form and scope.

2 You can answer.

3 A. Clearly if the evidence became more convincing that
4 there was an association and indeed a causation, then we
5 would need to consider what appropriate steps might be
6 taken.

7 BY MR. TILLERY:

8 Q. And what quantum of evidence would Syngenta require
9 to reach that stage?

10 MR. NARESH: Same objections.

11 A. That would include more evidence from the two
12 strands that I mentioned previously. So if the experimental
13 research studies were lending further evidence to paraquat
14 being a causative agent, or epidemiology goes beyond what it
15 currently does which is very equivocal on this subject, then
16 we may be in a position where we need to take a different
17 view.

18 BY MR. TILLERY:

19 Q. And would the different view include terminating the
20 sale of the product -- product paraquat?

21 MR. NARESH: Same objections.

22 A. I wouldn't rule that out if the evidence became so
23 strong. But at this stage I think we are some way from
24 being in that position.

25 BY MR. TILLERY:

1 Q. You indicated a minute ago there was a plausible
2 mechanism for paraquat causing damage -- neurological
3 damage -- in the substantia nigra portion of the human
4 brain; do you remember?

5 A. I do.

6 Q. How long has Syngenta been aware of that mechanism?

7 A. We have been aware that paraquat can cause what is
8 called oxydative damage because of its redox cycling
9 capability for many years. What we still don't know is
10 whether that potential -- and I think it is important to
11 talk about this as potential -- to have to express that
12 effect, that mechanism, in a particular region of the brain,
13 that that is still -- why it's plausible but not a proven
14 hypothesis.

15 Q. Did ICI know that as well?

16 A. ICI have known that paraquat has that mode of action
17 that I have just described, yes.

18 Q. At least for the time you were there, right?

19 A. Yes.

20 Q. Okay. Has Syngenta ever tried to market a treatment
21 for Parkinson's disease?

22 MR. NARESH: Objection, scope.

23 A. I am not aware of a treatment. But we certainly are
24 very actively -- we always actively support the use of
25 general treatment procedures.

1 So we have not developed a treatment ourselves, but
2 we would -- we encourage the use of appropriate treatments
3 to -- that are used in acute poisoning, such as the use of
4 Fuller's Earth and deactivating -- other deactivating
5 materials.

6 Q. So you have not generated your own treatment for
7 Parkinson's disease?

8 A. Not generated it per se, no. No, we have used
9 existing mechanisms --

10 Q. Used it from other people?

11 A. Correct.

12 Q. Okay.

13 Now what is our next exhibit number you have?

14 (Exhibit 21 marked for identification)

15 BY MR. TILLERY:

16 Q. And would you mark this as 22, please.

17 (Exhibit 22 marked for identification).

18 BY MR. TILLERY:

19 Q. Have you ever seen exhibit 21 before?

20 A. No, I have not seen this exhibit.

21 Q. Were you aware of this process?

22 A. I was aware of the -- the research that had gone on
23 with this, yes.

24 Q. And let's, first of all, describe for the record
25 what number 21 is.

1 A. Would you like me to do that or --

2 Q. Yes, please.

3 A. Well, I just will take a little while to read this,
4 if I may.

5 Q. My questions will be general in nature.

6 A. Okay.

7 Q. This is a patent, right?

8 A. It is. It is, yes.

9 Q. And it shows the "Inventors/Applicants" as John
10 Doe -- and that is actually a man's name, right?

11 A. Yes, correct.

12 Q. And he works for Syngenta?

13 A. He did work for Syngenta.

14 Q. Okay. And then there is a person named Nicholas
15 Sturgess; correct?

16 A. Yes.

17 Q. And Kim Travis, right?

18 A. Yes.

19 Q. They all work, or both worked, at one point --

20 A. That is correct.

21 Q. -- for Syngenta?

22 A. That is correct.

23 Q. And this was issued in what date? 2006?

24 A. That's correct.

25 Q. Okay. And in general terms what do you understand

1 this patent to involve?

2 A. Well, in very broad terms, this was a -- the patent
3 was based on -- again I use the word "hypothesis", that this
4 particular agent that is the subject of this patent could
5 have utility in the treatment of Parkinson's disease. But
6 I believe -- I believe -- that its actual use has actually
7 never come to pass. But I maybe wrong about that, because
8 this work originated with Syngenta but I believe was then
9 passed on to another company.

10 Q. So would it be fair to say that the patent deals
11 with the treatment by expanding the effectiveness of
12 levadopa and other treatments in the substantia nigra of the
13 human brain?

14 A. That was the hope in bringing this forward.

15 Q. And this was 2006, done by Syngenta employees while
16 they were on duty?

17 A. Yes.

18 Q. And as a consequence of that, if you look at
19 exhibit 22, they did, as is typical, assign their patent
20 rights to Syngenta, didn't they?

21 A. Yes.

22 Q. Okay.

23 Do you know what the Brock theory is, sir?

24 A. I have an outline understanding of it, yes.

25 Q. Do you understand whether it includes the olfactory

1 bulb as a route of access to the mid-brain?

2 A. It certainly includes that, yes.

3 Q. Has the olfactory bulb been implicated as an initial
4 site of Parkinson's disease pathology?

5 A. It has. As what is called one of the prodromal
6 symptoms, where -- the loss of smell.

7 Q. Do you know if Chevron quit producing and selling
8 paraquat because of the likelihood of it causing Parkinson's
9 disease?

10 MR. NARESH: Objection to scope.

11 A. I cannot comment on behalf of Chevron.

12 BY MR. TILLERY:

13 Q. ICI and Chevron were working a joint venture at that
14 time, weren't they?

15 MR. NARESH: Same objection.

16 A. They were.

17 BY MR. TILLERY:

18 Q. You don't know why Chevron told ICI that they no
19 longer wanted to sell -- manufacture and sell the product?

20 A. I don't know why they -- they said that, no.

21 Q. Okay. Did you ever attempt to develop any
22 formulation of paraquat products with the intent of reducing
23 the exposure of users to paraquat? Again, when I say "you",
24 I mean Syngenta.

25 A. Yes, we did.

1 Q. And that also includes ICI?

2 A. It would have started in the -- when ICI was the
3 company, yes. That is correct.

4 Q. So you tried an alternative product --

5 A. We --

6 Q. Or a means of improving the safety of the product?

7 A. We did.

8 Q. What did you do?

9 A. We tried to develop formulations of paraquat which,
10 if accidentally or deliberately, were ingested, would not be
11 absorbed by the stomach as readily in order to be able to
12 allow for more effective and quicker treatment.

13 Q. And this was a form of emetic, wasn't it?

14 A. Emetic was one of the agents that we used.

15 Q. And this contemplated a larger ingestion, for
16 example, a teaspoon or more of paraquat?

17 A. It certainly contemplated that scenario, yes.

18 Q. Someone accidentally or perhaps even intentionally
19 taking the product, you were designing a product that caused
20 them, in addition to paraquat, to vomit to eliminate this
21 product from their body and perhaps save their lives?

22 A. Because at that time that was considered by medical
23 experts to be something that would have potential utility in
24 the way in which you have described.

25 Q. With respect to the active ingredient of paraquat,

1 would it be safe to say that since 1962, when it was first
2 used in the UK, and then three years later in the
3 United States in 1965, has the molecule been the same?

4 A. As far as I'm aware, the molecule is the same.

5 Q. And so far as you know, there's been no effort to
6 change the molecular structure of that chemical --

7 A. I'm not --

8 Q. -- in order to affect or change the potential health
9 effects on users or consumers of the product?

10 A. I -- I'm not aware of any attempt to change the
11 fundamental structure of the molecule.

12 Q. If you wanted to test a hypothesis that repeated low
13 dose exposures to paraquat over several years could cause or
14 potentially cause Parkinson's disease, how would you do
15 that, sir?

16 MR. NARESH: Objection to form.

17 A. Well, the normal toxicological practice would be to
18 see if you can see effects in an appropriate laboratory
19 animal model, and to consider what the appropriate routes of
20 exposure and duration of exposure should be.

21 BY MR. TILLERY:

22 Q. More granularly, how would you do it?

23 MR. NARESH: Same objection.

24 BY MR. TILLERY:

25 Q. In other words how would you design this, if you

1 were the lab lead scientist assigned to this product? How
2 would you do that?

3 MR. NARESH: Same objection?

4 A. Well, you would first of all have to ascertain what
5 end point, what effects you would want to detect in
6 that animal model, regardless of the factors I have just
7 been mentioning, and therefore you would want to see whether
8 the hallmarks of Parkinson's disease that you see in a human
9 could be seen and detected in such an animal model. So that
10 would include the pathology in the relevant part of the
11 brain, levels of dopamine and other such factors.

12 BY MR. TILLERY:

13 Q. Would your proposal include looking at the role of
14 alpha synuclein in the development of Parkinson's disease?

15 MR. NARESH: Same objections.

16 A. It could. But as I said earlier, we are still
17 unsure of exactly what role alpha synuclein has. So one
18 would, I think, include that with some caution because it
19 might be difficult to interpret the findings.

20 BY MR. TILLERY:

21 Q. How many studies has Syngenta done of alpha
22 synuclein impact by paraquat?

23 A. We have not done very much in the way of addressing
24 specifically alpha synuclein ourselves.

25 Q. Have you done one study?

1 A. I don't recall if we have done one study. We may
2 have done. I don't recall.

3 Q. You don't remember ever seeing one?

4 A. Not -- I don't remember now seeing a Syngenta study
5 where we have looked at --

6 Q. Have you -- sorry.

7 A. No, it's fine.

8 Q. Have you ever asked a third party to do a study that
9 evaluated the role of alpha synuclein in the development of
10 Parkinson's disease with respect to paraquat?

11 A. We have had conversations with other scientists
12 about alpha synuclein, but again I can't recall that we've
13 ever asked a third party to include this in any of their
14 experiments.

15 Q. Is alpha synuclein included in the tests you did in
16 the paraquat mouse model, such as the Breckenridge line of
17 studies?

18 A. No, I don't believe it is included.

19 Q. Has paraquat been shown to cause an upregulation of
20 alpha synuclein in laboratory animals?

21 A. I believe that in some other -- in some studies that
22 other researchers have done, that that is the case.

23 Q. And for the record, would you describe briefly what
24 upregulation of alpha synuclein means?

25 A. It means that there is a change -- usually meaning

1 an increase, when you talking about upregulation -- to the
2 expression or the amount of alpha synuclein in a particular
3 part of the body.

4 Q. Is Lewy body pathology a pathological hallmark of
5 Parkinson's disease?

6 A. I think that is generally true.

7 Q. Does alpha synuclein misfolding comprise the
8 majority of proteins in the Lewy bodies?

9 A. Again I think that is largely seen to be true, yes.

10 Q. And Parkinson's disease -- strike that question.
11 Can paraquat cause a misfolding of the alpha
12 synuclein?

13 A. I'm not aware that there's any clear cut evidence of
14 that.

15 Q. And there has been no test done by Syngenta to
16 verify it one way or another?

17 A. We have certainly not looked at that specific
18 parameter.

19 Q. Did Lewis Smith and Charles Breckenridge seek
20 approval to perform exactly those studies?

21 A. We -- I certainly recall that we had discussions
22 within our health scientists team about this on more than
23 one occasion. But as I said earlier, the view was that
24 although it was one possible avenue of research, the overall
25 decision was that it was too uncertain that we would be able

1 to interpret the findings.

2 Q. And just so we are clear, when I mentioned those two
3 scientists, Charles Breckenridge was until recently in what
4 role at Syngenta?

5 A. He was a senior toxicologist in our North American
6 toxicology department.

7 Q. And you described Lewis Smith, but he was in
8 a similar position in the UK; correct?

9 A. He had a number of senior roles in product safety
10 and in the company more widely.

11 Q. So two very high ranking scientists in the Syngenta
12 organization sought that.

13 Who did they need approval from in order to secure
14 the approval to do the test and to get the funding?

15 A. Discussions of that sort, where we were proposing
16 possible lines of research, were discussed within the
17 paraquat health scientists team, which Lewis Smith was
18 initially the -- the head of that team, and subsequently
19 I became the head.

20 And we would, ourselves, make recommendations -- in
21 some cases a strong recommendation -- about which line of
22 research we should take and they were then further discussed
23 with a group of more senior leaders in the company called
24 the Paraquat Issues Leadership Team.

25 Q. And who sat on that committee you are referring to?

1 A. The Paraquat Issues Leadership Team included -- and
2 this is not an extensive list -- the head of research and
3 development, a senior attorney -- a senior lawyer --
4 a senior person in the marketing department, other people in
5 R&D, and the head of regulatory affairs.

6 Q. And let's assume they agreed that these studies
7 should be undertaken. Was that the final authority or did
8 they need to seek approval from yet a higher level in the
9 company?

10 A. In normal terms, experiments would not require any
11 further approval.

12 Q. Is the Syngenta executive committee involved in any
13 of this process?

14 A. Only occasionally when there are some specific
15 circumstances where we feel that we would like their
16 opinion.

17 Q. Not just their opinion, their approval; correct?

18 A. And sometimes -- sometimes their approval.

19 Q. And for the record, describe what the Syngenta
20 executive committee is?

21 A. The Syngenta executive committee, now called the
22 Syngenta executive team, is the most senior group of leaders
23 in the organization. So it is chaired by the Chief
24 Executive Officer.

25 Q. These are the people who make the final decisions in

1 the company; correct?

2 MR. NARESH: Objection to scope and form.

3 A. They make the strategic decisions for the company.
4 Of course, a lot of decision-making is delegated to
5 appropriate organizations within the company.

6 BY MR. TILLERY:

7 Q. Is there any higher form of authority at the company
8 than the Syngenta executive committee?

9 A. Well, the chairman of the executive committee
10 reports to the Syngenta -- or did report to the Syngenta
11 board, so in that sense there is a higher authority.

12 Q. Could you tell me the names of the people on the
13 paraquat leadership team?

14 A. So the Paraquat Issues Leadership Team.

15 Q. Yes. You referred to it as PILT?

16 A. Yes, the PILT, that's right.

17 Q. Okay?

18 A. Well, that's quite a difficult thing to do. It has
19 existed for a long period of time and the names have changed
20 so

21 Q. So it's different. I'm not trying to put you
22 through a memory test, so okay.

23 A. Yes.

24 Q. Is there a group now?

25 A. There is a group which acts in that same capacity,

1 although it's generally actually not used in the same way as
2 it was before. It's a smaller group of people now who can
3 help with this.

4 Q. Was the Syngenta executive committee involved in
5 approving the studies that resulted in the Breckenridge and
6 Minnema published studies?

7 A. The executive committee were informed about that at
8 a point in time, but they were not -- we did not need to
9 seek their approval to do those tests.

10 Q. Okay, but they were told about it?

11 A. I personally reported to them at one time,
12 certainly, yes.

13 Q. Irrespective of whether or not they get involved at
14 a level of approving or disapproving, are they informed of
15 these types of studies at paraquat?

16 A. They are informed about the broad direction of the
17 program, and from time to time they are given some detail
18 where that is considered to be appropriate.

19 Q. Doesn't the Syngenta executive committee approve the
20 entire Syngenta research project budget?

21 A. They -- the Syngenta executive committee would
22 certainly approve the R&D budget, that is correct.

23 Q. Okay. What studies has Syngenta performed -- or had
24 performed -- which investigated whether paraquat causes
25 upregulation? Did we cover that?

1 A. We covered that.

2 Q. All right, forget that.

3 Now, you mentioned head injury before and I told you
4 we would come back to that. Do you think head injury is
5 a risk factor?

6 A. Well, I think there is certainly some evidence that
7 suggests that, which is why I mentioned it. And head injury
8 appears to be a potential risk factor for other
9 neurodegenerative diseases, not just Parkinson's.

10 Q. Can you tell me the mechanism that you believe
11 causes head injury to become a risk factor for Parkinson's
12 disease?

13 MR. NARESH: Object to the scope, the form.

14 Go ahead.

15 A. No, I can't tell you that. Because that's, again,
16 a level of knowledge which I've never really explored.

17 BY MR. TILLERY:

18 Q. And you mentioned earlier that more sporadic cases
19 of Parkinson's disease, other than those that you said were
20 early onset, they start around -- they average around 60?

21 A. Again, I think that I wouldn't -- I would say that
22 early onset Parkinson's is generally something that would be
23 in people who are under the age of 60. Parkinson's disease
24 as a whole is a disease of older age.

25 Q. And is age itself -- aging itself -- a risk factor

1 for Parkinson's disease?

2 A. Indeed, that is probably in itself the biggest risk
3 factor.

4 Q. And why is that? What is it doing in terms of the
5 causative effects of Parkinson's disease, from your
6 standpoint?

7 A. Again, really as a layperson in the clinical aspects
8 of this, I would simply say that as you grow older things
9 like repair processes and normal functioning of the body
10 will tend to be less effective, thus leading us to be prone
11 to a number of diseases.

12 Q. Let me ask you in terms of the research: you have a
13 specific committee at Syngenta that deals with Parkinson's
14 and paraquat, right?

15 A. We have a -- a health science team, yes.

16 Q. And that health science team has a name that applies
17 to paraquat and Parkinson's studies, right?

18 A. Correct.

19 Q. What is that group called?

20 A. The paraquat health scientists team.

21 Q. And that explores and investigates the relationship
22 between paraquat and Parkinson's, at least in part; correct?

23 A. It does.

24 Q. All right. Now, that committee and you -- you are
25 heading it, that committee, or you did; right?

1 A. I still do.

2 Q. You understood that typical onset in the absence of
3 a genetic -- strong genetic -- predisposition to have onset
4 irrespective of any kind of external toxicant, okay, like an
5 environmental factor, paraquat for example, would occur
6 normally much earlier than 60 years, you knew that?

7 A. Early onset Parkinson's disease occurs before 60,
8 yes.

9 Q. Okay. And you understood that in the literature
10 that often ranged from late 20s to 30s and 40s?

11 A. It --

12 Q. You knew that, right?

13 A. We knew that, yes.

14 Q. All right.

15 But the traditional kind where scientists are
16 investigating the effects that are not generated by some
17 genetic predisposition, but instead by environmental
18 factors, are in the sporadic group that typically -- not
19 always but typically -- start around age 60; you knew that?

20 A. I would say it was generally above 60. I think that
21 Parkinson's --

22 Q. Over 60, perhaps --

23 A. Certainly well over 60.

24 Q. And for those of us who are over 60, okay, the stage
25 of life would be like, what, the last fourth of our life,

1 typically?

2 A. That's about right, yes.

3 Q. Right, okay. Now if we are using the model -- human
4 model -- and we are talking about people exposed to paraquat
5 or exposed to any other external toxicant and looking at
6 those, and they typically wouldn't develop the condition
7 until their 60s or later, can you tell me if you are using
8 animal models whether it is appropriate to use a very, very
9 young animal?

10 MR. NARESH: Objection to form, scope.

11 Go ahead.

12 BY MR. TILLERY:

13 Q. What I mean by that is let's say a mouse that lives
14 for two years. We talked yesterday about mice and they were
15 dying and you said that typically could be the end of their
16 lives, two years, right? And these were six to eight week
17 old mice that you were using in the studies, right?

18 A. When we first started to administer paraquat, that
19 is correct.

20 Q. Okay. And can you extrapolate the effects of
21 paraquat as a toxicant in these mice that are six to eight
22 weeks old to the outcomes from the same exposure in the
23 natural environment for people who have an onset at age 60
24 or later?

25 A. Toxicology is always based on an understanding that

1 the animal model cannot be necessarily an accurate mimic of
2 the human being. And you sometimes have to compensate --
3 for example, for the factor that you have just mentioned --
4 by exaggerating the way in which you expose the animals to
5 a substance, to give very high doses, for example.

6 And yes, you can use different ages of animals, and
7 we ourselves did use older animals than six to eight weeks
8 in some of our experiments. But you are never in a position
9 to accurately replicate what might happen in the human
10 being, and, if I may just add, it's not clear when the
11 disease of Parkinson's disease -- of Parkinson's actually
12 starts in the human being.

13 Q. Let's talk about that for a second. Let me ask you,
14 what age does a six to eight week old mouse translate to in
15 terms of the human being?

16 A. A mouse would normally have a life span of around
17 18 months.

18 Q. Okay. So a six to eight week old mouse is, what,
19 just passed a -- not even a teenager yet, in human terms,
20 right?

21 A. If you want to extrapolate that, yes.

22 Q. If you take the study out for four weeks or six
23 weeks, you have moved them up to maybe the beginning of
24 their teenage years in human terms, right?

25 A. Yes.

1 Q. Have you ever in your life read any accounts of
2 people who were in their teens contracting Parkinson's
3 disease that was not caused by some genetic predisposition?

4 A. No.

5 Q. All right, thank you.

6 Does well water cause Parkinson's disease?

7 A. I don't know. But there is, again, a hypothesis
8 that it could, due to the presence of microorganisms in the
9 well water.

10 Q. Or due to the presence of pesticides?

11 A. What I have seen is that microorganisms in well
12 water have been hypothesised.

13 Q. But you have not done research to determine that; is
14 that correct?

15 A. No, we have not.

16 Q. Do you know what percentage of people with
17 Parkinson's disease have genes that give rise to the disease
18 without any environmental factor?

19 A. I wouldn't be able to answer that.

20 Q. Are you aware of any geographical areas or
21 occupational groups that have a greater than expected
22 incidence of Parkinson's disease?

23 A. Again, I'm not a expert in this field. So again in
24 broad terms, I sometimes read that there are such effects
25 but they are not remarkable. There is nothing that strikes

1 you as being very clear in that area.

2 Q. So would a -- let's say a odds ratio of two or three
3 or four to one, that odds ratio for developing Parkinson's
4 disease, would that be something that would get your
5 attention?

6 A. It's, again, an area where you would have to consult
7 with epidemiologists as to what a significant odds ratio
8 would be. But certainly, yes, again in general terms, odds
9 ratios of that sort you would want to look at to see whether
10 you could understand where that might have come from.

11 Q. And for the court and ladies and gentlemen of the
12 jury, what that means, by odds ratios of those types, means
13 odds ratio 2 means that you are twice as likely to get
14 Parkinson's disease, right?

15 A. That's correct.

16 Q. Three, three times more likely?

17 A. Yes.

18 Q. Four, four times more likely?

19 A. Yes.

20 Q. Is that what your understanding is --

21 A. That's my -- that is what odds ratio means.

22 Q. What is a potentially referable finding?

23 A. This is associated with the United States
24 Environmental Protection Agency legislation, which in
25 shorthand is called 6(a)(2), where findings in, for example,

1 in toxicology studies but not exclusively in toxicology
2 studies, may need to be reported to the US EPA.

3 Q. And does Syngenta have a committee known as the
4 Potentially Referable Finding Committee?

5 A. It does, and that is a committee which is based in
6 our United States organization.

7 Q. You mentioned using high doses of testing chemicals
8 or tested chemicals in animals to exaggerate the exposure to
9 make up for your inability to perfectly replicate real world
10 exposure; do you remember that?

11 A. I do.

12 Q. Right. How would that make up for using animals
13 that were the equivalent of teenagers at the end of the
14 study?

15 A. I am not claiming that it necessarily would. I was
16 making a broad point there that toxicology studies, because
17 they can never accurately replicate what happens in a human
18 being's lifetime, will take actions such as exaggerating the
19 amount that is given to the animal, but not exclusively
20 that.

21 Q. You agree with me that it would not make up for that
22 difference of using young animals, would it?

23 A. You can't say that it would; equally, you can't say
24 that it would not.

25 Q. Hasn't it been Syngenta's position that the high

1 doses in your paraquat mouse study are not relevant to real
2 world exposure?

3 A. That is a different question. Because what our
4 argument there is, is that it's the route of administration
5 which is particularly of concern. Which I have to say is
6 a view also shared by the US Environmental Protection
7 Agency.

8 Q. And you actually suggested that to them, didn't you?

9 A. We certainly came to that view ourselves, because
10 here, for the record, we are talking about injecting
11 paraquat into the intra-peritoneal cavity, which was clearly
12 a very big exaggeration, if you like, of the way --

13 Q. You have done that consistently for years in
14 studies, haven't you?

15 A. We did that for two reasons. One for the reason we
16 just indicated so that we are not trying to -- not -- look
17 to see whether the mouse might have the capability of
18 showing Parkinson's pathology, but also because our -- and
19 more importantly -- because our research efforts were
20 directed to see whether the finding that other people have
21 shown using this route of exposure is repeatable.

22 Q. Did you suggest that to the US EPA or did they
23 suggest it to you?

24 A. I -- neither applies.

25 Q. So you didn't go to the US EPA and suggest that

1 intra-peritoneal injections of mice for studies of paraquat
2 are not appropriate, you never suggested that, is that what
3 you are telling me?

4 MR. NARESH: I will object to the scope.

5 A. I may have misunderstood your question at first when
6 you used the term "suggest". We certainly gave an opinion
7 to the EPA that as a route of administration it was
8 certainly not physiological.

9 BY MR. TILLERY:

10 Q. And you gave that opinion before they ever
11 published a similar statement publicly, right?

12 MR. NARESH: Same objection.

13 A. I believe that would be correct in terms -- at the
14 time, yes.

15 BY MR. TILLERY:

16 Q. Does your position with respect to the route of
17 exposure being the issue mean that high doses themselves are
18 not a concern?

19 A. No, high doses are -- in of themselves are still
20 appropriate to consider. So we also did -- the Minnema
21 paper that you referenced was where we gave paraquat through
22 a more normal route of exposure, in their diet, but that was
23 still at high doses.

24 Q. I'm going to come back later on the issue of
25 potentially referable findings, okay. But I wanted to at

1 least inquire before the rest of this deposition today and
2 just see if we can summarize, without getting into all of
3 the details which we will touch later.

4 A potential referable finding refers to some adverse
5 effect, doesn't it?

6 A. It does.

7 Q. And your committee evaluates whether certain adverse
8 effects require reporting them, correct?

9 A. That is their responsibility, yes.

10 Q. And there's regulatory authorities all over the
11 world which you have an obligation to report to, right, not
12 just the US regulatory authority?

13 A. That is true.

14 Q. And those include where you sell, manufacture,
15 market paraquat?

16 A. Yes.

17 Q. How many of those countries are there?

18 MR. NARESH: Object to scope.

19 A. Where we -- where we market paraquat?

20 BY MR. TILLERY:

21 Q. Yes.

22 A. I am not able to give you answer.

23 BY MR. TILLERY:

24 Q. How many countries -- strike that.

25 In how many countries has paraquat been banned?

1 MR. NARESH: Objection to scope.

2 A. Again, I can't give you an accurate number.

3 BY MR. TILLERY:

4 Q. If I told you it was over 32, would you dispute
5 that?

6 MR. NARESH: Same objection.

7 A. I would not dispute that.

8 BY MR. TILLERY:

9 Q. Okay.

10 So the potential neurotoxic health effects of
11 paraquat are required to be reported to regulatory
12 authorities, correct?

13 A. It's not quite as straightforward as that. The
14 reporting requirements are -- also say that the finding has
15 to be new. A new finding. So if it is deemed already to be
16 known by the agency, then that requirement is not -- is not
17 in place.

18 Q. Well, more specifically, would you agree that
19 withholding scientific findings from the regulatory agencies
20 about the neurotoxic effects of paraquat would be
21 inconsistent with compliance with the regulatory
22 authorities?

23 MR. NARESH: I will just object to this. It
24 calls for a legal conclusion.

25 You can answer to the extent you know.

1 A. If the -- if all the criteria had been addressed and
2 we believed that the -- we needed to report, then we would
3 certainly always have done so.

4 BY MR. TILLERY:

5 Q. You understood -- and I again mean Syngenta -- that
6 when the US Congress amended FIFRA -- you know what FIFRA
7 is?

8 A. I do.

9 Q. In 1972, it adopted a very broad reporting
10 requirement, typically referred to as a section 6(a)(2)
11 requirement, right?

12 MR. NARESH: I will object. It calls for a legal
13 conclusion --

14 A. Indeed, 6(a)(2) is what I referred to in my earlier
15 answer.

16 MR. NARESH: Steve, can I have -- to the extent
17 that I suspect we are going to have a number of objections
18 to the extent calling for a legal conclusion, can I just
19 have a standing objection as to that?

20 MR. TILLERY: Absolutely.

21 BY MR. TILLERY:

22 Q. Do you understand that section 6(a)(2) requires
23 pesticide registrants like Syngenta to report to the
24 Environmental Protection Agency information concerning --
25 and I'm quoting:

1 "Any unreasonable risk to man or the environment."
2 Of their products? Did you know that?

3 A. I did know that.

4 Q. Okay. And do you know that FIFRA section 14(b)
5 authorizes criminal prosecution of a registrant who
6 knowingly violates FIFRA?

7 A. I did know that.

8 Q. All right. Sections 12(a)(2)(n) and (q) make it
9 unlawful for a registrant:

10 "... to fail to file reports required by the
11 subchapter."

12 Or to:

13 "Falsify all or part of any information relating to
14 the testing of any pesticide including the nature of any
15 observation made or conclusion or opinion formed submitted
16 to the administrator or that person knows will be furnished
17 to the administrator."

18 Did you know that?

19 A. I did know that.

20 Q. You are also aware that false statements to the EPA
21 are also unlawful under the Criminal False Statement Statute
22 which provides:

23 "Whoever falsifies, conceals or covers by any trick,
24 scheme or device a material fact or makes an immaterially
25 false fictitious or fraudulent statement or representation,

1 or makes or uses --..."

2 (Fire alarm test)

3 BY MR. TILLERY:

4 Q. "... or makes or uses any false writing or document
5 knowing the same to contain any materially false, fictitious
6 or fraudulent statement ... or shall be fined under this
7 title or imprisoned for not more than five years."

8 Did you know that?

9 A. Yes, I did.

10 Q. That is 18 USC section 1001. You know that, right?

11 A. I don't know all the detailed numbers.

12 Q. But you knew in general --

13 A. I know in general what this says --

14 Q. You can't lie about this stuff and you can't
15 withhold information, you knew that?

16 A. I knew that.

17 Q. If you've got information, you got to turn it over,
18 right?

19 A. I know that.

20 Q. It is an absolute obligation to do it, if you are
21 selling a product that they are in control of in terms of
22 regulation and that can cause harm to the consumer, right?

23 MR. NARESH: Object to scope.

24 BY MR. TILLERY:

25 Q. Is that correct?

1 A. It is correct. But I would repeat that there are --
2 there is detailed guidance there on exactly what that means
3 in terms of the findings.

4 Q. I am actually going to get into this, okay.

5 You are aware that the EPA regulations require
6 registrants to report information that is relevant to the
7 assessment of the risks or benefits of one or more specific
8 pesticide registrations currently or formerly held by the
9 registrant.

10 Correct?

11 A. Yes.

12 Q. And of course paraquat is one of those because
13 Syngenta is a primary registrant of the chemical paraquat,
14 right?

15 A. It is.

16 Q. In the United States and elsewhere, correct?

17 A. It is.

18 Q. And you knew that information is relevant to the
19 assessment of the risks or benefits if it includes the
20 conclusions or opinions of a person (i) who is employed or
21 retained by the registrant and was likely to receive such
22 information, (ii) from whom the registrant requested an
23 opinion or conclusion, or (iii) who is a qualified expert as
24 described in the codafel(?) regulations.

25 You knew that as well?

1 A. We did.

2 Q. Okay. You knew that conclusions and opinions of
3 Syngenta employees and retained experts relevant to the
4 assessment of the risks or benefits of a regulated chemical
5 must be reported to the US EPA?

6 A. Yes, yes.

7 Q. Did you know that?

8 A. Yes.

9 Q. Okay. How long have you known this?

10 A. Personally, I've known this since the 1990s when
11 I was --

12 Q. Okay. Syngenta knew that EPA regulations require
13 that codafel(?) regulations section 159.165 makes
14 mandatorily reportable adverse findings in toxicological
15 studies notwithstanding similar findings in prior studies:

16 "If relative to all previously submitted studies
17 they show an adverse effect."

18 And then it lists a number of things: in a different
19 organ or tissue of the test organism; at a lower dosage;
20 after a shorter exposure period; after a shorter latency
21 period; at a higher incidence or frequency; by a different
22 route of exposure; in a different strain, sex or generation
23 of test organism.

24 You knew that too?

25 A. That's right. Those are the qualifications I was --

1 Q. Those are the ones you were talking about?

2 A. Yes, yes.

3 Q. Would you agree that an adverse effect finding is
4 a completely -- in a completely different species is
5 a highly relevant mandatory reportable adverse effect
6 finding under 40 CFR 159.165?

7 MR. NARESH: Objection to form.

8 A. In a different -- excuse me, in a different species,
9 yes.

10 BY MR. TILLERY:

11 Q. Okay. You also knew that the EPA has a catchall
12 regulation that makes "Other information" mandatorily
13 reportable. A registrant must submit "information other
14 than as described", in the section I just quoted 159.165: if
15 the registrant knows or reasonably should know that if the
16 information should prove to be correct, EPA might regard the
17 information alone or in conjunction with other information
18 about the pesticide as raising concerns about the continued
19 registration of a product, or about the appropriate terms
20 and conditions of registration of a product. Right?

21 MR. NARESH: Objection to form.

22 A. Yes. But if I could say at this point --

23 BY MR. TILLERY:

24 Q. Just tell me this: were you aware of that rule, 40
25 CFR 159.165?

1 A. Well, the script -- the last few words that you read
2 out, I am less familiar with because my responsibility was
3 largely to deal with toxicological information or opinion
4 given to us by experts.

5 Q. What I'm asking is did you recognize this to be your
6 duty at Syngenta?

7 MR. NARESH: Objection, asked and answered.

8 A. In broad terms, of course, yes.

9 BY MR. TILLERY:

10 Q. Okay. Syngenta never got a pass for compliance with
11 these rules, did it?

12 MR. NARESH: Objection to form.

13 A. Would you repeat the question?

14 BY MR. TILLERY:

15 Q. Syngenta never got any kind of exoneration or pass
16 from compliance with the rules?

17 A. You mean an exemption? No.

18 BY MR. TILLERY:

19 Q. Yes, exemption?

20 A. No, they didn't.

21 Q. Okay. At all relevant times Syngenta was required
22 to fully comply with all of the rules that I just read to
23 you about paraquat, wasn't it?

24 A. That is correct.

25 Q. Would you agree with me that in case of any doubt

1 about whether to make a report to the US EPA under any of
2 these laws, the most responsible way to proceed would be to
3 file a report?

4 A. That is correct. And I will have to say that that
5 is absolutely the philosophy that I was encouraging.

6 Q. Okay.

7 A. If in doubt, we put it into the system to decide
8 whether we should be submitting.

9 Q. I move to strike the answer as unresponsive.

10 Would you agree with me that in the case of any
11 doubt about whether to make a report to the US EPA under any
12 of these laws, the most responsible way to proceed is to
13 file the report?

14 MR. NARESH: I will object as asked and answered.

15 BY MR. TILLERY:

16 Q. Would you agree with that?

17 A. It is. But I still believe that that is and should
18 be done in accordance with understanding the specific
19 requirements that you have been reading out.

20 Q. Were there others at Syngenta that argued the other
21 side of this position?

22 A. Let me, at this point, say that the final decision
23 for this was taken by the US PRF committee that I mentioned
24 before. I personally was not a member of that committee.
25 My responsibility was actually to give information to that

1 committee from studies or opinion that my department or my
2 team were aware of or had found.

3 Q. So you may have never made a final report decision
4 yourself?

5 A. I was never in the position of being part of that US
6 committee that made those decisions.

7 Q. Right. And who was?

8 A. They were employees of ICI-Zeneca Syngenta in
9 North America.

10 Q. And who was on the US PRF committee?

11 A. Rather like the answer to the previous question, the
12 personnel have changed over the years so I could give
13 a number of --

14 Q. It depends on the year is what you are saying?

15 A. It depends on the year, yes, yes.

16 Q. What are you saying is you could make
17 recommendations but whether they followed them and reported
18 it wasn't within your wheelhouse so to speak?

19 A. It was not my accountability to do that.

20 Q. Accountability, right. And would you agree with me
21 that in case of doubt there should be a report made?

22 A. That was very much the philosophy of what -- what
23 I was responsible for was what we called an approach
24 process. So we had a PRF approach committee in my function
25 and it -- absolutely, people were encouraged that if in

1 doubt they brought that for my committee to look at, and
2 then we again would pass most of that information on to the
3 US committee.

4 Q. How many times has a 6(a)(2) report about paraquat
5 been considered but rejected in terms of advancement to the
6 US EPA by either the PRF committee or someone above them?

7 A. I wouldn't want to speculate on that number. So
8 I don't want to answer that question, because I don't --
9 I don't know.

10 Q. Okay. You know it's happened, don't you?

11 A. The word "rejected" is perhaps not quite the word
12 I would use. Certainly a decision has been taken that
13 certain findings did not meet those criteria that you read
14 out.

15 Q. Right. That would be certainly something that you
16 would put in a document perhaps. Would that be done by you
17 or by the PRF committee or by the SEC?

18 A. By the US PRF committee who made that final
19 decision.

20 Q. And would they make that decision or would that have
21 to be approved by the managing board of the company?

22 A. My understanding is that that would be the
23 accountability of the US PRF committee.

24 Q. Okay.

25 Would you agree that science is built on the sharing

1 of information; that scientists generate knowledge building
2 on the information produced and shared by other scientists?

3 MR. NARESH: Objection to form, scope.

4 A. I think that's a very sound description.

5 BY MR. TILLERY:

6 Q. Okay. You would agree with it?

7 A. Yes.

8 Q. Would you agree that science flourishes best in
9 conditions of the open and public exchange of ideas,
10 methods, findings and interpretation; openness facilitates
11 vetting new findings and new theories through continued
12 study and analysis?

13 MR. NARESH: Same objection.

14 A. I would have to agree with that.

15 BY MR. TILLERY:

16 Q. Would you agree that the absence of disclosure of
17 scientific information inevitably causes society to be the
18 loser?

19 MR. NARESH: Same objections --

20 A. It could -- it could be one consequence.

21 BY MR. TILLERY:

22 Q. You would agree also that secrecy regarding
23 scientific findings diminishes our ability to both identify
24 public health and safety hazards and to prevent harm from
25 them?

1 MR. NARESH: Same objections.

2 A. As a general statement, I think that is true.

3 BY MR. TILLERY:

4 Q. On the other hand, awareness of scientific studies
5 and findings helps redirect precious efforts and dollars and
6 avoids unnecessary delay and expense?

7 MR. NARESH: Same objections.

8 A. Yes.

9 BY MR. TILLERY:

10 Q. Okay. Scientific results establishing possible
11 links between heavily used products and very serious health
12 effects are all the more important to disclose because of
13 the potential enormous cost and suffering to human victims
14 specifically and to our society generally.

15 Would you agree with that statement?

16 MR. NARESH: Same objection.

17 A. Yes, I would agree with that.

18 BY MR. TILLERY:

19 Q. Okay. Would you agree that scientific research is
20 often a cumulative process built on the knowledge learned
21 from laboratory and epidemiological studies. Disclosing all
22 scientific research is vital to this process and failing to
23 do so interferes with the advancement of objective research
24 and knowledge?

25 MR. NARESH: Same objection.

1 A. I would -- I would add one qualifier if I may at
2 this point. What is just as important is that the sharing
3 of information must be done so in a way in which the quality
4 of that information is also properly understood because --
5 BY MR. TILLERY:

6 Q. The quality of the studies?

7 A. Whether it is preliminary findings or whether it is
8 confirmed findings.

9 Q. Would you say that would also be sharing information
10 about whether the sponsor of a study or the people paid for
11 a study or to do a study had a financial interest in the
12 outcome?

13 A. Some people would believe that that was an important
14 factor. And I have no problems in transparency of that
15 issue.

16 Q. Right. Would you agree that the importance of
17 public disclosure of adverse effects of chemicals is
18 especially true when studies link that chemical to
19 a pervasive and progressive disease like Parkinson's
20 disease?

21 MR. NARESH: Same objections.

22 A. I think that that would be not unreasonable.

23 BY MR. TILLERY:

24 Q. Okay.

25 What is neurotoxicity? What does that mean?

1 A. Neurotoxicity is the effects of agents on the
2 nervous system.

3 Q. How would you define neurotoxicity?

4 A. It is toxicity to the parts of the nervous system,
5 the nerves, the brain and so on, and to neurological
6 function.

7 Q. Let me propose a sort of a textbook definition of
8 neurotoxicity and see if you agree with it so that we can
9 agree to use it for the next round of questions I'm about to
10 ask you.

11 A. Okay.

12 Q. Neurotoxicity is a form of toxicity in which
13 a biological, chemical or physical agent produces an adverse
14 effect on the structure or function of the central and/or
15 peripheral nervous system. It occurs when exposure to
16 a neurotoxin alters the normal activity of the nervous
17 system in such a way as to cause damage to nervous tissue.
18 This can eventually disrupt or kill neurons and other parts
19 of the nervous system.

20 Do you understand that?

21 A. I do.

22 Q. Does that make sense to you?

23 A. It makes sense.

24 Q. Okay. Can we use that definition for the purpose of
25 this line of questions?

1 A. I am fine with that.

2 Q. Is paraquat neurotoxic?

3 A. I believe that that is not yet shown to be the case.

4 Q. So your answer is it is not?

5 A. At this point in time, it is not.

6 Q. Okay. In the Charles River black mouse, does
7 paraquat kill dopaminergic neurons in the substantia nigra?

8 A. In some laboratory experiments, some investigators,
9 but not all, have found -- have found that.

10 Q. So if it did kill dopaminergic neurons, under the
11 definition it would be neurotoxic at least as to the
12 Charles River black mouse, right?

13 A. If that were a consistent and reproducible finding,
14 yes.

15 Q. When did you first learn that paraquat was
16 neurotoxic in the Charles River black mouse?

17 MR. NARESH: Objection to form.

18 A. When some of the publications from other researchers
19 began to appear in peer-reviewed journals.

20 BY MR. TILLERY:

21 Q. Why did you conduct paraquat studies using the
22 Charles River black mouse?

23 A. Because we were trying to do as I have just
24 indicated, to see whether that apparent toxicity,
25 neurotoxicity, could be repeated and therefore could

1 potentially be a real effect.

2 MR. TILLERY: Let's go off the record for
3 a minute.

4 THE VIDEOGRAPHER: We are going off the record at
5 10:15.

6 (Break taken.)

7 THE VIDEOGRAPHER: We are back on the record as
8 of 10:27. This is now media number 2. You may continue.

9 BY MR. TILLERY:

10 Q. Before we move on to this line of questions, just
11 for clarification's sake for this record: the PRF committee,
12 you said, could make a recommendation to the US Syngenta
13 folks -- that would be Syngenta Crop Protection LLC,
14 correct?

15 A. That is right.

16 Q. And they had the final authority about whether or
17 not to report it to the US EPA?

18 A. That is correct.

19 Q. Okay. Now I would like to direct your attention to
20 exhibit number 23.

21 (Exhibit 23 marked for identification)

22 BY MR. TILLERY:

23 Q. This starts at a Bates range of Syngenta 493318. Is
24 that correct, sir?

25 A. That is correct.

1 Q. I will be using those numbers as references to guide
2 you to different pages of this document.

3 A. Okay.

4 Q. Okay. This is a document I will represent to you
5 that was produced in discovery by your counsel to us --

6 A. Um-hm.

7 Q. -- as one of Syngenta's documents. This is
8 a Syngenta presentation entitled:

9 "Paraquat & Parkinson's Disease."

10 Correct?

11 A. Yes.

12 Q. And when was this presentation given?

13 A. I'm not able to answer that question at the moment.
14 I can't see a date.

15 Q. All right. And if you would go to -- I will just
16 refer to the last three numbers on the Bates number -- to
17 319.

18 A. Okay.

19 Q. The presenters of this -- it looks like
20 a presentation and a PowerPoint presentation, doesn't it?

21 A. It does.

22 Q. All right. The presenters were Mr. Sturgess -- Nick
23 Sturgess -- Louise Marks and Alison Foster; correct?

24 A. Yes.

25 Q. And Nick Sturgess is the person that you described

1 yesterday in the deposition. He was the former technical --
2 senior technical expert product safety at Syngenta; correct?

3 A. That is correct.

4 Q. Okay. He served as senior technical expert in
5 product safety from 1989 to 2018. Is that also correct?

6 A. Those dates sound familiar. I can't be sure those
7 are absolutely accurate, but I think that that's correct.

8 Q. Okay. And for this presentation, and from this
9 exhibit, Mr. Sturgess presented an introduction to paraquat
10 and Parkinson's disease, and the summary comments as well.
11 Okay?

12 A. Yes.

13 Q. Is that what --

14 A. That's what that says, yes.

15 Q. And Dr. Marks was a research scientist at Syngenta
16 CPL in the neurotoxicity group in the investigating
17 toxicology section; correct?

18 A. That is correct.

19 Q. And she worked for Syngenta?

20 A. She did.

21 Q. And Dr. Marks presented the part of this
22 presentation referenced as "In vivo studies with paraquat",
23 right?

24 A. That is correct.

25 Q. Now if we go to 328, Dr. Sturgess in his

1 presentation said that:

2 "Paraquat is unlikely to be neurotoxic owing to the
3 fact that it has a chemical structure and physical
4 properties (charged, polar molecule) which mean it will not
5 readily cross the blood brain barrier ..."

6 Correct?

7 A. That's what that says.

8 Q. That's what it says.

9 Syngenta, however, knew that paraquat crossed the
10 blood-brain barrier when this presentation was given, didn't
11 it?

12 A. As we discussed yesterday, it depends on how you
13 define "readily", yes.

14 Q. But it did cross it?

15 A. Of course.

16 Q. All right.

17 If you go to the next page, Dr. Sturgess actually
18 refers to the radio-labeled paraquat studies that we talked
19 about yesterday, didn't he?

20 A. Yes.

21 Q. And he said "some of this material gets into the
22 brain"; he acknowledges that, right?

23 A. Yes.

24 Q. Yes. Now if we go to 330, the following page, it's
25 entitled:

1 "Literature Developments of Concern."

2 It lists a number of them. One, the first one it
3 starts with, is Fredriksson et al 1993, right, do you see
4 that?

5 A. I do.

6 Q. It is listed as a literature development of concern,
7 isn't it?

8 A. It is.

9 Q. And the study found behavioural effects in mice
10 given oral doses of paraquat, right?

11 A. Correct.

12 Q. And it found reductions in striatal dopamine in mice
13 given oral doses of paraquat too, didn't it?

14 A. That's what this says.

15 Q. So this was a presentation that the Syngenta
16 scientists were presumably making to other people at
17 Syngenta?

18 A. I'm not sure exactly where this presentation was
19 made.

20 Q. But we weren't given any information as well. Had
21 we been given that in discovery, I would have given it to
22 you.

23 A. Right.

24 Q. So you would have it.

25 A. Okay.

1 Q. This is as best I have. You have to go to your
2 lawyer to get more information.

3 MR. NARESH: I will object to all this.

4 BY MR. TILLERY:

5 Q. So based upon where we are right now with the
6 document, is that what you would think the situation would
7 be?

8 MR. NARESH: Just for the record, I object to the
9 whole attorney commentary.

10 Could you please just ask your question again?

11 BY MR. TILLERY:

12 Q. Yes. Does it appear to be a presentation by
13 Syngenta scientists to other Syngenta employees?

14 A. It appears to be a presentation by Syngenta
15 scientists, but I don't know to whom this particular
16 presentation was made.

17 Q. Okay. All right.

18 Okay. Continuing on, and this would be 331 --

19 A. Yes.

20 Q. -- and this was again under the topic heading:

21 "Recent Literature Developments of Concern."

22 Right?

23 A. Yes.

24 Q. And here it says, by Dr. Sturgess, there is a note
25 that:

1 "Two US based research groups have produced a series
2 of publications since 1999 implicating paraquat in
3 a Parkinson's disease ..."

4 Model in the mouse, right?

5 A. It does.

6 Q. And that references a Cory-Slechta Group and that is
7 Rutgers, New Jersey?

8 A. Correct.

9 Q. And the Di Monte Group at the Parkinson's Disease
10 Institute in Sunnyvale, California?

11 A. Correct.

12 Q. And he's the person that you mentioned yesterday as
13 the person who educated, I think Dr. Louise Marks about
14 stereology, right?

15 A. Yes, she visited Dr. Di Monte in his institute.

16 Q. At his facility?

17 A. Yes.

18 Q. And he acquainted her with techniques about how to
19 do that?

20 A. He did.

21 Q. Okay. Continuing, the studies observed
22 neurotoxicity in paraquat in three biological endpoints that
23 are referenced: loss of neurones from the substantia nigra;
24 loss of dopamine from the striatum; and reduction in
25 locomotor activity. Correct?

1 That's what Dr. Sturgess is telling the people in
2 the audience?

3 A. Yes, he was saying that those were the endpoints
4 that were looked at in those studies.

5 Q. In those studies that he's reporting. Okay.

6 If you look at the next three slides in the
7 presentation, all three of these fall under the heading:

8 "Recent external pressures on paraquat quoting links
9 with Parkinson's disease."

10 Do you see that?

11 A. I do.

12 Q. Now, one of them, the first one, is a reference to
13 PAN Europe. Do you remember that?

14 A. Yes.

15 Q. Where that was a group of people who had as their
16 position or their mission statement to fight against
17 chemicals that they thought were destructive to human
18 health. Did you understand that?

19 A. This is the Pesticides Action Network, as it says.

20 Q. What do they do?

21 A. They are an NGO, a nongovernmental organization,
22 whose aim is to promote that pesticides should, essentially,
23 be used as little as possible.

24 Q. Well, at least the ones that kill people, right?

25 A. They have concerns -- obviously more concerns about

1 more toxic pesticides, but they campaign against pesticides
2 generally.

3 Q. That's how you see them, right?

4 A. Yes.

5 Q. And they really, really burn up over those that
6 cause horrible, long-lasting miserable deaths too, right?

7 MR. NARESH: Objection to form.

8 A. They will certainly --

9 BY MR. TILLERY:

10 Q. They don't like those at all?

11 A. They will certainly focus on those that they
12 perceive in that manner.

13 Q. Right. Okay. So were they at that time writing an
14 open letter for a ban of this chemical across all of the
15 European Union?

16 A. I can only read what I've got in front of me. At
17 the time that this was written, I was not familiar with
18 this.

19 Q. Okay. But you are the corporate designee and you
20 are sitting there as the two Syngenta entities, so I have
21 nobody else to ask.

22 A. Well, I -- they clearly -- this suggests they did
23 write such an open letter.

24 Q. They wrote a letter dated August 4, 2003, saying
25 they wanted it banned in Europe; right?

1 A. That's what this suggests, yes.

2 Q. And you know that it was banned in Europe?

3 A. It was subsequently, um, deregistered in Europe.

4 Q. That's right. Isn't that about the same?

5 Let me ask you this: can you sell it in Europe?

6 A. We are not able to sell it in Europe.

7 Q. Okay.

8 A. And --

9 Q. I view that as a ban.

10 A. Well, we voluntarily withdrew our registration.

11 Q. Okay. So you just, out of the goodness of your
12 heart not because everybody was trying to ban your chemical,
13 like the open letter from PAN Europe, you just decided not
14 to engage, right?

15 MR. NARESH: I will object to the form.

16 A. The situation in Europe is much more complicated
17 than you portray it.

18 BY MR. TILLERY:

19 Q. Okay. Well, let's continue on. Let's look at the
20 next one.

21 What is the next page?

22 A. "The Swedish government is suing the EU Commission",
23 yes.

24 Q. So let me ask you this. You withdrew it when you
25 were asked to prove that it was safe, didn't you?

1 A. No, we withdrew it because of what it says here,
2 that the process that the EU had gone through in the new
3 legislation for registering pesticides was considered by
4 Sweden to have been a process that had not been followed
5 through appropriately.

6 Q. They actually filed a lawsuit, didn't they?

7 A. That, I believe, is the case.

8 Q. And that's, if you look at 333, that page --

9 A. Yes.

10 Q. -- "Swedish government is suing the [European Union]
11 Commission", right?

12 A. That's right.

13 Q. And they filed a law suit?

14 A. Yes.

15 Q. They decided that day, 6 February 2004, to sue the
16 EU Commission for their decision about paraquat in
17 pesticides in the European Union, didn't they?

18 MR. NARESH: Objection to form.

19 A. They did. And I would like to reiterate that it was
20 suing the EU because they had not followed the process
21 correctly.

22 BY MR. TILLERY:

23 Q. Well, look at what Mr. Sturgess says. He says:

24 "The suit means that the EU Court of Justice is
25 trying the government's partition to nullify the

1 commission's decision."

2 Right? That's what Mr. Sturgess says, if you could
3 just answer that question.

4 A. That's -- that is what that says, yes.

5 Q. Okay. That's what he's submitting.

6 Were you there at this meeting?

7 A. I don't know if I was.

8 Q. Okay.

9 Now go to the next page. It says at the top:

10 "Recent external pressures on paraquat quoting links
11 with Parkinson's disease."

12 Right?

13 A. Yes.

14 Q. And that was:

15 "Stockholm to seek ban on paraquat herbicides By
16 Nicholas George."

17 Who is Nicholas George?

18 A. I don't know. But that -- this page suggests he may
19 have been a journalist.

20 Q. A journalist from the Financial Times seeking --
21 strike that.

22 A journalist from the Financial Times quoting
23 Stockholm seeking a ban on paraquat herbicides, right?

24 A. Okay.

25 Q. Okay.

1 Now -- now let's go to 335 if we can. This is
2 a slide entitled:

3 "Research Activity at Syngenta CTL Strategy Being
4 Followed."

5 Okay. You agree?

6 A. Yes.

7 Q. All right. And it starts off with a first bullet
8 point:

9 "Establish whether there is a sound scientific basis
10 ..."

11 For claims, right?

12 A. Yes.

13 Q. And second one:

14 "If findings are not reproducible, aim to publicly
15 refute the claims in the literature by offering our own
16 alternative experimental findings."

17 Right?

18 A. That's what that says.

19 Q. Did Syngenta at that time and earlier have a group
20 whose job it was to monitor publications about its products?

21 A. Not knowing the exact date of this, but this
22 preceded what I have been referring to as the paraquat
23 health science team --

24 Q. Irrespective of whether it is paraquat, I am talking
25 about products in general: did it have a group of people

1 whose job it was to monitor publications that were -- that
2 were published about their products?

3 A. It -- it did not, as far as I am aware, have
4 a specific group whose objective was to do that. We
5 expected the scientists who were engaged in either having
6 responsibility for products or particular areas of science
7 to monitor the literature.

8 Q. So you had groups of scientists -- of these 2000
9 chemists and other scientists that you had, you had these
10 groups who had portions of them assigned particular products
11 to monitor?

12 A. I am just talking specifically about what we now
13 call the product safety organization, where we were
14 looking -- where we were expecting our researchers to be
15 aware of the appropriate literature.

16 Q. If you look at the next bullet:

17 "If findings are repeatable, Syngenta CTL generated
18 data will be used to build a defensive position for
19 [paraquat] based on establishing a no effect dose (under
20 various dosing regimens) in the C57Bl6 mouse model, based on
21 a biological endpoint -- neuronal cell loss in the
22 substantia nigra."

23 Right?

24 A. That's what that says.

25 Q. So Dr. Sturgess was coming up with a game-plan to

1 refute findings that you made in your own laboratories that
2 duplicated what was done in the public literature, right?

3 A. I would not put it that way. What the intent here
4 was to say if the finding that we are talking about here was
5 indeed repeatable, as would always be the case with any
6 toxicological finding, you don't simply say you have that
7 finding, at very high doses quite often, and you leave it at
8 that. You actually say at what dose levels do you stop
9 seeing that finding? You go down the dose levels --

10 Q. Okay.

11 A. -- to find a "no effect" level.

12 Q. Have you seen this before?

13 A. I have may have done. I don't today recall whether
14 I have or not.

15 Q. Okay. So -- and you don't remember being here,
16 right, at this meeting?

17 A. Because I don't exactly know which meeting this was.

18 Q. Okay. Let's go to the last point that Dr. Sturgess
19 made, which says:

20 "Avoided measuring PQ levels in the brain ..."

21 So Syngenta was avoiding measuring PQ levels in the
22 brain, right? That's what he says?

23 A. At that point clearly that was what some people felt
24 was the right thing to do.

25 Q. Right. And to, in other words, when you do

1 laboratory analysis avoid measuring that level in the brain?

2 A. That would not be our position today, and it wasn't
3 our position --

4 Q. Well, what it -- excuse me, sir.

5 A. -- at a later time.

6 Q. Excuse me. I move to strike your answer as
7 unresponsive.

8 Did he at that time say --

9 MR. NARESH: Hang on, Steve, your question
10 was: when do you do laboratory --

11 MR. TILLERY: No.

12 MR. NARESH: -- analysis avoid measuring that
13 level in the brain, and he was trying to answer your
14 question.

15 MR. TILLERY: I said did he -- "avoiding
16 measuring PQ levels in the brain", is that what he said?

17 MR. NARESH: That wasn't your question --

18 MR. TILLERY: Well, I will withdraw the question
19 and I will ask him.

20 BY MR. TILLERY:

21 Q. He said, Dr. Sturgess said:

22 "Avoided measuring PQ levels in the brain, ..."

23 That means Syngenta was avoiding measuring paraquat
24 levels in the brain, doesn't it?

25 A. At that point in time, that was the opinion of the

1 people who were engaged in this conversation.

2 Q. Right. And continuing on with that same bullet, he
3 says:

4 "... since the detection of any [paraquat] in the
5 brain (no matter how small) will not be perceived externally
6 in a positive light."

7 Correct?

8 A. That's --

9 Q. Is that what he says?

10 A. That's what it says.

11 Q. All right.

12 A. But I would like to add --

13 Q. Excuse me, sir: is that what it says or not?

14 A. That's what it says.

15 Q. All right. So at this time, based upon what he
16 said, Syngenta knew that any amount of paraquat in the
17 brain, "no matter how small", would be perceived negatively
18 outside the company; correct? That's what he was saying?

19 A. That is what he was saying.

20 Q. All right.

21 So the research program at Syngenta CTL described
22 here, Syngenta simply didn't do studies to determine how
23 much paraquat was getting into the brains of animals and
24 they did that intentionally?

25 A. That's what I was about to follow on by saying. The

1 record shows that in our research program which followed --

2 Q. Not followed -- excuse me.

3 MR. NARESH: Steve, you have to stop interrupting
4 him --

5 MR. TILLERY: Now that is -- we are not going to
6 do this. You are not going to do it. You may try to
7 override and state another answer. Not with me. Okay. You
8 are not going to do it. I'm not going to let you.

9 So here's what you are going to do, you are going to
10 answer my questions, or we are going to do this -- and this
11 is for you, counsel -- we are going to terminate it, if you
12 want it that way, and you will go to St. Clair County
13 Illinois and finish this in front of our judge.

14 Now I know you have prepared him very well. Okay.
15 But you are going to answer my questions, not what your
16 counsel told you to say.

17 A. Can I just say my counsel has not told me to say
18 that --

19 BY MR. TILLERY:

20 Q. Well, here's what we're going to do. I want you to
21 answer my specific --

22 MR. NARESH: Steve, you've got to stop
23 interrupting him.

24 MR. TILLERY: -- question.

25 MR. NARESH: You have interrupted him over and

1 over again.

2 MR. TILLERY: No, I wasn't talking to him about
3 anything else.

4 MR. NARESH: You just interrupted him again.

5 MR. TILLERY: I want you to answer my question
6 and my question only. Not something else.

7 MR. NARESH: Why don't you ask your question
8 again? Ask your question again. I don't think -- the
9 question you are asking in your mind is not the question
10 that's showing up on the transcript.

11 MR. TILLERY: Well, maybe --

12 MR. NARESH: He's answering the question that
13 you're asking. Why don't you ask your question --

14 MR. TILLERY: I'm reading it, and I have it right
15 here, okay.

16 MR. NARESH: The one that you just asked was the
17 one he was answering and you interrupted him.

18 MR. TILLERY: So --

19 MR. NARESH: So why don't you try it again --

20 MR. TILLERY: What we are going to try is this --
21 and we are going to continue it for a bit -- and then we are
22 going to see what the judge says.

23 MR. NARESH: Ask your question.

24 MR. TILLERY: It is 10 to 5 there right now, and
25 we will go to the court and see what the judge says whether

1 or not -- and we can email the rough to the judge and see if
2 the judge feels that this deposition should go forward.

3 MR. NARESH: That's fine. Ask your question.

4 BY MR. TILLERY:

5 Q. So I'm asking you, sir, to answer my specific
6 questions and not volunteer information. If you want to do
7 that at the trial, called by Syngenta as a witness, that is
8 up to you.

9 Do we have an understanding, clearly what I want at
10 least?

11 A. I understand what you are saying.

12 Q. All right. Thank you.

13 Syngenta knew at that time -- at the time this was
14 done, this presentation -- Syngenta knew that any amount of
15 the brain -- strike that.

16 Syngenta knew at that time that any amount of
17 paraquat in the brain, no matter how small, would be
18 perceived negatively outside the company; correct?

19 A. It is correct that that was the view at that time.

20 Q. Right. And Syngenta at that time, as reported by
21 Dr. Sturgess, didn't do studies to determine how much
22 paraquat was getting into the brains of animals, correct?

23 A. It is correct to say that at that time that is the
24 case.

25 Q. And those studies couldn't -- strike that.

1 Those studies could have been done at that time,
2 couldn't they?

3 A. They -- further studies could always have been done
4 at any time.

5 Q. Right. But Syngenta had not been doing them, had
6 they?

7 A. That's not quite true, if I may say so. There had
8 been studies done by Syngenta earlier than this time.

9 Q. That we have talked about?

10 A. Which included measuring paraquat in the brain.

11 Q. Okay. Now if you would go to 36 -- I'm sorry, yes,
12 to 36 -- and that is:

13 "Research Activity at Syngenta CTL in vivo Studies."

14 And that references Louise Marks, do you see that?

15 A. I do.

16 Q. Okay. And the first bullet says:

17 "Repeat of published in vivo experiments with
18 [paraquat] alone being dosed to C57Bl6 mice."

19 Right?

20 A. It does.

21 Q. What does that mean?

22 A. It means to repeat the studies done by, for example,
23 the researchers that were referred to in earlier slides,
24 Di Monte and Cory-Slechta, whether paraquat if given to
25 the -- this particular strain of mice may cause the same

1 effects.

2 Q. Okay. "In vivo" just means live animals, right?

3 A. It means -- to live mice in this case.

4 Q. So Dr. Marks' research was intended to repeat the
5 independent research in the published literature and
6 determine whether she could reproduce the neurotoxic effects
7 in the mouse; correct?

8 A. That was correct, yes.

9 Q. Okay. The last bullet, if you would see on the very
10 last one on that page, notes Syngenta intended:

11 "... to seek peer review of our findings."

12 Correct?

13 A. Correct.

14 Q. Did Syngenta ever seek peer review of any of
15 Dr. Marks' findings?

16 A. No, it didn't at the time that they were conducted
17 because the, er --

18 Q. Did I ask you about "because"?

19 A. Yes, right.

20 Q. Okay. Read back my question to this gentlemen,
21 please.

22 COURT REPORTER: "Did Syngenta ever seek peer
23 review of any of Dr. Marks' findings?"

24 A. I don't believe that we did.

25 Q. Thank you.

1 Did Syngenta publish any of Dr. Marks' studies
2 anywhere?

3 MR. NARESH: Objection to form.

4 A. I don't believe that Dr. Marks' studies specifically
5 were ever published.

6 BY MR. TILLERY:

7 Q. Okay. Now, let us go to next exhibit number 98,
8 please, our internal number 98.

9 Next exhibit is 24, counsel.

10 (Exhibit 24 marked for identification).

11 A. Thank you.

12 MR. NARESH: Is this the first page of the
13 document?

14 BY MR. TILLERY:

15 Q. It is.

16 Is this another presentation so far as you can tell,
17 sir?

18 A. This looks like it is another paraquat
19 presentation -- PowerPoint presentation, yes.

20 Q. PowerPoint?

21 A. A PowerPoint presentation.

22 Q. And this has a date of -- can you see a date on it?
23 We may see one.

24 A. I don't immediately see one.

25 Q. It discusses work to be done at CTL with paraquat in

1 the mouse, right?

2 A. Starting at 628, it's moving into the in vivo
3 studies that we were just discussing.

4 Q. Right. The studies that Dr. Marks undertook, is
5 that correct?

6 A. This looks like it is the case.

7 Q. And who authored the presentation?

8 A. I can't see an author on the copy that you've given
9 me.

10 Q. Okay. If you wouldn't mind turning to 633 of that
11 document.

12 Do you see that page, sir?

13 A. I do.

14 Q. Okay. The first bullet in this PowerPoint
15 presentation is entitled -- it's under "Investigative
16 Toxicology Input," correct?

17 A. Correct.

18 Q. And it says:

19 "Investigative toxicology is involved in
20 establishing whether there is a sound scientific basis for
21 the claims by some research groups that exposure to paraquat
22 causes Parkinsonian like [symptoms] in animal models."

23 Correct?

24 A. Correct.

25 Q. And Investigative Toxicology is a group at CTL that

1 conducted paraquat mouse research, correct?

2 A. That is correct.

3 Q. Did that group include Drs. Sturgess and Marks?

4 A. That is correct.

5 Q. Okay. And on this slide, Syngenta is concerned
6 whether the findings that paraquat is neurotoxic that have
7 been made in the independent published literature are
8 accurate.

9 Would that be a fair statement?

10 A. I would use the word "repeatable".

11 Q. And that means, if they are not repeatable, there
12 would be some question about whether they are legitimate?

13 A. That is right. That is normal scientific practice.

14 Q. And replication in science is kind of the gold
15 standard, isn't it?

16 A. It is the hallmark of quality science, of course.

17 Q. And if they are not replicable in laboratories that
18 are well-run and well-organized and using the same
19 technology and people who don't distort and alter the
20 results intentionally or not intentionally --

21 A. Yes.

22 Q. -- then if they get those results and keep repeating
23 them at different labs, it becomes more or less an
24 established fact among the scientific community; right?

25 A. That is -- you have described the scientific process

1 well, yes.

2 Q. Okay. Let's turn to, if we can -- actually on that
3 same list, if you don't mind, going down it says:

4 "Are their findings repeatable?"

5 It asks that question.

6 A. Yes.

7 Q. And then it says:

8 "If so can we offer a mechanistic explanation for
9 their results?"

10 Right?

11 A. Yes.

12 Q. What is a "mechanistic explanation" as you
13 understand it referenced in that slide?

14 A. Again, not knowing exactly what point in time this
15 was, I believe the most likely explanation for what they
16 meant here was to say if you see -- let me call them
17 discrepant results, one laboratory has seen this, another
18 laboratory has seen something different so they are not
19 repeatable -- in an ideal world you would like to understand
20 why. So is there an explanation, a mechanistic explanation,
21 as to why one lab has seen something and another has not?
22 That's what I suspect may have been --

23 Q. Actually look at it again, sir. It says the exact
24 opposite. It says:

25 "Are their findings repeatable?"

1 And it says:

2 "If so can we offer a mechanistic explanation ..."

3 So in other words, they are saying here that if we
4 repeat their same findings, can we offer an explanation?
5 Correct?

6 A. Oh sorry, yes, I do -- sorry, there's -- I should
7 have looked at this more carefully. You are quite right.
8 As written there, I think what I said --

9 Q. As written here it means --

10 A. Yes.

11 Q. -- that they are saying, the presentation: if we
12 reproduce the results, we better come up with a mechanistic
13 explanation for why we are reaching the same results.

14 A. Right. So I think that's true. I mean, what I also
15 said is equally true, but yes, you are right, as written you
16 would need to understand what the mechanism they are --

17 Q. I mean, what is the final bullet? Read that one
18 into the record.

19 A. "If findings are not reproducible, can we refute the
20 claims in the literature and offer alternative experimental
21 findings?"

22 Q. Was that the course of Syngenta at that time: when
23 the findings were not reproducible in their labs, they could
24 refute the claims in the literature and offer alternative
25 experimental findings in the literature; was that the

1 standard?

2 A. The standard is if the -- if findings are not
3 reproducible, then you don't necessarily just leave it at
4 that. That's why I made my previous answer, if I may say
5 so, which is to say that you do need to understand why that
6 might be the case, and not just assume that we are right and
7 somebody else is wrong.

8 Q. But it says here very clearly:

9 "... can we refute the claims in the literature and
10 offer alternative experimental findings?"

11 A. Yes.

12 Q. That's what they intended to do --

13 A. That's a question --

14 Q. -- if they didn't reproduce the results?

15 A. That is a question at the time.

16 Q. Right. Now please turn to 638 on that document.

17 It's entitled "Paraquat and Parkinson's Disease
18 Investigative Toxicology Research", isn't it?

19 A. It is.

20 Q. The first bullet says:

21 "The issue around the claims that paraquat exposure
22 and Parkinson's disease are linked needs to be addressed if
23 the future Syngenta aspirations for the product are to be
24 realized."

25 The future aspirations for the product mean selling

1 it on the market and making profits from the sale, don't
2 they?

3 MR. NARESH: Objection to scope.

4 A. That's an assumption which you can certainly take
5 from the way that's written.

6 BY MR. TILLERY:

7 Q. Yes. You would not disagree with that
8 interpretation, would you?

9 A. I think that is one plausible interpretation.

10 Q. That is probably the most plausible, would you
11 agree?

12 A. It may well have been.

13 Q. Okay. So Syngenta's aspiration for products,
14 paraquat products, would not be realized if the link between
15 paraquat exposure and Parkinson's disease was established;
16 is that right?

17 A. That's what you would infer from that.

18 Q. So going to the next bullet:

19 "Data generated will be used to build
20 a scientifically robust, defensive position for paraquat in
21 response to the issues already in the scientific literature,
22 and to questions raised by the media, customers and
23 regulatory authorities."

24 Is that what that says?

25 A. That's what that says.

1 Q. Okay. Now let's go to 641 of that document. The
2 third bullet says:

3 "External publication of findings at scientific
4 meetings to assist our influencing strategy."

5 Do you see that?

6 A. Yes.

7 Q. And "influencing strategy" was a strategy
8 intending -- intended to influence publications, scientists
9 around the world, wasn't it?

10 A. As written that would be what it suggests it -- yes,
11 yes.

12 Q. Okay. And Syngenta's paraquat mouse research was
13 intended to influence independent researchers regarding the
14 safety of paraquat, wasn't it?

15 A. It was intended to ensure that we understood the
16 validity of those findings that other researchers had
17 published, including the aspects, as it said earlier, about
18 repeatability.

19 Q. And it says very clearly in bullet three there --
20 were you at this presentation, sir?

21 A. I don't know that I was.

22 Q. All right. It says very clearly in this bullet,
23 the:

24 "External publication of findings at scientific
25 meetings to assist our influencing strategy."

1 Right?

2 A. Yes, but I can say that --

3 Q. Can you answer that question?

4 A. Yes, that's what it says.

5 Q. And scientific meetings are meetings where
6 Syngenta's people would go with boards or presentations and
7 speak and present to scientists around the world the
8 findings from their studies; right?

9 A. Yes, yes.

10 Q. And you have done that yourself, haven't you?

11 A. I have.

12 Q. Okay. And what's the purpose? It is to get that
13 information -- your position or your findings -- out, right?

14 MR. NARESH: Objection to form.

15 A. That's -- that's correct.

16 BY MR. TILLERY:

17 Q. Okay. And that, if there was a strategy, it's
18 referenced here as "spring 2003", there was a strategy that
19 had been adopted by paraquat -- sorry, strike that.

20 There had been a strategy announced in spring 2003
21 by Syngenta scientifics meetings within them to influence
22 other scientists around the world?

23 A. To give transparency, as we have said before, to the
24 fact that the science behind this issue is still a work in
25 progress.

1 Q. Okay. Would you see where it says -- read where it
2 says that in bullet 3, what you just said?

3 It doesn't say that at all. You made that up.

4 MR. NARESH: Objection --

5 A. I didn't, I was --

6 MR. NARESH: Objection to form, argumentative.

7 Ask your question.

8 BY MR. TILLERY:

9 Q. Did you make that up?

10 A. No, I didn't make it up. I agree that this is what
11 it says.

12 Q. All right. It says that in spring 2003 there was an
13 influencing strategy adopted, okay?

14 A. Yes, I was trying to describe what I believe
15 "influencing strategy" meant.

16 Q. Okay. But the document doesn't say what you say,
17 does it?

18 A. The document does not say that.

19 Q. All right, okay.

20 The bottom line is the paraquat mouse research by
21 Syngenta was intended to convince others outside of Syngenta
22 that paraquat was safe, correct?

23 A. It was at that time trying to see whether the
24 findings were replicable in order for a judgment to be made
25 about that point.

1 (Exhibit 25 marked for identification)

2 BY MR. TILLERY:

3 Q. Can you identify exhibit number 25, please?

4 A. Again, this is a PowerPoint presentation on paraquat
5 and Parkinson's disease.

6 Q. It's another presentation or excerpt entitled:

7 "Paraquat & Parkinson's disease."

8 Right?

9 A. That's right.

10 Q. And the front page says:

11 "Paraquat and Parkinson's Disease Experimental
12 Strategy."

13 A. Correct.

14 Q. And it says:

15 "Carry out in-house research to further our
16 understanding of paraquat and it's role in Parkinson's
17 disease models ... to ensure safety in use."

18 And it says:

19 "Use these data to gain a presence in the
20 International Scientific Community and promote a balanced
21 view for the use of paraquat as a non selective herbicide."

22 A. That's what that says.

23 Q. That's what it says.

24 And all of "these data", as mentioned, refers to
25 paraquat mouse research at CTL; correct?

1 A. I believe that that is correct, yes.

2 Q. Okay. If you go to 238, please, you see in the
3 second bullet -- the top of this one says, the first line
4 says:

5 "Paraquat & Parkinson's Disease."

6 And then the second bullet says:

7 "Threats to paraquat from ... recent scientific
8 literature."

9 A. That's right.

10 Q. Those were threats to Syngenta's continued ability
11 to manufacture and sell paraquat, weren't they? Is that
12 a fair reading?

13 A. That would be an ultimate consequence that was
14 intended.

15 Q. Okay. And the ultimate threat was that the
16 scientific literature would cause paraquat to be banned,
17 correct?

18 A. If the -- if the evidence eventually came together
19 to make -- to lead to that possible conclusion.

20 Q. Okay.

21 If you go to the next page. This presentation, if
22 you look at the next page and that is 484239, you see that?

23 A. Yes.

24 Q. Also refers to the Di Monte group at the Parkinson's
25 Institute in California?

1 A. Yes.

2 Q. And both groups had shown paraquat was neurotoxic in
3 the C57B16 mouse; correct?

4 A. They had seen findings which suggest that that was
5 a possibility.

6 Q. Syngenta considered the work by Dr. Cory-Slechta and
7 Dr. Di Monte to threaten its aspiration for paraquat product
8 sales, didn't they?

9 A. The findings, if they had -- if indeed they were
10 replicable -- could lead to a view being taken on the safety
11 of paraquat. That is correct.

12 Q. And that would ultimately lead into the decision
13 that it would not be allowed to be used?

14 A. That was always one possible outcome, yes, as we
15 said before.

16 Q. That's a fair interpretation of this slide?

17 A. Yes.

18 Q. If you go to 889 --

19 MR. NARESH: 889?

20 (Exhibit 26 marked for identification)

21 A. Thank you.

22 BY MR. TILLERY:

23 Q. We've handed you what's been marked as plaintiff's
24 exhibit number 26.

25 Do you see that, sir?

1 A. I do.

2 Q. Can you identify what this document is?

3 A. It's an internal research report from Syngenta. And
4 specifically from Syngenta CTL.

5 Q. Okay. Could you repeat -- read the report title and
6 date?

7 A. It's:

8 "Paraquat Dichloride Hydrate.

9 "Investigating reported paraquat-induced
10 neurotoxicity in the Alderley Park C57 black mouse: The
11 neurochemical and pathological effects on the dopaminergic
12 system of 3 weekly injections of 10mg/kg
13 1,1'dimethyl-4,4'bipyridinium (Paraquat)."

14 Q. And this is a report of a study that Dr. Marks did,
15 right?

16 A. That's correct, yes.

17 Q. It says "Author(s): L. Marks"?

18 A. Correct, yes.

19 Q. That was conducted by Syngenta at its CTL laboratory
20 in Alderly Park; right?

21 A. That is correct.

22 Q. The study was part of the paraquat mouse research
23 program at Syngenta that was discussed in the presentations
24 we just looked at, correct?

25 A. That is right, yes.

1 Q. And the author was -- was Louise Marks?

2 A. Yes.

3 Q. And she also served as the study director and
4 principal investigator?

5 A. That is correct, yes.

6 Q. And Mr. Sturgess served as a contributor to the
7 report and study reviewer, correct?

8 A. That is correct.

9 Q. Okay. And that's the same Dr. Nick Sturgess we have
10 been talking about, right?

11 A. It is.

12 Q. Okay. Dr. Marks reports the study was initiated
13 on April 17, 2003?

14 A. Yes.

15 Q. And the experimental phase terminated August 21,
16 2003; correct?

17 A. Yes.

18 Q. And that just means the experiments on these mice
19 were terminated at that time?

20 A. That's right.

21 Q. But the study report was not issued until four years
22 later --

23 A. That's --

24 Q. -- July 21, 2007; right?

25 A. That is correct.

1 Q. Okay. And do you have knowledge of why it was
2 delayed for four years?

3 A. I have some knowledge of that.

4 Q. Okay. Who has all of the knowledge? Dr. Marks?

5 A. Dr. Marks would be able to give you more knowledge
6 than me.

7 Q. You talked to her recently. Did she talk to you
8 about this?

9 A. Not specifically about this point.

10 Q. Okay. Dr. Marks issued this report during her last
11 week of employment with Syngenta, didn't she?

12 A. I can't comment on that accurately. But certainly
13 2007 was, I believe, the last year of her employment.

14 Q. Okay. The study was designed to investigate the
15 reproducibility of claims in the literature of what?

16 A. Um, of the ability of paraquat to cause damage to
17 the substantia nigra in the mouse brain.

18 Q. Okay. And that's exactly what she was designing
19 this study to do, right?

20 A. Yes. And this was one of -- there were more than
21 one study performed, just to add.

22 Q. Okay. And other independent laboratories had
23 observed loss of striatal dopamine and the loss of dopamine
24 neurons in the substantia nigra of mice given paraquat --

25 A. Correct.

1 Q. -- and she was trying to replicate those studies?

2 A. That is correct.

3 Q. Okay. And it involved dosing male C57Bl6J mice with
4 paraquat once a week for three consecutive weeks, correct?

5 A. That is correct.

6 Q. And the dose was 10 milligrams per kilogram body
7 weight of the test animals; is that also correct?

8 A. That is also correct.

9 Q. The administration of paraquat resulted in a small
10 reduction in dopaminergic cell number, correct, in the
11 substantia nigra portion of the brain?

12 A. Yes. What it says precisely is a small but
13 non-statistically significant reduction in dopaminergic cell
14 number.

15 Q. And she found that it was not statistically
16 significant?

17 A. Yes.

18 Q. Okay. And the dopaminergic cell number in the study
19 was counted -- counting -- strike the question.

20 The dopaminergic cell number in this study was
21 counted using a technique called stereology, wasn't it?

22 A. Yes.

23 Q. Specifically, she used an optical fractionator
24 method for stereology, didn't she?

25 A. That is correct.

1 Q. What is that?

2 A. It's essentially a device -- a microscopic device --
3 that allows you to accurately identify the region of the
4 brain that you are looking to investigate and to count the
5 number of cells. So it is quantitative microscopy, in other
6 words.

7 Q. And she was actually nominated for what is called
8 the Ashby Prize because she was the first scientist at
9 Syngenta to use that technique, right?

10 A. Now you mention it, I think that is correct.

11 Q. And she was nominated by Dr. Sturgess --

12 A. I think that is correct, yes.

13 Q. -- for the Ashby Prize. And she was one of the
14 finalists for the prize. Correct?

15 A. I think -- yes, I think that's right.

16 Q. Okay. And the reason being that she came up with
17 this. And she had not yet been to Sunnyvale but she used
18 this optical fractionator; right?

19 A. Back in 2003, that is correct.

20 Q. Okay. What is the Ashby Prize?

21 A. It was a prize which was initiated in CTL to
22 recognize scientific achievement.

23 Q. Okay. In other words, to reward people who you
24 thought had done a very good job?

25 A. A good technical job, yes.

1 Q. Yes. And who maybe were innovative, creative and
2 doing sound scientific work?

3 A. Absolutely.

4 Q. Okay. And that's why Dr. Sturgess, who was one of
5 the advisers to this study, recognized that work and
6 nominated her for that award; right?

7 A. Yes.

8 Q. Okay. The studies in the independent literature to
9 that point had reported that paraquat was neurotoxic using
10 an optical fractionator stereology to count dopaminergic
11 cell loss; right?

12 A. Yes.

13 Q. They had used the same technology or similar
14 technology?

15 A. Yes, similar technology is the best way to describe
16 it.

17 Q. All right. Now let's go back, if we can, to 2905
18 here. 2905.

19 You see the heading "Stereology", 3.9?

20 A. Yes.

21 Q. Okay. You have seen this study before, haven't you?

22 A. I have.

23 Q. You read this in preparation --

24 A. I have seen this study before.

25 Q. And you read it in preparation. It is all in your

1 reliance materials.

2 A. I certainly have read this study relatively
3 recently.

4 Q. Right. In preparation for this deposition?

5 A. Not specifically for this, actually, no.

6 Q. Okay. So under the heading "Stereology" --

7 A. Yes.

8 Q. -- you see where it says "All cell counts"? I'm
9 going to read that into the record, and you tell me if
10 I have read it correctly when I'm finished, okay:

11 "All cell counts were carried out with the help of
12 an interactive computer system and stereology software
13 (Digital Stereology, Kinetic Imaging, UK) connected to a
14 Zeiss Axioplan microscope. The stage itself was not
15 automated and had to be moved from sampling point to
16 sampling point."

17 Is that correct?

18 A. That's what it says.

19 Q. Okay. And if you go to 2910, at the bottom there,
20 Dr. Marks reports that the optical fractionator method was
21 used by the majority of studies in the independent
22 literature. Okay?

23 A. Yes.

24 Q. And it says at the very last part of that page, if
25 you read it:

1 "Our method..."

2 Do you see that sentence where she's talking about
3 this? This is within her study still, isn't it?

4 A. Yes, yes.

5 Q. Okay:

6 "Our method, in which the counting frame is moved
7 manually from sampling point to sampling point, has been
8 tested for sensitivity and has produced consistent values
9 for the total number of cells in control and MPTP treated
10 animals ... Our technique has been proven sensitive enough
11 to detect a 13.8% reduction in TH+ cell number following
12 MPTP administration and therefore should be sensitive enough
13 to detect the reported 25-30% loss ... observed following PQ
14 exposure: ..."

15 Do you see that?

16 A. I do.

17 Q. Now the last sentence of that, if you read that into
18 the record, that last sentence that starts "Nevertheless
19 ..." and continues to the next page?

20 A. "Nevertheless, even small differences in methodology
21 could lead to our system potentially being deemed less
22 accurate than the automated systems available and this may
23 explain in part the differences in total cell counts
24 obtained."

25 Q. So she was reporting in her report that she was

1 using a manual system that could be the reason her cell
2 counts were different from the independent literature that
3 had already found that this chemical could produce loss of
4 dopaminergic neurones in the same mouse, right?

5 A. At that time, that was a reasonable possibility for
6 any discrepancy.

7 Q. And she was -- she was reporting that, right?

8 A. Yes.

9 Q. Putting that in the study. The independent
10 researchers had been using an automated system. She was
11 using a manual system. That's what she was saying?

12 A. That's what she said.

13 Q. Okay. And she said the automated set up may confer
14 a greater degree of accuracy to the counting process; do you
15 see that?

16 A. Yes, I do.

17 Q. All right. In contrast in this study, Dr. Marks
18 used a manual method to move the counting frame from
19 sampling point to sampling point, didn't she?

20 A. Yes.

21 Q. She goes on to say:

22 "It is therefore important to further investigate PQ
23 [paraquat] toxicity and attempt to replicate our findings."

24 Correct?

25 MR. NARESH: Where are you?

1 A. Where are you now, please? Yes, at the bottom of
2 the page.

3 BY MR. TILLERY:

4 Q. It's the bottom of the page.

5 A. Yes, I see, yes.

6 Q. Read it into the record, please?

7 A. Yes: "It is therefore important to further
8 investigate PQ toxicity and attempt to replicate our
9 findings."

10 Q. "Further input is required", I am reading now,
11 follow along with me, please?

12 A. Yes.

13 Q. "Further input is required to determine whether the
14 stereology set-up and parameters used in the present study
15 are accurate enough to detect the reported cell loss."

16 Is that right?

17 A. That's what it says.

18 Q. Okay. Was that the first optical fractionator
19 stereology study done at Syngenta?

20 A. This was the first one, other than the -- some of
21 the method development which I think this report refers to,
22 where we were trying to get the methods that we are now
23 describing to work appropriately.

24 Q. And she was the first researcher at CTL to use that
25 stereology unit technique?

1 A. That is correct.

2 Q. And she was credited with establishing the technique
3 at CTL?

4 A. That's correct.

5 Q. And that's one of the reasons she was nominated for
6 the Ashby Prize?

7 A. I think that was certainly one of the factors,
8 I agree.

9 Q. Okay. I have her nomination if you want to see it.
10 That's up to you. If you wish to, I will give it to you --

11 A. I don't think I need to see that.

12 Q. All right, okay.

13 She was, you would agree, concerned that the
14 technique she used was not comparable to the technique used
15 by independent researchers in the published literature.
16 Would you agree?

17 A. She clearly wanted to make sure that we understood
18 where methodological differences could lie.

19 Q. Right. She was also concerned the technique she
20 used might be less accurate than the technique used by
21 independent researchers in the published literature?

22 A. Yes, and it is important that you --

23 Q. Can you just answer my question?

24 A. Yes.

25 Q. Okay. And she was also concerned that the manual

1 technique was not as accurate as the automated, newer
2 technique?

3 A. Accurate, but not necessarily sensitive. I think
4 those are -- those are two different issues here.

5 Q. Okay.

6 Now, despite Dr. Marks' concerns about the accuracy
7 of this study, Syngenta published the results of the study.
8 We are going to refer to that first study by her number --
9 you assign numbers to studies at Syngenta, don't you?

10 A. Yes, we do.

11 Q. That number for that study was XM7229. Correct?

12 A. Correct.

13 Q. Okay. And Syngenta published the results of that
14 study, didn't they?

15 A. Can you remind me where we published that?

16 Q. I will, okay.

17 (Exhibit 27 marked for identification)

18 BY MR. TILLERY:

19 Q. What number is this document you are referring to,
20 sir, so we are clear?

21 A. The Bates number, do you want?

22 Q. No, the --

23 A. Oh, the reference --

24 Q. The exhibit number is 27?

25 A. Exhibit number 27.

1 Q. Thank you. Exhibit number 27 is an abstract for
2 a presentation at the Society for Neuroscience Annual
3 Meeting, October 23 through 27, 2004, in San Diego in
4 California?

5 A. That is correct.

6 Q. Okay. The title of that is:

7 "Lack of Effect of Paraquat on the Nigrostriatal
8 Dopaminergic System of the Mouse."

9 Correct?

10 A. Correct.

11 Q. It shows Louise Marks as the principal investigator
12 with that asterisk underlying her name?

13 A. That asterisk would represent that she was the
14 presenter of this.

15 Q. Oh, she was the presenter as well?

16 A. Yes, that's what --

17 Q. Okay.

18 A. -- the convention would normally suggest that
19 she was.

20 Q. And it says "a control group of mice received
21 injections of ... paraquat did not alter the concentrations
22 ..."

23 Do you see that?

24 A. Yes.

25 Q. It comes through and says it was basically a finding

1 of no impact, no loss of dopaminergic neurones in
2 a statistically significant way, right?

3 MR. NARESH: Objection to form.

4 A. Yes, that's what that says.

5 BY MR. TILLERY:

6 Q. And the abstract would have been published in the
7 symposium materials, wouldn't it, is that right?

8 A. I don't know exactly what this particular annual
9 meeting's procedures are. Certainly this is not
10 a peer-reviewed publication.

11 Q. Absolutely. Is it customary that the abstract be
12 published in the symposium materials?

13 A. In some meetings, yes. In other meetings, no.

14 Q. And it is made available -- it's published to the
15 people who are there and there's a presentation made?

16 A. That is correct.

17 Q. Okay. The presentation would be -- would
18 announce -- that a Syngenta study showed that there was no
19 impact on the substantia nigra in that mouse, correct?

20 A. In this study that is correct, yes.

21 Q. Okay. All right.

22 Did Syngenta at any time report Dr. Marks'
23 reservation about the study technique using a manual system?

24 A. Well, I was not in this particular meeting so
25 I don't know whether Dr. Marks took the opportunity to make

1 that point.

2 Q. Did Syngenta otherwise -- did anybody at Syngenta --
3 not just Dr. Marks, she made it clear in her entire study
4 which was, not to your knowledge, distributed to the
5 attendees at that Society for Neuroscience Annual Meeting,
6 was it?

7 A. This, if you are referring to the detail in this
8 report, no.

9 Q. It was kept highly confidential at Syngenta?

10 A. At this time, that report had not even been
11 finalized.

12 Q. That's right. It wasn't printed, right?

13 A. Right.

14 Q. Okay. So none of her reservations were announced,
15 to your knowledge?

16 A. But normal scientific practice in a meeting of this
17 sort is that this will lead to conversations with other
18 research people, and I can't rule out that Dr. Marks may
19 have had such conversations about some of those technical
20 reservations.

21 Q. I move to strike your answer as unresponsive.

22 Would you read back my question to the witness?

23 COURT REPORTER: "So none of her reservations
24 were announced, to your knowledge?"

25 A. Well, to my knowledge, that's true.

1 Q. Okay.

2 Do you know of anyone at Syngenta who ever publicly
3 stated or admitted that the manual cell counting method
4 Dr. Marks used could account for the differences in the
5 studies?

6 A. I'm not aware of any such occurrences.

7 Q. Was that ever reported to the US EPA?

8 A. Um, this --

9 Q. Her reservations?

10 A. Her reservations were not reported to the EPA, no.

11 Q. So the principal investigator acknowledged that her
12 manual counting technique was not as accurate as automated
13 techniques, and could explain the difference in results, but
14 it was never announced by anybody else at Syngenta to your
15 knowledge?

16 A. To my knowledge.

17 Q. Okay. Did you ever report her reservations about
18 her study technique to your knowledge?

19 A. Report in -- in what sense?

20 Q. In any sense. Publicly?

21 A. Well, I -- the only thing that I could offer there
22 is that Dr. Marks certainly did discuss that as part of her
23 discussions with Dr. Di Monte.

24 Q. Okay. So that's the public disclosure is to another
25 scientist who was teaching her how to improve her technique?

1 A. If you call that public. I mean, that is part of
2 normal scientific discourse as we have said many times.

3 Q. Okay, all right.

4 (Exhibit 28 marked for identification)

5 BY MR. TILLERY:

6 Q. We are giving you the full study as well as the
7 quotes that you may want to look at.

8 We have handed you plaintiff's exhibit number 28.

9 A. Correct.

10 Q. Okay. What is this document?

11 A. It's another research report from CTL of a study,
12 authored again by Dr. Louise Marks, again looking at the
13 effect of neurotoxicity in the same strain of mouse using
14 the same techniques that we were describing previously.

15 Q. So this is the second paraquat study that was
16 conducted at Syngenta by Dr. Marks, right?

17 A. You may be right. I don't have accurate knowledge
18 of the -- of the order of which these studies were done.
19 But this was -- there were four or five studies in total.

20 Q. And this is the study Dr. Marks recommended to try
21 to replicate the first study's findings that paraquat was
22 not neurotoxic; correct?

23 A. If this is the second study, that would be the case.

24 Q. Okay. It was part of the paraquat mouse research
25 program at Syngenta CTL?

1 A. At that time, yes.

2 Q. Dr. Louise Marks was the report author?

3 A. Correct.

4 Q. Did she use different techniques?

5 A. I'd need to read the detail of, um, what she said in
6 here --

7 Q. Actually, let me withdraw that for a second. We
8 will get to it, okay. Rather than going through in
9 sequence, I would rather go through the whole thing in
10 sequence and then get to the different technique.

11 A. Okay.

12 Q. Dr. Sturgess contributed to the reported -- report
13 as a study reviewer as well, didn't he?

14 A. Yes, that is correct.

15 Q. Okay. And Dr. Marks reports that this study was
16 initiated on September 17, 2003?

17 A. Correct.

18 Q. And it terminated on December 22, 2003.

19 A. Correct.

20 Q. Okay. That just means the mice were terminated on
21 that day?

22 A. I'm not sure if that means that the experiment as
23 a whole was terminated on the 22 December --

24 Q. Okay. The study report was issued three and a half
25 years later, June of 2007?

1 A. That's because the reports of all of that series of
2 studies were written up together.

3 Q. And they were generated in the last week of her
4 employment?

5 A. As you said earlier, that is what you -- your
6 information suggests.

7 Q. The study completion date is June 21, 2007?

8 A. The -- yes, in terms of writing the report --

9 Q. Instead --

10 A. -- yes.

11 Q. I should have said "the report completion date"?

12 A. Yes, yes.

13 Q. That would have been a more accurate question?

14 A. Yes, it would.

15 Q. Thank you. Okay. You have answered.

16 Dr. Marks announced the study purpose on page 6791,
17 didn't she?

18 A. Yes.

19 Q. And the purpose she announced is:

20 "The aim of this study was to assess whether at
21 a dose of 10 mg/kg, paraquat dichloride caused changes in
22 the concentrations of striatal dopamine and its metabolites,
23 and a loss of dopamine containing neurons in the
24 substantia nigra pars compacta when dosed i.p. ..."

25 That is intra-peritoneally.

1 "... to Charles River male C57Bl6J mice."

2 Is that correct?

3 A. That is correct, and that part of the purpose is the
4 same as the purpose of the same previous experiment.

5 Q. It is exactly the same, isn't it?

6 A. Yes.

7 Q. Okay. And continuing on, she says:

8 "This study was conducted in order to determine the
9 reproducibility of findings from an earlier CTL study ...
10 where no apparent effect of [paraquat] was observed at these
11 toxicity endpoints. The stereology methodology used in the
12 present study has been modified from that detailed in ..."

13 The study that she reports as XM7229. Is that the
14 first study?

15 A. That's what we were talking about previously.

16 Q. And continuing on, she says:

17 "This was as a result of information obtained
18 following a lab visit to the Parkinson's Institute,
19 California, and discussions with Dino Di Monte's research
20 group."

21 Correct?

22 A. Indeed, as I have indicated.

23 Q. And she was educated in the use of an automated
24 stereology technique, correct?

25 A. That's right, as used in his laboratory.

1 Q. Right.

2 Now what were the results of this study? I think
3 that is probably 6808?

4 A. Actually, I was looking at the executive summary of
5 that --

6 Q. Okay.

7 A. -- on 6790, where it states that on this occasion,
8 again, paraquat did not result in any significant reduction
9 in the concentration of dopamine or its metabolites, whereas
10 the positive control substance did. But on this occasion --
11 in this study, administration of paraquat did result in
12 a statistically significant reduction, 23.7 percent.

13 Q. 23.7 percent reduction?

14 A. Yes.

15 Q. Okay.

16 A. In dopaminergic neuronal cells.

17 Q. And she reported that as statistically significant?

18 A. Yes.

19 Q. And that finding is comparable with the findings
20 reported by independent laboratories who investigated the
21 effects of paraquat on the black mouse; correct?

22 A. Absolutely. This is more, if you like, similar to
23 the findings that you've described, yes.

24 Q. So she, effectively, in your laboratories replicated
25 what the other scientists in the independent labs had done?

1 A. Yes.

2 Q. Correct?

3 A. Yes.

4 Q. Okay. Now she reported a 23.7 percent reduction in
5 dopaminergic neurons found in the study, and that was
6 comparable to the other publications finding between 25 and
7 30 percent reduction in dopaminergic neurons in the
8 substantia nigra; all right?

9 A. Yes.

10 Q. And she refers in this to the McCormack studies,
11 didn't she? Do you see that? If you want to go to the top
12 of 6808 that will give you a reference. On the preceding
13 page, as well. Bottom of the preceding page 6807 and to the
14 top of the page. Do you see that?

15 A. Yes, yes.

16 Q. And she shows you the results from the preceding
17 page, reduced by 24 percent. It is comparable to the
18 findings in publications observing a 25 to 35 percent
19 reduction in cell numbers in the substantia nigra?

20 A. Yes.

21 Q. And she references studies and those -- one is
22 McCormack et al, and that is 2002.

23 A. Yes.

24 Q. Can you tell me how to pronounce the second
25 scientist's name?

1 A. We pronounced it Thiruchelvam.

2 Q. Thiruchelvam?

3 A. I don't know whether that's the right pronunciation,
4 but that's the one we used.

5 Q. And that is 2002; and Thiruchelvam et al 2003; and
6 McCormack & Di Monte 2003. Right?

7 A. Yes.

8 Q. These were the studies by independent researchers in
9 the published literature who had found paraquat to be
10 neurotoxic in that mouse, hadn't they?

11 A. Yes.

12 Q. And these were published literature findings that
13 CTL was trying to replicate?

14 A. Yes.

15 Q. Okay. And this study, in fact, replicated those
16 independent scientific findings?

17 A. On this occasion, that is true.

18 Q. Those were in peer-reviewed journals as well,
19 weren't they?

20 A. The publications that are cited here, yes.

21 Q. That she refers to?

22 A. Yes.

23 Q. And then the last -- okay, she reports the results
24 and compares them to the XM7229 study; do you see that?

25 A. Yes.

1 Q. Look on page 6808:

2 "With respect to the apparent cell loss ..."

3 A. Yes.

4 Q. Okay. And Dr. Marks -- would you take a minute to
5 read that, please?

6 A. Yes, I would like to do that, please.

7 Q. Yes. And, sir, that whole page or at least the last
8 two paragraphs. Take your time.

9 A. Okay.

10 Q. Have you read it?

11 A. Yes.

12 Q. Dr. Marks attributes the failure to detect neuronal
13 cell loss in study XM7229 to differences in the stereology
14 methodology, software and hardware used in that study;
15 correct?

16 A. She does.

17 Q. And she also reports that in the study that you have
18 in front of you, the newer one or the more recent one,
19 XM7258, she:

20 "... used one of the most widely used and accurate
21 stereology systems currently available and the methodology
22 was refined to further improve the accuracy of the cell
23 count data."

24 Correct?

25 A. Indeed.

1 Q. "These changes to the stereology hardware and
2 software were implemented following ... "

3 The visit and training by Dr. Dino Di Monte. Is
4 that right?

5 A. That is correct.

6 Q. And that was in the Parkinson's Institute in
7 Sunnyvale, California?

8 A. That's right.

9 Q. The previous study used "a non automated stage and
10 used much older stereology software."

11 Correct?

12 A. Yes.

13 Q. Dr. Marks also notes that brain tissue in the
14 previous study had deteriorated and thus could not be
15 examined using the new, improved stereology equipment?

16 A. Correct.

17 Q. So what she did is she actually used the old
18 methodology on the second group of mouse brains to count,
19 didn't she? And when she did, she found negative results.
20 She would have reached the same conclusion, that's what she
21 found.

22 MR. NARESH: Objection to form.

23 A. Could you just repeat that?

24 BY MR. TILLERY:

25 Q. Of course. Did you understand or did you know that

1 Dr. Marks had actually repeated the same manual technique on
2 the second round analysis, and came up with the same results
3 as the first study.

4 When she used the automated technique --

5 A. Right.

6 Q. -- she got an accurate result because of the
7 difference in techniques?

8 A. I don't understand that I actually knew that --

9 Q. But you do now?

10 A. But I do now.

11 Q. All right. She re-examined the tissue with new,
12 improved stereology equipment and found the loss of neurons
13 in the substantia nigra that she reports here?

14 A. Okay, that's fine.

15 Q. Now, did -- strike that.

16 Did Syngenta send Dr. Marks to Sunnyvale?

17 A. Yes.

18 Q. Okay. Did Syngenta ever publish the results of the
19 second study?

20 A. Again, not that I remember, unless, like on the
21 previous occasion, it was at a scientific meeting. But I've
22 no evidence for that.

23 Q. Did Syngenta ever notify the Society of Neural
24 Science where the first study was reported to tell them that
25 the first study was wrong?

1 A. I have no evidence we did that.

2 Q. Okay. Based upon what you have seen from these two
3 studies, would you agree with me that the results of the
4 first study was wrong?

5 A. The -- it points to the possibility that the first
6 study was wrong.

7 Q. Do you have any reason to dispute her statements
8 that the difference in automated versus manual technology
9 accounted for the difference in the results?

10 A. No reason to dispute that at all.

11 Q. Okay. Did Syngenta ever inform the Environmental
12 Protection Agency about the loss of dopaminergic neurones
13 found in the study?

14 A. In this study, no, because they were not new
15 findings.

16 Q. I am just asking whether they did.

17 A. Right.

18 Q. Did they?

19 A. So far as I'm aware, they did not.

20 Q. Okay. So you weren't aware that on December 13,
21 three months ago, your counsel notified them of this
22 study --

23 A. I thought you meant at the time, sir, so I --

24 Q. Oh, that would be the logical thing to do --

25 A. Right.

1 Q. -- would be to tell them.

2 Would you think it would be appropriate to wait
3 16 years and a half -- 16.5 years -- to tell them?

4 MR. NARESH: And I will object to the attorney
5 commentary.

6 BY MR. TILLERY:

7 Q. We're just asking you. Is 16.5 years an appropriate
8 time period to make a report?

9 A. I believe it was still the correct decision at the
10 time that these findings were not reportable.

11 Q. Okay. So when it changed and suddenly you decided
12 to notify the US EPA on December 13, 2019, sixteen and
13 a half years after the report was finalized --

14 A. Well, that was not a decision that I was involved in
15 taking.

16 Q. Okay. Would you have done that?

17 A. I wouldn't want to comment on that. I'm not an
18 expert in whether these things should be done.

19 Q. You don't want to go down that path, do you?

20 A. I --

21 MR. NARESH: I object to the form, argumentative.

22 BY MR. TILLERY:

23 Q. Okay. So can you explain to me why there was
24 a 16.5-year delay?

25 A. I don't want to venture in any speculative way,

1 because I'm not involved in that process.

2 Q. Were you aware that I wrote your counsel a letter
3 demanding that they notify the US EPA?

4 A. I saw that letter.

5 Q. Okay. That might account for why they did it a week
6 later, do you think?

7 MR. NARESH: Objection to form, argumentative.

8 BY MR. TILLERY:

9 Q. Do you think there's a connection?

10 A. I wouldn't want to comment on that.

11 MR. TILLERY: Okay. Let's take a lunch break.

12 Is this a good time? Or we can keep going --

13 MR. NARESH: Let's go off the record for
14 a second.

15 THE VIDEOGRAPHER: Off the record at 11:49.

16 (Break taken.)

17 THE VIDEOGRAPHER: We are back on the record at
18 12:08. This is now media number 3 in the deposition of
19 Philip Botham.

20 You may continue.

21 (Exhibit 29 marked for identification)

22 BY MR. TILLERY:

23 Q. I'm directing your attention, sir, to plaintiff's
24 exhibit 29. Can you tell us what that is?

25 A. Again, another study on paraquat dichloride

1 investigating the reported paraquat-induced dopaminergic
2 neurotoxicity in the black mouse.

3 Q. And this was at Central Toxicology Laboratory
4 Alderley Park, right?

5 A. It was.

6 Q. And the principal investigator was Louise Marks?

7 A. That is correct.

8 Q. And the study number is XM7371?

9 A. Yes.

10 Q. This was a study that was part of the paraquat mouse
11 research program at Syngenta CTL, right?

12 A. At that time, yes.

13 Q. Dr. Sturgess again was the study reviewer?

14 A. Correct.

15 Q. The study was initiated on April 26th, 2004?

16 A. Yes.

17 Q. And was terminated on November 17, 2004?

18 A. Yes.

19 Q. And reported in June 2007?

20 A. Correct, along with the other reports we have been
21 discussing.

22 Q. Right.

23 If you would go to 911 in the "Study Design"
24 section, Dr. Marks reports that the purpose of the study was
25 to investigate whether the loss of dopaminergic neurons in

1 the substantia nigra observed in XM7258 could be further
2 enhanced by increasing the frequency of dosing; correct?

3 A. Correct.

4 Q. And then if you go to the "Results" section,
5 Dr. Marks reports dosing of paraquat resulted in
6 a statistically significant loss of dopaminergic neurons in
7 the substantia nigra pars compacta of mice; correct?

8 A. Correct.

9 Q. But the increased dosing frequency did not result in
10 a greater magnitude of cell loss, correct?

11 A. Correct.

12 Q. At the bottom of that page, that is on 911,
13 Dr. Marks reports:

14 "These results support the findings of study XM7258
15 and demonstrate that paraquat, when administered to [C57Bl6]
16 mice ... induces nigral, but not striatal, toxicity."

17 Correct?

18 A. Correct.

19 Q. And nigral toxicity is a form of neurotoxicity,
20 isn't it, sir?

21 A. It is an effect on that part of the -- of the brain,
22 yes.

23 Q. The results support the findings that paraquat is
24 neurotoxic, correct?

25 A. They support the possibility that paraquat could be

1 neurotoxic, yes.

2 Q. Support the findings of it as well, at least in
3 mouse, right?

4 A. The findings are now becoming more replicable, yes.

5 Q. As a matter of fact, this result replicates the
6 studies in the independent literature she found as well,
7 correct?

8 A. It does. As did the previous one. Although,
9 interestingly, there was no exacerbation of the effect
10 compared to the previous study.

11 Q. All right. And if you go to 25, Dr. Marks reports:

12 "The present study has confirmed that administration
13 of 3 weekly injections of 10 mg/kg paraquat dichloride to
14 ... C57Bl6J mice, results in a statistically significant
15 reduction in the number of ... dopaminergic neurons in the
16 [substantia nigra] ..."

17 Correct?

18 A. That's what that says, yes.

19 Q. Yes. She further reports this reduction is
20 comparable with the loss reported in the public literature?

21 A. Yes.

22 Q. And she references several studies by independent
23 researchers, right?

24 A. Yes.

25 Q. And who does she list as the source: McCormack et al

1 2002; McCormack & Di Monte 2003; correct?

2 A. Correct.

3 Q. Did Syngenta ever publish the results of this study
4 in a peer-reviewed journal?

5 A. I'm not aware that it did.

6 Q. Okay. Did the results of this study ever appear
7 anywhere in any public literature?

8 A. I am not aware of -- of whether that actually did
9 happen.

10 Q. Did Syngenta ever inform the United States
11 Environmental Protection Agency about the loss of
12 dopaminergic neurons observed in this study?

13 A. At the time of this study, there was -- this was not
14 reported to the EPA, that I do know.

15 Q. This was reported sixteen and a half years later
16 in December 2019; correct?

17 A. As you were indicating on the previous occasion,
18 yes.

19 Q. You saw that report, and you saw my letter demanding
20 that it be reported?

21 A. I saw your letter, yes.

22 Q. You saw my -- I'm sorry, strike that.

23 You saw my letter and you saw the report that was
24 filed?

25 A. I'm not sure that I've seen the report.

1 Q. Okay. Do you know who made the decision to file the
2 report?

3 A. I don't.

4 Q. Did Syngenta ever disclose the study to any other
5 regulatory agency around the world?

6 A. I -- I'm not able to answer that accurately. I am
7 not sure.

8 Q. Okay. You've dealt with other regulatory bodies
9 yourself, haven't you, outside the United States?

10 A. I have.

11 Q. You haven't dealt with the US EPA?

12 A. I have not engaged with the US EPA on this issue,
13 no.

14 Q. Okay. And you have engaged with other regulatory
15 authorities?

16 A. Yes.

17 Q. Okay. Which countries have you dealt with?

18 MR. NARESH: You are asking him personally?

19 MR. TILLERY: Yes.

20 A. Right. So for me personally, I have had some
21 engagement with Brazil. But I have had -- there has been
22 indirect contact with other -- Australia by members of my
23 team, but not me personally.

24 BY MR. TILLERY:

25 Q. Are those the only two countries that you know of

1 that -- that you interact with in terms of this?

2 A. Well, certainly in terms of personal interaction,
3 there were no other countries.

4 Q. Do you know of any other regulatory bodies in other
5 countries besides Brazil and Australia?

6 A. There are other regulatory authorities who will have
7 had -- where there will have been discussions about this
8 topic.

9 Q. You don't know whether this study was reported to
10 them?

11 A. I can't give you any indication of that, I am
12 afraid.

13 Q. Was it given to Brazil?

14 A. I don't think it was specifically given to Brazil.

15 Q. Was it given to Australia?

16 A. I don't believe so.

17 Q. Are you aware of any disclosures of Dr. Mark's study
18 results to any regulatory agency in the world?

19 A. I am not aware of that.

20 Q. When Dr. Marks -- strike that.

21 When Dr. Marks reported the results of the studies
22 to her superiors, her superiors did not want her to accept
23 the findings that paraquat causes neuronal cell loss in the
24 black mouse; is that a fair statement?

25 A. I would not say that was a fair statement because

1 I don't know what your evidence is to -- to back that up.

2 Q. Okay, okay.

3 Were you aware that her superiors wanted her to find
4 a flaw in her methodology?

5 A. Again, I would not -- I would like to understand
6 what the basis of that is.

7 Q. Okay. Before we get into the documents, because
8 I promise you I will -- after lunch I will share that with
9 you, I will -- were you aware that they were doing that?

10 A. No, I was not aware that there was such -- such
11 conversations were going on at the time.

12 Q. Have you ever heard of a scientist being asked to
13 find -- search for flaws in her own methodology in order to
14 disprove her own scientific results?

15 A. Well, put that way, I think that is something that
16 you wouldn't expect. But you would expect that people would
17 be -- would say: are you really sure that the methodology
18 that you are using is actually being used as well as
19 possible?

20 Q. But you've studied -- you've looked at these studies
21 and this is a scientist who has gone through -- who has
22 criticized her first result because it was manual technique,
23 who has gone to the trouble of educating herself at
24 Sunnyvale, California, in a -- in a more automated technique
25 in order to enhance the accuracy of her scientific findings.

1 For that, she's been recognized with the highest prize for
2 professionalism that your company even recognizes; correct?

3 A. Correct.

4 Q. Okay. Now in that context, right, can you imagine
5 a scientist being told, of that type, to discredit her own
6 findings?

7 MR. NARESH: Objection to form.

8 A. I would personally never put such a -- a proposal to
9 a scientist.

10 BY MR. TILLERY:

11 Q. You wouldn't do that, would you?

12 A. I would not do that personally.

13 Q. Okay. And that would be unethical, would you agree?

14 MR. NARESH: Objection to form, scope.

15 A. As you -- as you actually stated it, that would, to
16 my mind, be an inappropriate way of dealing with
17 a scientist.

18 BY MR. TILLERY:

19 Q. All right.

20 Do you, as Syngenta's representative who prepared to
21 testify on the subjects that included what we are talking
22 about here today, need to know what evidence I have before
23 you are able to testify about what Dr. Marks' superiors
24 wanted?

25 A. As I said a minute ago, yes, I would like to see

1 that evidence.

2 MR. NARESH: Steve, just so we have a clear
3 record, you mentioned the Marks studies. You are referring
4 to the three studies you have introduced as evidence?

5 MR. TILLERY: No, all of the studies. Every one
6 she did for Syngenta.

7 MR. NARESH: Including the studies you have not
8 yet introduced?

9 MR. TILLERY: Yes.

10 MR. NARESH: All right, then --

11 MR. TILLERY: I'm going to go through them all.

12 MR. NARESH: Okay. Well, I'm concerned that
13 there might be confusion from the witness because you asked
14 some questions about disclosure to the EPA --

15 MR. TILLERY: Yes.

16 MR. NARESH: -- and referred to the Marks
17 studies. And if you are referring to all, as opposed to the
18 ones you have introduced, I think there maybe some confusion
19 on the record. So I don't know if you want to --

20 MR. TILLERY: I don't want an incorrect record.
21 So if I -- if my question did -- was too broad and
22 encompassed it, I am willing to allow you to have him
23 correct that so he's not impeached by it later.

24 MR. NARESH: You want to do it now or later --

25 MR. TILLERY: We can do it later. Then you can

1 use the hour time to fix that with him. I'm happy to let
2 you do that.

3 MR. NARESH: Let me put it this way, I do
4 anticipate having more fulsome redirect than just on that
5 question. Would you want me to do that, do all of it at the
6 conclusion of your examination, or do you want me to do that
7 at some other point?

8 MR. TILLERY: Probably at the conclusion of the
9 examination.

10 MR. NARESH: I will reserve the right to do
11 redirect on that point and all of the points at the
12 conclusion of your deposition.

13 MR. TILLERY: I'm not saying that I agree that
14 you are entitled to do that, so that you understand, okay?

15 MR. NARESH: Okay.

16 MR. TILLERY: Thank you.

17 BY MR. TILLERY:

18 Q. Were you aware that her superiors wanted Dr. Marks
19 to come up with other explanations for why paraquat could
20 have caused the same findings that were not consistent
21 with it being a potential cause for neuronal cell loss?

22 A. I can't speak about the specific conversations at
23 the time. But such conversations were certainly had at
24 a later time which I was involved with, when we continued to
25 find an apparent loss of dopaminergic neurons, and which

1 indeed we have subsequently published.

2 Q. Well, let us go to the next exhibit.

3 (Exhibit 30 marked for identification)

4 BY MR. TILLERY:

5 Q. Now, on that last point that you made, could you
6 tell me where Syngenta published a Syngenta study finding
7 loss of dopaminergic neurons after the use of paraquat?

8 A. Yes.

9 Q. Where?

10 A. In the neurotoxicology publication of Breckenridge
11 et al. One of the studies that was reported in that showed
12 an apparent loss of dopaminergic neurons.

13 Q. And which study specifically, could you tell us on
14 the record, please?

15 A. I would have to go back and look at the publication
16 to see exactly what study number it was referred to in that,
17 but it was -- I know the dose level was 15 milligrams per
18 kilogram of paraquat.

19 Q. Okay. Now look at exhibit number 50, please -- I'm
20 sorry, number 30.

21 A. 30.

22 Q. Can you read into the record the title of this
23 document?

24 A. "Notes of discussions with Lewis Smith to brief him
25 on the latest Parkinson's disease findings on

1 3rd December 2004".

2 Q. And present were, can you tell us?

3 A. Lewis Smith, Nick Sturgess, Louise Marks and Mike
4 Clapp.

5 Q. And Nick Sturgess and Louise Marks presented the
6 latest findings, correct?

7 A. That's what the next line says.

8 Q. Okay. And the first bullet point of number 1
9 paragraph says:

10 "Is the loss of neurones indicating generalised
11 neuronal toxicity in the brain rather than a specific effect
12 in the Substantia Nigra? This is not ... clear, however
13 data from other brain areas (e.g. hippocampus) suggest that
14 it is specific, but it may be difficult to detect changes in
15 dopaminergic neurone number in other brain regions where the
16 density of dopamine containing neurones is lower than in the
17 [substantia nigra], thus making a loss of neurones more
18 difficult to detect."

19 Right?

20 A. Yes.

21 Q. Okay. Lewis Smith, who is listed here, is the same
22 Lewis Smith that we have been talking about, right?

23 A. He is.

24 Q. And what was his role at that time?

25 A. I think in 2004 it would be when he was the head of

1 the Central Toxicology Laboratory.

2 Q. Who is Mike Clapp?

3 A. Mike Clapp was the product toxicologist for -- based
4 at CTL who had paraquat as one of his product
5 responsibilities.

6 Q. Is he still employed with the company?

7 A. He is not.

8 Q. Where is he now?

9 A. He's retired.

10 Q. Okay. The purpose of the meeting was to brief
11 Lewis Smith regarding the latest Parkinson's disease
12 findings from Dr. Marks; correct?

13 A. That's what the title suggests.

14 Q. Now if you would look at page 2 of that two-page
15 document, that's dated what, if you look at the bottom of
16 the second page?

17 A. 7 December 2004.

18 Q. 7 December 2004?

19 A. Yes, if this is an English document, the --

20 Q. Okay. All right.

21 Were Dr. Smith and Dr. Clapp, Louise Marks' and
22 Sturgess's superiors?

23 A. Dr. Smith, yes; Dr. Clapp, no.

24 Q. But Dr. Smith was their boss?

25 A. He was the head of the laboratory at that time.

1 Q. He was the head of the entire operation?

2 A. I believe at that time that is correct.

3 Q. Okay. So if you would read this, does it report
4 that Dr. Smith wanted to have the research team investigate
5 other reasons for dopaminergic neuronal death in
6 paraquat-dosed mice?

7 A. I would just like to read it a little bit more if
8 I could.

9 Q. Sure, take your time.

10 A. Okay. Would you like to repeat the question?

11 Q. Of course, thank you.

12 The question -- the first question I would ask is
13 whether Dr. Smith wanted the research team to investigate
14 other reasons for dopaminergic neuronal cell death in
15 paraquat-dosed mice? He was looking for other explanations?

16 A. He was suggesting that some methodological things
17 needed checking and that there were other hypotheses that
18 might explain the findings.

19 Q. Trying to think of other ways this could account for
20 the results?

21 A. Yes, to see -- yes.

22 Q. Okay. Right. That's what he was doing.

23 Because Syngenta had replicated the paraquat
24 neurotoxicity findings in the independent published
25 literature, correct?

1 A. Yes.

2 Q. And unless those findings could be attributed to a
3 cause other than paraquat, Syngenta had established a sound
4 scientific basis for the claims made in the public
5 literature that paraquat is neurotoxic; correct?

6 A. That would be the implication of the replication and
7 confirmation.

8 Q. And those claims were a threat to Syngenta's
9 aspirations for paraquat too, weren't they?

10 A. That would undoubtedly have led to discussions about
11 the -- paraquat and how it should be continued, I agree.

12 Q. In fact, a threat to the bottom line of the company?

13 A. Well, that's a -- possibly what some people had in
14 mind. Certainly it wasn't, within the scientific community,
15 something that was prominent.

16 Q. Well, I mean, look at the last paragraph of the
17 conclusion. He says, second sentence, "HA."

18 Who is that? At that time, what is HA?

19 A. That would be "Health Assessment".

20 Q. "[Health Assessment] have a responsibility to create
21 the scientific understanding and there will be no intention
22 to slow down this understanding, although business risk will
23 need to be considered in the decision making process."

24 "Business risk" means selling paraquat, doesn't it?

25 A. It does.

1 Q. Right.

2 A. But it did reinforce the point I was making about
3 creating scientific understanding, so I think it --

4 Q. Right.

5 A. -- said the same as I did.

6 Q. So HA at Syngenta had the responsibility to create
7 the scientific understanding regarding paraquat's
8 neurotoxicity?

9 A. That's right. That was our accountability.

10 Q. The business threats which needed to be considered
11 in the decision-making process for creating the scientific
12 understanding of paraquat was that they could further prove
13 paraquat was neurotoxic. That was the business risk?

14 A. Of course.

15 Q. Yes. There was a business risk that paraquat would
16 be banned in more countries if it were established by
17 additional tests; correct?

18 A. I would put it differently. It was always our role,
19 in health assessment as it was called then, to -- to say if
20 there was a scientific basis for there being a risk
21 associated with the product, we would want that to be
22 understood by the business.

23 Q. How many of these studies need to be repeated before
24 you're happy with the fact that this stuff causes brain
25 injury?

1 A. That's a -- you can never put an exact number on
2 that. And don't forget, this was still very much at the
3 forefront of research which is why, um, Louise Marks
4 received that award, because, you know, this was new
5 technology. We were still understanding how it worked.

6 Q. It was new technology with you. It wasn't new
7 technology around the world, was it?

8 A. Even around the world, if we had -- conversations
9 were made as an example when we spoke to Professor
10 Nyengaard, I think I mentioned his name yesterday, where --
11 which said this is technology where we are all still
12 learning how to use it properly.

13 Q. Let me ask you: you knew that this automated
14 technology was already being used by the independent
15 researchers before this, right?

16 A. Yes.

17 Q. They had access to this; they were using it.

18 Do you know how long they had it in use before you
19 got it?

20 A. I can't answer that question.

21 Q. You don't know?

22 A. I don't know.

23 Q. Let me ask you this. Do you think had you wanted to
24 get it at the time that these independent researchers got
25 it, you could have done exactly the same thing at CTL

1 laboratory?

2 A. Of course we could have done that, yes.

3 Q. Sure. And hired the best researchers in the world
4 to do it?

5 A. We could. But there was no reason for us to do it,
6 because at that time there were no findings in the
7 literature. So things go in a -- in an order.

8 Q. Okay.

9 And this technology, stereology, wasn't the first
10 technology the scientists could use to detect the death of
11 dopaminergic neurons in the substantia nigra, was it?

12 A. Well, there are some other pathological techniques
13 you could use, of course.

14 Q. Of course. And they long pre-dated stereology
15 techniques, didn't they?

16 A. They are, but those pathological techniques are not
17 necessarily specific to the cells that you are -- that are
18 involved here.

19 Q. Okay.

20 THE VIDEOGRAPHER: In that case, we will go off
21 the record at 12:33.

22 (Break taken.)

23 THE VIDEOGRAPHER: In which case we are back on
24 the record as of 1:26.

25 You may continue.

1 (Exhibit 31 marked for identification)

2 BY MR. TILLERY:

3 Q. Sir, we have handed you what's been marked as
4 plaintiff's exhibit number 31.

5 This is Bates range Syngenta 1981435. Do you see
6 that?

7 A. I do.

8 Q. Okay. And the top of this page says what?

9 A. "Thoughts On Options For Challenging The PQ and
10 C57B16 Mouse Model."

11 Q. And if you look on the second page, it's got
12 a reference to Nick Sturgess and Louise Marks,
13 6 December 2004. Do you see that?

14 A. I do.

15 Q. Okay. Are these notes Drs. Sturgess and Marks made
16 on December 6 regarding challenging the paraquat results
17 they had seen in the C57B6 mouse?

18 A. It suggests that this is a record of their
19 conversation.

20 Q. Okay. And these notes were made one day before the
21 meeting with Dr. Smith on the paraquat results in the C57
22 black mouse, weren't they?

23 A. They were made -- well, that meeting itself was on
24 3 December.

25 Q. On the 3rd?

1 A. Yes. So the meeting minutes were dated 7 December,
2 but the title says that the meeting itself was on
3 3 December, if I have read this correctly.

4 Q. This meeting minutes says 6 December 2004. And you
5 read the other one as being --

6 A. I do, sir, yes.

7 Q. -- later?

8 A. Yes. Well, earlier --

9 Q. Earlier, right?

10 A. So the meeting here is earlier, yes.

11 Q. So Lewis Smith, from your prior review of the
12 meeting with Lewis Smith, was of the opinion that CTL should
13 aggressively challenge the results in the Marks studies; do
14 you agree?

15 A. And by "aggressively", it is to get on with it.

16 Q. Yes. He wanted them to aggressively challenge the
17 methodological issues with the --

18 A. That was one of the factors, yes.

19 Q. Okay. And did Lewis Smith ask Drs. Sturgess and
20 Marks, or both, to come up with ways to challenge the
21 paraquat mouse model in preparation for the briefing?

22 A. Which briefing are you talking about?

23 Q. Assuming you are right about the times, then it
24 wouldn't be a briefing: this would be a meeting that
25 occurred after they met with Dr. Smith, is that what you are

1 thinking?

2 A. Well, I'm taking it to be that way. That they have
3 met with Dr. Smith, and maybe this was Dr. Sturgess and
4 Dr. Marks saying, "Well, where do we go from here?"

5 Q. So your meeting -- the first meeting with Dr. Smith,
6 and then this is notes of meetings that these two scientists
7 had after they met with Dr. Smith, is that what you are
8 saying?

9 A. If the dates on these pieces of paper are accurate,
10 then you would conclude that.

11 Q. Okay. So if you look at the fifth bullet, it says
12 Drs. Sturgess and Marks note, on the fifth one down:

13 "It has not been reported that C57Bl6J mice are more
14 sensitive to PQ induced toxicity than other strains of mice.
15 In fact, given that the animals have been obtained from
16 different suppliers including our own data, would suggest
17 that subtle differences between strains in their
18 susceptibility to PQ are unlikely."

19 A. Correct, yes.

20 Q. So one of the things they were looking at was to try
21 to change the mice to come up with different results,
22 potentially?

23 A. One of the things they were looking at was asking
24 the question about is there strain sensitivity, which may be
25 important.

1 Q. Okay. The next says:

2 "The only studies we are aware of involving
3 non-C57Bl6 mice with [paraquat] relate to studies
4 investigating PQ induced cell loss in alpha synuclein
5 over-expressing animals."

6 Correct?

7 A. Yes.

8 Q. So Syngenta was aware of studies involving other
9 strains of mice where paraquat induced cell loss occurred,
10 right?

11 A. Yes.

12 Q. And this was neuronal cell loss, correct?

13 A. Yes, that would be correct.

14 Q. And this paraquat induced neuronal cell loss
15 occurred in mice who were over-expressing alpha synuclein?

16 A. That's what the Swiss Webster was describing, yes.

17 Q. And over-expressing alpha synuclein means the same
18 thing as upregulation of alpha synuclein, would you agree?

19 A. It is expressing more of the protein, yes.

20 Q. You are aware that upregulation of alpha synuclein
21 is part of the Lewy body pathology in human Parkinson's
22 disease patients, correct?

23 A. Yes.

24 Q. Syngenta was fully aware of that fact in 2004 --

25 A. Yes.

1 Q. -- would you agree?

2 When was that first known to be true?

3 A. I can't give you a date as to when that was first
4 known.

5 Q. Okay. The next bullet point says:

6 "If we were concerned that the pigmented mouse was
7 more sensitive to [paraquat] than other strains, one option
8 would be to dose 10mg/kg [of paraquat] to variety of
9 different mouse strains including BALB/c, Swiss Webster and
10 CFI, and observe the extent of the neuronal cell loss."

11 Right?

12 A. Yes.

13 Q. What are those?

14 A. They are different strains of mice.

15 Q. Okay. Then it says, "however" -- it goes on to say:

16 "However, this would generate a PRF since no one
17 else has dosed [paraquat] to these strains."

18 A. That is absolutely correct.

19 Q. And a PRF there means what?

20 A. As we were discussing this morning, the potentially
21 referable findings under 6(a)(2).

22 Q. So they didn't do that, because had they made the
23 finding they would have had to report it?

24 A. That doesn't say that. It says:

25 "However, this would generate a PRF ..."

1 Q. And do you know if they ended up doing that study?

2 A. I don't believe that they did.

3 Q. Okay. Do you think that the reason they didn't do
4 it is because it might generate a PRF?

5 A. I don't know what the full reasoning was. It may
6 have been taken into consideration but I wasn't involved in
7 these discussions.

8 Q. Okay. Do you agree with their conclusion that there
9 was not strain sensitivity?

10 A. I -- it depends whether you are looking at that
11 question as it was seen at that time or with the benefit of
12 hindsight, because with the benefit of hindsight more
13 research has shown that there is perhaps some strain --
14 difference in the sensitivity.

15 Q. Statistically significant strain sensitivity?

16 A. That is on the borderline, I have to say.

17 Q. Okay. Are PRFs something that Syngenta generally
18 wants to avoid?

19 A. We don't avoid them if it actually is doing the
20 right scientific study.

21 Q. Okay. The next page -- flip this over -- fifth
22 bullet down says:

23 "The reported PQ induced nigrostriatal toxicity is
24 not just mouse specific."

25 Do you see that?

1 A. Yes.

2 Q. And then it cites another name you are going to have
3 to pronounce for me.

4 A. Chanyachukul.

5 Q. We probably should spell that for the reporter,
6 please?

7 A. C-H-A-N-Y-A-C-H-U-K-U-L.

8 Q. "... (work in part carried out at University of
9 Nottingham) investigated the effect of acute [paraquat]
10 exposure to Wistar rats, demonstrating neurochemical and
11 behavioural deficits, which were attenuated by the
12 administration of L-valine."

13 Q. What is L-valine?

14 A. It is an amino acid.

15 Q. "Also neurochemical, pathological and behavioural
16 changes have been reported following intracerebral injection
17 of [paraquat] into rats."

18 Correct?

19 A. Yes.

20 Q. Do you agree with that statement?

21 A. Yes, those are published papers.

22 Q. Okay. So Syngenta knew the same types of results
23 would occur in rats as well, wouldn't it?

24 A. There was clearly a potential for that to be the
25 case, yes.

1 Q. Drs. Sturgess and Marks note:

2 "The options for challenging the [paraquat] mouse
3 model would appear to be somewhat limited."

4 Okay?

5 A. That's what it says.

6 Q. Dr. Sturgess and Dr. Marks considered the options to
7 be challenging -- strike that.

8 Dr. Sturgess and Dr. Marks considered that the
9 options to challenging the methodology of the paraquat mouse
10 model appeared very limited, didn't they?

11 A. Yes. And I think what was meant there is
12 challenging its relevance to human Parkinson's disease
13 potential from paraquat.

14 Q. What options for challenging the paraquat mouse
15 model did they provide?

16 A. Well, what was being discussed at the time, and
17 certainly was discussed subsequently, was whether there were
18 more relevant models. The rat was discussed frequently
19 as -- as another option. Indeed we did go on to do a rat
20 study ourselves.

21 Q. Well, didn't they say in the same bullet point
22 there, they wrote:

23 "The best way of challenging the model would be
24 based on the dose, duration and route of exposure ..."

25 A. Indeed, and that was going to be my second point.

1 So human relevance is much more going to be directed to how
2 you administer the compound, indeed.

3 Q. They are not challenges to the methodology of the
4 model, so much as changing certain aspects of the model?

5 A. Yes, it is back to what we discussed this morning:
6 the relevance of your model is always an important
7 consideration.

8 Q. What is NOEL?

9 A. A no effect level.

10 Q. What does that mean?

11 A. It is the dose that when you do a toxicology study
12 and you use more than one dose level -- so in other words
13 you feed or inject different concentrations of a chemical to
14 your animals -- it is the dose at which you saw no effects.

15 Q. And the very next bullet point says:

16 "I still believe our best defence is to conduct an
17 exposure based risk assessment based upon a dietary/dermal
18 NOEL using the mouse model of neuronal cell loss."

19 Correct?

20 A. Correct.

21 Q. Best defense?

22 A. And that -- if I may, again I would say that that
23 was being positioned at the time on the assumption that the
24 effect is real. It is reproducible. You know, it would be
25 perhaps not easy to deny it with the information that was

1 available at that time.

2 But is that model that's being used relevant to
3 humans because it is an injection model? And actually, even
4 if you do see an effect at a high dose, if you can find
5 a dose which is still orders of magnitude above anything
6 a human would be exposed to, then that would say that
7 that -- your concern would be reduced.

8 Q. I move to strike the answer as unresponsive.

9 So it says, my question was:

10 "I ... believe our best defence is to conduct an
11 exposure based risk assessment based upon a dietary/dermal
12 NOEL using the mouse model of neuronal cell loss."

13 Doesn't it?

14 A. Yes.

15 Q. And it says the word "defence", that was the
16 question I had. "Defence", do you see that?

17 A. Yes.

18 Q. And defense is defense against the fact that the
19 study showed damage to the substantia nigra and to the
20 production of dopamine by the dopaminergic neurons?

21 A. No, I disagree, sir. The defense at that time, I am
22 sure, was not to say we are trying to discredit the
23 possibility that you see the neuronal cell loss in the
24 conditions that we have been talking about; but actually to
25 say -- to, if you wish, discredit its relevance to human

1 risk assessment. And that's why they used "exposure based
2 risk assessment" as a shorthand way of describing what
3 I just said to you.

4 Q. Well, aren't they really saying that Syngenta should
5 accept the results of the Marks studies and the findings of
6 independent researchers that paraquat causes neuronal cell
7 loss? Isn't that what they were suggesting?

8 A. I don't dispute that and actually agree with it.

9 Q. And you agree with it too?

10 A. Indeed. And as I said to you this morning, when we
11 continued our work and published the Breckenridge paper,
12 that was a position we were first in. We again replicated
13 that finding.

14 Q. And when you found that -- in the Breckenridge
15 paper, you found that it caused neuronal cell loss in the
16 substantia nigra, didn't you?

17 A. In one study at one dose level.

18 Q. In one study and one dose level in one type of rat,
19 I apologize --

20 A. Mouse.

21 Q. A rat, right?

22 A. Mouse.

23 Q. Mouse, sorry, excuse me. In one type of mouse you
24 found it and it was attributable to paraquat exposure?

25 A. It appeared to be at the time. But then, as that

1 Breckenridge paper says, we did many more experiments as
2 part of that publication and in none of the others were we
3 able to replicate it nor were we able to see any evidence
4 that you would -- that other pathologists who we were now
5 consulting with said you should see if that was a genuine
6 death of cells. Because pathologists were telling us that
7 if cells were dying other things would happen around them.

8 Q. I just wanted to clarify one thing. My question was
9 really this -- I move to strike your answer as unresponsive.

10 My question was simply this: did you find damage in
11 that single mouse study that was reported there as a result
12 of paraquat exposure in the mouse?

13 A. Yes. In that single study, yes.

14 Q. Okay. And that was reported, correct?

15 A. As part of our publication.

16 Q. Okay, all right.

17 Would you agree that instead of challenging the
18 results, Syngenta should use them as the basis for a human
19 health risk assessment of paraquat?

20 A. Now that we have done many more experiments,
21 including those we have just been referring to in
22 neurotoxicology, I believe quite strongly that our position
23 is that the replicability has not been established, so
24 I don't think it would be appropriate to do that.

25 Q. Okay.

1 You see the next section says, bottom of the second
2 page:

3 "Potential experimental follow-ups suggested by
4 Lewis Smith include:

5 "Can the changes observed be accounted for by tissue
6 shrinkage owing to the diuretic effect of PQ? Suggests
7 dosing with a diuretic to look for changes in ... cell
8 counts."

9 Do you see that?

10 A. Yes, yes.

11 Q. Okay. And it says:

12 "Are the effects observed with the mouse model
13 irreversible? Conduct a ip study ..."

14 What does "ip" mean?

15 A. Intra-peritoneal.

16 Q. Intra-peritoneal study:

17 "... at 3 x 10 mg/kg, and assess the extent of
18 neuronal cell loss 7, 14, 28, and 90 days post final dose."

19 A. Yes.

20 Q. "This will confirm the loss detected so far is
21 irreversible."

22 Do you see that?

23 A. I do.

24 Q. Okay. And those were suggested by Lewis Smith,
25 apparently, from this report --

1 A. From what this says, yes.

2 Q. Okay.

3 He suggested -- he being Lewis Smith -- suggested
4 dosing mice with a diuretic to determine if the loss of
5 neuronal cell loss could be attributed to the diuretic
6 effect of paraquat on tissue samples; right?

7 A. Yes.

8 Q. That was one of the theories that they had for why
9 these results could have come back the way they did?

10 A. Indeed, and it was --

11 Q. It's a diuretic?

12 A. It was included in the notes of that other meeting
13 we were talking about.

14 Q. Right. And Lewis Smith has also suggested
15 conducting a paraquat mouse study with animal sacrifice at
16 7, 14, 28 and 90 days to confirm whether paraquat induced
17 neuronal cell loss was irreversible, whether it was
18 permanent?

19 A. Yes. And an experiment of that sort was actually
20 subsequently done.

21 Q. It was done, wasn't it?

22 A. Yes.

23 Q. Okay.

24 MR. NARESH: Steve, just as housekeeping thing,
25 can I move some of these --

1 MR. TILLERY: Yes, you do whatever you need.

2 (Exhibit 32 marked for identification)

3 BY MR. TILLERY:

4 Q. The next exhibit is number 32, correct, sir?

5 A. That is correct.

6 Q. What is this?

7 A. This is another study in the series of studies
8 conducted with the title "Paraquat Dichloride Hydrate",
9 looking at, in this case, the time course and reversibility
10 of dopaminergic cell loss in that same mouse model following
11 the administration of paraquat.

12 Q. And this is XM7480, right?

13 A. That is correct.

14 Q. And it started June 21, 2007, right?

15 A. That was when it was reported.

16 Q. I'm sorry, that was the report date. The study
17 dates were February 18, 2005, and the termination date
18 was September 1, 2005; right?

19 A. Which I think it must be misprint -- no, it may not
20 be. I beg your pardon, forgive me. Yes, that is probably
21 correct, yes. Yes.

22 Q. Okay. This study was designed to investigate the
23 time course and potential reversibility --

24 A. Yes.

25 Q. -- of the loss of dopaminergic neurons in the

1 substantia nigra after three months of dosing; right?

2 A. Yes.

3 Q. And using paraquat?

4 A. Well, if I may, I believe -- I would just like
5 a little time to double-check this.

6 Q. Go ahead and take your time.

7 A. Okay.

8 Q. If you look at 2793 under "Purpose"?

9 A. Yes. So I think I would just need to, if I've heard
10 you correctly, slightly qualify. So paraquat was given as
11 three weekly injections but then it was not continued to be
12 administered. I believe the animals were then rested, if
13 you like, and sacrificed at different time points.

14 Q. Yes. For clarity in the record, the purpose set out
15 at page 2793 by Dr. Marks was:

16 "The aim of this study was to investigate the time
17 course and potential reversibility of nigrostriatal effects
18 following 3 weekly injections of 10 mg/kg paraquat
19 dichloride by assessing dopaminergic cell loss in the
20 [substantia nigra] and concentrations of striatal dopamine
21 and its metabolites at 7, 28 and 90 days after the final
22 dose of paraquat."

23 A. That is correct, yes.

24 Q. That's what you --

25 A. That's what I was qualifying, yes.

1 Q. In the previous studies, Dr. Marks had observed loss
2 of dopaminergic neurons in mice dosed with paraquat and
3 sacrificed seven days later, correct?

4 A. Yes.

5 Q. In this study she observed loss of dopaminergic
6 neurons dosed with paraquat, didn't she?

7 A. Yes.

8 Q. And the loss was statistically significant too,
9 wasn't it?

10 A. Yes.

11 Q. The study also measured the loss of dopaminergic
12 neurons 28 and 90 days after the last dose was given?

13 A. Yes.

14 Q. And the degree of dopaminergic neuron loss at 28 and
15 90 days was similar to the loss at 7 days, wasn't it?

16 A. That is correct.

17 Q. In other words, the animals did not recover
18 dopaminergic neuron function after a passage of 28 or 90
19 days?

20 A. That's right. Using that measurement they stayed
21 the same, correct.

22 Q. The loss of dopaminergic neuron function was
23 permanent throughout the 90 days of the study?

24 A. That's right.

25 Q. It was not reversible, is what you found?

1 A. Within that timescale, it did not reverse.

2 Q. The last paragraph, okay -- and that is 2792 --

3 Dr. Marks says:

4 "These results support the findings of two previous
5 CTL studies XM7258 and XM7371 ... and demonstrate that
6 paraquat, when administered to C57Bl6J mice ... would appear
7 to be capable of inducing nigral but not striatal toxicity."

8 Right?

9 A. Yes.

10 Q. And nigral toxicity is a form of neurotoxicity
11 again?

12 A. Nigral is meant to be the substantia nigra.

13 Q. The substantia nigra, yes, okay. The studies
14 suggest -- support -- the findings that paraquat is
15 neurotoxic, don't they?

16 A. They support that, that finding yes.

17 Q. Yes. This is the third Syngenta CTL study that
18 found that paraquat causes loss of dopaminergic neurons;
19 correct?

20 A. Correct.

21 Q. And the third Syngenta CTL study where Dr. Marks
22 found the loss of dopaminergic neurons were comparable to
23 the loss reported by independent researchers in published
24 scientific literature; correct?

25 A. Correct.

1 Q. The third Syngenta study to repeat the results of
2 independent researchers' finding that paraquat is
3 neurotoxic?

4 A. As specifically defined by the loss of dopaminergic
5 cells. There were other findings that were not seen. Like
6 the loss of dopamine has not been seen consistently in the
7 studies --

8 Q. But in the limited context in which I asked the
9 question, to repeat the findings means replicate the
10 findings?

11 A. In that area, that is correct --

12 Q. So this is the third one in a row to replicate the
13 work by independent researchers?

14 A. Can you just say that again?

15 Q. By independent researchers?

16 A. Yes.

17 Q. And it also means, for these purposes, that these
18 studies reproduce the findings of the independent
19 researchers?

20 A. They do.

21 Q. If you look at 2807, the first full paragraph, sir,
22 tell me when you are there.

23 Dr. Marks says:

24 "Our data would appear to be supportive of the
25 hypothesis that a sensitive subpopulation of dopaminergic

1 neurons may exist which are vulnerable to paraquat induced
2 toxicity."

3 Do you see that?

4 A. I do.

5 Q. Do you agree with that?

6 A. That's what it says.

7 Q. Okay. Did Syngenta publish the results of this
8 study in any journal?

9 A. I'm not aware that we did.

10 Q. Did Syngenta publish this anywhere, or post it, or
11 present it, or talk about it in any scientific symposium?

12 A. I'm not sure that we did that.

13 Q. Okay. Are you aware of anybody ever saying they
14 did?

15 A. I'm not aware.

16 Q. Did Syngenta ever disclose this study to any
17 regulatory authority in the world, including the
18 United States Environmental Protection Agency?

19 A. This study was the subject of a disclosure to the
20 EPA --

21 Q. You did report it, didn't you?

22 A. We did.

23 Q. Okay. When did you file that report?

24 A. I don't have the date to hand, so I would need to
25 check the record of that date.

1 Q. Okay. Why did you report this one?

2 A. Because the conditions -- some of the conditions of
3 the study were different to what had been used by other
4 researchers. So it fulfilled some of those detailed
5 criteria that we were talking about this morning under
6 6(a)(2).

7 Q. Do you not know when you filed it with US EPA?

8 A. I can't give you the date off the top of my head.

9 Q. It certainly wasn't when the study was done, was it?

10 A. It was obviously after the study had been
11 interpreted.

12 Q. It was years before it was reported --

13 A. It was before it was reported, yes. Yes.

14 Q. All right. You reported it as an adverse finding?

15 A. Yes, as defined by 6(a)(2), yes. Yes, where
16 adverse --

17 Q. Adverse effect?

18 A. Adverse effect seen in -- because it was seen with
19 different experimental conditions.

20 Q. Why was it considered to be an adverse effect?

21 A. Because we had, as we have been discussing,
22 concluded that we now appeared to have a replicable finding
23 showing loss of dopaminergic neurons which could be
24 interpreted as neurotoxicity. And the reason that it was
25 reported -- not reported before, as we said, is because up

1 until that point that was not a new finding. Now the
2 conditions of the experiment had changed which meant that it
3 was appropriate to report it.

4 Q. So when you made this report, why didn't you include
5 the other two prior reports at that time?

6 A. When -- I was certainly involved in -- you remember
7 I talked about the approach, the PRF approach committee this
8 morning? So we discussed that. And in the record of that
9 meeting, it very clearly shows that we discussed the
10 totality of those experiments not just this one in
11 isolation.

12 And we proposed -- if my memory serves me right but
13 it would be useful to check the record -- to the US PRF
14 committee that that bigger picture should be -- should be
15 included.

16 Q. All of them?

17 A. That was what I believe the record of our
18 communications --

19 Q. So the PRF committee recommended to the US people
20 that they report all of them?

21 A. That they could consider that, yes.

22 Q. They didn't do that, did they?

23 A. I would have to check the record of that.

24 Q. Well, you know that the earlier two studies were
25 never reported until December 13, 2019; correct?

1 A. They were -- you were telling me that they had been
2 reported in some way in that time, yes. I have not seen
3 that.

4 Q. Okay, all right.

5 (Exhibit 33 marked for identification)

6 BY MR. TILLERY:

7 Q. Do you have exhibit 33 before you, sir?

8 A. I do.

9 Q. Okay. Is this a report to the United States EPA?

10 A. Yes, this is a letter to the US EPA, yes.

11 Q. Okay. Let me ask you, wouldn't the evidence for the
12 neurotoxic effect of paraquat be stronger if all three of
13 the studies done by Dr. Marks were reported?

14 A. I think there's -- there's certainly a reasonable
15 thing to -- to propose. And as I said, the approach
16 committee suggested that was one possibility.

17 Q. And that was one of the reasons you told them,
18 wasn't it?

19 A. It was, yes.

20 Q. Yes. Now, if you take a look at this document,
21 number 33, what's the document date?

22 A. February 24, 2006.

23 Q. Syngenta made this disclosure under FIFRA 6(a)(2),
24 correct?

25 A. Correct.

1 Q. The only study results reported in this letter were
2 XM7480; is that correct?

3 A. That is correct, yes.

4 Q. The study itself, which referenced the two earlier
5 positive studies with similar results, was not sent to them,
6 was it?

7 A. Not in this -- in this letter, certainly.

8 Q. Do you know if the study itself has ever been sent
9 to them?

10 A. I would need to check that. I don't know for sure.

11 Q. Okay. Would it be your recommendation to include
12 the entire study?

13 A. As part of the normal process, it wouldn't
14 necessarily be the case. And sometimes studies of this sort
15 are sent to them for other purposes, but I can't comment
16 about this specific.

17 Q. In Dr. Marks' conclusions, at the "Results" section
18 of study XM7480, she says the study results:

19 "... support the findings of two previous CTL
20 studies XM7258 and XM7371 ... and demonstrate that paraquat,
21 when administered to C57BL6J mice ... would appear to be
22 capable of inducing nigral but not striatal toxicity."

23 That's what she says.

24 A. Where are you reading from?

25 Q. That would be from the document you are looking at,

1 the study --

2 A. Which page?

3 Q. 492792?

4 A. Okay.

5 Q. I will read that question over if you want it,
6 because you were looking.

7 MR. NARESH: Could you, please?

8 A. Yes, I'm there, thank you.

9 BY MR. TILLERY:

10 Q. All right. Dr. Marks concluded in the results
11 section of that study that you are looking at -- that is
12 7480 you are looking at, right?

13 A. I'm now looking at --

14 Q. I am sorry, the study number?

15 A. Yes, the study number is --

16 Q. 7480?

17 A. 7480, yes.

18 Q. She stated in the study number -- and 792 is the
19 page?

20 A. Okay.

21 Q. That the results:

22 "... support the findings of two previous CTL
23 studies XM7258 and XM7371 ... and demonstrate that paraquat,
24 when administered to C57BL6J mice ... would appear to be
25 capable of inducing nigral but not striatal toxicity."

1 Right?

2 A. That's right.

3 Q. Okay. That conclusion was not reported, was it?

4 A. It was not reported --

5 Q. To the US --

6 A. -- to the US EPA.

7 Q. Is that right?

8 A. No, it was not in its entirety. No, that's right.

9 Q. Dr. Marks concluded about 7480, that:

10 "Our data would appear to be supportive of the
11 hypothesis that a sensitive subpopulation of dopaminergic
12 neurons may exist which are vulnerable to paraquat induced
13 toxicity."

14 She said that as well?

15 A. Yes.

16 Q. Okay. But that report and that conclusion was not
17 contained within the February 24, 2006 report to the US EPA,
18 was it?

19 A. It was not.

20 Q. You did not report in this letter that paraquat was
21 neurotoxic in three separate studies, did you?

22 A. We did not in this letter, no.

23 Q. You did not report in this letter that you had
24 conducted three studies with paraquat where the loss of
25 dopaminergic neurons in the substantia nigra of the black

1 mouse was comparable to the findings reported in the
2 published literature, did you?

3 A. Indeed. Because the 6(a)(2) regulations did not
4 require us to do so, because they were the same conditions
5 and therefore the finding was not new.

6 Q. Let me ask you -- let me ask you, would that be the
7 prudent thing to do and was that the reason you recommended
8 that they do it?

9 A. The reason that I recommended that they should
10 consider including that in the letter was that it would
11 allow them to understand the totality of the program that we
12 had done, which I didn't think was something which was
13 unreasonable.

14 Q. Would you think it would be unreasonable to wait 16
15 and a half years to do that?

16 A. I can't comment on that. Certainly that was --

17 Q. You don't want to comment on that?

18 A. Well, I'm not able to comment on that, because I was
19 not involved in that decision process.

20 Q. Okay. Okay. Who was involved in that decision
21 process?

22 A. I don't know.

23 Q. Okay. You did not report to the US EPA in
24 this February 24, 2006 letter that three different studies
25 at CTL had replicated neuronal cell loss findings with

1 paraquat in the C57 black mouse, did you?

2 A. We did not.

3 Q. Who was on the US PRF committee at that time, or was
4 there one? Was the PRF committee here?

5 A. The US PRF committee, which is who would be
6 responsible for writing this letter, would have included
7 a number of people whose names I wouldn't be able to say at
8 this point in time who they exactly were.

9 Q. Would you think that would be Montague Dixon?

10 A. It may not have been Monty Dixon in 2006 but I would
11 need to check.

12 Q. Would it also have been Janice McFarland?

13 A. It could have been Janice McFarland. She was the
14 head of regulatory in North America at that time, but
15 I don't know.

16 Q. What did you send along, after the committee met
17 here and made their recommendations about these -- all of
18 these studies being reported -- did you send along any
19 specific writings or papers to the committee?

20 A. Yes. As always we completed a proforma document.

21 Q. And sent it to them?

22 A. And sent it to them.

23 Q. And made that recommendation that they report the
24 studies?

25 A. Indeed. You may well have seen that document.

1 (Exhibit 34 marked for identification)

2 BY MR. TILLERY:

3 Q. We've handed you what's been marked as plaintiff's
4 exhibit 34. I will give you some time to look it over, sir.

5 A. Okay.

6 Q. Tell me when you are ready to talk about the
7 document.

8 A. Okay.

9 Q. Okay. What is this, please?

10 A. This is the fifth in the series of reports -- of
11 studies conducted by Dr. Louise Marks. This one was looking
12 at the effects on -- in the nigrostriatal region, including
13 the substantia nigra, of a range of other compounds to the
14 same strain of mice. And these were compounds which were
15 toxic and -- were toxic compounds and they were given at
16 high doses as one line of research that was suggested in
17 that meeting that we were looking at earlier in this
18 discussion.

19 Q. Let me see if I can state this as a layperson would
20 in the best understanding I have of the study.

21 After the first couple of reports came back
22 positive, Dr. Smith suggested that perhaps it was the study
23 itself, the injection of the paraquat, that caused toxicity
24 throughout the body; that the toxicity itself could have
25 caused the results of seeing damaged or dead dopaminergic

1 neurons, and that could account for this. That could be the
2 explanation why not only Dr. Marks but the other folks who
3 were publishing this in the sort of independent
4 peer-reviewed literature, correct?

5 A. That was the hypothesis.

6 Q. And let's figure this out about by getting away from
7 paraquat, and let's use other things in a toxic injection
8 format?

9 A. That's right.

10 Q. And then if they create toxicity sufficient to
11 actually kill the animal, or up to that point or close
12 to it, then we can see if the injection of them could result
13 in the same thing; correct?

14 A. That is correct.

15 Q. Have I said that accurately and fairly?

16 A. You have indeed, yes.

17 Q. All right. What were the results?

18 A. The results were that none of these compounds
19 induced the same effect on TH positive cells, the
20 dopaminergic neurons in the substantia nigra.

21 Q. So I'm looking at her discussion section. In the
22 discussion section on 939, she says:

23 "The aim of the present study was to determine
24 whether the degree of [dopaminergic] cell loss observed
25 following administration of [paraquat] to C57 black mice

1 could be attributed to a 'general toxicity' associated with
2 dosing any compound at a high enough dose."

3 Correct?

4 A. That is correct.

5 Q. All right. And her results were that it didn't.

6 Now what did that mean for you as a scientist?

7 A. It meant that we had --

8 Q. Excuse me, I don't mean to interrupt you,
9 I apologize to you. But when I asked that, I didn't say it
10 right.

11 I meant: what did it mean to you in terms of the
12 reliability of the prior studies in terms of the toxicity
13 being the cause, if you could explain?

14 A. Well, it made it more likely that what we had seen
15 with paraquat could be genuinely due to the -- to paraquat.

16 Q. Right. Instead of just the overall toxicity to the
17 body of this mouse?

18 A. Yes, the stress -- in other words, putting it in
19 a different way, the stress that you can cause in an animal
20 if you are dosing anything at substantially high doses.

21 Q. Yes. All right. And what you "had seen with
22 paraquat", meaning the loss of dopaminergic neurons?

23 A. Yes.

24 Q. Okay.

25 (Exhibit 35 marked for identification)

1 BY MR. TILLERY:

2 Q. Please take a look at exhibit number 35.

3 After you are familiar, I am going to ask you couple
4 of questions about it. If you go specifically to page 3432?

5 This is a presentation that Dr. Marks gave, isn't
6 it?

7 A. Yes, I believe that is the case.

8 Q. All right. If you look at 3432, she's discussing
9 the study that you just commented on, isn't she?

10 A. She is.

11 Q. And she concludes, in reference to that study, that:

12 "The data would suggest that [paraquat] induced cell
13 loss in the [substantia nigra] is not likely to be
14 attributable to a 'general toxicity' associated with dosing
15 a compound at high doses rather it suggests a selective
16 effect on vulnerable dopaminergic cells within the
17 [substantia nigra]."

18 Is that right?

19 A. That's right.

20 Q. You agree with that?

21 A. That was not an unreasonable conclusion at the time.

22 Q. What is the significance scientifically of the
23 effect of paraquat being selective?

24 A. Well, if it is selective to dopaminergic cells
25 within that region, then clearly that could lead to

1 emphasizing a possible concern for Parkinson's disease.

2 Q. Right.

3 (Exhibit 36 marked for identification)

4 A. Okay.

5 BY MR. TILLERY:

6 Q. This is a presentation or a summary of
7 a presentation at a meeting in Atlanta on February 13 and
8 14, 2008; correct?

9 A. It was.

10 Q. This would have been a Syngenta meeting?

11 A. Yes.

12 Q. And it is "Paraquat & Parkinson's disease" as the
13 subject matter of the meeting?

14 A. Yes.

15 Q. Okay. And this would be an internal presentation
16 about Syngenta's research into paraquat and Parkinson's
17 disease, right?

18 A. It was. But we were -- I was aware -- I was
19 actually at this meeting, I believe.

20 Q. Okay.

21 A. And I believe also we did have people from outside
22 Syngenta in that meeting.

23 Q. Okay. This meeting was held over two years after
24 Dr. Marks had completed the paraquat mouse studies --

25 A. Yes.

1 Q. -- as you know.

2 Did Dr. Sturgess give this presentation?

3 A. I don't recall who gave this presentation, whether
4 it was one person or more than one person.

5 Q. Do you know who was in attendance?

6 A. I -- there would be a number of people, including
7 myself, Dr. Sturgess and Dr. Smith, and a number of other
8 people.

9 Q. And other of the people from Syngenta Crop
10 Protection?

11 A. I couldn't give you an exact list. I would need
12 check that.

13 Q. If you turn to 742, please, at the top of this
14 particular slide it says:

15 "Syngenta CTL Investigative Studies."

16 Right?

17 A. It does.

18 Q. The first paragraph says:

19 "In vivo studies -- replicating studies conducted in
20 the C57Bl6 mouse model with paraquat to validate the
21 literature claims."

22 Correct?

23 A. Correct.

24 Q. And that refers to the studies Dr. Marks conducted
25 with paraquat in the mouse at CTL, correct?

1 A. It does.

2 Q. Please turn to the last slide. That would be --
3 I think that is at 754.

4 A. Yes.

5 Q. Is that right?

6 A. That is correct.

7 Q. And that's a summary slide: paraquat and Parkinson's
8 disease literature findings; right?

9 A. That is correct.

10 Q. The first bullet says:

11 "Reports in the literature suggest that in a certain
12 strain of pigmented mouse (C57Bl6), multiple i.p. [again
13 that is intraperitoneal] injections of paraquat at
14 relatively high doses can result in a 30% loss of
15 dopaminergic neurones in the substantia nigra."

16 Okay?

17 A. Yes.

18 Q. And then it says:

19 "These findings have been replicated in Syngenta
20 studies."

21 Is that what it says?

22 A. Yes, it does.

23 Q. Then it says:

24 "There are also claims that the effect can be
25 observed in another rodent species ... however Syngenta

1 studies have failed to repeat this finding."

2 Okay?

3 A. Yes. And we have not talked about those studies but
4 that is true: in the Louise Marks period, we also looked in
5 the rat as well as the mouse, and we did not see such
6 a finding.

7 Q. And then the final bullet says:

8 "We should be aware that there may be NHP data with
9 paraquat emerging in the near future that may replicate the
10 findings already reported in rodent species -- potential
11 relevance to humans."

12 All right?

13 A. Yes.

14 Q. All right.

15 A. I don't know if you will allow me to just restate
16 that I believe this meeting had some external people to
17 Syngenta -- we should check the record -- but I think if
18 that is the case, it shows we were being very transparent
19 about those findings with those people.

20 Q. To follow up on that point, meaning being very
21 transparent to the public?

22 A. To other researchers engaged --

23 Q. Which would be the public?

24 A. That's right, yes.

25 Q. And transparency, to follow up on your point which

1 I allowed you to make to clarify, would mean that you wanted
2 to set the record straight and be honest and straightforward
3 with everybody?

4 A. We wanted to make sure that the scientific community
5 and, as you put it --

6 Q. And the public?

7 A. -- and the public knew that at that point in time we
8 had come to a conclusion that the findings appeared to be
9 replicable.

10 Q. Right. They were replicable?

11 A. At that time.

12 Q. At that point. And that was 2008, right?

13 A. Yes.

14 Q. Was Dr. Cory-Slechta there?

15 A. That's what I was wanting to check, exactly who the
16 attendees were. We had a number of meetings and I can't
17 remember who was present at which.

18 (Exhibit 37 marked for identification)

19 BY MR. TILLERY:

20 Q. The next document is included in such a voluminous
21 format because only it includes one or two pages that are
22 relevant --

23 A. Okay.

24 Q. -- but I didn't want to include a bunch of --
25 I didn't want to include just a page or two of the document.

1 So very little relevance to virtually all of it, but
2 I want to direct your attention to a particular section and
3 follow up to a statement that you just made on the record,
4 okay.

5 If you would go to -- and feel free to refresh
6 yourself about any of this as you wish, it just wasn't
7 contained within this document -- but what I'm going to
8 direct your attention to is 86601.

9 Please take a look at that document. Again it is
10 86601.

11 A. Okay, got it. And, sorry, that was the one that you
12 printed out separately but --

13 Q. Go ahead --

14 A. -- thank you for --

15 Q. Go ahead and read that. Does it say "Paraquat
16 Information Center" at the top?

17 A. Yes, it does.

18 Q. All right. And when you are finished, you let me
19 know, please. Okay?

20 A. Okay.

21 Q. You had just clarified the record a minute ago and
22 said that a document that I had put in the record mark and
23 dated February 8, 2008, right?

24 A. Yes.

25 Q. Was the summary of a presentation -- February 13 and

1 14th --

2 A. Yes.

3 Q. -- of 2008 was a summary of a meeting where you had
4 invited people and you had been transparent. That's what
5 you told me.

6 A. To those people, yes.

7 Q. All right. Now I want to direct your attention to
8 this exhibit, which is marked as exhibit 37, okay? And
9 I want you to tell me if this is a clip from the Paraquat
10 Information Center, and what appears to be a --

11 A. Yes.

12 Q. -- page from the internet, right?

13 A. It is. It is from, as it says at the bottom,
14 from paraquat.com, yes.

15 Q. What is paraquat.com?

16 A. It's information resource which is provided for
17 customers and for the public generally to understand more
18 about the benefits, the use -- the appropriate use -- and
19 some aspects of the safety of paraquat.

20 Q. And, actually, it tells them about farming uses,
21 explains things, gives them references to application, to
22 its effectiveness, all kinds of information?

23 A. It does.

24 Q. And it's designed for use by the consuming public?

25 A. Indeed. And especially the farmer and grower who

1 may be using it.

2 Q. The farmer and grower. The person like Freeman
3 Schmidt in this case, or Mr. Hoffmann or Mr. Mills or any of
4 these people who could -- who could, either by themselves or
5 with help, get on the internet and ask questions or do
6 research, correct?

7 A. Of course.

8 Q. Now look at this. And this is maintained by
9 Syngenta, correct?

10 A. It is certainly -- the content is certainly
11 maintained by Syngenta.

12 Q. Right. Whether it is housed by a third party, the
13 content is supplied by Syngenta?

14 A. Yes.

15 Q. And then it contains paraquat frequently asked
16 questions, doesn't it?

17 A. It does.

18 Q. And a frequently asked question is a question that
19 you would expect the people out there who buy your product
20 to perhaps want answered about that product?

21 A. Yes.

22 Q. One of those might be whether it causes me to get
23 sick?

24 A. Yes.

25 Q. Whether it causes me to get Parkinson's disease?

1 A. Yes.

2 Q. Because there had been some rumblings, right?

3 A. There had.

4 Q. All right. Let's take a look at what Syngenta had
5 on its website three weeks before that meeting that you just
6 told me they were so transparent at, okay?

7 Let's look at this. First question:

8 "Is paraquat safe to farmers and their families?"

9 Next question:

10 "What is the safety of paraquat to farmers when used
11 long-term?

12 "Has paraquat been found to cause cancer ...?"

13 "Does paraquat cause Parkinson's Disease?"

14 Do you see those?

15 A. I do.

16 Q. The answer given is:

17 "There is no scientific or reliable epidemiological
18 evidence so far to link paraquat with Parkinson's Disease.
19 Previous studies have demonstrated that paraquat does not
20 cross the blood-brain barrier easily, meaning that it does
21 not reach the specific location in the brain necessary to
22 produce Parkinson's symptoms.

23 Am I reading that correctly?

24 A. You are reading it correctly.

25 Q. I will continue:

1 "Epidemiology studies in areas of high and long-term
2 paraquat usage have shown no increase in neurotoxic
3 incidents."

4 Right?

5 A. That's what it says.

6 Q. Now, were all those statements true?

7 A. Well, you have to remember --

8 Q. I am just asking you if they are true, in 2008?

9 A. In 2008, I think that it is still broadly true
10 because we were not yet seeing the totality of evidence that
11 was sufficiently convincing to say that paraquat was a clear
12 causative agent for paraquat -- for Parkinson's disease.

13 Q. In the preceding five years, you just had test after
14 test after test showing you that this chemical gets into the
15 brain -- the substantia nigra -- of the exact location where
16 paraquat can cause damage and cause Parkinson's disease,
17 didn't you?

18 A. Let me qualify --

19 Q. If you could answer that?

20 A. I need to answer it with a qualification, if I may.

21 Q. All right.

22 A. We had shown that paraquat had got potential to get
23 into the brain. That a small amount of blood would get into
24 the -- cross the blood-brain barrier into the brain. We
25 hadn't definitely looked in the substantia nigra. We had

1 not teased out that area of brain and measured paraquat in
2 that region.

3 Q. Do you think it mattered to the average farmer
4 whether you had teased that out --

5 A. No, of course not.

6 Q. Okay. Don't you think they are really worried about
7 whether or not this stuff can make me sick generally?

8 A. Of course.

9 Q. And you think they might be concerned about whether
10 or not it is going to cause them to get Parkinson's disease?

11 A. Of course.

12 Q. Do you know what the Illinois plaintiffs said when
13 asked in their depositions whether they would have used this
14 chemical if they had known there was any chance of getting
15 Parkinson's disease? Do you know what they said?

16 A. I do not.

17 Q. Do you think that statement was transparent?

18 A. It was transparent in terms of it being a conclusion
19 that was appropriate at the time in that we did not
20 believe -- and indeed still do not believe -- that the
21 totality of evidence, not just the mouse models that we were
22 talking about earlier but also the epidemiology, has yet
23 come to a clear conclusion that paraquat is a causative
24 agent in Parkinson's disease.

25 Q. Didn't Dr. Marks conclude after repeated studies

1 that paraquat selectively targeted the substantia nigra?

2 A. She did. But that's not the same as saying that in
3 the conditions at which people would be exposed to paraquat
4 that that would pose a risk to those farmers and growers.

5 Q. But telling them that it would cause this kind of
6 dreadful disease would impact the bottom line, wouldn't it?

7 MR. NARESH: Objection to form.

8 BY MR. TILLERY:

9 Q. If you told all the farmers in America that you --
10 had brought your product that it could cause them to have
11 Parkinson's disease, what do you think that would do to your
12 sales?

13 MR. NARESH: Objection to form, scope.

14 A. Well, it absolutely --

15 BY MR. TILLERY:

16 Q. Absolutely what?

17 A. If I may say, it is a bit like me saying to you if
18 you knew if you knew that you took twice the dose of
19 acetaminophen or paracetamol that your liver may pack up,
20 then you might be scared of taking paracetamol.

21 Q. You bet I would.

22 A. Yes, and that is the truth of the matter. But we
23 don't suggest that you should stop using paracetamol.

24 Q. Isn't damage to the substantia nigra the part of the
25 brain associated with Parkinson's disease?

1 A. Yes.

2 Q. How long did this statement appear on your
3 Parkinson's -- on your paraquat website?

4 A. I am afraid I don't know the answer to that.

5 (Exhibit 38 marked for identification)

6 MR. NARESH: Steve, could we go off the record
7 and discuss this for a moment?

8 MR. TILLERY: Sure.

9 THE VIDEOGRAPHER: We are off the record at 2:34.

10 (Break taken.)

11 MR. NARESH: For the record we have discussed and
12 we are willing to let you go forward on questioning on
13 documents marked exhibit 38 -- which I assume will be
14 exhibit 39 -- which were marked 502D documents by Syngenta,
15 with all parties reserving the rights and to the extent that
16 we believe that any of the questioning calls for the
17 divulging of privileged or otherwise protected content, we
18 will object on the record.

19 MR. TILLERY: Okay. Back on the video record,
20 please.

21 THE VIDEOGRAPHER: We are back on the record as
22 of 2:57. This is now media number 4 in the deposition of
23 Philip Botham. You may continue.

24 BY MR. TILLERY:

25 Q. What is the number of the exhibit in front of you

1 now, sir?

2 A. Exhibit 38.

3 Q. Okay. Do you know what 38 is?

4 A. I know what the document is, yes.

5 Q. Yes. What is it?

6 A. It's an internal -- i.e. product safety, the
7 department that I was responsible for evaluation of --
8 essentially doing a risk assessment of the possibility that
9 the findings that we have been discussing are real, and
10 therefore we were looking to see what margins of exposure
11 and safety margins would be -- occur if we assume that those
12 findings were real.

13 Q. And when you say "findings are real", what is it,
14 more specifically, that you are referring to?

15 A. We are referring to the findings we have just been
16 discussing around the loss of dopaminergic cells in the
17 brain.

18 Q. That paraquat causes Parkinson's disease?

19 A. That paraquat causes in the mouse model a loss of
20 dopaminergic cells.

21 Q. So you are limiting your evaluation in this product
22 safety technical evaluation to the mouse model?

23 A. That's -- the calculations are based on the data
24 from the mouse model.

25 Q. Okay. What I'm trying to figure out is the scope of

1 this. You were evaluating product safety in terms of
2 a mouse model?

3 A. Yes. And it is a standard toxicological practice to
4 take things like the no effect level we were discussing
5 earlier and to do risk assessments from that.

6 Q. Okay. Do you see the names listed on this document?
7 I think, if you look at 14 --

8 A. Yes.

9 Q. -- who was the document's author?

10 A. It is the four people that you see on page 14.

11 Q. And that's Nick Sturgess, Kim Travis, Andy Cook and
12 Phil Botham?

13 A. Correct.

14 Q. You are one of those authors?

15 A. I am.

16 Q. Okay.

17 Was this kind of document prepared regularly at
18 Syngenta?

19 A. This kind of document is somewhat atypical in that
20 we were taking a very precautionary approach, as I said
21 a few minutes ago, assuming that this is an effect of real
22 concern. But the principles of calculating no effect
23 levels, doing risk assessment, is standard practice.

24 Q. Why was the document prepared?

25 A. In order for product safety, the function that I was

1 responsible for, to be fulfilling its duty of care to do
2 risk assessment.

3 Q. Was it prepared for any litigation?

4 A. It was not in any sense done for litigation --
5 purposes of future litigation.

6 Q. Okay. On the first page, it says:

7 "There is consistent evidence..."

8 Do you see that?

9 A. Yes.

10 Q. Why don't you read that paragraph into the record?

11 A. "There is consistent evidence in animal studies for
12 the loss of dopaminergic neurones in the substantia nigra of
13 male [C57Black6J] mice when dosed with paraquat (at up to
14 one third of the median lethal dose) via the i.p. route."

15 Q. And the next, if you wouldn't mind, where it starts:

16 "There is no evidence..."?

17 A. "There is no evidence to indicate that the observed
18 effect on neuronal cell loss is an artefact of the test
19 system, though this remains a possibility. Therefore it is
20 prudent to assume at this stage that the finding is real,
21 and that it is related to paraquat treatment in this strain
22 of mice."

23 Q. Okay. The next bullet reads, "In the absence..."

24 Would you read that?

25 A. "In the absence of evidence to the contrary, it is

1 assumed that this finding is potentially qualitatively
2 relevant to man for the purposes of a re-evaluation of the
3 reference dose."

4 Q. What is a reference dose?

5 A. It is a dose that is used in order to determine
6 the -- again, the margin of safety as part of risk
7 assessment.

8 Q. And the sixth bullet reads, please check me for
9 accuracy:

10 "The estimated reference dose for neuronal cell loss
11 is ... less than the current sub-chronic and chronic
12 reference doses."

13 MR. NARESH: Steve, I think you misspoke.

14 MR. TILLERY: Really?

15 MR. NARESH: I think you missed a word.

16 MR. TILLERY: Okay, let me start over.

17 Thank you, counsel.

18 BY MR. TILLERY:

19 Q. Why don't you read it, the sixth bullet, where it
20 says "The estimated reference dose"?

21 A. "The estimated reference dose for neuronal cell loss
22 is a little less than the current sub-chronic and chronic
23 reference doses, but given the uncertainties of the
24 calculation Product Safety considers the difference not to
25 be significant."

1 Q. Okay. And the sub-chronic and chronic reference
2 doses were based upon damage to the lungs as an endpoint,
3 right?

4 A. That is correct.

5 Q. Product safety calculated the reference dose based
6 on neuronal cell loss in the substantia nigra pars compacta
7 as the endpoint, and that reference dose was lower; correct?

8 A. The reference -- we took the no effect level from
9 studies -- not our studies, actually, the studies of other
10 researchers we have spoken about -- as the no effect level
11 and then the reference dose is calculated by dividing that
12 by some usual factors.

13 Q. It was a lower number, wasn't it?

14 A. Yes, yes.

15 Q. The technical evaluation gives the position of
16 Syngenta's Product Safety department in September 2009?

17 A. That's correct.

18 Q. With respect to paraquat's neurotoxic potential in
19 the substantia nigra portion of the brain?

20 A. Correct.

21 Q. When did the product safety department or product
22 safety group -- what do you refer to it as?

23 A. It doesn't really matter, product safety team is
24 fine.

25 Q. Team?

1 A. Um-hm.

2 Q. When did the product safety team first adopt this
3 position?

4 A. I believe that this was the first time that we put
5 together the information in such a way.

6 Q. Was it the position of Syngenta's product safety
7 team in 2008?

8 A. Well, I don't think we had actually -- we certainly
9 hadn't done these kind of calculations in 2008.

10 Q. Would it be different -- had you asked the same
11 group of professionals in 2008 for their answer, would they
12 have given you the same results?

13 A. It would have been the same.

14 Q. Would have been the same?

15 A. Yes.

16 Q. So the statement on the paraquat.com website
17 "paraquat does not reach" the substantia nigra pars compacta
18 is not all consistent with the position adopted in this
19 technical evaluation, is it?

20 A. It is not consistent, I would agree.

21 Q. Okay. The second paragraph on the second page,
22 starting with "A number", would you read that first full
23 sentence?

24 A. "A number of laboratories, including Syngenta CTL,
25 have observed a reduction in neuronal cell counts in

1 dopaminergic neurones in the substantia nigra pars compacta
2 brain region following paraquat administration [using]
3 a dosing regimen of 10 mg/kg paraquat once or twice a week
4 for three weeks (McCormack et al, 2002; Barlow et al, 2004;
5 Cory-Slechta et al, 2005; CTL report number XM7258 ...)"

6 Q. So the CL studies referred to here are Dr. Marks'
7 studies, right?

8 A. That is correct.

9 Q. And the third party or independent scientific
10 references are the same ones that Dr. Marks used in her
11 studies and referenced?

12 A. That -- that is correct.

13 Q. Did Syngenta share this document with the
14 United States Environmental Protection Agency?

15 A. I don't believe we did.

16 Q. Did Syngenta share this with any pesticide
17 regulatory agency in the world?

18 A. I cannot answer that. I don't know.

19 Q. Did Syngenta ever publish these conclusions and
20 share them with the public health committee?

21 A. I don't believe it did.

22 Q. Was this document restricted to internal use at
23 Syngenta?

24 A. It was largely intended to be our own internal
25 evaluation, as I said, as part of our duty of care.

1 Q. Okay. Now let's go to the next document which will
2 be 39.

3 (Exhibit 39 marked for identification)

4 BY MR. TILLERY:

5 Q. Would you please take a minute and familiarize
6 yourself with this document?

7 A. Okay.

8 Q. Could you on the record please describe or identify
9 the document?

10 A. This is an update of the document we have just been
11 talking about. So it has the same title as the previous one
12 "A consideration of the Potential Implications for Reference
13 Doses" now dated draft July 2011. So just under two years
14 after the previous version.

15 Q. Does a final document have draft on it? They have
16 "draft" for years. They just keep putting the word "draft"
17 on it. Do you know why?

18 A. Because we were using draft to recognize that we
19 were still in the middle of a research program, so new data
20 were going to continue to emerge.

21 Q. Right. But you, as of the date of the issuance of
22 the document, it was at that point in time final?

23 A. With the information that was available at that
24 time. This was our best estimation of this situation, yes.

25 Q. All right. Okay.

1 And this document wasn't produced for litigation
2 purposes, was it?

3 A. It was not, in my understanding, no.

4 Q. Okay. Were you the head of this group?

5 A. Yes. By that time I was the head of the paraquat
6 health science team and also I had a leadership position in
7 the product safety organization.

8 Q. And the authors of this were Nick Sturgess, Kim
9 Travis, Andy Cook and you, is that right?

10 A. That is correct.

11 Q. And were others in attendance, do you remember?

12 A. By "attendance", this wasn't a meeting, this was
13 a document that was generated by that team working together.

14 Q. Okay. So there was no meeting to discuss the
15 content. It was just sent back and forth to reflect the
16 2011 --

17 A. It was a combination of the four of us having
18 discussions and also looking at various drafts of this
19 document.

20 Q. As a matter of fact, you anticipated that 18 months
21 later you would have a next review, right?

22 A. That's right. Because as it said there, and as
23 I indicated a few moments ago, we were in the middle of
24 a research program and we were doing what we thought was
25 going to be a very relevant study, which is the 90 day data

1 study.

2 Q. Does this document give the position of the Syngenta
3 product safety with respect to paraquat's neurotoxic
4 potential as of July 2011?

5 A. I will qualify that if I may. It establishes as
6 I indicated a conservative position that paraquat could
7 cause the neuronal cell loss and therefore we were
8 establishing if that were the case whether we believed that
9 there were adequate margins of safety.

10 Q. Okay. The first bullet, if you read that,
11 "Executive summary."

12 A. "There is some evidence in animal studies for the
13 loss of dopaminergic neurones in substantia nigra of male
14 [C57 black 6J] mice when dosed with paraquat (at up to one
15 third of the median lethal dose) by the i.p. route."

16 Q. And the next, starting with "Recent"?

17 A. "Recent Syngenta studies have failed to consistently
18 replicate the findings reported in the literature of the
19 loss of dopaminergic neurons at doses of paraquat up to
20 10 mg/kg or at higher doses up to the maximum tolerated dose
21 (25 mg/kg). In addition, comprehensive neuropathology
22 studies have consistently indicated no evidence for neuronal
23 cell damage, cell loss or an inflammatory response following
24 paraquat exposure. It therefore remains a possibility that
25 the reported findings described in the literature on

1 neuronal cell loss are an artefact of the test system. It
2 is however prudent to assume at this stage that the reported
3 finding of neuronal cell loss is real, and that it is
4 related to paraquat treatment in this strain of mouse."

5 Q. And the recent Syngenta studies referred to in that
6 paragraph that you just read were experiments that would
7 later be published as the Breckenridge et al, 2013 study;
8 correct?

9 A. That is correct.

10 Q. What does "artefact of the test system" mean in this
11 context?

12 A. Rather like some of the discussions we were having
13 earlier today, that there may have been other explanations
14 that could have explained why there was an apparent loss of
15 dopaminergic neurons. Technical -- technical reasons, in
16 other words.

17 Q. The last sentence concludes:

18 "It is however prudent to assume at this stage that
19 the reported finding of neuronal cell loss is real, and that
20 it is related to paraquat treatment in this strain of
21 mouse."

22 Correct?

23 A. Yes.

24 Q. The next bullet reads:

25 "In the absence of evidence to the contrary, it is

1 assumed that this finding is potentially qualitatively
2 relevant to man for the purposes of a re-evaluation of the
3 reference dose."

4 Correct?

5 Yes.

6 Q. On page 4, if you look at that, in the product
7 safety evaluation, is 44.0004?

8 A. I have that.

9 Q. Okay. It begins:

10 "The most consistent finding from the body of animal
11 studies reported in the literature is the loss of
12 dopaminergic neurons in the substantia nigra pars compacta
13 of male C57Bl6J mice, when compared to control animals."

14 Correct?

15 A. Correct.

16 Q. Did Syngenta share this document with the
17 United States Environmental Protection Agency?

18 A. I don't know, but I don't believe so.

19 Q. Did it share this document with any pesticide
20 regulatory agency?

21 A. I am not aware that it did.

22 Q. With the public health community?

23 A. I am not aware that it did.

24 Q. Was it restricted to internal use?

25 A. That is my understanding of this document.

1 Q. I'm going to go backwards. How does one arrive at
2 the conclusion that an effect noted by a study result is an
3 artefact of the test system?

4 A. How would one conclude that it was an artefact --

5 Q. How would you, as a scientist, conclude that?

6 A. By finding a technical explanation that it was due
7 to some way in which the effect was measured, for example.

8 Q. Have you ever done that?

9 A. We have done a lot of work to do -- to check that
10 out, yes.

11 Q. What was the artefact of the test system that you
12 found?

13 A. We -- I'm not saying that we found an artefact as
14 such. What we found was that what the -- the results that
15 you got when you measured the number of neurons in that part
16 of the brain was very critically dependent on a number of
17 factors. Not just the stereology machinery we were talking
18 about this morning, but also the way in which you prepared
19 the material, the brain, how you cut it, how you stained it,
20 how -- whether you had -- were reading it blind to
21 treatment. So a number of factors seemed to be at play
22 here.

23 Q. Well, did you find that any of the preparation had
24 been done incorrectly in any of the studies of the public
25 health community?

1 A. It's -- again, I wouldn't quite put it
2 "incorrectly". As we said this morning, this was -- and is
3 still -- a relatively new technique. Not that many people
4 used it. And I think the community as a whole, not just us,
5 was still learning that you could get different results
6 simply as an example that I was showing there how thick the
7 sections were that you put under the microscope.

8 Q. Right. But you did take note as the group, didn't
9 you, that Dr. Marks' results were consistently virtually
10 identical to laboratories doing the same test in different
11 parts of the world; right?

12 A. Of course, yes. Yes.

13 Q. Okay. And presumably doing it slightly differently,
14 following the same test protocol but different people,
15 different -- perhaps different protocols for the test, but
16 arriving at the same results. Did you take that into
17 account?

18 A. We took that into account. But I think as this also
19 said, we were, by this time as reported in Breckenridge et
20 al, given advice by again independent pathologists that you
21 needed to look in addition to just measuring the TH positive
22 cells, at other histopathological or pathological
23 measurements that you should see if those cells were
24 genuinely dying.

25 Q. What is a TH positive cell?

1 A. That is a dopaminergic cell for the purposes of the
2 discussion here, because dopaminergic cells express on their
3 cell surface an enzyme tyrosine hydroxylase, and that's
4 actually what you see when you stain -- it is a marker for
5 tyrosine hydroxylase.

6 Q. What is the purpose of that enzyme?

7 A. That is involved in the utilization of dopamine.

8 Q. That is involved in using it, creating it, isn't it?

9 A. Yes, yes.

10 Q. That's what you need for that cell to be able to
11 help you?

12 A. Yes.

13 Q. Without it, it may be there -- may or may not be
14 showing up as dead or alive or one way or another, but
15 without it, it is obviously not able to help you --

16 A. If it is nonfunctioning.

17 Q. If it is nonfunctioning?

18 A. And there is a difference between not being able to
19 see it with the markers we were using and it necessarily
20 being nonfunctioning.

21 Q. You mentioned cut as well. Did you see any evidence
22 of a problem with the cut in Dr. Marks' studies?

23 A. We didn't obviously go back and look at Dr. Marks'
24 studies because the tissue was then too old to do that.

25 Q. You never evaluated hers and thought that they were

1 done incorrectly?

2 A. We were not able to do that.

3 Q. Okay. And you didn't find her prep was wrong?

4 A. We were not able to do that.

5 Q. You did not find that her staining method was wrong?

6 A. We are not saying that anything was wrong.

7 Q. Or that anything else she did was wrong?

8 A. We are not saying that anything she did was wrong.

9 Q. What about McCormack or Di Monte, or any of these
10 other people?

11 A. I would say we would never have said that what they
12 did was wrong.

13 Q. Maybe that's word is too harsh. Okay, that they
14 used an incorrect technique: did you ever find that anything
15 done scientifically by way of technique achieved an
16 unreliable result?

17 A. I think the issue is that we know that the
18 particular -- particularly the stereology technique is
19 subject to the variability that we were able to see when we
20 started to look at various things like the thickness of the
21 tissues, how you looked down the microscope, at what depth.
22 There were lots of technical detail there which started to
23 uncover variability which maybe other researchers apart from
24 ourselves were not aware of.

25 Q. That's what I'm trying to find out. What are those

1 that you found to be an explanation for why these consistent
2 independent researchers and independent laboratories
3 published in peer-reviewed journals and Dr. Marks reached
4 virtually identical results showing that the
5 substantia nigra was being impacted by paraquat? What did
6 you find that could explain the consistent results that they
7 got?

8 A. I would say the right thing to say is that we didn't
9 find a specific issue which said "yes, it's that which must
10 be responsible for the difference in the results".

11 What I'm saying to you is that the large body of
12 evidence that we accumulated with other external
13 pathologists gave us some possible explanations which I have
14 just been explaining to you, which could cause variability
15 in results.

16 Q. Is that the extent of your answer as far as deep as
17 it can go and detail?

18 A. I think that's probably adequate for now.

19 Q. That makes me nervous. Okay, I am trying to find
20 out if you have any specifics to answer my question.

21 A. Well, I can repeat what I said earlier.

22 Q. No, that's not necessary. You don't need to do
23 that.

24 A. Okay, fine.

25 Q. I'm trying to find did you -- was there one of these

1 "aha" moments when you looked at it and said you have got
2 the answer. Did you do that?

3 MR. NARESH: I object to the form of the
4 question. I think if you would like him to answer the
5 question, he was offering to give you more detail.

6 MR. TILLERY: He was offering to repeat what he
7 said before.

8 A. Let me say that one of the number of factors that
9 I said before, one thing which came closest to being
10 a critical factor -- not necessarily the critical factor but
11 closest to it -- was actually for the pathologist to be
12 blinded to treatment; to not know that he or she was looking
13 at control animals not dosed with paraquat versus those that
14 had been treated.

15 BY MR. TILLERY:

16 Q. And that would be the one thing you would point to?

17 A. No, that's why I said that very carefully. I said
18 that that was the closest thing that we came to which could
19 be the biggest factor. But we did not finally conclude that
20 any of these factors was the sole definite reason for that.

21 Q. Of all of them that you can think of, would you
22 think not being blinded would be the most telling
23 explanation?

24 A. Well, when we looked at what it said in the
25 publications of other people -- and actually what Dr. Marks

1 herself said -- then it seemed to be that some of those
2 studies were certainly not read blinded to treatment.
3 Either it said they weren't, or the matter was silent.

4 Q. Okay.

5 A. So that's why we wondered if that, indeed, may be an
6 important factor.

7 Q. And you are referring to the Minnema study, is that
8 what you are --

9 A. Yes, subsequently we have made that point in other
10 papers.

11 Q. And that's a study that you rely on, too, isn't it?

12 A. It is, yes.

13 Q. Okay. And that was one where a number -- the report
14 was that a large number of the results were -- were not
15 blinded?

16 A. Yes, yes.

17 Q. And that was a significant finding for you?

18 A. I think it was certainly an important finding,
19 I agree, yes.

20 Q. Okay. But you never identify the flaw in the
21 paraquat mouse model itself which could lead you to conclude
22 that the neurotoxic effects are an artefact of the test
23 system, right? That's right. Although if I may just speak
24 from a toxicological principles perspective, again if the
25 effect was real one would anticipate that had you dosed at

1 higher dose levels -- which we did do, we went up to 25
2 milligrams per kilogram, as high as we could possibly go
3 without killing a lot of the animals -- that you would see
4 that effect. It is another factor which says "if this is
5 really real, you would perhaps see it in a more pronounced
6 form" and we didn't.

7 Q. You mentioned you got advice from independent
8 pathologists. Are you saying that the pathologists you took
9 advice from were not in any way compensated by Syngenta?

10 A. No, I am not saying that.

11 Q. When you use the word "independent" do you include
12 people that you pay?

13 A. I do. Because although we paid them, at no time
14 were we sitting in their laboratory or sitting alongside
15 them when they were doing their microscope readings or
16 changing their reports.

17 Q. You are saying independent means that they are not
18 in your employ?

19 A. Indeed, and working independently from our
20 scientists.

21 Q. Okay. Are you aware that other people refer to
22 independents as people who do not have a pecuniary
23 relationship with Syngenta, are you aware of that?

24 A. Of course.

25 Q. Okay. And other independent people might be people

1 who don't have a financial interest to where the results
2 could be impacted one way or another.

3 You understand that?

4 A. Of course, yes.

5 Q. That's why in peer-reviewed journal articles if any
6 part of your paying -- compensation, honorariums, anything
7 that is paid for by the company that is making the
8 product -- you have to report it?

9 A. Indeed.

10 Q. Why is that, do you think?

11 A. So that we are being transparent.

12 Q. Don't you think it might be that those editors of
13 those peer-reviewed journals want to make sure that the
14 people don't have another motive for what they found in
15 their science?

16 A. Of course.

17 Q. Okay. That's what I am thinking.

18 A. Yes.

19 Q. So when we use the word "independent", your
20 definition is that they are not sitting in your laboratory;
21 my definition is that they are not paid by you?

22 A. Okay. That's fine.

23 Q. All right. Let's go to the next one.

24 (Exhibit 40 marked for identification)

25 BY MR. TILLERY:

1 Q. Can you identify and describe plaintiff's
2 exhibit 40?

3 A. Just give me a minute or two to check, please.

4 Q. Of course, of course.

5 A. Okay.

6 Q. What is the document?

7 A. It is a PowerPoint presentation.

8 Q. And it is dated March 2, 2016?

9 A. That's correct.

10 Q. And it was done in Brazil?

11 A. That is correct.

12 Q. Okay. And did Syngenta sell paraquat in Brazil?

13 A. Yes.

14 Q. Okay. This is a presentation Charles Breckenridge
15 made to a expert panel at the Brazil pesticide regulator
16 ANVISA when the agency was considering banning paraquat, is
17 that right?

18 A. That is correct.

19 Q. And the topic here or the title is: "Does the animal
20 or human element support a causal relationship between
21 Paraquat use and Parkinsonism."

22 Correct?

23 A. Yes.

24 Q. You were asked to address that topic there, weren't
25 you?

1 A. Yes, because the agency had asked a number of
2 questions.

3 Q. And the agency was concerned about that connection?

4 A. They were.

5 Q. And they wanted you to come there and answer
6 questions about it, right?

7 A. That is correct.

8 Q. So if turn to page 18, the top of it is "ANVISA's
9 Question on PQ as a Model for Parkinson's Disease". If you
10 can find that page, my number is cut off.

11 A. Can you just --

12 Q. What is your page reference at the bottom?

13 A. Did you say page 18?

14 Q. I think so.

15 A. That is 116230.

16 Q. Thank you. It is actually page 14 of the
17 PowerPoint, isn't it? It looks like. But if you look at
18 the Bates number, I think it is 6223.

19 A. Yes, I am on that page.

20 Q. All right. And it's at the top of that says:
21 "ANVISA's Question on PQ as a Model for Parkinson's
22 Disease."

23 Do you see that?

24 A. I do.

25 Q. Two questions are presented and they both ask about

1 animal studies investigating the relationship between
2 paraquat and Parkinson's disease, right?

3 A. Yes.

4 Q. And Syngenta's response is that animal studies
5 should produce a constellation of neurochemical,
6 neuropathological and motor symptoms observed in human cases
7 of PD or Parkinsonism; right?

8 A. Yes.

9 Q. And one of the neuropathological symptoms listed is
10 loss and neurodegeneration of dopaminergic neurons?

11 A. Yes.

12 Q. Now the last bullet says:

13 "Paraquat had no effect on these parameters in our
14 experiments after either [intra-peritoneal] ..."

15 And that references Breckenridge et al:

16 "... or oral administration at maximum tolerated
17 doses, (Minnema et al 2014)."

18 A. Yes.

19 Q. Did you inform ANVISA -- the expert panel of
20 ANVISA -- that Syngenta had observed the loss of
21 dopaminergic neurons in paraquat-treated mice in previous
22 studies?

23 A. I'm not sure whether that came up in the discussion
24 because I was not actually present in the meeting.

25 Q. Who did that presentation?

1 A. Dr. Breckenridge.

2 Q. It looks like, if he didn't, he never told them
3 anything about Dr. Marks' studies, did he?

4 A. It is a possibility.

5 Q. Look at this document and show me where he --

6 A. No, there is nothing on the slide, I agree.

7 Q. There is nothing there that he said one single word
8 about it.

9 Would you agree with me that making that statement
10 without producing those studies and telling those people
11 about it was absolutely a misrepresentation?

12 MR. NARESH: Objection form, foundation.

13 A. I think that there's a reasonable argument that we
14 could have actually included the word "consistent" in that.
15 Then that would have included the reality of what we found,
16 no consistent effect.

17 BY MR. TILLERY:

18 Q. Were you involved in drafting this presentation?

19 A. Actually, yes, I was. And it's a point I think
20 with -- sometimes these things happen with the benefit of
21 hindsight, it is probably something that we should have
22 done.

23 Q. Would you, as a scientist, tell me right now that
24 you should have put it in there, shouldn't you?

25 A. I think that's not unreasonable. But it doesn't

1 take away the overall conclusion that we were presenting.

2 Q. Right. You were trying to keep it from getting
3 banned, weren't you?

4 A. No. I would object to that. At no point did we
5 have a conversation where we said we would deliberately take
6 that information out.

7 Q. Was it banned in Brazil?

8 A. No.

9 Q. Okay. Do they know today about those studies? Have
10 you told them?

11 A. I don't know whether any further communication on
12 those studies has been made.

13 Q. Are you still selling the product in Brazil?

14 A. We are.

15 Q. Okay. What do you think the reaction will be when
16 this case comes out, all of the information comes out, that
17 you didn't tell them?

18 MR. NARESH: Objection to form, scope.

19 A. I would still maintain that what we were presenting
20 here -- as one often does in science -- was an overall
21 weight of the evidence.

22 BY MR. TILLERY:

23 Q. Okay. So the weight of evidence you presented
24 happened to be in favor of continuing to sell it; the weight
25 of the evidence you omitted would be against continuing to

1 sell it, right?

2 A. Well, weight is weight, sir. The fact that we were
3 finding effects in some of the studies, as were other
4 people, was, in the context of this larger amount of
5 information, a smaller proportion of that weight of
6 evidence.

7 Q. You knew when you drafted this -- or helped draft
8 it -- that you were omitting Louise Marks' words, right?

9 A. I think if you were inferring that we made
10 a deliberate decision to do that then --

11 Q. No, excuse me. I move to strike that answer as
12 unresponsive.

13 A. That is fine.

14 Q. Did you know, when you drafted this, did you happen
15 to remember about Louise Marks' words?

16 MR. NARESH: Objection to form.

17 A. I think at the time these were drafted we were not
18 considering the Marks studies in our weight of evidence
19 thinking.

20 BY MR. TILLERY:

21 Q. Because they didn't reach the same conclusion, did
22 they?

23 A. At the time they were suggesting otherwise, yes.

24 Q. So you left them out?

25 A. I say again, I'm not aware that I or anybody else

1 deliberately left them out.

2 Q. Did you just forget about them? Did you happen to
3 forget about multiple studies of consistent results
4 parallelling virtually identically the results of
5 independent researchers in published literature, did you
6 just forget about them?

7 A. We were aware that ANVISA, because they had told us
8 that, were well aware that there was published literature
9 showing that there was an effect in this mouse model. So
10 they were not blind to the fact that there was another part
11 of the weight of evidence that would suggest that that
12 should be taken into consideration.

13 Q. So you are thinking they knew about McCormack, they
14 knew about Di Monte's results?

15 A. I believe they had already told us about that in
16 some of the responses they gave us.

17 Q. But they didn't know about the Marks studies, did
18 they?

19 A. I imagine that they didn't.

20 Q. And you have never told them to this day, have you?

21 A. I don't know. I would have to check that. I don't
22 believe so.

23 Q. All right.

24 Has paraquat been phased out in Brazil?

25 MR. NARESH: Objection, scope.

1 A. Not so far as I'm aware.

2 BY MR. TILLERY:

3 Q. If you look at the same page where you were under
4 the questions that were asked by the regulatory authorities
5 in Brazil?

6 A. Mm-hm.

7 Q. If you would like under "Response", the first
8 bullet. Read that into the record, and that response is to
9 which question?

10 A. Well, there are two questions above:

11 "Why could the results obtained in a study using PQ
12 as a model for the induction of [Parkinson's disease] not be
13 enough to consider such a substance as a potential causer of
14 [Parkinson's disease] or Parkinsonism in humans?"

15 Q. So your response, the first bullet, responds to all
16 of that?

17 A. Well, there is a second question which is:

18 "What would be necessary to conclude that the animal
19 model studies show evidence of [Parkinson's disease] or
20 Parkinsonism resulting from human exposure to [paraquat]?"

21 Q. And your first bullet, would you read that?

22 A. "Only human epidemiological evidence can be used to
23 conclude that a causal relationship exists between
24 Parkinsonism in humans and paraquat exposure."

25 Q. Is that Syngenta's position today?

1 A. Causal means that you've got direct evidence in
2 humans that there is a direct relationship between exposure
3 to paraquat and the development of Parkinson's.

4 Q. What I'm asking you is, is the Syngenta position
5 consistent with that today, that statement?

6 A. I would say that today we would still say that human
7 epidemiological information would carry more weight in that
8 weight of evidence that I was describing --

9 Q. That is not --

10 A. -- but animal model data are still part of that.

11 Q. Well, then your answer to them was wrong, wasn't it?

12 A. No, because the important word there is "causal" --

13 Q. No, the important word is the first one. Do you see
14 that?

15 MR. NARESH: Steve, stop interrupting him.

16 BY MR. TILLERY:

17 Q. What does the sentence say? "Only", that's what I'm
18 asking.

19 MR. NARESH: Just please let him finish his
20 answers to your questions --

21 MR. TILLERY: He's not answering it.

22 BY MR. TILLERY:

23 Q. Just answer my question --

24 A. Yes.

25 Q. Is that statement --

1 MR. NARESH: Why don't you withdraw your prior --
2 BY MR. TILLERY:

3 Q. I will withdraw it. Let me ask you, here's what it
4 says:

5 "Only human epidemiological evidence can be used to
6 conclude that a causal relationship exists between
7 Parkinsonism in humans and paraquat exposure."

8 Is that what it says?

9 A. That's what it says.

10 Q. Is that Syngenta's position today?

11 A. It is Syngenta's position that human epidemiological
12 evidence is the only evidence, if you like, that can
13 definitively lead to a conclusion about causality.

14 Q. Okay.

15 Would you go to the next page, sir, which is 116224?
16 There's "Questions #3 and 4", do you see that, at the top?

17 A. Yes.

18 Q. And then down at the middle of the page, it says
19 number 2:

20 "Establish that the results are reproducible."

21 A. Yes.

22 Q. Okay. And you said:

23 "The results from such studies must be robust and
24 reproducible when investigators are blinded to treatment
25 using confirmatory independent assessments both within and

1 between labs/research groups ..."

2 Okay?

3 A. Right.

4 Q. And then you say:

5 "In our experiments we have not been able to
6 reproduce the results reported by others."

7 A. Yes.

8 Q. That's an absolute misrepresentation, isn't it?

9 A. Well, in the context of the conversation we have
10 been having then clearly that, as we said before, did not
11 fully include the, um, the earlier findings of our lab, yes.

12 Q. You agree with me?

13 A. Yes. As I said before, yes. With the benefit of
14 hindsight, we might have put an additional clause in that to
15 qualify.

16 Q. Namely all of the tests --

17 A. "Consistently" or --

18 Q. All of the studies that Dr. Marks did which verified
19 the results of the independent researchers, correct?

20 A. That's something which we could have taken more
21 consideration of, yes.

22 Q. And put it in there and told the truth, right, okay?

23 MR. NARESH: Objection to form.

24 A. I still believe that the truth is based on that
25 overall weight of evidence, but that's, I think, a point we

1 have covered before.

2 BY MR. TILLERY:

3 Q. Did you ever tell ANVISA that Dr. Marks' studies
4 provided evidence that dopamine were lost -- or had lost
5 dopamine function?

6 A. As I said earlier, I'm not aware that we did that.

7 Q. Did you ever disclose to the ANVISA panel in Brazil
8 that Syngenta CTL had replicated the loss of dopaminergic
9 neurons in the paraquat treated mouse that Di Monte's group
10 had observed?

11 A. I am not aware that we did that.

12 Q. Did Syngenta disclose to ANVISA that it had observed
13 loss of dopaminergic neurons at lower doses in the Marks
14 studies?

15 A. Lower doses than?

16 Q. Than the NOEL of 10.2 milligrams per kilogram per
17 day that you had identified?

18 A. On which study -- which study are you referring to?

19 Q. What is a NOEL again, sir?

20 A. A no effect level.

21 Q. Okay. Do you reference that here?

22 A. The "no effect level" is in the notes, 10.2
23 milligrams per kilogram.

24 Q. Per day?

25 A. Per day.

1 Q. And did you tell them that it had observed a loss of
2 dopaminergic neurons at lower doses in the Marks studies?

3 A. That isn't lower doses than the Marks studies.

4 Q. One of them had 10.0, do you know that?

5 A. Well, that's very marginal, I think, yes. Yes, yes.
6 I think with the -- the analytical and other factors
7 involved, 10 and 10.2 are essentially the same.

8 Q. So they are the same?

9 A. Yes.

10 Q. Okay.

11 Syngenta did not disclose to the Brazilian
12 regulatory authorities that it had estimated a reference
13 dose for paraquat based upon the loss of dopaminergic
14 neurons in the substantia nigra, did it?

15 A. It did not talk about the work we were talking about
16 here earlier.

17 Q. Okay.

18 Syngenta also made a number of presentations to
19 update the United States EPA regarding its paraquat mice
20 research, didn't it?

21 A. I believe it did, yes.

22 MR. NARESH: I will object to the scope of this
23 line of questioning.

24 I think there is no (inaudible) about the
25 interaction with the EPA. You can ask him in his personal

1 capacity --

2 BY MR. TILLERY:

3 Q. Okay. In your personal capacity is fine.

4 Did Syngenta ever disclose the full paraquat mouse
5 research program conducted by Louise Marks in those updates
6 to the US EPA?

7 A. I can't answer that question. I was not involved in
8 those presentations.

9 Q. Okay. Did Syngenta ever disclose in those updates
10 to the US EPA that CTL, the laboratory, had replicated the
11 loss of dopaminergic neurons the substantia nigra seen in
12 published literature?

13 A. Again, I was not involved in those presentations so
14 I don't know the answer to that question.

15 Q. Did Syngenta ever represent to the US EPA that they
16 could not replicate the loss of dopaminergic neurons seen in
17 the published literature?

18 A. So we were not able to replicate?

19 Q. Yes.

20 A. Yes, that --

21 Q. Did you tell them that?

22 A. That was the nature of the research that we've just
23 been talking about.

24 Q. Would that statement have been false?

25 A. Well, it depends on the context in which it was

1 said.

2 Q. Well, in the context of having known that
3 Louise Marks did these studies that replicated the prior
4 results?

5 A. Yes. But then, as we have said at some length, when
6 these presentations were made we had done a lot more
7 detailed research which had failed to replicate the
8 findings.

9 Q. So at no time did you ever tell them about
10 Louise Marks --

11 A. That I would need to check. Because, as I say,
12 I have not been involved in all those communications.

13 Q. Wouldn't transparency have required you to disclose
14 the Marks studies and allow the agency to decide their
15 significance or relevance?

16 A. One thing of course we can say, because we mentioned
17 it earlier, is that one of those Marks studies was submitted
18 to the EPA.

19 Q. Yes. But wouldn't transparency -- and when in case
20 of doubt doing the right thing, in terms of being fully
21 transparent, as you said it's your desire to do -- have
22 pushed you to the direction of full disclosure?

23 A. I -- as we said with Brazil, it is possible that
24 with the benefit of hindsight, you could make that judgment.
25 It would still -- and I would repeat -- not change our

1 conclusion that the overall weight of the evidence suggests
2 that their -- that paraquat does not have a reproducible
3 effect on the substantia nigra.

4 Q. That was not my question. I will move to strike it
5 as unresponsive.

6 My question is: would you agree that in the
7 interests of full compliance in case of doubt, that it would
8 be best to err in terms of being fully transparent with the
9 agency, the regulatory agencies, responsible for guarding
10 the public's interest; would you agree with that?

11 A. Yes, but they were aware of the Marks study that we
12 talked about earlier through the 6(a)(2) process.

13 Q. I'm talking about all of the studies. Would you
14 agree it would be best to err towards inclusion and to make
15 them aware of all the findings?

16 A. That is -- I have said several times that
17 a different judgment could be made which would incorporate
18 those findings as well.

19 Q. And you actually made that judgment and recommended
20 that course, didn't you?

21 A. With regard to reporting under 6(a)(2), I said it
22 might be something that was considered, yes.

23 (Exhibit 41 marked for identification)

24 BY MR. TILLERY:

25 Q. Please read this email exchange including

1 Dr. Louise Marks in her last week of employment.

2 A. So you want me to read everything right from the
3 first email?

4 Q. No, I just want you to read it yourself.

5 A. Okay, that's fine.

6 Okay.

7 Q. She issued her study reports on June 21, 2007,
8 didn't she?

9 A. That's the date we saw earlier.

10 Q. This email exchange is 2007, June 22nd, the
11 following day. Right?

12 A. Mm-hm.

13 Q. And in her first email, she says:

14 "From 30th June my contact email will be ..."

15 And she points out that that's her last week, okay?

16 A. Yes.

17 Q. And then she sends this to Barry Elliott. Who is
18 that?

19 A. Yes. Barry Elliott by that time had taken over the
20 role that Mike Clapp had, if you remember Dr. Clapp earlier?
21 So he was the product toxicologist for paraquat.

22 Q. What did she send in that email?

23 A. She sent details of the studies that we were
24 discussing earlier and that they -- the studies had been
25 issued, as we saw earlier, and that in accordance with

1 proper practice the raw material, the data and the slides
2 had been archived.

3 Q. And she sent along XM7229, XM7258, XM7371, XM7480,
4 XM7552, XM7570, XR7641; correct?

5 A. That's correct.

6 Q. All of the study materials she sends along?

7 A. Yes.

8 Q. And then this information is sent on by Barry
9 Elliott to a person named Sheldon Ros, right?

10 A. Mm-hm.

11 Q. And he says:

12 "Can you check this SAMSON part please."

13 What is a SAMSON part?

14 A. SAMSON is essentially -- or was at that time -- our
15 document management system.

16 Q. And he says to Mr. Sheldon Ros --

17 A. It is Ros Sheldon. It is a lady.

18 Q. Okay. So says to Ros Sheldon:

19 "We must control the accessibility of them to that
20 usual for any such investigate reports."

21 Right?

22 A. Yes.

23 Q. And Ros Sheldon says:

24 "They are all entered as Research reports not for
25 submission."

1 A. Yes.

2 Q. What does "submission" mean?

3 A. Submission to a regulatory authority.

4 Q. That means they are not to be submitted to the
5 regulatory authority. That's where they are filed?

6 A. And this, let me say, is absolutely normal practice
7 and was nothing specific to these reports.

8 Q. Okay. All right, got it.

9 But just coincidentally, they didn't get submitted
10 to regulatory authorities, did they?

11 A. They did not, I believe.

12 MR. TILLERY: Thank you. We will take a break
13 right now.

14 THE VIDEOGRAPHER: We are going off the record at
15 3:53.

16 (Break taken.)

17 THE VIDEOGRAPHER: We are back on the record as
18 of 4:08. You may continue.

19 BY MR. TILLERY:

20 Q. Earlier in the day I told you that I would come back
21 to the topic of potentially referable findings, remember
22 that?

23 A. Yes.

24 Q. Let's go back to the history of that group. When
25 was the first time that Syngenta -- and by that I mean the

1 definition we agreed to yesterday at the beginning of the
2 deposition, to include all of the entities -- when was
3 a potentially referable finding committee first created in
4 the Syngenta organization or by its corporate predecessors?

5 A. Well, again, remembering that we have to make the
6 distinction between the US PRF committee which is the one
7 that is accountable for fulfilling the obligations of the
8 law, I can't speak directly as to when that committee was
9 formed because I was never part of it. But we certainly set
10 up the -- the approach committee within our function at the
11 time that was required in order for us to start complying.
12 I can't give you a date for that either off the top of my
13 head.

14 Q. I didn't understand what you said, approach
15 committee?

16 A. You remember I said this morning that the product
17 safety organization as we now call it met to consider if
18 findings were truly adverse as defined by the legislation,
19 and then sent our recommendations to the US committee.

20 Q. So the product safety committee?

21 A. That's the product safety -- what we call the PRF
22 approach committee.

23 Q. Okay, the PRF approach committee?

24 A. Yes.

25 Q. And that's the group that you discussed about your

1 2009 and 2011 documents discussing the impact paraquat would
2 have?

3 A. No, that's different. No, we are talking about when
4 studies are conducted, and we get the results and we have
5 interpreted them, whether the -- they would meet the
6 criteria of, or could potentially meet the criteria, of
7 6(a)(2).

8 Q. Okay. I want to start over if we can. I want to
9 try to understand every single one of these different
10 groups, subgroups, that impact paraquat.

11 A. Right.

12 Q. And I want to talk about them and then their
13 interrelationship with America, okay?

14 A. Okay.

15 Q. So let's start over, if we can?

16 A. Sure.

17 Q. And let's go through each one of them. You have
18 a Paraquat Parkinson's group, what is that called?

19 A. So we have since 2008 --

20 Q. Okay, in 2008 --

21 A. Yes, we created the paraquat health science team.

22 Q. And you certainly by that don't mean the health of
23 paraquat, do you?

24 A. No. No, we don't.

25 Q. Okay. So the paraquat health science team?

1 A. Yes.

2 Q. Let's get them all down first --

3 A. Okay.

4 Q. -- and then let's come back and see how they
5 inter-relate.

6 A. Right.

7 Q. And then what's next?

8 A. Well, maybe the closest related to that, which we
9 talked about yesterday, is the paraquat issues leadership
10 team.

11 Q. The paraquat issues leadership team.

12 A. Correct. And that was responsible for overall
13 governance. Remember we discussed who authorizes studies,
14 et cetera.

15 Q. Okay. When was that started?

16 A. I am not -- I can't give a date, precise date to
17 that. As I say, 2008 for the health sciences team. I can't
18 remember when the PILT -- this is the PILT -- when that was
19 created.

20 Q. Before or after the paraquat health science team?

21 A. I think it would be around about the same time,
22 actually, yes.

23 Q. Okay.

24 A. Right. So they -- they were tasked with our
25 research program on paraquat, particularly the health

1 sciences team, with some governance from the PILT.

2 Q. Okay.

3 A. Right. Now if you then look at the product safety
4 function, which is the organization that I for a time was
5 leading, a separate committee to what we have just been
6 talking about because it dealt with any potentially -- any
7 findings from studies on any compounds that we were testing
8 or any information that we were getting from the outside
9 world, the PRF approach committee --

10 Q. PRS approach --

11 A. PRF, potentially referable findings, approach
12 committee was set up within what we now call product safety
13 in order to discuss whether the findings might meet the
14 criteria for a 6(a)(2) as defined in that regulation we were
15 reading out this morning.

16 And they would send on their recommendations or
17 their -- the outcome of their discussion to the US PRF
18 committee. So a separate committee -- this is coming to
19 your point -- was the United States PRF committee, and it
20 was that committee which had the accountability to make the
21 final determination of what was submitted to the US EPA.

22 Q. And so it is potentially referable --

23 A. Findings, yes.

24 Q. -- referable findings committee?

25 A. Yes.

1 Q. Now, so we have identified four different
2 committees?

3 A. Yes.

4 Q. Are they the only ones that could have anything to
5 do with paraquat?

6 A. Well, if we go back further in history, there were
7 other bodies --

8 Q. I will get to those. But right now, those are in
9 existence?

10 A. Right now, those are indeed all in existence still.

11 Q. How many PRF committees are there in the entire
12 umbrella of Syngenta?

13 A. Well, there are other PRF committees in other
14 regions. I believe, for example, although I don't have any
15 direct contact, that there may still be a European PRF
16 committee that has a similar task to the US to comply with
17 the appropriate legislation in the EU.

18 Q. Well, is there one or not?

19 A. I don't know, because I no longer have any
20 interactions in that area. There certainly was for a --
21 a period -- significant period, when I was directly involved
22 with the PRF process. I no longer am directly involved with
23 the PRF process.

24 Q. And they would then make potentially referable
25 finding conclusions about notifying regulatory authorities

1 in different countries?

2 A. Correct.

3 Q. And that might include, of course, the fact that as
4 Syngenta sells paraquat in multiple different countries --

5 A. Yes.

6 Q. -- it may include reporting or making reports in
7 those countries --

8 A. Yes.

9 Q. -- about paraquat?

10 A. Yes, yes.

11 Q. Okay. In how many countries is paraquat sold by
12 Syngenta?

13 A. I don't have that figure in my head.

14 MR. NARESH: Object to the scope on that.

15 MR. TILLERY: Actually, it is one of his topics.

16 MR. NARESH: Which topic?

17 MR. TILLERY: I don't have the topic list. It is
18 right there in front, in your stack of papers.

19 BY MR. TILLERY:

20 Q. Does the Syngenta executive committee have anything
21 to do with this?

22 A. With what, sir?

23 Q. With any oversight of paraquat?

24 A. Well, in the sense that it has oversight of the way
25 in which paraquat is used and sold and marketed, of course,

1 as one of the key products in the company.

2 Q. And as a result of that, the SEC that you identified
3 this morning and described in terms of their authority,
4 would be put on notice and -- about items that might have
5 some bearing on the sales of the product?

6 A. They would, yes.

7 Q. Okay. And research concerning the product?

8 A. Any significant research they would potentially be
9 informed about, yes.

10 Q. And how many members are on the SEC?

11 A. At the moment less than ten. This has changed very
12 recently because we are now Syngenta Group so the numbers
13 change actually from one -- almost from one year to another.

14 Q. Syngenta's headquarters are in Basel, Switzerland?

15 A. They are.

16 Q. And in what parts of the world are you located?

17 A. In virtually all parts of the world.

18 Q. Okay. So you have operations in South America, and
19 the Pacific Rim, all over North America, Canada, Australia?

20 A. Yes.

21 Q. China?

22 A. Yes.

23 Q. Africa?

24 A. Yes.

25 Q. Is there an area of the world where you don't have

1 a dominant position in terms of agricultural chemicals?

2 MR. NARESH: Objection to form and scope.

3 I am not seeing it in the -- if you can point me to
4 something, I am just not seeing anything -- I am fine with
5 you asking questions in his personal capacity on Syngenta
6 sales, but I am not seeing it in the topics.

7 MR. TILLERY: No, no, I was talking to it -- well
8 okay.

9 BY MR. TILLERY:

10 Q. Go ahead.

11 A. Well, we are a leading crop protection company in
12 what we call all the four main regions of the world: Asia
13 Pacific; European -- Europe, Middle East and Africa;
14 North America and South America.

15 Q. Has there been any significant change in the way
16 Syngenta is managed since the acquisition by ChemChina?

17 MR. NARESH: Objection to scope.

18 A. We still have the Syngenta executive committee who
19 is responsible for the strategy and operation of Syngenta
20 and its associated businesses.

21 BY MR. TILLERY:

22 Q. But in terms of its leadership teams, its hierarchy
23 of responsibility, is it generally the same?

24 MR. NARESH: Same objection.

25 A. It is generally the same, although recently we have

1 formed this Syngenta Group which -- where other companies
2 are now part of that group, and the leadership team has
3 changed as a consequence of that.

4 BY MR. TILLERY:

5 Q. Now the descriptions that you have given to me --
6 you talked about the paraquat health science team -- is that
7 comprised of members of Syngenta employees only?

8 A. The team is -- the core team is certainly Syngenta
9 employees. But we have consistently, since 2008, included
10 in our teams and our team meetings external experts. Some
11 have had a longstanding relationship with us, others have
12 had -- come in from time to time.

13 Q. And what is the overall responsibility of that team?

14 A. To address the issue of whether paraquat could be
15 a causative agent in Parkinson's disease.

16 Q. And you have involved outside lawyers in that team
17 as well?

18 A. Occasionally outside lawyers have come in to talk to
19 us.

20 Q. But actually, more than talk to you; right?

21 A. Perhaps you could clarify what you mean?

22 Q. Well, have you had lawyers from Fulbright Deloitte
23 sit in on your meetings, presentations at science
24 committees?

25 A. That has occurred, yes.

1 Q. And they have actually made presentations?

2 A. Yes, that's true.

3 Q. All right. You have been there when they have made
4 presentations?

5 A. Yes.

6 Q. And they are there during scientific reports and
7 giving advice about the inclusion of information in those
8 reports; correct?

9 A. By reports, you mean what?

10 Q. Well, let's say this: PowerPoints with the rest of
11 the group, editing PowerPoints, are you aware they did that?

12 MR. NARESH: Objection to form.

13 A. I was not aware that external counsel were involved
14 in editing our PowerPoints.

15 BY MR. TILLERY:

16 Q. Would you think that that would be inappropriate?

17 A. I think under some circumstances it certainly would.

18 Q. Why? Why would you that having some outside lawyer
19 from some private law firm in America editing your reports
20 and telling scientists what they can and cannot say about
21 paraquat would be inappropriate?

22 MR. NARESH: Objection to form.

23 A. I don't believe in my experience that has happened.

24 BY MR. TILLERY:

25 Q. Okay. So you certainly would not put up with it,

1 would you?

2 A. No.

3 Q. Okay, what would you tell them?

4 MR. NARESH: Objection to form.

5 A. I would tell them that they are perfectly entitled
6 to give advice about how we are made aware of the situation
7 that exists in terms of things like potential litigation.
8 But we -- we would not expect them to be saying "you do this
9 experiment and not that experiment".

10 BY MR. TILLERY:

11 Q. Or that you say this about this chemical, or you say
12 that about this chemical?

13 A. Absolutely, absolutely.

14 Q. You would think that that should rest within the
15 discretion of the Syngenta scientists and leadership team
16 within Syngenta; correct?

17 A. That is part of the ethos of the team exactly, yes.

18 Q. Right, right.

19 Now, can you tell me in terms of the paraquat health
20 science team, is it made up of people from around the world,
21 or are you located in one particular geographic area?

22 A. No, it's from more than one part of the world. So
23 we have people -- or have had people, again there is history
24 of people, some people being in it at one time and not at
25 others -- but we generally have people from both Europe and

1 North America, particularly.

2 Q. Any other parts of the world besides Europe and
3 North America?

4 A. Generally speaking, no. It has largely been from
5 those two regions.

6 Q. Has there been any specific accomplishment by the
7 paraquat health science team in terms of the progression of
8 your thinking about paraquat and Parkinson's disease?

9 MR. NARESH: Objection to form.

10 A. Well, I think you have seen that the work program
11 that we have conducted in the form -- and how it has been
12 published, the kind of experiments that we have done -- and
13 we spoke yesterday about experiments which are still in
14 progress to completion and publication.

15 So our achievements have been to conduct a large
16 body of research and to put that into the public domain as
17 much as we can.

18 BY MR. TILLERY:

19 Q. Have you received advice from any outside lawyers
20 about what studies you should do?

21 A. Not in terms of what studies we should do, no.

22 Q. Okay. Again, would that fall into the realm of
23 things that you would think would be inappropriate?

24 A. Unless there was a particularly good reason to -- to
25 give out that kind of advice then, generally speaking,

1 I wouldn't want the science -- good science to be not
2 allowed to progress.

3 Q. Would you agree with me that an outside lawyer who
4 is retained primarily to represent the company in terms of
5 potential exposure from the sale of its product would have
6 different kinds of motives than that of a scientist whose
7 motives are to obtain an accurate objective reproducible
8 scientific result?

9 MR. NARESH: Objection to scope, form.

10 A. Call it motives, different agendas, what you will,
11 of course, yes, that's true.

12 BY MR. TILLERY:

13 Q. That seems pretty fundamentally correct, yes?

14 A. Of course.

15 Q. And that's the reason why you wouldn't want them
16 telling you what science to do, would you?

17 MR. NARESH: Same objection.

18 A. And why in my experience, I don't recall ever having
19 been told by lawyers not to do --

20 BY MR. TILLERY:

21 Q. Ever saying that?

22 A. No.

23 Q. And if that happened, they didn't tell you about it?

24 A. Indeed.

25 Q. Okay.

1 Let's talk about -- I don't remember the name of the
2 group that you headed up.

3 A. Yes, yes.

4 Q. What was the name of that group?

5 A. It didn't have a particular name. I think you're
6 referring to the documents where we were talking about
7 reference doses and so on.

8 Q. It was you, Dr. Sturgess and --

9 A. Dr. Travis.

10 Q. Dr. Travis.

11 A. And Mr. Cook.

12 Q. And you had two documents, 2009 and 2011. What was
13 the name of that group?

14 A. Well, we didn't call ourselves -- there wasn't an
15 official title. We were part of what we called a -- the
16 paraquat technical team, which was a subset of the paraquat
17 health science team.

18 Q. And how do you keep all these teams, committees,
19 groups, straight?

20 A. That's part of my leadership responsibilities.

21 Q. So that's the paraquat --

22 A. Let's describe it as the paraquat technical team.

23 Q. Technical team?

24 A. Yes.

25 Q. Okay.

1 And are you no longer on that team?

2 A. No, I'm still part of that team.

3 Q. All right. Are you the head of that team?

4 A. I still act to head that team, yes.

5 Q. All right. And how long has that team been in
6 existence?

7 A. It's been part of the modus operandi of the health
8 science team, i.e. being a group which feeds into the health
9 science team, for as long as the health science team has
10 been in existence.

11 Q. Okay. So in 2008 or thereabouts when the paraquat
12 health science team started, you started a technical team to
13 support it --

14 A. We had something similar to what we've got now.
15 Different people -- some different people, but broadly
16 having the same --

17 Q. Do you have marketing people on the paraquat health
18 science team?

19 A. No.

20 Q. Okay. Do you have marketing people on the paraquat
21 issues leadership team?

22 A. Yes.

23 Q. And who are those people?

24 A. I -- I can't give you names off the top of my head.
25 And today the paraquat issues leadership team is a looser

1 association, it is a looser organization than it used to be
2 until a few years ago.

3 Q. Can you explain why you have marketing people on
4 the paraquat issues leadership team?

5 A. I believe that it was thought wise to have -- to
6 give again transparency to the marketing organization about,
7 um, safety and regulatory issues regarding paraquat, so it
8 is giving them foresight of things that could affect their
9 ability to sell paraquat.

10 Q. Anybody from the business side on the paraquat
11 health science team?

12 A. No.

13 Q. Okay. You talked about different PRF committees,
14 and you mentioned the difference between the US and Europe.
15 Are you aware of any other PRF committees with respect to
16 regulatory oversight by other countries?

17 A. No, I am not aware. I am not saying that there
18 aren't, but I don't remember having visibility of any other
19 PRF committees other than the one in Europe.

20 I don't even know whether that still works.
21 I assume it does, but I don't see evidence of that, or the
22 North American one.

23 Q. When an adverse effect is reported to the regulatory
24 authority in the United States, the US EPA, is it
25 automatically reported in other countries where the product

1 is sold?

2 A. I'm not sure if that process is in place. I don't
3 know.

4 Q. Was it when you were on the committee?

5 A. I -- at the time I was on the committee I don't
6 know, because I think as I said earlier the US PRF committee
7 I was not a member of that, so I don't exactly know what
8 their onwards process of communication was, other than into
9 the EPA.

10 Q. When a person makes -- or scientist makes --
11 a finding and sends it to, you said, a PRF approach
12 committee, do they get it before the PRF committee?

13 A. Yes. Because the PRF approach committee is within
14 the function that is generating the data doing the studies,
15 either within the function itself or at contract research
16 organizations. So they are the scientists who first become
17 aware of the findings.

18 Q. And they then do an evaluation?

19 A. They evaluate whether the findings -- whether they
20 are real, whether they are related to treatment, if they are
21 adverse and if they fulfill the criteria for 6(a)(2).

22 Q. And they say it doesn't, does that stop it? Right
23 there it ends?

24 A. If the committee -- if that approach committee
25 makes -- if it is clear to that approach committee, and this

1 is my experience from sometimes chairing that committee as
2 well as being part of it, if it is clear that the criteria
3 are not fulfilled then the record is there that we had that
4 discussion, but it does not get submitted to the US
5 committee.

6 If it is clear that they do meet the criteria, or if
7 there is any doubt, then they are submitted to the US
8 committee.

9 Q. Okay. It goes to the PRF committee?

10 A. To the US PRF committee, yes.

11 Q. Okay.

12 So along the process, the PRF approach committee has
13 the authority to stop the advancement of an adverse effect
14 potentially being sent on to a regulatory authority, and the
15 PRF committee itself does, right?

16 A. Yes.

17 Q. And then after the PRF committee makes the
18 recommendation, where does it go from there?

19 A. Are you there referring to the approach committee or
20 the US committee?

21 Q. No, the US committee. The PRF committee in the
22 United States?

23 A. If the US committee believes that it meets the
24 criteria of 6(a)(2), it will submit to the US EPA.

25 Q. Without further ado?

1 A. I don't believe there is another check in that
2 process.

3 Q. Okay. So you don't believe the Syngenta executive
4 committee has to approve any decision or recommendation by
5 a potentially referable finding committee about whether to
6 report adverse effects to regulatory authorities?

7 A. I don't believe that the Syngenta executive
8 committee gets involved in that kind of decision.

9 Q. Now have we identified all people outside of
10 Syngenta in all the committees? Are there other people who
11 are not fully employed by Syngenta who occupy roles in any
12 of these committees other than one you've described for me?

13 A. Right. So certainly there are no
14 outside non-employed -- Syngenta employed -- people on
15 either of the PRF committees. So we can exclude those.

16 Q. Okay. All right.

17 A. There is nobody outside of Syngenta on the PILT.

18 Q. Okay.

19 A. Paraquat issues leadership team.

20 Q. All right.

21 A. As I said earlier, when it comes to the paraquat
22 health scientists team, we have had people, consultants,
23 outside experts, be part of that team for in some cases
24 quite a long period and other cases for a short period.

25 Q. Okay. Is a notifiable effect the same as

1 a potentially referable finding?

2 MR. NARESH: Objection, calls for a legal
3 conclusion.

4 A. Possibly, yes. It sounds a reasonable conclusion.

5 BY MR. TILLERY:

6 Q. Have you ever heard of the term "notifiable effect"
7 being used at Syngenta?

8 A. Yes, it is a term which is sometimes used, yes.

9 Q. Interchangeably with --

10 A. Yes, my understanding of how that would be used
11 would be interchangeable with potentially referable, yes.
12 That's not to say that other people might have a different
13 view, but that would be my understanding.

14 Q. If a product is determined to be potentially
15 neurotoxic does that indicate to you that much more care in
16 analysis is required because of possible serious harm or
17 death to consumers?

18 A. We take all potential findings in studies that may
19 have consequences for human health very seriously, so not
20 just neurotoxicity.

21 Q. So, in other words, you don't treat products that
22 are determined to be potentially neurotoxic any more
23 seriously than any other product?

24 A. That is in no way to diminish what you -- what you
25 are inferring, that any potential health effect is something

1 which we need to take seriously.

2 Q. Okay. Would you agree with me that if a product is
3 determined to be potentially neurotoxic, it sends an
4 indication, a red flag to you that care, deliberation,
5 caution is required because of the potential side effects
6 to, impact caused -- whatever you want to call it -- to the
7 consuming public, the people who by your product?

8 MR. NARESH: Objection to form.

9 A. Of course. And that has always been the ethos of
10 the approach committee which I was apart of, indeed.

11 BY MR. TILLERY:

12 Q. Let's talk about countries that have banned the
13 chemical paraquat, okay?

14 Does Switzerland allow paraquat to be used?

15 A. I believe it does not.

16 Q. Okay. Does England?

17 A. No.

18 Q. Does France?

19 A. No.

20 Q. Germany?

21 A. No EU country does.

22 Q. So I could go through the whole list --

23 A. You could.

24 Q. -- and none of them, okay?

25 When you say "EU", every country ever of the

1 European Union has banned it?

2 A. It was part -- well, because the decision-making is
3 done at the EU level and we were talking about that list
4 just now.

5 Q. All right. So it can't be sold in the EU?

6 A. That's the current position, yes.

7 Q. All right. What other countries have banned it?

8 A. Well, a number of other countries in the Far East,
9 China for example. Again, I would have to check back on the
10 list that -- yes, the number of countries have banned it.

11 Q. Who keeps that list?

12 A. The marketing organization.

13 Q. Okay. And you say China. When did China ban it?

14 A. Again, I can't remember the exact date.

15 Q. And did Vietnam ban it?

16 A. I can't remember whether that was one of the
17 countries.

18 Q. What other Pacific Rim countries have banned
19 paraquat from being sold in their countries?

20 A. Well, I think I would need notice of that question
21 to check. It is not a list that I tend to keep in my head.

22 Q. Do you know the rough number of countries --

23 A. I think you indicated a number this morning --

24 Q. I told you 32, but I think my information might be
25 out of date.

1 A. Yes, I can neither confirm nor deny that.

2 Q. Who is -- strike that.

3 Who owns Syngenta?

4 MR. NARESH: Objection, scope.

5 A. ChemChina.

6 BY MR. TILLERY:

7 Q. Where is Syngenta headquartered?

8 A. Basel.

9 Q. Okay.

10 Was paraquat banned by any country because of its
11 neurotoxicity, or its potential to be neurotoxic?

12 A. I don't believe so. But I would -- again a detail
13 that I would need to check. My understanding is most of the
14 bans have been associated with its acute toxicity.

15 Q. Has paraquat been banned by any country because
16 Syngenta could not establish that it was not neurotoxic?

17 A. I'm not aware of that, but again I would need to
18 check the detail.

19 Q. Has any country banned paraquat because of a risk of
20 brain injury?

21 A. I am not aware of that.

22 Q. But you can't deny --

23 A. I would need to check that.

24 Q. Has Syngenta been asked by any country considering
25 the registration and permission to continue to sell it to

1 provide evidence that it is not neurotoxic?

2 A. Well, we did discuss earlier on this afternoon
3 Brazil, for example.

4 Q. We went through that.

5 A. They were asking questions about that matter --

6 Q. So excluding Brazil and their request for
7 information about neurotoxicity?

8 A. Yes.

9 Q. Any other country ask for that same information?

10 A. I can think of another example in the form of
11 Australia.

12 Q. Have they asked for that?

13 A. They asked for our evidence which we discussed with
14 their regulatory authority, yes.

15 Q. And when did they ask for that?

16 A. I can't give you the date. It was -- I think we are
17 talking about over the last ten years or so as they have
18 been rereviewing.

19 Q. And Charles Breckenridge made a presentation to them
20 there, didn't he?

21 A. He did, yes.

22 Q. Just like he did to the folks in Brazil?

23 A. Yes.

24 Q. And in that presentation he didn't mention anything
25 about Louise Marks, did he?

1 MR. NARESH: Objection to form.

2 A. And I think the same applies to what -- to the
3 discussion we had earlier that two things are important.

4 First of all, Australia were well aware of the
5 published literature suggesting that paraquat has an effect
6 on the mouse brain, so they were starting from an assumption
7 that that might be something of significance. But they saw
8 from the extensive research that we have done that that was
9 giving a different weight of the evidence.

10 BY MR. TILLERY:

11 Q. Okay. I move to strike the answer as unresponsive.
12 Could you repeat my question, please?

13 COURT REPORTER: "And in that presentation he
14 didn't mention anything about Louise Marks, did he?"

15 A. I believe he did not.

16 Q. To your knowledge, the Australians selling or
17 allowing paraquat to be sold today know nothing about the
18 studies that you did internally in CTL by Louise Marks,
19 right?

20 A. That is a possibility. But again I would need to
21 confirm that with other colleagues.

22 Q. Okay.

23 Has Syngenta ever reported to any regulatory agency
24 anywhere on this globe that paraquat is neurotoxic?

25 MR. NARESH: Objection to scope.

1 A. I do not believe so.

2 BY MR. TILLERY:

3 Q. Okay. Has Syngenta ever reported to any regulatory
4 agency that paraquat has the potential to be neurotoxic?

5 MR. NARESH: Objection to scope.

6 A. Yes, because as exemplified by the discussions we
7 had with Brazil, we said the potential was there, which was
8 why we were conducting our research program.

9 BY MR. TILLERY:

10 Q. You were telling them about the potential. Why
11 didn't you tell them about Louise Marks?

12 A. Well, as I say, hindsight is a wonderful thing, and
13 we could have added the Marks studies to what these
14 regulatory authorities already knew, which is that that
15 mouse model has, in the hands of some researchers, shown
16 an effect, an apparent effect, of the paraquat.

17 Q. You don't think it makes a little bit of difference
18 if the people involved in the manufacturing and sell --
19 sale -- and who have an obligation to go forward with the
20 registration of the chemical, if the same people behind that
21 chemical had done testing within their own laboratories and
22 made findings that are consistent with outside scientists,
23 you don't think that's important to regulators?

24 MR. NARESH: Objection to form.

25 A. I don't think I have ever said that it is not

1 important to regulators. And I have said, I think, several
2 times now that in my view it would have been not an
3 unreasonable course of action to include that, but obviously
4 the overall weight of evidence was telling us something
5 differently.

6 BY MR. TILLERY:

7 Q. Have you ever told anyone not to report an adverse
8 effect of paraquat to any regulatory agency?

9 A. I am not aware that I have ever done that.

10 Q. Are you aware of anyone at Syngenta who has ever
11 told anyone not to report the results of an adverse effect
12 of paraquat to any regulatory agency?

13 A. Again, I'm not aware of that being said in any -- in
14 any direct way, no.

15 Q. Have you ever participated in a group that discussed
16 whether to report an adverse effect of paraquat?

17 A. The PRF approach committee is the closest that I've
18 got to. But again that's making recommendations, not
19 decisions.

20 Q. And you told us about that earlier.

21 A. I did.

22 Q. When you made the recommendation for the US EPA?

23 A. That is correct.

24 Q. Did the Syngenta executive committee ever decide not
25 to report a potential adverse effect of paraquat to

1 a regulatory agency?

2 A. Again, as I have said before, in my experience
3 I don't think the SEC have ever been involved in making
4 those decisions.

5 Q. Would you agree with me, sir, that companies engaged
6 in the development and sale of a product subject to ongoing
7 regulation like paraquat have an obligation to be truthful
8 to those regulatory bodies and to the public when they
9 report their scientific findings?

10 A. I do agree with that.

11 Q. Okay. Would you agree with me that companies
12 engaged in the development and sale of a product subject to
13 ongoing regulation like paraquat have an obligation to the
14 public to share their scientific findings?

15 A. Yes, I agree with that.

16 Q. Would you agree with me that Syngenta scientists are
17 ethically required to share their scientific findings about
18 paraquat?

19 MR. NARESH: Objection to scope.

20 A. I do agree.

21 BY MR. TILLERY:

22 Q. Would you agree with me that the amount of money
23 Syngenta makes should never, ever, be a reason or
24 justification for concealing health risks of paraquat?

25 A. Very much so, yes. I agree.

1 Q. Okay. Can you think of any justification for
2 concealing or withholding information about an adverse
3 effect concerning paraquat?

4 A. Only if the -- the definition of a PRF, for example,
5 has not been felt to have applied, which is the case we were
6 discussing earlier.

7 Q. Would you agree with me that withholding scientific
8 findings from the public about the neurotoxic effects of
9 paraquat would be unethical?

10 A. If we truly believed that paraquat had genuine
11 neurotoxicity, then of course we would not wish to withhold
12 that.

13 Q. And do you agree with me that would be improper and
14 unethical?

15 A. If we genuinely believed that that was the property
16 of paraquat.

17 Q. But it is only when you subjectively believe it,
18 right?

19 MR. NARESH: Object to form, argumentative.

20 A. Could you repeat the question?

21 BY MR. TILLERY:

22 Q. It is only when you believe it, that is the
23 standard?

24 MR. NARESH: Objection.

25 A. No, let me qualify that by saying that this is

1 dependent on the best possible scientific evidence which,
2 when I have been talking about weight of evidence, is what
3 we have been engaged for many years in trying to create.

4 BY MR. TILLERY:

5 Q. Would you agree with me that withholding scientific
6 findings from the public about the neurotoxic effects of
7 paraquat would be fraudulent?

8 MR. NARESH: Objection to form.

9 A. If there were -- if the weight of evidence had
10 suggested there was clear neurotoxicity, then I don't know
11 whether you call that fraudulent, but I think it is
12 certainly not something that we would -- we would do.

13 BY MR. TILLERY:

14 Q. Well, whether or not you would do it or not, would
15 you agree with me that if you did it, it would be
16 fraudulent?

17 MR. NARESH: Same objections.

18 A. I don't know that I would be happy to -- to use the
19 word "fraudulent".

20 BY MR. TILLERY:

21 Q. Okay, let's substitute a word. Let's substitute
22 the word "dishonest"?

23 A. I think that is probably a better --

24 Q. Are you okay with that word?

25 A. Yes.

1 Q. Let me start over again. Would you agree with me
2 that withholding scientific findings from the public about
3 the neurotoxic effects of paraquat would be dishonest?

4 MR. NARESH: Same objection.

5 A. Yes, and again if we had come to a conclusion on
6 that subject based on the best science.

7 MR. TILLERY: I am at your magic number. I will
8 see on you the 31st.

9 A. I understand, sir.

10 MR. NARESH: Let me just make on the record, I do
11 anticipate having redirect for this witness. I understand
12 that my opportunity for redirect will come at the conclusion
13 of your questioning and I do object to the use of this
14 deposition until I have my opportunity for redirect.

15 THE VIDEOGRAPHER: At that point, are we
16 concluding for today?

17 MR. TILLERY: We are.

18 THE VIDEOGRAPHER: In which case we shall go off
19 of the record at 4:49 pm concluding today's part of the
20 deposition.

21 (Whereupon, the deposition concluded at 4:49 p.m.)
22
23
24
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE OF COURT REPORTER

I, Laura Evans, an Accredited Real-time Reporter, hereby
 certify that the testimony of the witness Philip Botham in
 the foregoing transcript, numbered pages first page 244
 through last page 495, taken on this 26th day of February,
 2020 was recorded by me in machine shorthand and was
 thereafter transcribed by me; and that the foregoing
 transcript is a true and accurate verbatim record of the
 said testimony.

I further certify that I am not a relative, employee,
 counsel or financially involved with any of the parties to
 the within cause, nor am I an employee or relative of any
 counsel for the parties, nor am I in any way interested in
 the outcome of the within cause.

Laura Evans

Signed:

Name: Laura Evans

Date: February 27, 2020

1 Ragan Naresh, Esq.

2 ragan.naresh@kirkland.com

3 March 3, 2020

4 RE: Hoffmann, Diana v. Syngenta Crop Protection LLC

5 2/26/2020, Dr. Philip Botham, V2 (#3984465)

6 The above-referenced transcript is available for
7 review.

8 Within the applicable timeframe, the witness should
9 read the testimony to verify its accuracy. If there are
10 any changes, the witness should note those with the
11 reason, on the attached Errata Sheet.

12 The witness should sign the Acknowledgment of
13 Deponent and Errata and return to the deposing attorney.
14 Copies should be sent to all counsel, and to Veritext at
15 cs-ny@veritext.com.

16
17 Return completed errata within 30 days from
18 receipt of testimony.

19 If the witness fails to do so within the time
20 allotted, the transcript may be used as if signed.

21
22 Yours,

23 Veritext Legal Solutions
24
25

Hoffmann, Diana v. Syngenta Crop Protection LLC

Dr. Philip Botham, V2 (#3984465)

E R R A T A S H E E T

PAGE _____ LINE _____ CHANGE _____

REASON _____

PAGE _____ LINE _____ CHANGE _____

REASON _____

PAGE _____ LINE _____ CHANGE _____

REASON _____

PAGE _____ LINE _____ CHANGE _____

REASON _____

PAGE _____ LINE _____ CHANGE _____

REASON _____

PAGE _____ LINE _____ CHANGE _____

REASON _____

Dr. Philip Botham, V2

Date

Hoffmann, Diana v. Syngenta Crop Protection LLC

Dr. Philip Botham, V2 (#3984465)

ACKNOWLEDGEMENT OF DEPONENT

I, Dr. Philip Botham, do hereby declare that I have read the foregoing transcript, I have made any corrections, additions, or changes I deemed necessary as noted above to be appended hereto, and that the same is a true, correct and complete transcript of the testimony given by me.

Dr. Philip Botham, V2

Date

*If notary is required

SUBSCRIBED AND SWORN TO BEFORE ME THIS

_____ DAY OF _____, 20____.

NOTARY PUBLIC

&	13636 494:19	2000 309:8	2014 446:17
& 245:16 246:9,14 248:9,14 249:13 297:9 328:7 329:5 353:6 363:1 410:12	13th 249:14 14 282:4 390:18 391:16 410:8 424:7,10 445:16 14th 249:14 416:1 15 370:17 159.165 285:13 286:6,14,25 16 358:3 404:14 16.5 358:3,7,24 17 245:7 248:22 332:13 348:16 360:17	20004 246:15 2002 352:22 353:5 363:1 429:4 2003 248:22 304:24 326:18,20 327:12 332:13,16 335:19 348:16,18 353:5,6 363:1 2004 248:19,24 249:2 306:15 343:3 360:15,17 371:1,25 372:17 372:18 378:13 379:4 381:24 429:4 2005 249:6 392:17 392:18 429:5 2006 248:6 249:7,9 257:23 258:15 400:22 403:17 404:24 405:10 2007 250:2 332:24 333:13 348:25 349:7 360:19 392:14 460:7,10 2008 249:14 410:8 414:12 415:23 416:3 419:8,9 428:7,9,11 464:19 464:20 465:17 471:9 477:11 2009 249:19 427:16 464:1 476:12 2011 249:22 430:13 431:16 432:4 464:1 476:12 2013 433:7	2016 250:1 444:8 2018 298:5 2019 358:12 363:16 399:25 202 246:15 2020 245:12 251:4 494:7,22 495:3 20s 271:10 21 248:5 256:14,19 256:25 332:15,24 349:7 392:14 460:7 22 248:7 250:2 256:16,17 258:19 348:18,23 22nd 460:10 23 248:9 296:20,21 343:3 23-27 248:19 23.7 351:12,13 352:4 238 329:2 24 248:11 249:7 318:9,10 352:17 400:22 403:17 404:24 241-4844 246:5 244 494:5 25 248:14 328:1,3 352:6,18 362:11 432:21 442:1 25-30 338:13 251 247:4,5 256 248:5,7 26 245:12 248:15 251:4 330:20,24 26th 246:10 360:15 494:6 27 248:17 342:17 342:24,25 343:1,3
0			
00493318 248:10 00493392 248:10 01586117 249:15 01586606 249:16			
1			
1 371:8 392:18 1,1'dimethyl 331:13 10 314:24 334:6 349:21 362:13 390:17 393:18 429:3 432:20 456:7 10.0 456:4 10.2 455:16,22 456:7 1001 283:10 10:15 296:5 10:27 296:8 10mg 331:12 382:8 116224 453:15 116230 445:15 11:49 359:15 12 282:8 12:08 359:18 12:33 377:21 13 357:20 358:12 399:25 410:7 415:25 13.8 338:11 1301 246:14	18 249:5 273:17 283:10 392:17 431:20 445:8,13 190 246:19 1962 261:1 1965 261:3 1972 281:9 1981435 378:5 1989 298:5 1990s 285:10 1993 300:3 1999 302:2 1:26 377:24		
	2		
	2 249:25 251:5 275:13,25 281:10 281:14,22 282:8 290:4 296:8 372:14 382:21 398:6,15 400:23 404:3 444:8 453:19 459:12,21 464:7 466:14 479:21 480:24 2/26/2020 495:5 20 497:15		

494:22 2792 395:2 2793 393:8,15 28 248:21 347:4,8 390:18 391:16 393:21 394:12,14 394:18 2807 396:21 29 248:23 359:21 359:24 2905 336:17,18 2910 337:19 296 248:9 2:34 422:9 2:57 422:22	331 301:18 333 306:8 335 308:1 34 249:8 406:1,4 342 248:17 3432 409:4,8 347 248:21 35 249:10 352:18 408:25 409:2 359 248:23 36 249:12 316:11 316:12 410:3 3600 246:4 37 249:15 414:18 416:8 370 248:25 378 249:3 38 249:17 422:5,13 423:2,3 389-5267 246:15 39 249:20 422:14 430:2,3 392 249:5 3984465 495:5 496:2 497:2 3:53 462:15 3rd 249:2 371:1 378:25	410 249:12 414 249:15 415 246:11 422 249:17 430 249:20 44.0004 434:7 443 249:23 459 250:2 480-1927 246:20 484239 329:22 492792 402:3 493318 296:23 495 494:6 4:08 462:18 4:49 493:19,21	63105 246:19 633 319:10 638 323:16 641 325:1 650 246:10 6790 351:7 6791 349:16 6807 352:13 6808 351:3 352:12 354:1 6j 432:14
3		5	7
3 249:9 327:2 331:12 359:18 362:13 378:24 379:3 390:17 393:18 453:16 495:3 3.9 336:19 30 245:17 248:25 352:7 370:3,20,21 412:14 495:17 30s 271:10 30th 460:14 31 248:6 249:3 378:1,4 314 246:5,20 318 248:11 319 297:17 31st 493:8 32 249:5 280:4 392:2,4 484:24 328 248:14 298:25 33 249:7 400:5,7 400:21 330 248:15 299:24	370 248:25 378 249:3 38 249:17 422:5,13 423:2,3 389-5267 246:15 39 249:20 422:14 430:2,3 392 249:5 3984465 495:5 496:2 497:2 3:53 462:15 3rd 249:2 371:1 378:25	5 314:24 50 370:19 502d 422:14 505 246:4 517 245:7	7 372:17,18 379:1 390:18 391:16 393:21 394:15 742 411:13 7480 402:12,16,17 403:9 754 412:3 792 402:18 7th 246:4
	4	6	8
	4 304:24 422:22 434:6 453:16 4,4'bipyridinium 331:13 40 249:23 286:6,24 443:24 444:2 400 249:7 406 249:8 408 249:10 40s 271:10 41 250:2 459:23	6 248:23 275:25 281:10,14,22 290:4 306:15 378:13,16 379:4 382:21 398:6,15 400:23 404:3 459:12,21 464:7 466:14 479:21 480:24 60 253:3 269:20,23 271:6,7,19,20,22 271:23,24 272:23 600 246:19 60s 272:7 6223 445:18 628 319:2 63101 246:5	8 415:23 86601 415:8,10 889 330:18,19 8af 245:17
			9
			90 390:18 391:16 393:21 394:12,15 394:18,23 431:25 911 360:23 361:12 939 407:22 94108 246:10 98 318:7,8 981-7210 246:11 9:13 245:13 251:4
			a
			a.m. 245:13

ability 291:23 329:10 333:16 478:9 able 260:11 264:25 274:19 279:22 297:13 305:6 333:5 364:6 367:23 389:3,3 404:18 405:7 437:10,15,18 438:2,4,19 454:5 457:18 absence 271:2 291:16 425:23,25 433:25 absolute 283:20 454:8 absolutely 281:20 288:5 289:25 298:7 336:3 344:11 351:22 382:18 421:14,16 447:11 462:6 473:13,13 absorbed 260:11 abstract 248:17 343:1 344:6,11 accept 365:22 388:5 access 259:1 376:17 accessibility 461:19 accidentally 260:10,18 accomplishment 474:6 account 346:4 359:5 373:19 407:1 436:17,18	accountability 289:19,20 290:23 375:9 466:20 accountable 463:7 accounted 357:9 390:5 accounts 274:1 accredited 245:18 494:3 accumulated 439:12 accuracy 253:2 339:14 342:6 354:22 366:25 426:9 495:9 accurate 273:1 280:2 298:7 320:8 338:22 340:15 341:20 342:1,3 346:12 347:17 349:13 354:20 356:6 380:9 475:7 494:9 accurately 273:9 276:17 333:12 335:3 364:6 407:15 acetaminophen 421:19 achieved 438:15 achievement 335:22 achievements 474:15 acid 384:14 acknowledged 346:11 acknowledgement 497:3 acknowledges 299:22	acknowledgment 495:12 acquainted 302:18 acquisition 470:16 act 477:4 action 255:16 303:19 489:3 actions 276:18 active 260:25 actively 255:24,24 activity 294:16 302:25 308:3 316:13 acts 267:25 actual 258:6 acute 256:3 384:9 485:14 add 251:6 273:10 293:1 312:12 333:21 added 488:13 addition 260:20 432:21 436:21 additional 375:17 454:14 additions 497:6 address 444:24 471:14 addressed 281:1 323:22 addressing 262:23 adequate 432:9 439:18 administer 272:18 386:2 administered 361:15 393:12 395:6 401:21 402:24 administration 277:4 278:7 334:9	338:12 351:11 362:12 384:12 392:11 407:25 429:2 446:16 administrator 245:5 282:16,17 admitted 346:3 ado 480:25 adopt 428:2 adopted 281:9 326:19 327:13 428:18 advancement 290:5 292:23 480:13 adverse 279:4,7 285:14,17 286:3,5 293:17 294:13 398:14,16,17,18 398:20 463:18 478:23 479:21 480:13 481:6 489:7,11,16,25 491:2 advice 436:20 442:7,9 472:7 473:6 474:19,25 advisers 336:5 affairs 266:5 affect 261:8 478:8 afraid 365:12 422:4 africa 469:23 470:13 afternoon 486:2 age 253:3 269:23 269:24,25 271:19 272:23 273:14 agencies 280:19 459:9
---	---	---	---

agency 275:24 277:7 280:16 281:24 357:12 363:11 364:5 365:18 397:18 429:14,17 434:17 434:20 444:16 445:1,3 458:14 459:9 487:23 488:4 489:8,12 490:1 agendas 475:10 agent 254:14 258:4 294:13 419:12 420:24 471:15 agents 260:14 294:1 ages 273:6 aggressively 379:13,15,16 aging 269:25 ago 255:1 357:21 367:25 415:21 424:21 431:23 478:2 agree 276:21 280:18 286:3 287:25 288:10,16 289:20 290:25 291:6,8,14,16,22 292:15,17,19 293:16 294:8,9 308:5 324:11 327:10 341:8,13 341:16 357:3 367:13 369:13 374:11 379:14 381:18 382:1 383:8 384:20 388:8,9 389:17	397:5 409:20 428:20 441:19 447:6,9 454:12 459:6,10,14 475:3 483:2 490:5,10,11 490:15,16,20,22 490:25 491:7,13 492:5,15 493:1 agreed 266:6 463:1 agricultural 470:1 aha 440:1 ahead 269:14 272:11 393:6 415:13,15 470:10 aim 303:22 308:14 349:20 393:16 407:23 al 245:6,8 300:3 352:22 353:5 362:25 370:11 429:4,4,5 433:7 436:20 446:15,17 alarm 283:2 alderley 331:10 360:4 alderly 331:20 alison 297:23 alive 437:14 allotted 495:20 allow 253:19 260:12 368:22 404:11 413:15 458:14 483:14 allowed 330:13 414:1 475:2 allowing 487:17 allows 335:3 alongside 442:14 alpha 252:4,5,7,10 252:17 262:14,17	262:21,24 263:9 263:12,15,20,24 264:2,7,11 381:4 381:15,17,18,20 alter 320:19 343:21 alternative 260:4 308:16 322:20,24 323:10 alters 294:16 amended 281:6 america 289:9 405:14 421:9 464:13 469:18,19 470:14,14 472:19 474:1,3 american 265:5 478:22 amino 384:14 amount 264:2 276:19 312:16 315:14,16 419:23 449:4 490:22 analysis 291:12 311:1,12 356:2 482:16 analytical 456:6 andy 424:11 431:9 animal 249:23 261:19 262:6,9 272:8,9 273:1 276:19 319:22 391:15 407:11 408:19 425:11 432:12 434:10 444:19 446:1,4 451:18 452:10 animals 253:17 263:20 273:4,6,7 276:8,12,22 312:23 315:22	317:2 334:7 338:10 380:15 381:5 386:14 393:12 394:17 434:13 440:13 442:3 announce 344:18 announced 326:20 345:14,24 346:14 349:16,19 annual 248:19 343:2 344:8 345:5 answer 254:2 274:19 279:22 280:25 281:15 288:9 289:11 290:8 295:4 297:13 307:3 311:6,13 313:7,10 313:15,21 314:5 315:5 323:4 326:3 341:23 345:21 364:6 376:20 387:8 389:9 418:16 419:19,20 422:4 428:11 429:18 439:16,20 440:2,4 445:5 449:11 452:11,23 457:7,14 487:11 answered 287:7 288:14 349:15 417:20 answering 314:12 314:17 452:21 answers 452:20 anticipate 369:4 441:25 493:11 anticipated 431:20 anvisa 444:16 446:19,20 450:7
--	---	---	--

455:3,7,12 anvisa's 445:8,21 anybody 345:2 346:14 397:13 449:25 478:10 apart 438:23 483:10 apologize 388:19 408:9 apparent 295:24 350:10 354:2 369:25 370:12 433:14 488:16 apparently 390:25 appear 295:19 301:12 363:6 385:3 395:6 396:24 401:21 402:24 403:10 422:2 appeared 385:10 388:25 398:22 414:8 appears 269:8 301:14 416:10 appended 497:7 applicable 495:8 applicants 248:5 257:9 application 416:21 applied 491:5 applies 270:16 277:24 487:2 approach 289:23 289:24 399:7,7 400:15 424:20 463:10,14,22,23 466:9,10,11 479:11,13,24,25 480:12,19 483:10 489:17	appropriate 254:5 256:2 261:18,19 267:5 268:18 272:8 278:2,20 286:19 309:15 358:2,7 389:24 399:3 416:18 420:19 467:17 appropriately 306:5 340:23 approval 264:20 265:13,14 266:8 266:11,17,18 268:9 approve 268:19,22 481:4 approved 290:21 approving 268:5 268:14 april 248:23 249:9 332:13 360:15 archived 461:2 area 275:1,6 396:11 420:1 467:20 469:25 473:21 areas 274:20 309:6 371:13 419:1 argued 288:20 argument 277:4 447:13 argumentative 327:6 358:21 359:7 491:19 arrive 435:1 arriving 436:16 artefact 425:18 433:1,10 435:3,4 435:11,13 441:22	articles 443:5 ascertain 262:4 ashby 335:8,13,20 341:6 asia 470:12 asked 263:8,13 287:7 288:14 305:25 314:16 366:12 368:13 396:8 408:9 417:15,18 420:13 428:10 444:24 445:1 451:4 485:24 486:12,13 asking 287:5 314:9,13 315:5 357:16 358:7 364:18 380:23 419:8 452:4,18 470:5 486:5 asks 321:5 aspects 270:7 325:17 386:4 416:19 aspiration 324:13 330:7 aspirations 323:23 323:25 374:9 assess 349:20 390:17 assessing 393:19 assessment 284:7 284:19 285:4 374:19,20 375:19 386:17 387:11 388:1,2 389:19 423:8 424:23 425:2 426:7 assessments 424:5 453:25	assign 258:19 342:9 assigned 262:1 309:10 assignment 248:7 assist 325:4,25 associated 275:23 375:21 408:1 409:14 421:25 470:20 485:14 association 254:4 478:1 assume 266:6 323:6 422:13 423:11 425:20 433:2,18 478:21 assumed 426:1 434:1 assuming 379:23 424:21 assumption 324:4 386:23 487:6 asterisk 343:12,13 atlanta 249:13 410:7 attached 495:11 attempt 259:21 261:10 339:23 340:8 attendance 411:5 431:11,12 attendees 345:5 414:16 attention 275:5 296:19 359:23 415:2,8 416:7 attenuated 384:11 attorney 266:3 301:9 358:4 495:13
--	---	--	---

attorneys 246:3,13 246:18 attributable 388:24 409:14 attributed 374:2 391:5 408:1 attributes 354:12 atypical 424:19 audience 303:2 august 248:6 304:24 332:15 australia 364:22 365:5,15 469:19 486:11 487:4 australians 487:16 author 319:8 331:17 332:1 348:2 424:9 authored 319:7 347:12 authorities 279:10 280:12,22 324:23 364:15 365:6 451:4 456:12 462:10 467:25 481:6 488:14 authority 266:7 267:7,11 279:12 296:16 397:17 462:3,5 469:3 478:24 480:13,14 486:14 authorizes 282:5 465:13 authors 424:14 431:8 automated 337:15 338:22 339:10,13 342:1 346:12 350:23 355:9 356:4 357:8	366:24 376:13 automatically 478:25 available 338:22 344:14 354:21 387:1 430:23 495:6 avenue 246:14 264:24 average 269:20 420:3 avoid 311:1,12 383:18,19 avoided 310:20 311:22 avoiding 310:21 311:15,23 avoids 292:6 award 336:6 376:4 aware 252:20 255:6,7,23 256:21 256:22 261:4,10 264:13 274:20 282:20 284:5 286:24 289:2 309:3,15 346:6 357:19,20 359:2 363:5,8 365:17,19 366:3,9,10 369:18 381:2,8,20,24 397:9,13,15 410:18 413:8 434:21,23 438:24 442:21,23 449:25 450:7,8 451:1 455:6,11 459:11 459:15 472:11,13 473:6 478:15,17 479:17 485:17,21 487:4 489:9,10,13	awareness 292:4 axe 245:17 axioplan 337:14 b b 248:1 282:4 back 269:4 278:24 296:7 317:20 335:19 336:17 345:22 359:17 366:1 370:15 377:23 386:5 391:9 406:21 422:19,21 431:15 437:23 462:17,20 462:24 465:4 467:6 484:9 backwards 435:1 balanced 328:20 balb 382:9 ban 304:14 305:9 305:12 307:15,23 484:13,15 bank 246:4 banned 279:25 304:25 305:2 329:16 375:16 448:3,7 483:12 484:1,7,10,18 485:10,15,19 banning 444:16 bans 485:14 barlow 429:4 barrier 299:5,10 418:20 419:24 barry 460:17,19 461:8 based 253:17 258:3 272:25 276:5 301:5 302:1 309:19,20 312:15 357:2 372:3	385:24 386:17,17 387:11,11 388:1 423:23 427:2,5 454:24 456:13 493:6 basel 469:14 485:8 basically 343:25 basis 308:9 319:20 366:6 374:4 375:20 389:18 bates 248:10 249:15 296:23 297:16 342:21 378:5 445:18 bearing 469:5 becoming 362:4 beg 392:20 began 295:19 beginning 273:23 463:1 begins 434:9 behalf 259:11 behavioural 300:9 384:11,15 being's 276:18 believe 252:13,22 258:6,6,8 263:18 263:21 269:10 278:13 288:17 293:13 295:3 306:7 317:24 318:4 321:15 327:14 329:1 333:13 358:9 365:16 373:2 383:2 386:16 387:10 389:22 393:4,12 399:17 409:7 410:19,21 413:16 420:20,20 422:16 428:4
--	--	--	--

429:15,21 434:18 450:15,22 454:24 456:21 462:11 467:14 472:23 478:5 481:1,3,7 483:15 485:12 487:15 488:1 491:17,22 believed 252:11 281:2 432:8 491:10,15 believes 480:23 benefit 383:11,12 447:20 454:13 458:24 benefits 284:7,19 285:4 416:18 best 291:8 301:1 336:15 377:3 385:23 386:16,21 387:10 406:20 430:24 459:8,14 492:1 493:6 bet 421:21 better 322:12 492:23 beyond 254:14 big 277:12 bigger 399:14 biggest 252:20 253:7 270:2 440:19 biological 253:13 294:13 302:22 309:21 bit 314:21 373:7 421:17 488:17 black 295:6,12,16 295:22 331:10 351:21 360:2 365:24 378:22	403:25 405:1 407:25 432:14 blackwell 246:18 blind 435:20 450:10 blinded 440:12,22 441:2,15 453:24 blood 299:5,10 418:20 419:23,24 board 267:11 290:21 boards 326:6 bodies 264:8 364:8 365:4 467:7 490:8 body 260:21 264:3 264:4 270:9 334:6 381:21 406:24 408:17 434:10 439:11 474:16 borderline 383:16 boss 372:24 botham 245:15 247:4 251:1,5,15 359:19 422:23 424:12 494:4 495:5 496:2,24 497:2,4,12 bottom 327:20 337:19 340:1,4 352:13 361:12 372:15 374:12 390:1 416:13 421:6 445:12 brain 251:21 252:6 255:4,12 258:13 259:1 262:11 294:5 299:5,10,22 310:20,22 311:1 311:13,16,22,24 312:5,17 315:15	315:17 316:10 333:17 334:11 335:4 355:13 361:21 371:11,13 371:15 375:24 418:20,21 419:15 419:23,24,24 420:1 421:25 423:17 427:19 429:2 435:16,19 485:20 487:6 brains 312:23 315:22 355:18 brazil 250:1 364:21 365:5,13 365:14 444:10,12 444:15 448:7,13 450:24 451:5 455:7 458:23 486:3,6,22 488:7 brazilian 456:11 break 296:6 359:11,16 377:22 422:10 462:12,16 breckenridge 263:16 264:19 265:3 268:5 370:10 388:11,14 389:1 433:7 436:19 444:14 446:15 447:1 486:19 brief 249:1 370:24 372:10 briefing 379:21,22 379:24 briefly 263:23 bringing 258:14 broad 258:2 268:16 274:24 276:16 281:9	287:8 368:21 broadly 419:9 477:15 brock 258:23 brought 290:1 421:10 budget 268:20,22 build 309:18 324:19 building 291:1 built 290:25 292:20 bulb 259:1,3 bullet 308:7 309:16 312:2 316:16 317:9 319:14 322:17 323:20 324:18 325:2,19,22 327:2 329:3,6 371:8 380:11 382:5 383:22 385:21 386:15 412:10 413:7 425:23 426:8,19 432:10 433:24 446:12 451:8,15,21 bunch 414:24 burn 304:5 business 374:22 374:24 375:10,13 375:15,22 478:10 businesses 470:20 buy 417:19
c			
c 245:22 246:1,20 382:9 384:7,7 c57 331:10 378:21 405:1 407:25 432:14			

c57b16 330:3	482:2	causation 254:4	365:23 369:21
c57b6 378:17	campaign 304:1	causative 253:19	373:14 381:4,9,12
c57bl6 249:4	canada 469:19	254:14 270:5	381:14 382:10
309:20 316:18	cancer 418:12	419:12 420:23	386:18 387:12,23
361:15 378:10	capability 255:9	471:15	388:6,15 390:7,18
381:3 411:20	277:17	cause 253:12,15	391:5,17 392:10
412:12	capable 395:7	255:7 261:13,14	393:19 404:25
c57bl6j 334:3	401:22 402:25	263:19 264:11	407:24 409:12
350:1 362:14	capacity 267:25	274:6 283:22	425:18 426:10,21
380:13 395:6	457:1,3 470:5	294:17 304:6	427:6 428:25
401:21 402:24	care 425:1 429:25	316:25 329:16	432:7,23,23 433:1
434:13	482:15 483:4	333:16 369:21	433:3,19 436:25
c57black6j 425:13	carefully 322:7	374:3 408:13,19	437:1,3,10
ca 246:10	440:17	418:12,13 419:16	cells 251:23,24
calculated 427:5	carondelet 246:19	419:16 420:10	252:6 253:15
427:11	carried 337:11	421:5,10 432:7	335:5 338:9
calculating 424:22	384:8	439:14 494:15,17	351:16 377:17
calculation 426:24	carry 328:15	caused 260:19	389:6,7 396:5
calculations	452:7	274:3 349:21	407:19 409:16,24
423:23 428:9	case 245:7 251:13	369:20 388:15	423:16,20 436:22
california 246:10	263:22 287:25	406:23,25 483:6	436:23 437:2
248:20 302:10	288:10 289:21	causer 451:13	center 415:16
329:25 343:4	295:3 306:7 310:5	causes 253:11	416:10
350:19 355:7	315:24 317:3	268:24 269:11	central 294:14
366:24	319:6 323:6	291:17 319:22	360:3 372:1
call 309:13 321:16	347:23 377:20,23	365:23 375:24	certain 279:7
347:1 463:17,21	384:25 392:9	388:6 395:18	290:13 386:4
466:12 470:12	401:14 409:7	417:22,25 423:18	412:11
475:10 476:14	413:18 417:3	423:19	certainly 253:16
483:6 492:11	432:8 448:16	causing 252:14	255:23 259:2
called 251:21,23	458:19 459:7	255:2 259:8	260:17 264:17,21
255:8 259:5	491:5 493:18	caution 262:18	268:12,22 269:6
265:23 266:21	cases 265:21	483:5	271:23 275:8
270:19 275:25	269:18 446:6	cavity 277:11	277:9 278:6,8
289:23 315:7	481:23,24	cell 309:21 334:10	281:3 290:12,15
334:21 335:7	catchall 286:11	334:13,18,20	304:8,11 324:4
375:19 464:18	causal 249:24	336:11 337:8,11	333:12 337:2
476:15	444:20 451:23	338:11,23 339:1	341:7 344:9
calling 281:18	452:1,12 453:6	340:15 346:3	346:22 365:2
calls 280:24	causality 453:13	352:19 354:2,13	369:23 374:14
281:12 422:16		354:22 361:10	385:17 398:9

399:6 400:14 401:7 404:16 417:10,10 428:8 441:2,18 463:9 464:22 467:20 471:8 472:17,25 481:13 492:12 certificate 494:1 certify 494:4,13 cetera 465:14 cfi 382:10 cfr 286:6,25 chaired 266:23 chairing 480:1 chairman 267:9 challenge 379:13 379:16,20 challenges 386:3 challenging 249:3 378:9,16 385:2,7,9 385:12,14,23 389:17 chance 420:14 change 261:6,8,10 263:25 380:21 458:25 469:13 470:15 496:4,7,10 496:13,16,19 changed 267:19 289:12 358:11 399:2 469:11 471:3 changes 252:1 349:21 355:1 371:14 384:16 390:5,7 495:10 497:6 changing 386:4 442:16 chanyachukul 384:4	charged 299:4 charles 264:19 265:3 295:6,12,16 295:22 350:1 444:14 486:19 check 393:5 397:25 399:13,23 401:10 405:11 411:12 413:17 414:15 426:8 435:9 444:3 450:21 458:11 461:12 481:1 484:9,21 485:13 485:18,23 checking 373:17 chemchina 470:16 485:5 chemical 253:14 261:6 284:13 285:4 293:18 294:13 299:3 304:14 305:12 339:3 386:13 419:14 420:14 473:11,12 483:13 488:20,21 chemicals 276:7,8 293:17 303:17 470:1 chemists 309:9 chevron 246:18 259:7,11,13,18 chief 266:23 china 469:21 484:9,13,13 chronic 426:11,11 426:22,22 427:1,1 circuit 245:1,1 circumstances 266:15 472:17	cited 353:20 cites 384:2 cl 429:6 claimed 249:17,20 claiming 276:15 claims 308:11,15 319:21 322:20,24 323:9,21 333:15 374:4,8 411:21 412:24 clair 245:2 313:12 clapp 371:4 372:2 372:3,21,23 460:20,20 clarification's 296:11 clarified 415:21 clarify 389:8 414:1 471:21 clarity 393:14 clause 454:14 clear 264:13 265:2 273:10 275:1 342:20 345:3 368:2 371:12 419:11 420:23 479:25 480:2,6 492:10 clearly 254:3 277:11 304:22 310:23 315:9 323:8 325:19,22 341:17 384:24 399:9 409:25 454:10 clinical 251:25 252:2,10 253:1 270:7 clip 416:9 close 407:11	closest 440:9,11,18 465:8 489:17 codafel 284:24 285:13 coincidentally 462:9 colleagues 487:21 combination 431:17 come 258:7 269:4 275:10 278:24 322:12 369:19 379:20 380:21 391:9 414:8 420:23 445:5 462:20 465:4 471:12,18 493:5 493:12 comes 343:25 448:16,16 481:21 coming 309:25 466:18 commencement 251:4 comment 259:11 333:12 358:17 359:10 401:15 404:16,17,18 commentary 301:9 358:5 commented 409:9 comments 298:10 commission 305:22 306:11,16 commission's 307:1 committee 265:25 266:12,20,21 267:8,9 268:4,7,19 268:21 270:13,24 270:25 276:3,4,5
--	---	---	---

279:7 288:23,24 289:1,6,10,24 290:1,3,6,17,18,23 296:11 399:7,14 399:19 400:16 405:3,4,5,16,19 429:20 463:3,6,8 463:10,15,19,20 463:22,23 466:5,9 466:12,18,18,19 466:20,24 467:16 468:20 470:18 479:4,5,6,12,12,13 479:24,24,25 480:1,5,8,9,10,12 480:15,17,19,20 480:21,21,23 481:4,5,8 483:10 489:17,24 committees 467:2 467:11,13 471:24 476:18 478:13,15 478:19 481:10,12 481:15 communication 448:11 479:8 communications 399:18 458:12 community 320:24 328:20 374:14 414:4 434:22 435:25 436:4 compacta 349:24 361:7 427:6 428:17 429:1 434:12 companies 471:1 490:5,11 company 258:9 260:3 265:10,23	266:9 267:1,3,5,7 290:21 312:18 315:18 367:2 372:6 374:12 443:7 469:1 470:11 475:4 comparable 341:14 351:19 352:6,17 362:20 395:22 404:1 compared 362:10 434:13 compares 353:24 compensate 273:2 compensated 442:9 compensation 443:6 complete 253:2 497:8 completed 405:20 410:24 495:17 completely 286:4 286:4 completion 349:7 349:11 474:14 compliance 280:21 287:10,16 459:7 complicated 305:16 comply 287:22 467:16 complying 463:11 compound 386:2 408:2 409:15 compounds 406:13,14,15 407:18 466:7 comprehensive 432:21	comprise 264:7 comprised 471:7 computer 337:12 concealing 490:24 491:2 conceals 282:23 concentration 351:9 concentrations 343:21 349:22 386:13 393:20 concern 277:5 278:18 300:1,6 301:21 387:7 410:1 424:22 concerned 320:5 341:13,19,25 368:12 382:6 420:9 445:3 concerning 281:24 469:7 491:3 concerns 286:18 303:25,25 342:6 conclude 253:19 380:10 420:25 435:4,5 440:19 441:21 451:18,23 453:6 concluded 398:22 402:10 403:9 493:21 concludes 409:11 433:17 concluding 493:16 493:19 conclusion 253:10 280:24 281:13,18 282:15 284:23 329:19 355:20 369:6,8,12 374:17 383:8 403:3,16	409:21 414:8 420:18,23 435:2 448:1 449:21 453:13 459:1 482:3,4 493:5,12 conclusions 284:20 285:2 401:17 429:19 467:25 condition 272:6 conditions 286:20 291:9 387:24 398:2,2,19 399:2 404:4 421:3 conduct 295:21 386:16 387:10 390:13 474:15 conducted 317:16 320:1 331:19 347:16 350:8 392:8 403:24 406:11 411:19,24 457:5 464:4 474:11 conducting 391:15 488:8 confer 339:13 confidential 251:12 345:9 confirm 390:20 391:16 485:1 487:21 confirmation 374:7 confirmatory 453:25 confirmed 293:8 362:12 confusion 368:13 368:18
--	--	--	---

congress 281:6 conjunction 286:17 connected 337:13 connection 359:9 445:3 consecutive 334:4 consequence 258:18 291:20 329:13 471:3 consequences 482:19 conservative 432:6 consider 254:5 261:19 278:20 399:21 404:10 451:13 463:17 consideration 383:6 386:7 430:12 450:12 454:21 considered 260:22 268:18 290:5 306:3 330:6 374:23 375:10 385:6,8 398:20 459:22 considering 444:16 449:18 485:24 considers 426:24 consistent 295:13 338:8 369:20 425:7,11 428:18 428:20 434:10 439:1,6 447:14,16 450:3 452:5 488:22 consistently 277:13 396:6	432:17,22 436:9 454:17 471:9 constellation 446:5 consult 275:6 consultants 481:22 consulting 389:5 consumer 283:22 consumers 261:9 482:17 consuming 416:24 483:7 contact 364:22 460:14 467:15 contain 283:5 contained 403:17 415:7 containing 349:23 371:16 contains 417:15 contemplated 260:15,17 content 417:10,13 422:17 431:15 context 367:4 396:8 433:11 449:4 454:9 457:25 458:2 continue 251:9 296:8 305:19 314:21 359:20 377:25 418:25 422:23 430:20 462:18 485:25 continued 245:15 247:4,5 251:17 286:18 291:11 329:10 369:24 374:11 388:11 393:11	continues 338:19 continuing 301:18 302:21 312:2 350:7,16 448:24 448:25 contract 479:15 contracting 274:2 contrary 425:25 433:25 contrast 339:17 contributed 348:12 contributes 253:11 contributor 332:6 control 283:21 338:9 343:20 351:10 434:13 440:13 461:19 convention 343:18 conversation 312:1 378:19 448:5 454:9 conversations 263:11 345:17,19 366:11 369:22,23 376:8 convince 327:21 convincing 254:3 419:11 cook 424:11 431:9 476:11 copies 495:14 copy 319:8 core 471:8 corporate 304:19 463:4 correct 256:11 257:11,15,20,22 257:24 260:3 265:8 266:17	267:1 268:22 270:18,22 272:19 274:14 275:15 278:13 279:8 280:12 283:25 284:1,10,16 286:16 287:24 288:4 296:14,18 296:24,25 297:10 297:23 298:2,3,5,7 298:17,18,24 299:6 300:11 302:8,11,25 312:7 312:18 315:18,19 315:22,23 317:7,8 317:12,13 319:5 319:16,17,23,24 320:1,2,4 322:5 326:15 327:22 328:13,25 329:1 329:17 330:3,11 331:16,18,21,24 332:5,7,8,16,25 333:25 334:2,4,5,7 334:8,10,25 335:10,12,14,19 337:17 339:24 341:1,4 342:11,12 343:5,9,10 344:16 344:19,20 347:9 347:22 348:3,14 348:17,19 350:2,3 350:21,24 351:21 352:2 354:15,24 355:5,11,16 358:9 360:7,14,20 361:2 361:3,7,8,10,11,17 361:18,24 362:7 362:17 363:1,2,16 367:2,3 368:23 371:6 372:12
---	--	---	--

373:2,25 374:5 375:17 380:19 381:6,12,13,22 382:18 384:18 386:19,20 389:14 392:4,5,13,21 393:23 394:3,16 394:21 395:19,20 395:24,25 396:11 399:25 400:24,25 401:2,3 407:4,13 407:14 408:3,4 410:8 411:22,23 411:25 412:6,9 417:6,9 424:13 427:4,7,17,20 429:8,12 431:10 433:8,9,22 434:4 434:14,15 444:9 444:11,18,22 445:7 454:19 461:4,5 465:12 468:2 472:8 473:16 475:13 489:23 497:8 corrections 497:6 correctly 306:21 337:10 379:3 393:10 418:23,24 cory 302:6 316:24 330:6 414:14 429:5 cost 292:13 counsel 297:5 313:11,16,17 318:9 357:21 359:2 426:17 472:13 494:14,16 495:14 count 335:4 336:10 354:23	355:18 counted 334:19,21 counting 334:19 338:6 339:14,18 346:3,12 countries 279:17 279:24,25 364:17 364:25 365:3,5 375:16 468:1,4,7 468:11 478:16,25 483:12 484:7,8,10 484:17,18,19,22 country 483:21,25 485:10,15,19,24 486:9 counts 337:8,11 338:23 339:2 390:8 428:25 county 245:2 313:12 couple 406:21 409:3 course 267:4 284:12 287:8 299:15 320:16 322:22 355:25 373:11 375:14 377:2,13,14 392:9 392:23 393:17 417:7 420:5,8,11 436:12 442:24 443:4,16 444:4,4 458:16 459:20 468:3,25 475:11 475:14 483:9 489:3 491:11 court 245:1,18 251:6 275:11 306:24 314:25 317:22 345:23 487:13 494:1	cover 268:25 covered 269:1 455:1 covers 282:23 cpl 298:16 craig 246:7 create 374:20 375:6 407:10 492:3 created 463:3 464:21 465:19 creating 375:3,11 437:8 creative 336:1 credited 341:2 criminal 282:5,21 criteria 281:1 290:13 398:5 464:6,6 466:14 479:21 480:2,6,24 critical 440:10,10 critically 435:16 criticized 366:22 crop 245:8 296:13 411:9 470:11 495:4 496:1 497:1 cross 299:5,14 418:20 419:24 crossed 299:9 cs 495:15 ctl 248:16 308:3 309:17 312:21 316:13 318:25 319:25 328:25 331:4,19 335:21 340:24 341:3 347:11,25 350:9 353:13 360:11 372:4 376:25 379:12 395:5,17 395:21 401:19	402:22 404:25 411:15,25 428:24 429:5 455:8 457:10 487:18 cumulative 292:20 current 426:11,22 484:6 currently 254:15 284:8 354:21 customary 344:11 customers 324:22 416:17 cut 264:13 435:19 437:21,22 445:10 cycling 255:8 d d 245:22 247:1 248:1 d.c. 246:15 damage 253:15 255:2,3,8 294:17 333:16 387:19 389:10 419:16 421:24 427:2 432:23 damaged 406:25 data 309:18 324:19 328:19,24 354:23 371:13 380:16 396:24 403:10 409:12 413:8 423:23 430:19 431:25 452:10 461:1 479:14 date 248:22 249:5 249:9 251:3 257:23 297:14 308:21 318:22,22 331:6 349:7,11 382:3 392:16,17
---	--	---	---

397:24,25 398:8 400:21 430:21 460:9 463:12 465:16,16 484:14 484:25 486:16 494:22 496:24 497:12 dated 248:6 249:7 250:2 304:24 372:15 377:14 379:1 415:23 430:13 444:8 dates 298:6 380:9 392:17 day 251:5 306:15 348:21 378:20 431:25 450:20 455:17,24,25 460:11 462:20 494:6 497:15 days 390:18 391:16 393:21 394:3,12,15,15,19 394:23 495:17 deactivating 256:4 256:4 dead 406:25 437:14 deal 287:3 dealing 367:16 deals 258:10 270:13 dealt 364:8,11,17 466:6 death 373:5,14 377:10 389:6 482:17 deaths 304:6 deceased 245:6 december 249:2 348:18,23 357:20	358:12 363:16 371:1 372:17,18 378:13,16,24 379:1,3,4 399:25 decide 288:7 458:14 489:24 decided 305:13 306:15 358:11 decision 264:25 267:4 288:22 289:3 290:12,19 290:20 306:16 307:1 330:12 358:9,14 364:1 374:23 375:11 404:19,20 449:10 481:4,8 484:2 decisions 266:25 267:3 289:6 489:19 490:4 declare 497:4 deemed 280:15 338:21 497:6 deep 439:16 defence 386:16 387:10,15,16 defendant 246:18 defendants 245:9 246:13 defense 386:21 387:18,18,21 defensive 309:18 324:20 deficits 384:11 define 252:24 294:3 299:13 defined 396:4 398:15 463:18 466:14 definite 440:20	definitely 419:25 definition 251:18 294:7,24 295:11 443:20,21 463:1 491:4 definitively 453:13 degree 339:14 394:14 407:24 delay 292:6 358:24 delayed 333:2 delegated 267:4 deliberate 449:10 deliberately 260:10 448:5 450:1 deliberation 483:4 deloitte 471:22 demanding 359:3 363:19 demonstrate 361:15 395:5 401:20 402:23 demonstrated 418:19 demonstrating 384:10 density 371:16 deny 253:16 386:25 485:1,22 department 265:6 266:4 289:1 423:7 427:16,21 dependent 435:16 492:1 depends 289:14,15 299:12 383:10 457:25 deponent 495:13 497:3	deposing 495:13 deposition 245:15 251:5,15 279:1 298:1 315:2 337:4 359:18 369:12 422:22 463:2 493:14,20,21 depositions 420:13 depth 438:21 deregistered 305:3 dermal 386:17 387:11 describe 256:24 263:23 266:19 327:14 336:15 430:8 444:1 476:22 described 255:17 260:24 265:7 284:24 286:14 297:25 312:21 320:25 351:23 432:25 469:3 481:12 describing 252:15 340:23 347:14 381:16 388:2 452:8 description 248:3 291:4 descriptions 471:5 design 261:25 360:23 designed 333:14 392:22 416:24 designee 304:19 designing 260:19 333:18 desire 458:21 despite 342:6
--	--	---	--

destructive 303:17 detail 268:17 345:7 348:5 438:22 439:17 440:5 485:12,18 detailed 283:11 284:2 350:12 398:4 458:7 details 279:3 460:23 detect 262:5 338:11,13 340:15 354:12 371:14,18 377:10 detected 262:9 390:20 detection 312:4 deteriorated 355:14 determination 466:21 determine 274:13 312:22 315:21 317:6 340:13 350:8 391:4 407:23 426:5 determined 482:14,22 483:3 develop 259:21 260:9 272:6 developed 256:1 developing 275:3 development 249:18,21 262:14 263:9 266:3 300:6 340:21 452:3 490:6,12 developments 300:1 301:21 device 282:24 335:2,2	di 302:9,15 316:24 329:24 330:7 346:23 350:19 353:6 355:3 363:1 438:9 450:14 455:9 diana 245:4 495:4 496:1 497:1 dichloride 249:11 331:8 349:21 359:25 362:13 392:8 393:19 died 251:25 diego 248:19 343:3 diet 278:22 dietary 386:17 387:11 difference 276:22 346:13 356:7 357:8,9 383:14 426:24 437:18 439:10 478:14 488:17 differences 338:20 338:23 341:18 346:4 354:13 380:17 different 254:16 254:19 267:21 273:6 277:3 285:18,21,22 286:4,8,8 297:2 320:23 321:18 339:2 342:4 348:4 348:10 380:16,21 382:9,14 386:13 393:13 398:3,19 404:24 408:19 428:10 436:5,10 436:14,15,15	459:17 464:3,9 467:1 468:1,4 475:6,10 477:15 477:15 478:13 482:12 487:9 differently 375:18 436:13 489:5 difficult 262:19 267:18 371:14,18 digital 337:13 diminish 482:24 diminishes 291:23 dino 350:19 355:3 direct 296:19 415:2,8 416:7 452:1,2 467:15 489:14 directed 277:20 386:1 directing 359:23 direction 268:16 458:22 directly 463:8 467:21,22 director 332:3 disagree 324:7 387:21 disapproving 268:14 disclose 292:12 364:4 397:16 455:7,12 456:11 457:4,9 458:13 disclosing 292:21 disclosure 291:16 293:17 346:24 368:14 397:19 400:23 458:22 disclosures 365:17 discourse 347:2	discovery 297:5 300:21 discredit 367:5 387:22,25 discrepancy 339:6 discrepant 321:17 discretion 473:15 discuss 346:22 422:7 431:14 466:13 486:2 discussed 265:16 265:22 299:12 331:23 385:16,17 385:18 386:5 399:8,9 422:11 463:25 465:13 486:13 489:15 discusses 318:25 discussing 319:3 360:21 382:20 398:21 409:8 423:9,16 424:4 460:24 464:1 491:6 discussion 249:1 406:18 407:21,22 437:2 446:23 466:17 480:4 487:3 discussions 264:21 265:15 346:23 350:19 365:7 370:24 374:10 383:7 431:18 433:12 488:6 disease 248:10,12 248:14 249:1,13 249:18,21 251:19 251:20,20 252:1,3 252:8,12,19,23,25 253:12,20 255:21
---	---	--	--

256:7 258:5 259:4 259:9 261:14 262:8,14 263:10 264:5,10 269:12 269:19,23,24 270:1,5 271:7 273:11,11 274:3,6 274:17,17,22 275:4,14 293:19 293:20 297:9 298:10 302:3,9 303:9 307:11 323:17,22 324:15 328:5,7,11,17 329:5 370:25 372:11 381:22 385:12 410:1,12 410:17 412:8 417:25 418:13,18 419:12,16 420:10 420:15,24 421:6 421:11,25 423:18 445:9,22 446:2 451:12,14,19 471:15 474:8 diseases 269:9 270:11 dishonest 492:22 493:3 disprove 366:14 dispute 280:4,7 357:7,10 388:8 disrupt 294:18 distinction 463:6 distort 320:19 distributed 345:4 diuretic 390:6,7 391:4,5,11 dividing 427:11 divulging 422:17	dixon 405:9,10 document 248:25 249:3,15 283:4 290:16 297:2,4 301:6 318:13 319:11 323:16 325:1 327:16,18 331:2 342:19 347:10 370:23 372:15,19 400:20 400:21 401:25 405:20,25 406:7 414:20,25 415:7,9 415:22 423:4 424:6,17,19,24 429:13,22 430:1,6 430:9,10,15,22 431:1,13,19 432:2 434:16,19,25 444:6 447:5 461:15 document's 424:9 documents 297:7 366:7 422:13,14 464:1 476:6,12 doe 248:5,8 257:10 doing 270:4 316:5 336:2 366:9 373:22 383:1,19 423:8 424:23 431:24 436:10,13 442:15 458:20 479:14 dollars 292:5 domain 474:16 dominant 470:1 dopamine 262:11 300:12 302:24 333:23,23 349:22 349:23 351:9 371:16 387:20	393:20 396:6 437:7 455:4,5 dopaminergic 248:18 251:23 295:7,10 331:11 334:10,13,18,20 336:10 339:4 343:8 344:1 351:16 352:5,7 357:12 360:1,25 361:6 362:15 363:12 369:25 370:7,12 371:15 373:5,14 377:11 387:20 392:10,25 393:19 394:2,5,11 394:14,18,22 395:18,22 396:4 396:25 398:23 403:11,25 406:25 407:20,24 408:22 409:16,24 412:15 423:16,20 425:12 429:1 432:13,19 433:15 434:12 437:1,2 446:10,21 455:8,13 456:2,13 457:11,16 doping 361:9 dosage 285:19 dose 261:13 309:19 310:8,9 334:6 349:21 370:17 382:8 385:24 386:11,12 386:14 387:4,5 388:17,18 390:18 393:22 394:12 408:2 421:18 425:14 426:3,4,5 426:10,20,21	427:5,7,11 432:15 432:20 434:3 442:1 456:13 dosed 316:18 349:24 373:6,15 382:17 394:2,6 425:13 432:14 440:13 441:25 doses 273:5 276:7 277:1 278:17,19 278:23 300:10,13 310:7 406:16 408:20 409:15 412:14 426:12,23 427:2 430:13 432:19,20 446:17 455:13,15 456:2,3 476:7 dosing 309:20 334:3 361:2,5 390:7 391:4 393:1 408:2,20 409:14 429:3 double 393:5 doubt 287:25 288:7,11 289:21 290:1 458:20 459:7 480:7 dr 245:15 248:21 248:23 249:5,9,11 298:15,21,25 299:17 301:24 302:13,15 303:1 309:25 310:18 311:21 315:21 317:4,15,23 318:1 318:4 319:4 330:6 330:7 331:14 332:9,12 333:4,5 333:10 335:11 336:4 337:20
---	---	--	--

339:17 342:6 344:22,25 345:3 345:18 346:4,22 346:23 347:12,16 347:20 348:2,12 348:15 349:16 354:4,12 355:3,13 356:1,16 360:13 360:24 361:5,13 362:11 365:17,20 365:21 367:23 369:18 372:12,21 372:21,23,23,24 373:4,13 378:21 379:25 380:3,3,4,5 380:7 385:6,6,8,8 393:15 394:1 395:3,21 396:23 400:13 401:17 402:10 403:9 406:11,22 407:2 409:5 410:24 411:2,7,7,24 414:14 420:25 429:6,10 436:9 437:22,23 439:3 440:25 447:1,3 454:18 455:3 460:1,20 476:8,9 476:10 495:5 496:2,24 497:2,4 497:12 draft 249:19,22 430:13,15,16,16 430:18 449:7 drafted 449:7,14 449:17 drafting 447:18 drafts 431:18 dreadful 421:6	drs 320:3 378:15 379:19 380:12 385:1 due 274:8,10 408:15 435:6 duly 251:2 duplicated 310:2 duration 261:20 385:24 duty 258:16 287:6 425:1 429:25 dying 272:15 389:7 436:24	easy 386:25 ec3a 245:17 editing 472:11,14 472:19 editors 443:12 educated 302:13 350:23 educating 366:23 effect 248:17 252:16 255:12 279:5 285:17 286:3,5 294:14 296:1 309:19 310:11 343:7 347:13 350:10 361:21 362:9 371:11 384:9 386:9,24 387:4 390:6 391:6 398:17,18,20 400:12 407:19 409:16,23 412:24 424:4,21,22 425:18 427:8,10 435:2,7 441:25 442:4 446:13 447:16 450:9 455:20,22 459:3 478:23 480:13 481:25 482:6,25 487:5 488:16,16 489:8,11,16,25 491:3 effective 260:12 270:10 effectively 351:24 effectiveness 258:11 416:22 effects 261:9,18 262:5 270:5 271:16 272:20	274:24 279:8 280:10,20 292:12 293:17 294:1 300:9 317:1,6 331:11 351:21 386:14 390:12 393:17 406:12 441:22 449:3 481:6 483:5 491:8 492:6 493:3 effort 261:5 efforts 277:19 292:5 eight 272:16,21 273:7,14,18 either 290:6 309:5 417:4 441:3 446:14 463:12 479:15 481:15 element 249:23 444:20 eliminate 260:20 elliott 460:17,19 461:9 ellis 245:16 246:14 email 250:2 315:1 459:25 460:3,10 460:13,14,22 emerge 430:20 emerging 413:9 emetic 260:13,14 emphasizing 410:1 employ 442:18 employed 284:20 372:6 481:11,14 481:14 employee 494:13 494:15 employees 258:15 285:3 289:8
	e		
	e 245:22 246:1,1 247:1,1 248:1,1 496:3,3,3 e.g. 371:13 earlier 262:16 264:23 269:18 271:6 281:14 308:19 316:8,23 325:17 349:5 350:9 379:8,9,10 399:24 401:4 406:17 420:22 424:5 433:13 439:21 454:11 455:6 456:16 458:17 459:12 460:9,20,24,25 462:20 479:6 481:21 486:2 487:3 489:20 491:6 early 252:23,24 269:20,22 271:7 earth 256:4 easily 418:20 east 470:13 484:8		

301:13 471:7,9 employment 333:11,13 349:4 460:1 encompassed 368:22 encourage 256:2 encouraged 289:25 encouraging 288:5 ended 383:1 endpoint 309:21 427:2,7 endpoints 302:22 303:3 350:11 ends 479:23 engage 305:14 engaged 309:5 312:1 364:12,14 413:22 490:5,12 492:3 engagement 364:21 england 483:16 english 372:19 enhance 366:25 enhanced 361:2 enormous 292:13 ensure 325:15 328:17 entered 461:24 entire 268:20 345:3 373:1 401:12 467:11 entirety 403:8 entities 304:20 463:2 entitled 297:8 299:25 308:2 319:15 323:17 328:6 369:14	473:5 environment 272:23 282:1 environmental 271:5,17 274:18 275:24 277:6 281:24 357:11 363:11 397:18 429:14 434:17 enzyme 437:3,6 epa 249:7 276:2 277:22,25 278:7 282:20 284:5 285:5,12 286:11 286:16 288:1,11 290:6 296:17 346:7,10 358:12 359:3 363:14 364:11,12 368:14 397:20 398:7 400:9,10 403:6,17 404:23 456:19,25 457:6,10,15 458:18 466:21 478:24 479:9 480:24 489:22 epidemiological 292:21 418:17 451:22 452:7 453:5,11 epidemiologists 275:7 epidemiology 253:18 254:14 419:1 420:22 equally 276:23 322:15 equipment 355:15 356:12 equivalent 276:13	equivocal 254:15 er 317:17 err 459:8,14 errata 495:11,13 495:17 especially 293:18 416:25 esq 495:1 essentially 303:22 335:2 423:8 456:7 461:14 establish 308:9 453:20 485:16 established 320:24 324:15 374:3 375:16 389:23 establishes 432:5 establishing 292:10 309:19 319:20 341:2 432:8 estate 245:5 estimated 426:10 426:20,21 456:12 estimation 430:24 et 245:6,8 300:3 352:22 353:5 362:25 370:11 429:4,4,5 433:7 436:19 446:15,17 465:14 ethically 490:17 ethos 473:17 483:9 eu 305:22 306:2,16 306:20,24 467:17 483:21,25 484:3,5 europe 245:19 303:13 304:25 305:2,3,5,6,13,16 470:13 473:25 474:2 478:14,19	european 304:15 306:10,17 467:15 470:13 484:1 evaluate 479:19 evaluated 263:9 437:25 evaluates 279:7 evaluating 424:1 evaluation 249:17 249:20 423:7,21 423:22 426:2 427:15 428:19 429:25 434:2,7 479:18 evans 245:18 251:7 494:3,21 eventually 294:18 329:18 everybody 305:12 414:3 evidence 253:17 253:22 254:3,8,11 254:13,22 264:13 269:6 329:18 356:22 357:1 366:1 367:22 368:1,4 389:3 400:11 418:18 419:10 420:21 425:7,11,16,17,25 432:12,22 433:25 437:21 439:12 448:21,23,25 449:6,18 450:11 451:19,22 452:1,8 453:5,12,12 454:25 455:4 459:1 478:21 486:1,13 487:9 489:4 492:1,2,9
---	--	--	--

exacerbation 362:9 exact 308:21 321:23 376:1 411:11 419:15 484:14 exactly 262:17 264:20 284:2 300:18 310:17 321:14 333:18 344:8 350:5 370:16 376:25 405:8 414:15 473:17 479:7 exaggerate 276:8 exaggerating 273:4 276:18 exaggeration 277:12 examination 247:5 251:17 369:6,9 examined 355:15 356:11 example 260:16 271:5 273:3,5 275:25 316:22 376:9 435:7 436:6 467:14 484:9 486:3,10 491:4 excerpt 328:6 exchange 250:2 291:9 459:25 460:10 exclude 481:15 excluding 486:6 exclusively 276:1 276:19 excuse 286:8 311:4,6 312:13 313:2 388:23 408:8 449:11	executive 266:12 266:20,21,22,24 267:8,9 268:4,7,19 268:21 351:4 432:11 468:20 470:18 481:3,7 489:24 exemplified 488:6 exemption 287:17 287:19 exhibit 248:5,7,9 248:11,14,15,17 248:21,23,25 249:3,5,7,8,10,12 249:15,17,20,23 250:2 256:13,14 256:17,19,20 258:19 296:20,21 298:9 318:7,9,10 328:1,3 330:20,24 342:17,24,25 343:1 347:4,8 359:21,24 370:2,3 370:19 378:1,4 392:2,4 400:5,7 406:1,4 408:25 409:2 410:3 414:18 416:8,8 422:5,13,14,25 423:2 430:3 443:24 444:2 459:23 exist 397:1 403:12 existed 267:19 existence 467:9,10 477:6,10 existing 256:9 exists 451:23 453:6 473:7 exoneration 287:15	expanding 258:11 expect 366:16,16 417:19 473:8 expected 274:21 309:5 expecting 309:14 expense 292:6 experience 472:23 475:18 480:1 490:2 experiment 348:22 350:4 391:19 399:2 473:9,9 experimental 254:12 308:16 322:20,25 323:10 328:11 332:15 390:3 398:19 experiments 263:14 266:10 273:8 295:8 316:17 332:18 389:1,20 399:10 433:6 446:14 454:5 474:12,13 expert 274:23 284:23 298:2,4 358:18 444:15 446:19 experts 260:23 285:3 287:4 471:10 481:23 explain 338:23 346:13 358:23 373:18 408:13 439:6 478:3 explained 433:14 explaining 439:14 explains 416:21	explanation 321:8 321:12,15,20,20 322:2,4,13 407:2 435:6 439:1 440:23 explanations 369:19 373:15 433:13 439:13 explored 269:16 explores 270:21 expose 273:4 exposed 272:4,5 387:6 421:3 exposure 249:18 249:21 253:11 259:23 261:20,20 272:22 276:8,10 277:2,21 278:17 278:22 285:20,22 294:15 319:21 323:21 324:15 338:14 384:10 385:24 386:17 387:11 388:1,24 389:12 423:10 432:24 451:20,24 452:2 453:7 475:5 exposures 261:13 express 255:11 437:2 expressing 381:5 381:15,17,19 expression 264:2 extensive 266:2 487:8 extent 280:25 281:16,18 382:10 390:17 422:15 439:16 external 248:13 271:4 272:5 303:8
---	---	--	---

307:10 325:3,24 413:16 439:12 471:10 472:13 externally 312:5 extrapolate 272:20 273:21	fairly 407:15 fall 303:7 474:22 false 282:20,21,25 283:4,5 457:24 falsifies 282:23 falsify 282:13 familiar 287:2 298:6 304:17 409:3 familiarize 430:5 families 418:8 far 261:4,5 309:3 318:16 357:19 390:20 418:18 439:16 451:1 484:8 farmer 416:25 417:2 420:3 farmers 418:8,10 421:4,9 farming 416:20 favor 448:24 february 245:12 249:6,7,13 251:4 306:15 392:17 400:22 403:17 404:24 410:7 415:23,25 494:6 494:22 feed 386:13 feeds 477:8 feel 266:15 415:5 feels 315:2 felt 310:23 491:5 fictitious 282:25 283:5 field 274:23 fifra 281:6,6 282:4 282:6 400:23 fifth 380:11,12 383:21 406:10	fight 303:16 figure 407:6 423:25 468:13 file 282:10 288:3 288:13 364:1 397:23 filed 306:6,13 363:24 398:7 462:5 final 266:7,25 288:22 289:3 290:18 296:16 322:17 390:18 393:21 413:7 430:15,22 466:21 finalists 335:14 finalized 345:11 358:13 finally 440:19 financial 293:11 307:20,22 443:1 financially 494:14 find 310:11 366:3 366:13 369:25 387:4 389:10 435:23 438:3,5,14 438:25 439:6,9,19 439:25 445:10 finding 275:22 276:4 277:20 279:4 280:14,15 286:3,6 295:13 310:4,6,7,9 343:25 351:19 352:6 370:6 382:23 388:13 395:16 396:2 398:14,22 399:1 404:5 413:1 413:6 425:20 426:1 433:3,19 434:1,10 435:6	441:17,18 449:3 463:3 467:25 479:11 481:5 482:1 findings 249:2 262:19 265:1 275:25 278:25 280:19 284:3 285:14,15 290:13 291:10,11,23 292:5 293:7,8 308:14,16 309:17 310:1 317:11,15 317:23 320:6 321:4,25 322:4,19 322:21,23,25 323:2,10 325:3,16 325:24 326:8,13 327:24 330:4,9 339:23 340:9 347:21 350:9 351:19,23 352:18 353:12,16 357:15 358:10 361:14,23 362:2,4 365:23 366:25 367:6 369:20 370:25 371:6 372:12 373:18,24 374:2 377:6 382:21 388:5 395:4,14 396:5,9,10,18 401:19 402:22 404:1,25 412:8,19 413:10,19 414:8 423:9,12,13,15 432:18,25 454:11 458:8 459:15,18 462:21 463:18 466:7,11,13,23,24 479:17,19 482:18
f			
f 245:22 facilitates 291:10 facility 302:16 fact 282:24 299:3 320:24 326:24 353:15 362:5 374:12 375:24 380:15 381:24 387:18 431:20 449:2 450:10 468:3 factor 252:20 253:7,20 269:5,8 269:11,25 270:3 271:5 273:3 274:18 293:14 440:10,10,19 441:6 442:4 factors 252:19 253:4 262:6,11 271:18 341:7 379:18 427:12 435:17,21 440:8 440:20 456:6 fail 282:10 failed 413:1 432:17 458:7 failing 292:22 fails 495:19 failure 354:12 fair 258:10 320:9 329:12 330:16 365:24,25			

488:22 490:9,14 490:17 491:8 492:6 493:2 fine 263:7 295:1 315:3 356:14 427:24 439:24 443:22 449:13 457:3 460:5 470:4 fined 283:6 finish 313:13 452:19 finished 337:10 415:18 fiorillo 246:8 fire 283:2 firm 472:19 first 256:24 261:1 262:4 272:18 278:5 295:15 300:2 303:12 308:7 316:16 318:12 319:14 323:20 329:3 335:8 340:18,20 340:24 342:8 347:21 350:14 356:3,24,25 357:4 357:5 366:22 371:8 373:12 377:9 380:5 382:2 382:3 388:12 396:21 406:21 411:18 412:10 418:7 425:6 428:2 428:4,22 432:10 451:7,15,21 452:13 460:3,13 462:25 463:3 465:2 479:16 487:4 494:5	five 283:7 347:19 419:13 fix 369:1 flag 483:4 flaw 366:4 441:20 flaws 366:13 flip 383:21 floor 246:10 flourishes 291:8 focus 304:11 focused 251:21 folks 296:13 407:2 486:22 follow 312:25 340:11 390:3 413:20,25 415:3 followed 289:17 306:4,20 308:4 313:1,2 following 299:24 338:11,13 350:18 355:2 384:16 392:10 393:18 407:25 429:2 432:23 436:14 460:11 follows 251:2 forefront 376:3 foregoing 494:5,8 497:5 foresight 478:8 forget 269:2 376:2 450:2,3,6 forgive 392:20 form 254:1 260:13 261:16 267:2,7 269:13 272:10 286:7,21 287:12 291:3 294:12 295:17 304:7 305:15 306:18	318:3 326:14 327:6 344:3 355:22 358:21 359:7 361:19 367:7,14 395:10 421:7,13 440:3 442:6 447:12 448:18 449:16 454:23 470:2 472:12,22 473:4 474:9,11 475:9 483:8 486:10 487:1 488:24 491:19 492:8 format 407:8 414:21 formed 282:15 463:9 471:1 former 298:1 formerly 284:8 formulation 259:22 formulations 260:9 forth 431:15 forward 258:14 315:2 422:12 488:19 foster 297:23 found 252:5 289:2 295:9,9 300:9,12 334:15 339:3 352:5 353:9 355:19,21 356:12 357:13 362:6 388:14,15,24 394:25 395:18,22 418:12 435:12,13 435:14 439:1 443:14 447:15	foundation 447:12 four 273:22 275:3 275:18,18 332:21 333:2 347:19 424:10 431:17 467:1 470:12 fourth 271:25 fractionator 334:23 335:18 336:10 337:20 340:18 frame 338:6 339:18 france 483:18 francisco 246:10 fraudulent 282:25 283:6 492:7,11,16 492:19 fredriksson 300:3 free 415:5 freeman 417:2 frequency 285:21 361:2,9 frequently 385:18 417:15,18 front 304:16 313:13 328:10 354:18 422:25 468:18 fulbright 471:22 fulfill 479:21 fulfilled 398:4 480:3 fulfilling 425:1 463:7 full 347:6 383:5 396:21 428:22 457:4 458:22 459:7 fuller's 256:4
---	---	---	--

fully 287:22 381:24 454:11 458:20 459:8 481:11 fulsome 369:4 function 252:2 289:24 294:6,14 394:18,22 424:25 455:5 463:10 466:4 479:14,15 functioning 270:9 fundamental 261:11 fundamentally 475:13 funding 265:14 furnished 282:16 further 254:13 265:22 266:11 316:3 328:15 339:22 340:7,10 340:13 354:22 361:1 362:19 375:12 448:11 467:6 480:25 494:13 future 323:23,25 413:9 425:5	292:14 304:2 383:17 416:17 420:7 470:23,25 473:25 474:4,25 generate 291:1 382:16,25 383:4 generated 256:6,8 271:16 309:17 324:19 349:3 431:13 generating 479:14 generation 285:22 genes 274:17 genetic 252:20,21 271:3,3,17 274:3 gentlemen 275:11 317:20 genuine 389:5 491:10 genuinely 408:15 436:24 491:15 geographic 473:21 geographical 274:20 george 307:16,17 germany 483:20 getting 279:2 312:23 315:22 407:6 420:14 448:2 466:8 give 251:18 273:5 274:17 279:22 280:2 288:25 289:12 326:23 333:5 341:10 352:12 365:11 382:3 398:8 406:4 411:2,11 432:2 440:5 444:3 463:12 465:16 473:6 474:25	477:24 478:6 486:16 given 268:17 276:19 287:4 297:12 299:10 300:10,13,20,21 300:21 316:24 319:8 333:24 365:13,14,15 380:15 393:10 394:12 406:15 418:16 426:23 428:12 436:20 471:5 497:9 gives 416:21 427:15 giving 347:6 472:7 478:8 487:9 globe 487:24 go 269:14 272:11 277:25 296:2 297:15 298:25 299:17,24 301:1 307:9 308:1 310:9 310:18 313:12 314:25 315:2 316:11 318:7 325:1 326:6 329:2 329:21 330:18 336:17 337:19 348:9 352:11 358:19 359:13 360:23 361:4 362:11 368:11 370:2,15 377:7,20 380:4 385:19 393:6 409:4 415:5 415:13,15 422:6 422:12 430:1 435:1 437:23 439:17 442:2	443:23 453:15 462:24 464:17 467:6 470:10 480:18 483:22 488:19 493:18 goes 254:14 339:21 382:15 480:9 going 253:5 278:24 281:17 284:4 296:4 313:5 313:6,8,8,9,9,10 313:11,15,20 314:20,21,22 321:3 324:18 337:9 342:8 348:8 359:12 366:11 368:11 384:2 385:25 386:1 409:3 415:7 420:10 430:20 431:25 435:1 462:14 gold 320:14 good 251:3 335:24 335:25 359:12 474:24 475:1 goodness 305:11 governance 465:13 466:1 government 305:22 306:10 government's 306:25 graham 246:23 granularly 261:22 greater 274:21 339:14 361:10 group 265:23 266:22 267:24,25 268:2 270:19
g			
gain 328:19 game 309:25 general 255:25 257:5,25 275:8 283:12,13 292:2 308:25 408:1 409:14 generalised 371:10 generally 253:2 264:6 268:1 269:22 271:20			

271:18 298:16 302:6,9 303:15 308:19,25 309:4 319:25 320:3 329:24 343:20 350:20 355:18 427:22 428:11 431:4 436:8 455:9 462:24 463:25 464:18 469:12 471:1,2 472:11 476:2,4,13 477:8 489:15 groups 274:21 302:1 309:8,10 319:21 330:2 454:1 464:10 476:19 grow 270:8 grower 416:25 417:2 growers 421:4 guarding 459:9 guidance 284:2 guide 297:1	hang 311:9 happen 273:9 363:9 389:7 447:20 449:14 450:2 happened 290:10 448:24 472:23 475:23 happens 276:17 happy 369:1 375:24 492:18 hardware 354:14 355:1 harm 283:22 291:24 482:16 harsh 438:13 hazards 291:24 head 253:4 265:18 265:19 266:2,5 269:3,4,7,11 371:25 372:25 373:1 398:8 405:14 431:4,5 463:13 468:13 477:3,4,24 484:21 headed 249:3 476:2 heading 270:25 301:20 303:7 336:19 337:6 headquartered 485:7 headquarters 469:14 health 261:8 264:22 265:17 270:15,16,20 280:10 291:24 292:11 303:18 308:23 374:19,20 375:19 389:19	429:20 431:6 434:22 435:25 464:21,22,25 465:17,20,25 471:6 473:19 474:7 476:17 477:7,8,9,12,17 478:11 481:22 482:19,25 490:24 heard 252:15 366:12 393:9 482:6 heart 305:12 heavily 292:11 held 245:16 284:8 410:23 help 268:3 337:11 417:5 437:11,15 helped 449:7 helps 292:5 herbicide 328:21 herbicides 307:15 307:23 hereto 497:7 hierarchy 470:22 high 265:11 273:5 276:7,25 278:17 278:19,23 310:7 387:4 406:16 408:2,20 409:15 412:14 419:1 442:2 higher 266:8 267:7,11 285:21 432:20 442:1 highest 367:1 highly 286:5 345:9 hindsight 383:12 383:12 447:21 454:14 458:24 488:12	hippocampus 371:13 hired 377:3 histopathological 436:22 history 462:24 467:6 473:23 hm 297:6 428:1 451:6 460:12 461:10 hoffmann 245:4,5 417:3 495:4 496:1 497:1 honest 414:2 honorariums 443:6 hope 258:14 horrible 304:6 hour 369:1 house 246:24 328:15 housed 417:12 housekeeping 391:24 human 249:23 255:3 258:13 262:8 272:3 273:2 273:9,12,15,19,24 276:17 292:13 303:17 381:21 385:12 386:1 387:6,25 389:18 444:20 446:6 451:20,22 452:6 453:5,11 482:19 humans 387:3 413:11 451:14,24 452:2 453:7 husch 246:18 huschblackwell.... 246:21
h			
h 248:1 384:7,7 496:3 ha 374:17,18 375:6 half 348:24 358:3 358:13 363:15 404:15 hallmark 264:4 320:16 hallmarks 262:8 hand 292:4 397:24 handed 330:23 347:8 378:3 406:3 hands 488:15			

hydrate 331:8 392:8 hydroxylase 437:3 437:5 hypotheses 373:17 hypothesis 253:16 255:14 258:3 261:12 274:7 396:25 403:11 407:5 hypothesised 274:12	ii 284:22 iii 284:23 illinois 245:2 313:13 420:12 imagine 367:4 450:19 imaging 337:13 immaterially 282:24 immediately 318:24 impact 262:22 344:1,19 421:6 464:1,10 483:6 impacted 439:5 443:2 impeached 368:23 implemented 355:2 implicated 259:3 implicating 302:2 implication 374:6 implications 430:12 importance 293:16 important 255:10 292:12 293:2,13 339:22 340:7 341:22 380:25 386:6 441:6,18 452:12,13 487:3 488:23 489:1 importantly 277:19 imprisoned 283:7 improper 491:13 improve 346:25 354:22 improved 355:15 356:12	improving 260:6 inability 276:9 inappropriate 367:16 472:16,21 474:23 inaudible 456:24 incidence 274:22 285:21 incidents 419:3 include 254:11,19 262:10,13,18 263:13 279:14 320:3 390:4 399:4 401:11 414:24,25 442:11 454:11 463:2 468:3,6 489:3 included 263:15 263:18 266:1 316:10 367:21 391:12 399:15 405:6 414:20 447:14,15 471:9 includes 258:25 259:2 260:1 284:19 414:21 including 252:6 282:14 325:17 368:7 380:16 382:9 389:21 397:17 404:10 406:12 411:6 428:24 459:25 inclusion 459:14 472:7 inconsistent 280:21 incorporate 459:17 incorrect 368:20 438:14	incorrectly 435:24 436:2 438:1 increase 264:1 419:2 increased 361:9 increasing 361:2 independent 245:5 253:23 317:5 320:7 325:13 333:22 336:8 337:21 339:2,9 341:15,21 351:20 351:25 353:8,16 362:6,22 373:24 376:14,24 388:6 395:23 396:2,13 396:15,18 407:3 429:9 436:20 439:2,2 442:7,11 442:17,25 443:19 450:5 453:25 454:19 independently 442:19 independents 442:22 indicate 425:17 482:15 indicated 255:1 277:16 295:24 350:22 431:23 432:6,22 484:23 indicating 363:17 371:10 indication 365:11 483:4 indirect 364:22 individually 245:4 induced 331:9 360:1 380:14 381:4,9,14 383:23
i			
i.e. 423:6 477:8 i.p. 349:24 412:12 425:14 432:15 ici 255:15,16 259:13,18 260:1,2 289:8 ideal 321:19 ideas 291:9 identical 436:10 439:4 identically 450:4 identification 256:14,17 296:21 318:10 328:1 330:20 342:17 347:4 359:21 370:3 378:1 392:2 400:5 406:1 408:25 410:3 414:18 422:5 430:3 443:24 459:23 identified 455:17 467:1 469:2 481:9 identify 291:23 328:3 331:2 335:3 430:8 441:20 444:1			

391:16 397:1 403:12 407:19 409:12 induces 361:16 inducing 395:7 401:22 402:25 induction 451:12 inevitably 291:17 infer 324:17 inferring 449:9 482:25 inflammatory 432:23 influence 325:8,13 326:21 influencing 325:4 325:7,25 327:13 327:15 inform 357:11 363:10 446:19 information 281:24 282:13 283:15,17 284:6 284:18,22 286:12 286:13,16,17,17 287:3 288:25 290:2 291:1,2,17 293:3,4,9 300:20 301:2 315:6 326:13 349:6 350:17 386:25 415:16 416:10,16 416:22 428:5 430:23 448:6,16 449:5 452:7 461:8 466:8 472:7 484:24 486:7,9 491:2 informed 268:7,14 268:16 469:9	ingested 260:10 ingestion 260:15 ingredient 260:25 initial 259:3 initially 265:18 initiated 248:23 332:12 335:21 348:16 360:15 initiation 248:21 249:5,9 inject 386:13 injecting 277:10 injection 384:16 387:3 406:23 407:7,12 injections 278:1 331:12 343:21 362:13 393:11,18 412:13 injury 269:3,4,7 269:11 375:25 485:20 innovative 336:1 input 319:16 340:10,13 inquire 279:1 institute 302:10,15 329:25 350:18 355:6 intended 317:4,10 323:12 325:8,13 325:15 327:21 329:14 429:24 intending 325:8 intent 259:22 310:3 intention 374:21 intentionally 260:18 312:24 320:20,20	inter 465:5 interact 365:1 interaction 365:2 456:25 interactions 467:20 interactive 337:12 interchangeable 482:11 interchangeably 482:9 interest 293:11 443:1 459:10 interested 494:16 interestingly 362:9 interests 459:7 interferes 292:23 internal 248:15 318:8 331:3 410:15 423:6 429:22,24 434:24 internally 487:18 international 328:20 internet 416:12 417:5 interpret 262:19 265:1 interpretation 291:10 324:8,9 330:16 interpreted 398:11,24 464:5 interrelationship 464:13 interrupt 408:8 interrupted 313:25 314:4,17 interrupting 313:3 313:23 452:15	intra 277:11 278:1 349:25 390:15,16 446:14 intracerebral 384:16 intraperitoneal 412:13 introduced 368:4 368:8,18 introduction 298:9 inventors 248:5 257:9 investigate 333:14 335:4 339:22 340:8 360:25 373:4,13 392:22 393:16 461:20 investigated 268:24 351:20 384:9 investigates 270:21 investigating 249:10 271:16 298:16 331:9 360:1 381:4 446:1 investigative 319:15,19,25 323:18 411:15 investigator 332:4 343:11 346:11 360:6 investigators 295:8 453:24 invited 416:4 involve 258:1 involved 266:12 268:4,13 319:19 334:3 358:14 359:1 369:24
---	--	--	---

377:18 383:6 399:6 404:19,20 437:7,8 447:18 456:7 457:7,13 458:12 467:21,22 471:16 472:13 481:8 488:18 490:3 494:14 involving 381:2,8 ip 390:13,14 irrespective 268:13 271:4 308:24 irreversible 390:13,21 391:17 isolation 399:11 issuance 430:21 issue 278:17,24 293:15 323:21 326:24 364:12 438:17 439:9 471:14 issued 257:23 332:21 333:10 348:24 460:7,25 issues 265:24 266:1 267:14 324:21 342:4 379:17 465:9,11 477:21,25 478:4,7 481:19 items 469:4	joint 259:13 joseph 246:20 joseph.orlet 246:21 journal 363:4 397:8 443:5 journalist 307:19 307:20,22 journals 295:19 353:18 439:3 443:13 judge 313:13 314:22,25 315:1,2 judgment 327:24 458:24 459:17,19 judicial 245:1 july 249:22 332:24 430:13 432:4 june 250:2 348:25 349:7 360:19 392:14 460:7,10 460:14 jury 253:4 275:12 justice 306:24 justification 490:24 491:1	kill 294:18 295:7 295:10 303:24 407:11 killing 442:3 kilogram 334:6 370:18 442:2 455:16,23 kim 248:6,8 257:17 424:11 431:8 kind 271:4,15 287:15 320:14 421:5 424:17,19 428:9 474:12,25 481:8 kinds 416:22 475:6 kinetic 337:13 kingdom 245:17 245:19 kirkland 245:16 246:14 kirkland.com 246:16 495:2 knew 271:6,12,13 271:19 283:12,15 283:16 284:18,25 285:2,12,24 286:11 299:9 312:16 315:13,14 315:16 356:8 376:13 384:22 414:7 421:18,18 449:7 450:13,14 488:14 know 255:9,15 258:23 259:7,18 259:20 261:5 274:7,16 280:25 281:6 282:2,3,4,7 282:18,19 283:8	283:10,11,13,19 285:7 286:15 290:9,10 301:15 305:2 307:7,18 310:17 313:14 325:21 344:8,25 346:2 353:3 355:25 363:14 364:1,25 365:4,9 366:1 367:22 368:19 370:17 376:4,18,21,22 383:1,5 386:24 398:7 399:24 401:8,10 404:22 405:15 411:1,5 413:15 415:19 420:12,15 422:4 423:3,4 429:18 430:17 434:18 438:17 440:12 448:9,11 449:14 450:17,21 456:4 457:14 467:19 478:20 479:3,6,7 484:22 487:17 492:10,18 knowing 283:5 308:21 321:14 knowingly 282:6 knowledge 269:16 291:1 292:20,24 333:1,3,4,5 345:4 345:15,24,25 346:15,16,18 347:17 487:16 known 253:4 255:16 276:3 280:16 285:9,10 382:2,4 420:14 458:2
j	k		
janice 405:12,13 jeraig 246:7 jersey 302:7 job 308:20 309:1 335:24,25 john 246:7 248:5,8 257:9	k 384:7 keep 320:22 359:12 430:16 448:2 476:18 484:21 keeps 484:11 kelly 246:9,11 kept 345:9 key 253:22 469:1 kg 331:12 349:21 362:13 382:8 390:17 393:18 429:3 432:20,21		

knows 282:16 286:15 korein 246:3,23 koreintillery.com 246:6,7,8	latency 285:20 latest 249:1 370:25 371:6 372:11 laura 245:18 251:7 494:3,21 law 306:13 463:8 472:19 laws 288:2,12 lawsuit 306:6 lawyer 266:3 301:2 472:18 475:3 lawyers 471:16,18 471:22 474:19 475:19 layperson 270:7 406:19 lead 262:1 329:19 330:10,12 338:21 345:17 409:25 441:21 453:13 leaders 265:23 266:22 leadership 265:24 266:1 267:13,14 431:6 465:9,11 470:22 471:2 473:15 476:20 477:21,25 478:4 481:19 leading 270:10 466:5 470:11 learn 295:15 learned 292:20 learning 376:12 436:5 leave 310:7 323:3 led 374:10 left 449:24 450:1	legal 280:24 281:12,18 482:2 495:23 legislation 275:24 306:3 463:18 467:17 legitimate 320:12 lending 254:13 length 458:5 lethal 425:14 432:15 letter 249:7 304:14,23,24 305:13 359:2,4 363:19,21,23 400:10 401:1,7 403:20,22,23 404:10,24 405:6 levadopa 258:12 level 266:8 268:14 269:16 310:11 311:1,13 370:17 386:9,12 388:17 388:18 424:4 427:8,10 455:20 455:22 484:3 levels 262:11 310:8,9,20,21 311:16,22,24 424:23 442:1 lewis 249:1 264:19 265:7,17 370:24 371:3,21,22 372:11 379:11,12 379:19 390:4,24 391:3,14 lewy 264:4,8 381:21 lie 283:14 341:18 life 271:25,25 273:16 274:1	lifetime 276:18 light 312:6 likelihood 259:8 limited 385:3,10 396:8 limiting 423:21 line 263:16 265:21 294:25 296:10 327:20 329:3 371:7 374:12 406:16 421:6 456:23 496:4,7,10 496:13,16,19 lines 265:16 link 293:18 324:14 418:18 linked 323:22 links 249:17,20 292:11 303:8 307:10 list 266:2 321:3 362:25 411:11 468:17 483:22 484:3,10,11,21 listed 300:6 371:21 424:6 446:9 lists 285:18 300:2 literature 248:12 271:9 300:1,6 301:21 308:15 309:7,15 310:2 317:5 320:7 322:20,24,25 323:9 324:21 329:8,16 333:15 336:8 337:22 339:2 341:15,21 353:9,12 362:6,20 363:7 373:25 374:5 377:7
--	--	---	---

395:24 404:2 407:4 411:21 412:8,11 432:18 432:25 434:11 450:5,8 457:12,17 487:5 litigation 425:3,4 425:5 431:1 473:7 little 257:3 303:23 373:7 393:5 415:1 426:22 488:17 live 317:2,3 liver 421:19 lives 260:21 272:13,16 llc 245:8 246:3 296:13 495:4 496:1 497:1 llp 245:16 246:14 246:18 located 245:16 469:16 473:21 location 418:21 419:15 locomotor 302:25 logical 357:24 london 245:17 long 255:6 267:19 285:9 304:6 376:18 377:14 418:11 419:1 422:2 477:5,9 481:24 longer 259:19 467:19,22 477:1 longstanding 471:11 look 258:18 275:9 277:16 290:1 303:6 305:19 306:8,23 309:16	321:23 329:22 347:7 354:1 370:15,19 372:14 372:15 374:16 378:11 380:11 390:7 393:8 396:21 400:20 406:4 409:2,8 415:9 417:8 418:4 418:7 424:7 434:6 436:21 437:23 438:20 445:17 447:5 451:3 466:3 looked 263:5 264:17 303:4 322:7 331:24 366:20 413:4 419:25 438:21 440:1,24 looking 262:13 272:5 309:14 335:4 347:12 351:4 373:15 380:20,23 383:10 392:9 401:25 402:6,11,12,13 406:11,17 407:21 423:10 431:18 440:12 looks 297:19 318:18 319:6 445:17 447:2 looser 477:25 478:1 loser 291:18 loss 259:6 302:23 302:24 309:21 333:23,23 336:11 338:13 339:3 340:15 344:1 349:23 354:2,13	356:12 357:12 360:25 361:6,10 362:20 363:11 365:23 369:21,25 370:7,12 371:10 371:17 381:4,9,12 381:14 382:10 386:18 387:12,23 388:7,15 390:18 390:20 391:4,5,17 392:10,25 393:19 394:1,5,8,11,14,15 394:22 395:18,22 395:23 396:4,6 398:23 403:24 404:25 407:24 408:22 409:13 412:14 423:16,19 425:12,18 426:10 426:21 427:6 432:7,13,19,23 433:1,3,14,19 434:11 446:10,20 455:8,13 456:1,13 457:11,16 lost 455:4,4 lot 267:4 435:9 442:3 458:6 lots 438:22 louis 246:5,19 louise 297:23 302:13 316:14 332:1 343:11 347:12 348:2 360:6 371:3,5 372:21 376:3 378:12 406:11 413:4 449:8,15 457:5 458:3,10 460:1 486:25 487:14,18 488:11	low 261:12 lower 285:19 371:16 427:7,13 455:13,15 456:2,3 lunch 359:11 366:8 lungs 427:2 m m 246:6 machine 494:7 machinery 435:17 magic 493:7 magnitude 361:10 387:5 main 470:12 maintain 448:19 maintained 417:8 417:11 majority 264:8 337:21 making 267:4 276:16 300:16 324:1 371:17 374:23 375:2,11 443:7 447:9 468:6 484:2 489:18 490:3 male 334:3 350:1 425:13 432:13 434:13 man 282:1 426:2 434:2 man's 257:10 managed 470:16 management 461:15 managing 290:21 mandatorily 285:14 286:12 mandatory 286:5
---	---	--	---

manner 304:12 manual 339:1,11 339:18 341:25 344:23 346:3,12 356:1 357:8 366:22 manually 338:7 manufacture 253:24 259:19 279:14 329:11 manufacturing 488:18 march 249:25 444:8 495:3 margin 426:6 marginal 456:5 margins 423:10,11 432:9 mark 246:24 256:16 415:22 mark's 365:17 marked 251:12 256:14,17 296:21 318:10 328:1 330:20,23 342:17 347:4 359:21 370:3 378:1,3 392:2 400:5 406:1 406:3 408:25 410:3 414:18 416:8 422:5,13,14 430:3 443:24 459:23 marker 437:4 markers 437:19 market 255:20 279:15,19 324:1 marketed 468:25 marketing 266:4 477:17,20 478:3,6 484:12	marks 248:16,21 248:23 249:5,9,11 297:23 298:15,21 302:13 316:14 317:4,15,23 318:1 318:4 319:4 320:3 331:14,17 332:1 332:12 333:4,5,10 337:20 339:17 342:6 343:11 344:22,25 345:3 345:18 346:4,22 347:12,16,20 348:2,15 349:16 354:4,12 355:13 356:1,16 360:6,24 361:5,13 362:11 365:20,21 367:23 368:3,16 369:18 371:3,5 372:12,21 376:3 378:12,15 379:13,20 380:4 380:12 385:1,6,8 388:5 393:15 394:1 395:3,21 396:23 400:13 401:17 402:10 403:9 406:11 407:2 409:5 410:24 411:24 413:4 420:25 429:6,10 436:9 437:22,23 439:3 440:25 447:3 449:8,15,18 450:17 454:18 455:3,13 456:2,3 457:5 458:3,10,14 458:17 459:11 460:1 486:25 487:14,18 488:11	488:13 mary 245:17 mass 253:21 material 282:24 299:21 435:19 461:1 materially 283:5 materials 256:5 337:1 344:7,12 461:6 matter 312:5,17 315:17 362:5 410:13 421:22 427:23 431:20 441:3 486:5 mattered 420:3 maximum 432:20 446:16 mccormack 352:10,22 353:6 362:25 363:1 429:4 438:9 450:13 mcfarland 405:12 405:13 mean 253:3,8 259:24 272:13 278:17 281:5 287:17 293:25 299:4 316:21 322:14,17 323:25 347:1 374:16 386:10 390:14 408:6,8,11 414:1 433:10 462:2,25 464:22 471:21 472:9 meaning 263:25 408:22 413:20 418:20	means 260:6 263:24,25 275:12 275:12,13,21 284:2 306:24 311:23 316:22 317:2,3 320:11 322:9 332:18 348:20,22 374:24 381:17 382:19 396:9,17 442:17 452:1 462:4 meant 321:16 327:15 357:23 385:11 395:12 399:2 408:7,11 measured 394:11 420:1 435:7,15 measurement 394:20 measurements 436:23 measuring 310:20 310:21 311:1,12 311:16,22,23 316:10 436:21 mechanism 255:2 255:6,12 269:10 322:16 mechanisms 256:9 mechanistic 321:8 321:12,20 322:2 322:12 media 296:8 324:22 359:18 422:22 median 425:14 432:15 medical 260:22 meet 290:13 464:5 464:6 466:13 480:6
---	--	---	---

meeting 248:19 249:13 307:6 310:16,17 343:3 344:24 345:5,16 356:21 372:10 378:21,23 379:1,2 379:4,10,12,24 380:5,5 391:12 399:9 406:17 410:7,10,13,19,22 410:23 413:16 416:3 418:5 431:12,14 446:24 meeting's 344:9 meetings 325:4,25 326:5,5,21 344:13 344:13 380:6 414:16 471:10,23 meets 480:23 melodia 246:9 member 288:24 479:7 members 364:22 469:10 471:7 memory 267:22 399:12 mention 335:10 486:24 487:14 mentioned 254:12 265:2 269:3,7,18 273:3 276:7 288:23 302:12 328:24 368:3 376:10 437:21 442:7 458:16 478:14 mentioning 262:7 met 379:25 380:3 380:7 405:16 463:17	metabolites 349:22 351:9 393:21 method 334:24 337:20 338:1,6 339:18 340:21 346:3 438:5 methodological 341:18 373:16 379:17 methodology 338:20 350:11 354:14,21 355:18 366:4,13,17 385:9 386:3 methods 291:10 340:22 mg 349:21 362:13 390:17 393:18 429:3 432:20,21 mice 272:14,17,21 278:1 300:9,12 316:18,25 317:3 332:18 333:24 334:3 343:20 348:20 350:1 361:7,16 362:14 373:6,15 380:13 380:14,21 381:3,9 381:15 382:14 391:4 394:2 395:6 401:21 402:24 406:14 407:25 425:13,22 432:14 434:13 446:21 456:19 michael 246:11 microorganisms 274:8,11 microscope 337:14 436:7	438:21 442:15 microscopic 335:2 microscopy 335:5 mid 259:1 middle 430:19 431:23 453:18 470:13 mike 371:3 372:2 372:3 460:20 milligrams 334:6 370:17 442:2 455:16,23 mills 417:3 mimic 273:1 mind 314:9 319:10 321:3 367:16 374:14 425:15 minnema 268:6 278:20 441:7 446:17 minute 255:1 296:3 354:4 367:25 415:21 430:5 444:3 minutes 379:1,4 424:21 miserable 304:6 misfolding 252:7 264:7,11 misprint 392:19 misrepresentation 447:11 454:8 missed 426:15 mission 303:16 misspoke 426:13 misunderstood 278:5 mkelly 246:12 mm 451:6 460:12 461:10	mo 246:5,19 mode 255:16 model 249:4 253:18 261:19 262:6,9 263:16 272:3,4 273:1 302:4 309:20 378:10 379:21 385:3,10,15,23 386:4,4,6,18 387:2 387:3,12 390:12 392:10 411:20 423:19,22,24 424:2 441:21 445:9,21 450:9 451:12,19 452:10 488:15 models 272:8 319:22 328:17 385:18 420:21 modified 350:12 modus 477:7 molecular 261:6 molecule 261:3,4 261:11 299:4 moment 297:13 422:7 469:11 moments 431:23 440:1 money 490:22 monitor 308:20 309:1,7,11 montague 405:9 monte 302:9,15 316:24 329:24 330:7 346:23 353:6 355:3 363:1 438:9 monte's 350:19 450:14 455:9
--	--	--	---

months 273:17 357:21 393:1 431:20 monty 405:10 morning 251:3 382:20 386:5 388:10 398:5 399:8 435:18 436:2 463:16 466:15 469:3 484:23 motive 443:14 motives 475:6,7,10 motor 252:1 446:6 mouse 248:18 249:4 253:18 263:16 272:13 273:14,16,18 277:1,17 295:6,12 295:16,22 302:4 309:20 317:7 319:1 320:1 325:12 327:20 328:25 330:3 331:10,22 333:17 339:4 343:8 344:19 347:13,24 351:21 353:10 355:18 360:2,10 362:3 365:24 378:10,17,22 379:21 382:6,9 383:24 385:2,9,14 386:18 387:12 388:20,22,23,23 389:11,12 390:12 391:15 392:10 404:1 405:1 408:17 410:24 411:20,25 412:12 413:5 420:21	423:19,22,24 424:2 433:4,21 441:21 450:9 455:9 457:4 487:6 488:15 move 288:9 296:10 311:6 339:18 345:21 387:8 389:9 391:25 449:11 459:4 487:11 moved 273:23 337:15 338:6 moving 319:2 mptp 338:9,12 multiple 412:12 450:3 468:4 n n 245:22,22 246:1 246:4 247:1,1 248:1 282:8 384:7 n.w. 246:14 name 257:10 270:16 343:12 352:25 376:10 384:2 476:1,4,5,13 494:21 named 257:14 461:9 names 267:12,19 405:7 424:6 477:24 naresh 246:16 251:10 254:1,10 254:21 255:22 259:10,15 261:16 261:23 262:3,15 267:2 269:13 272:10 278:4,12 279:18 280:1,6,23 281:12,16 283:23	286:7,21 287:7,12 288:14 291:3,13 291:19 292:1,7,16 292:25 293:21 295:17 301:3,8 304:7 305:15 306:18 311:9,12 311:17 313:3,22 313:25 314:4,7,12 314:16,19,23 315:3 318:3,12 324:3 326:14 327:4,6 330:19 339:25 344:3 355:22 358:4,21 359:7,13 364:18 367:7,14 368:2,7 368:10,12,16,24 369:3,10,15 391:24 402:7 421:7,13 422:6,11 426:13,15 440:3 447:12 448:18 449:16 450:25 452:15,19 453:1 454:23 456:22 468:14,16 470:2 470:17,24 472:12 472:22 473:4 474:9 475:9,17 482:2 483:8 485:4 487:1,25 488:5,24 490:19 491:19,24 492:8,17 493:4,10 495:1 natural 272:23 nature 257:5 282:14 457:22 near 413:9 necessarily 273:1 276:15 323:3	342:3 377:17 401:14 437:19 440:10 necessary 418:21 439:22 451:18 497:6 need 254:5,16 265:13 266:8 268:8 276:2 322:16 323:5 341:11 348:5 367:22 374:23 375:23 392:1 393:9 397:24 401:10 405:11 411:11 419:20 437:10 439:22 458:11 483:1 484:20 485:13,17 485:23 487:20 needed 281:2 373:17 375:10 436:21 needs 323:22 negative 355:19 negatively 312:17 315:18 neither 277:24 485:1 nerves 294:5 nervous 294:2,4 294:15,16,17,19 439:19 network 303:19 neural 356:23 neurochemical 331:11 384:10,15 446:5 neurodegeneration 251:22 446:10
---	--	--	--

neurodegenerative 251:20 269:9	446:10,21 455:9 455:13 456:2,14 457:11,16	never 258:7 269:16 273:8 276:17 278:2 287:10,15 289:3,5 346:14 367:8 376:1 399:25 437:25 438:11 441:20 447:2 450:20 463:9 490:23	395:13 403:25 406:13 407:20 409:13,17 412:15 419:15,25 421:1 421:24 425:12 427:6,19 428:17 429:1 432:13 434:12 439:5 456:14 457:11 459:3
neurological 255:2 294:5	neuropathological 446:6,9	nevertheless 338:18,20	nigral 361:16,19 395:7,10,12 401:22 402:25
neurologist 252:10 253:1	neuropathology 432:21	new 280:15,15 291:11,11 302:7 306:2 355:15 356:11 357:14 376:4,6,6 399:1 404:5 430:19 436:3	nigrostriatal 248:18 249:11 343:7 383:23 393:17 406:12
neuron 394:14,18 394:22	neuroscience 248:19 343:2 345:5	newer 342:1 354:18	noel 386:8,18 387:12 455:16,19
neuronal 309:21 351:16 354:12 365:23 369:21 371:11 373:5,14 381:12,14 382:10 386:18 387:12,23 388:6,15 390:18 391:5,17 404:25 425:18 426:10,21 427:6 428:25 432:7,22 433:1,3 433:19	neurotoxic 280:10 280:20 295:2,11 295:16 299:2 317:6 320:6 330:2 336:9 347:22 353:10 361:24 362:1 374:5 375:13 395:15 396:3 400:12 403:21 419:2 427:18 432:3 441:22 482:15,22 483:3 485:11,16 486:1 487:24 488:4 491:8 492:6 493:3	ngo 303:21 nhp 413:8 nicholas 248:6,8 257:14 307:16,17 nick 297:22,25 332:9 371:3,5 378:12 424:11 431:8 nicole 246:23 nigra 251:22 253:15 255:3 258:12 295:7 302:23 309:22 333:17,24 334:11 344:19 349:24 352:8,19 356:13 361:1,7 362:16 371:12,17 377:11 387:19 388:16 393:1,20 395:12	nomination 341:9 non 328:21 334:13 355:9 381:3 481:14 nonfunctioning 437:16,17,20 nongovernmental 303:21 normal 261:17 266:10 270:9 278:22 294:16 320:13 345:16 347:2 401:13 462:6 normally 271:6 273:16 343:18 north 265:5 289:9 405:14 469:19 470:14 474:1,3
neurone 371:15	neurotoxicity 293:25 294:1,3,8 294:12 295:25 298:16 302:22 331:10 347:13 360:2 361:19 373:24 375:8 395:10 398:24 482:20 485:11 486:7 491:11 492:10		
neurones 302:23 339:4 344:1 357:12 371:10,16 371:17 412:15 425:12 429:1 432:13	neurotoxicology 370:10 389:22		
neurons 294:18 295:7,10 333:24 349:23 352:5,7 356:12 360:25 361:6 362:15 363:12 369:25 370:7,12 377:11 387:20 392:25 394:2,6,12 395:18 395:22 397:1 398:23 403:12,25 407:1,20 408:22 432:19 433:15 434:12 435:15	neurotoxin 294:16		

478:22 notary 497:13,19 note 301:24 380:12 385:1 436:8 495:10 noted 435:2 497:7 notes 248:25 317:10 355:13 370:24 378:15,20 380:6 391:12 455:22 notice 469:4 484:20 notifiable 481:25 482:6 notified 357:21 notify 356:23 358:12 359:3 notifying 467:25 nottingham 384:9 november 360:17 nullify 306:25 number 252:21 256:13,25 265:9 270:11 280:2 281:17 285:18 289:13 290:7 296:8,20 297:16 300:2 318:7,8 328:3 330:24 334:10,14,18,20 335:5 338:9,11 342:8,11,19,21,24 342:25 343:1 347:8 359:18 360:8 362:15 370:16,19,20 371:8,15 376:1 378:4 392:4 400:21 402:14,15 402:18 405:7	409:2 411:6,7 414:16 422:22,25 427:13 428:22,24 429:5 435:15,16 435:21 440:8 441:13,14 445:1 445:10,18 453:19 456:18 484:8,10 484:22,23 493:7 numbered 249:15 494:5 numbers 283:11 297:1,16 342:9 352:19 469:12 ny 495:15 nyengaard 376:10	421:7,13 447:12 448:18 449:16 450:25 454:23 470:2,17,24 472:12,22 473:4 474:9 475:9,17 482:2 483:8 485:4 487:1,25 488:5,24 490:19 491:24 492:8 493:4 objections 254:10 254:21 262:15 281:17 291:19 292:1,7 293:21 492:17 objective 292:23 309:4 475:7 obligation 279:11 283:20 488:19 490:7,13 obligations 463:7 observation 282:15 observe 382:10 observed 302:21 333:23 338:13 350:10 361:1 363:12 390:5,12 394:1,5 407:24 412:25 425:17 428:25 446:6,20 455:10,12 456:1 observing 352:18 obtain 475:7 obtained 338:24 350:17 380:15 451:11 obviously 303:25 398:10 437:15,23 489:3	occasion 264:23 351:7,10 353:17 356:21 363:17 occasionally 266:14 471:18 occupational 274:21 occupy 481:11 occur 271:5 384:23 423:11 occurred 379:25 381:9,15 471:25 occurrences 346:6 occurs 271:7 294:15 october 248:19 343:3 odds 275:2,3,7,8 275:12,13,21 offer 321:8 322:2 322:4,20,24 323:10 346:21 offering 308:15 440:5,6 officer 266:24 offices 245:16 official 476:15 oh 322:6 342:23 343:15 357:24 okay 253:10 255:20 256:12 257:6,14,25 258:22 259:21 267:17,22 268:10 268:23 271:4,9,24 272:3,20 273:18 278:25 280:9 282:4 284:4 285:2 285:9,12 286:11 287:10,21 288:6 290:10,24 291:6
	o o 245:22 object 254:1 269:13 278:4 279:18 280:23 281:12 283:23 288:14 301:3,8 305:15 358:4,21 422:18 440:3 448:4 456:22 468:14 491:19 493:13 objection 255:22 259:10,15 261:16 261:23 262:3 267:2 272:10 278:12 280:1,6 281:19 286:7,21 287:7,12 291:3,13 292:16,25 295:17 304:7 306:18 318:3 324:3 326:14 327:4,6 344:3 355:22 359:7 367:7,14		

292:10,19 293:24 294:11,24 295:6 296:19 297:3,4,18 298:4,8,11 300:25 301:17,18 302:21 303:5 304:13,19 305:7,11,19 307:5 307:8,24,25 308:5 310:10,15,18 313:7,14 314:15 316:11,16 317:2,9 317:20 318:7 319:10,14 320:5 321:2 324:13 325:1,12 326:12 326:17 327:1,13 327:16,19 329:2 329:15,20 331:5 332:9,12 333:1,4 333:10,14,18,22 334:3,18 335:16 335:20,23 336:4,8 336:21 337:6,10 337:19,22 338:5 339:13 340:18 341:9,12,25 342:5 342:13,16 343:6 343:17 344:17,21 345:14 346:1,17 346:24 347:3,10 347:24 348:8,11 348:15,20,24 349:15 350:7 351:6,15 352:4 353:15,23 354:4,9 356:14,18 357:2 357:11,20 358:11 358:16,23 359:5 359:11 363:6 364:1,8,14,17 366:2,2,7 367:4,13	368:12 369:14,15 370:19 371:8,21 372:10,20 373:3 373:10,22 377:8 377:19 378:8,15 378:20 379:19 380:11 381:1 382:5,15 383:3,8 383:17,21 384:22 385:4 389:14,16 389:25 390:11,24 391:2,23 392:22 393:7 395:2,13 397:7,13,23 398:1 400:4,9,11 401:11 402:4,20 403:3,16 404:20,20,23 406:5,8,9 408:24 410:4,15,20,23 412:16 413:2 414:23 415:4,11 415:19,20 416:8 418:6 420:6 422:19 423:3,25 424:6,16 425:6,23 426:16 427:1 428:21 430:1,7,25 431:4,14 432:10 434:9 436:13 438:3,13 439:19 439:24 441:4,13 441:20 442:21,25 443:17,22 444:5 444:12,14 448:9 448:15,23 453:14 453:22 454:2,22 455:21 456:10,17 457:3,9 460:5,6,15 461:18 462:8 463:23 464:8,13 464:14,20,25	465:3,15,23 466:2 468:11 469:7,18 470:8 472:25 473:3 474:22 475:25 476:25 477:11,20 478:13 480:9,11 481:3,16 481:18,25 483:2 483:13,16,24 484:13 485:9 487:11,22 488:3 490:11 491:1 492:21,24 old 272:17,22 273:14,18 355:17 437:24 older 269:24 270:8 273:7 355:10 olfactory 258:25 259:3 omitted 448:25 omitting 449:8 once 334:4 429:3 ones 286:1 303:24 368:18 429:10 467:4 ongoing 253:24 490:6,13 onset 252:23,24 269:20,22 271:2,3 271:7 272:23 onwards 479:8 open 291:9 304:14 304:23 305:13 openness 291:10 operandi 477:7 operation 373:1 470:19 operations 469:18 opinion 266:16,17 278:6,10 282:15	284:23 287:3 289:1 311:25 379:12 opinions 284:20 285:2 opportunity 344:25 493:12,14 opposed 368:17 opposite 321:24 optical 334:23 335:18 336:10 337:20 340:18 option 382:7 385:19 options 249:3 378:9 385:2,6,9,14 oral 300:10,13 446:16 order 251:13 260:11 261:8 265:13 327:24 347:18 350:8 366:13,25 377:7 424:25 426:5 463:11 466:13 orders 387:5 organ 285:19 organism 285:19 285:23 organization 265:12 266:23 276:6 303:21 309:13 431:7 463:4,17 466:4 478:1,6 484:12 organizations 267:5 479:16 organized 320:18 originated 258:8 orlet 246:20
--	---	--	--

outcome 293:12 330:14 466:17 494:17 outcomes 272:22 outline 258:24 outside 312:18 315:18 327:21 364:9 410:21 466:8 471:16,18 472:18 474:19 475:3 481:9,14,17 481:23 488:22 overall 253:17 264:24 408:16 448:1,20 454:25 459:1 465:12 471:13 489:4 override 313:7 oversight 468:23 468:24 478:16 owing 299:2 390:6 owns 485:3 oxydative 255:8	383:21 390:2 393:15 402:2,19 409:4 414:25 416:12 424:10 425:6 428:21 434:6 445:8,10,12 445:13,16,19 451:3 453:15,18 494:5,6 496:4,7,10 496:13,16,19 pages 297:2 414:21 494:5 paid 293:10 442:13 443:7,21 pan 303:13 305:13 panel 444:15 446:19 455:7 paper 278:21 380:9 388:11,15 389:1 papers 384:21 405:19 441:10 468:18	255:16 259:8,22 259:23 260:9,16 260:20,25 261:13 262:22 263:10,16 263:19 264:11 265:17,24 266:1 267:13,14 268:15 268:24 270:14,17 270:20,22 271:5 272:4,18,21 277:1 277:11 278:1,21 279:15,19,25 280:11,20 284:12 284:13 287:23 290:4 295:2,7,15 295:21 297:9 298:9,22 299:2,9 299:18 300:10,13 302:2,22 303:8 306:16 307:10,15 307:23 308:22,24 309:19 311:23 312:4,16,23 315:17,22 316:10 316:18,24 318:18 318:25 319:21 320:1,6 323:17,21 324:14,15,20 325:12,14 326:19 327:20,22 328:4,7 328:11,16,21,25 329:5,7,11,16 330:2,7,11 331:8,9 331:13,22 333:16 333:24 334:4,9 336:9 339:23 343:7,21 347:15 347:21,24 349:21 350:10 351:8,11 351:21 353:9 359:25 360:1,10	361:5,15,23,25 362:13 365:23 369:19 370:7,18 372:4 373:6,15,23 374:3,5,9,11,24 375:12,13,15 378:16,21 379:21 381:3,9,14 382:7,8 382:17 384:9,17 385:2,9,13,14 388:6,24 389:12 389:19 391:6,15 391:16 392:8,11 393:3,10,18,22 394:2,6 395:6,14 395:18 396:2 397:1 400:12 401:20 402:23 403:12,20,24 405:1 406:23 407:7,25 408:15 408:15,22 409:12 409:23 410:12,16 410:24 411:20,25 412:7,13 413:9 415:15 416:9,19 417:15 418:8,10 418:12,13,18,19 419:2,11,12,16,22 420:1,23 421:1,3 422:3 423:18,19 425:13,21 428:17 429:2,3 431:5 432:6,14,19,24 433:4,20 439:5 440:13 441:21 444:12,16,21 446:2,13,21 450:24 451:20,24 452:3 453:7 455:9 456:13,19 457:4
p	paracetamol		
p 246:1,1 p.m. 493:21 pa 248:10 pacific 469:19 470:13 484:18 pack 421:19 page 247:3 248:3 299:17,24 305:21 306:8 307:9,18 317:10 318:12 319:12 328:10 329:21,22 337:24 338:19 340:2,4 349:16 352:13,13 352:14,17 354:1,7 361:12 372:14,14 372:16 378:8,11	paracetamol 421:19,20,23 paragraph 371:9 374:16 395:2 396:21 411:18 425:10 428:21 433:6 paragraphs 354:8 paralegal 246:23 parallelling 450:4 parameter 264:18 parameters 340:14 446:13 paraquat 248:9,12 248:14,17 249:11 249:13,18,21,24 253:11,14,19,25 254:13,20 255:2,7		

459:2 460:21 464:1,10,18,21,23 464:25 465:9,11 465:20,25 467:5 468:4,9,11,23,25 471:6,14 472:21 473:19 474:7,8 476:16,16,21,22 477:11,17,20,25 478:4,7,9,10 481:19,21 483:13 483:14 484:19 485:10,15,19 487:5,17,24 488:4 488:16 489:8,12 489:16,25 490:7 490:13,18,24 491:3,9,10,16 492:7 493:3 paraquat's 375:7 427:18 432:3 paraquat.com 416:14,15 428:16 pardon 392:20 park 331:10,20 360:4 parkinson's 248:10,12,14 249:1,13,18,21 251:19,20 252:1,3 252:8,12,19,23,24 253:12,20 255:21 256:7 258:5 259:4 259:8 261:14 262:8,14 263:10 264:5,10 269:9,11 269:19,22,23 270:1,5,13,17,22 271:7,21 273:11 273:11 274:2,6,17 274:22 275:3,14	277:18 293:19 297:9 298:10 302:3,9 303:9 307:11 323:17,22 324:15 328:5,7,11 328:16 329:5,24 350:18 355:6 370:25 372:11 381:21 385:12 410:1,12,16 412:7 417:25 418:13,18 418:22 419:12,16 420:10,15,24 421:11,25 422:3 423:18 445:9,21 446:2 451:12,14 451:19 452:3 464:18 471:15 474:8 parkinsonian 319:22 parkinsonism 249:25 444:21 446:7 451:14,20 451:24 453:7 pars 349:24 361:7 427:6 428:17 429:1 434:12 part 262:10 264:3 270:22 282:13 289:5 298:21 331:22 337:24 338:23 346:22 347:1,24 350:3 360:10 361:21 381:21 384:8 389:2,15 401:13 421:24 426:6 429:25 435:15 443:6 450:10 452:10 461:12,13	463:9 471:2 473:17,22 476:15 476:20 477:2,7 480:2 481:23 484:2 493:19 participated 489:15 particular 255:12 258:4 264:2 301:15 309:6,10 316:25 344:8,24 411:14 415:2 438:18 473:21 476:5 particularly 252:22 253:18 277:5 438:18 465:25 474:1,24 parties 422:15 494:14,16 partition 306:25 parts 294:4,18 436:11 469:16,17 474:2 party 263:8,13 417:12 429:9 pass 258:7 287:10 287:15 290:2 passage 394:18 passed 258:9 273:19 patent 248:5,7 257:7 258:1,2,4,10 258:19 path 358:19 pathological 252:13 264:4 331:11 377:12,16 384:15 436:22 pathologist 440:11	pathologists 389:4 389:6 436:20 439:13 442:8,8 pathology 259:4 262:10 264:4 277:18 381:21 patients 381:22 pay 442:12 paying 443:6 pd 446:7 pecuniary 442:22 peer 295:19 317:11,14,22 344:10 353:18 363:4 407:4 439:3 443:5,13 pennsylvania 246:14 people 252:13,21 252:23 253:3 256:10 266:4,25 267:12 268:2 269:23 272:4,23 274:2,16 277:20 289:25 293:10,13 300:16 303:1,15 303:24 308:25 310:23 312:1 320:19 326:6 335:23 344:15 345:18 366:16 374:13 399:19 405:7 410:21 411:6,8,9 413:16 413:19 416:4,6 417:4,19 421:3 424:10 436:3,14 438:10 440:25 442:12,21,22,25 442:25 443:14 447:10 449:4
--	--	--	--

473:20,23,23,24 473:24,25 477:15 477:15,17,20,23 478:3 481:9,10,14 481:22 482:12 483:7 488:18,20 perceive 304:12 perceived 312:5 312:17 315:18 percent 351:12,13 352:4,7,17,18 percentage 274:16 perfectly 276:9 473:5 perform 264:20 performed 268:23 268:24 333:21 period 267:19 285:20,21 358:8 413:4 467:21,21 481:24,24 peripheral 294:15 peritoneal 277:11 278:1 390:15,16 446:14 peritoneally 349:25 permanent 391:18 394:23 permission 485:25 person 257:14 266:4 282:16 284:20 297:25 302:12,13 411:4,4 417:2 461:9 479:10 personal 365:2 456:25 457:3 470:5 personally 268:11 285:10 288:24	364:18,20,23 367:8,12 personnel 289:12 perspective 441:24 pervasive 293:19 pesticide 281:23 282:14 284:8 286:18 429:16 434:19 444:15 pesticides 274:10 303:19,22 304:1,1 306:3,17 phase 332:15 phased 450:24 phil 424:12 philip 245:15 246:24 247:4 251:1,5,8 359:19 422:23 494:4 495:5 496:2,24 497:2,4,12 philosophy 288:5 289:22 physical 294:13 299:3 physiological 278:8 picture 399:14 pieces 380:9 pigmented 382:6 412:12 pilt 267:15,16 465:18,18 466:1 481:17 place 280:17 479:2 plaintiff 246:3 plaintiff's 330:23 347:8 359:23 378:4 406:3 444:1	plaintiffs 245:6 420:12 plan 309:25 plausibility 253:14 plausible 253:16 255:1,13 324:9,10 play 252:11 435:21 plaza 246:4,19 please 256:16 257:2 301:10 317:21 318:8 323:16 328:3 329:2 340:1,6,11 354:5,6 370:14,19 384:6 402:7 406:9 409:2 411:13 412:2 415:9,19 422:20 426:8 430:5,8 444:3 452:19 459:25 461:12 487:12 pm 493:19 point 253:22 257:19 262:5 268:8 276:16 286:22 288:22 293:2 295:5 308:8 310:18,23 311:25 321:14 327:25 333:9 336:9 337:15,16 338:7,7 339:19,19 345:1 369:7,11 370:5 371:8 375:2 382:5 385:21,25 386:15 399:1 405:8 407:11 413:20,25 414:7,12 430:22 440:16 441:9	447:19 448:4 454:25 466:19 470:3 493:15 points 357:5 369:11 393:13 460:15 poisoning 256:3 polar 299:4 portion 255:3 334:11 427:19 portions 309:10 portray 305:17 pose 421:4 position 253:10,13 253:24 254:16,24 265:8 273:8 276:25 278:16 288:21 289:5 303:16 309:18 311:2,3 324:20 326:13 388:12 389:22 427:15 428:3,6,18 431:6 432:2,6 451:25 452:4 453:10,11 470:1 484:6 positioned 386:23 positive 312:6 351:10 401:5 406:22 407:19 436:21,25 possibility 330:5 339:5 357:5 361:25 387:23 400:16 423:8 425:19 432:24 447:4 487:20 possible 264:24 265:16 292:10 303:23 329:19 330:14 366:19
---	---	--	---

410:1 439:13 458:23 482:16 492:1 possibly 374:13 442:2 482:4 post 390:18 397:10 potential 255:10 255:11 260:23 261:8 269:8 279:4 280:10 292:13 369:21 384:24 385:13 390:3 392:23 393:17 413:10 419:22 427:18 430:12 432:4 451:13 473:7 475:5 482:18,25 483:5 485:11 488:4,7,10 489:25 potentially 261:14 275:22 276:4 278:25 296:1 338:21 380:22 382:20 426:1 434:1 462:21 463:3 464:6 466:6 466:11,22 467:24 469:8 480:14 481:5 482:1,11,14 482:22 483:3 powerpoint 248:11,14 249:23 297:20 318:19,20 318:21 319:14 328:4 444:7 445:17 powerpoints 472:10,11,14	pq 249:3,15,16 310:20,21 311:16 311:22 338:13 339:22 340:8 378:9 380:14,18 381:4 383:23 390:6 445:9,21 451:11 practice 261:17 320:13 345:16 424:3,23 461:1 462:6 pre 377:14 precautionary 424:20 preceded 308:22 preceding 352:12 352:13,16 419:13 precious 292:5 precise 465:16 precisely 252:17 334:12 predecessors 463:4 predisposition 271:3,17 274:3 preliminary 293:7 prep 438:3 preparation 336:23,25 337:4 379:21 435:23 prepared 313:14 367:20 424:17,24 425:3 435:18 presence 274:8,10 328:19 present 246:23 326:7 340:14 350:12 362:12 371:2 397:11 407:23 414:17	446:24 presentation 248:9,11,14,17 249:10,12,23 297:8,12,20,20 298:8,22 299:1,10 300:15,18 301:12 301:14,16 303:7 315:14 318:16,19 318:19,21 319:7 319:15 322:11 325:20 328:4,6 329:21 343:2 344:15,17 409:5 410:6,7,15 411:2,3 415:25 444:7,14 446:25 447:18 486:19,24 487:13 presentations 326:6 331:23 456:18 457:8,13 458:6 471:23 472:1,4 presented 249:25 298:9,21 371:5 445:25 448:23 presenter 343:14 343:15 presenters 297:19 297:22 presenting 448:1 448:19 pressures 303:8 307:10 presumably 300:16 436:13 pretty 475:13 prevent 291:24 previous 289:11 323:4 350:4 355:9 355:14 356:21	362:8,10 363:17 394:1 395:4 401:19 402:22 418:19 430:11,14 446:21 previously 254:12 285:16 347:14 350:15 prf 288:23 289:10 289:24 290:6,17 290:18,23 296:11 382:16,19,25 383:4 399:7,13,19 405:3,4,5 463:6,21 463:23 466:9,11 466:17,19 467:11 467:13,15,22,23 478:13,15,19 479:6,11,12,13 480:9,10,12,15,17 480:21 481:15 489:17 491:4 prfs 383:17 primarily 475:4 primary 284:13 principal 332:4 343:11 346:11 360:6 principles 424:22 441:24 printed 248:11,14 249:23 345:12 415:12 prior 285:15 379:11 399:5 408:12 453:1 458:3 private 472:19 privileged 422:17 prize 335:8,13,14 335:20,21 341:6
---	---	---	--

367:1 probably 270:2 324:10 351:3 369:8 384:5 392:20 439:18 447:21 492:23 problem 437:22 problems 293:14 procedures 255:25 344:9 proceed 288:2,12 process 256:21 266:13 289:24 292:20,22 306:2,4 306:20 320:25 339:14 359:1 374:23 375:11 401:13 404:19,21 459:12 467:22,23 479:2,8 480:12 481:2 processes 270:9 prodromal 259:5 produce 339:3 418:22 446:5 produced 291:2 297:5 302:1 338:8 431:1 produces 294:13 producing 259:7 447:10 product 249:17,20 254:20,20 259:19 260:4,6,19,19,21 261:9 262:1 265:9 283:21 286:19,20 298:2,5 309:13 323:23,25 330:7 372:3,4 375:21 417:19,20 421:10 423:6,21 424:1,25	426:24 427:5,16 427:21,21,23 428:2,6 431:7 432:3 434:6 443:8 448:13 460:21 463:16,20,21 466:3,12 469:5,7 475:5 478:25 482:14,23 483:2,7 490:6,12 production 387:20 products 259:22 282:2 292:11 308:20,25 309:2,6 309:10 324:13,14 469:1 482:21 professionalism 367:2 professionals 428:11 professor 376:9 profits 324:1 proforma 405:20 program 268:17 312:21 313:1 331:23 347:25 360:11 404:11 430:19 431:24 457:5 465:25 474:10 488:8 progress 326:25 474:14 475:2 progression 474:7 progressive 293:19 project 268:20 prominent 374:15 promise 366:8 promote 303:22 328:20	prone 270:10 pronounce 352:24 384:3 pronounced 353:1 442:5 pronunciation 353:3 proper 461:1 properly 293:4 376:12 properties 253:14 299:4 property 491:15 proportion 251:24 449:5 proposal 262:13 367:8 propose 294:7 400:15 proposed 399:12 proposing 265:15 prosecution 282:5 protected 422:17 protection 245:8 275:24 277:6 281:24 296:13 357:12 363:11 397:18 411:10 429:14 434:17 470:11 495:4 496:1 497:1 protective 251:13 252:16 protein 252:5 381:19 proteins 264:8 protocol 436:14 protocols 436:15 prove 286:16 305:25 375:12	proven 255:13 338:10 provide 385:15 486:1 provided 416:16 455:4 provides 282:22 prs 466:10 prudent 404:7 425:20 433:2,18 public 291:9,24 293:17 310:2 346:24 347:1 362:20 363:7 374:4 413:21,23 414:6,7 416:17,24 429:20 434:22 435:24 474:16 483:7 490:8,14 491:8 492:6 493:2 497:19 public's 459:10 publication 325:3 325:24 344:10 370:10,15 389:2 389:15 474:14 publications 248:13 295:18 302:2 308:20 309:1 325:8 352:6 352:18 353:20 440:25 publicly 278:11 308:14 346:2,20 publish 318:1 356:18 363:3 397:7,10 429:19 published 268:6 278:11 309:2 316:17 317:5 318:5 320:7
--	--	---	--

325:17 341:15,21 342:7,13,15 344:6 344:12,14 353:9 353:12 370:1,6 373:24 384:21 388:11 395:23 404:2 433:7 439:3 450:5,8 457:12,17 474:12 487:5 publishing 407:3 purpose 294:24 326:12 349:16,19 350:3,4 360:24 372:10 393:8,14 437:6 purposes 396:17 401:15 425:5 426:2 431:2 434:2 437:1 pushed 458:22 put 267:21 288:7 290:16 310:3 366:15 367:8 369:3 375:18 376:1 414:5 415:22 428:4 436:1,7 447:24 454:14,22 469:4 472:25 474:16 putting 339:9 408:18 430:16	qualifying 393:25 qualitatively 426:1 434:1 quality 293:3,6 320:16 quantitative 335:5 quantitatively 253:9 quantum 254:8 question 264:10 277:3 278:5 287:13 289:11 290:8 297:13 301:10 307:3 311:9,14,17,18 313:24 314:5,6,7,8 314:9,9,12,13,23 315:3 317:20 320:12 321:5 323:13,15 326:3 327:7 334:19 341:23 345:22 349:13 368:21 369:5 373:10,12 373:12 376:20 380:24 383:11 387:9,16 389:8,10 396:9 402:5 417:18,18 418:7,9 439:20 440:4,5 445:9,21 451:9,17 452:23 457:7,14 459:4,6 484:20 487:12 491:20 questioning 422:12,16 456:23 493:13 questions 251:9 257:5 294:9,25 296:10 313:10,15 315:6 324:22	368:14 409:4 417:5,16 445:2,6 445:25 451:4,10 452:20 453:16 470:5 486:5 quicker 260:12 quit 259:7 quite 267:18 280:13 290:11 310:7 316:7 322:7 389:22 436:1 481:24 quoted 286:14 quotes 347:7 quoting 281:25 303:8 307:10,22	reached 355:20 439:3 reaching 322:13 reaction 448:15 read 251:11 257:3 274:1,24 287:1,22 290:13 304:16 317:20 322:17 327:1 331:5 336:23,25 337:2,9 337:10,25 338:17 340:6 345:22 348:5 354:5,10 370:22 373:3,7 379:3,5 402:5 415:15 425:10,24 426:19 428:22 432:10 433:6 441:2 451:8,21 459:25 460:2,4 495:9 497:5 readily 260:11 299:5,13 reading 288:19 314:14 329:12 340:10 401:24 418:23,24 435:20 466:15 readings 442:15 reads 425:23 426:8 433:24 ready 251:14 406:6 real 276:9 277:1 296:1 386:24 423:9,12,13 424:21 425:20 433:3,19 441:25 442:5 479:20 494:3
q		r	
qualification 419:20 qualifications 285:25 qualified 284:23 qualifier 293:1 qualify 393:10 419:18 432:5 454:15 491:25		r 245:5 246:1 496:3,3 r&d 266:5 268:22 radio 299:18 ragan 246:16 495:1 ragan.naresh 246:16 495:2 raised 324:22 raising 286:18 range 296:23 378:5 406:13 ranged 271:10 ranking 265:11 rat 385:18,19 388:18,21 413:5 ratio 275:2,3,7,13 275:21 ratios 275:9,12 rats 384:10,17,23 raw 461:1 reach 254:9 418:21 428:17 449:21	

reality 447:15	401:11 405:23	351:8,12,13 352:4	476:6 480:19
realized 323:24	480:18 481:4	352:7,19 362:15	refers 279:4
324:14	489:22	362:19 428:25	299:18 328:24
really 269:16	recommendations	reductions 300:12	329:24 340:21
270:7 304:5,5	265:20 289:17	refer 297:16 342:8	352:10 353:21
366:17 388:4	405:17 463:19	427:22 442:21	411:24
389:9 420:6	466:16 489:18	referable 275:22	refined 354:22
426:14 427:23	recommended	276:4 278:25	reflect 431:15
442:5	347:20 399:19	279:4 382:21	refresh 415:5
realm 474:22	404:7,9 459:19	462:21 463:3	refute 308:15
reason 277:15	record 251:6,11	466:11,22,24	310:1 322:19,24
335:16 339:1	256:24 263:23	467:24 481:5	323:9
357:7,10 377:5	266:19 277:10	482:1,11	regard 286:16
383:3 398:24	296:2,4,7,11 301:8	reference 303:12	459:21
404:7,9 440:20	313:1 322:18	342:23 352:12	regarding 291:22
474:24 475:15	337:9 338:18	378:12 409:11	325:13 372:11
490:23 495:11	340:6 359:13,15	426:3,4,10,12,20	375:7 378:16
496:6,9,12,15,18	359:17 368:3,19	426:21,23 427:1,5	456:19 478:7
496:21	368:20 370:14,22	427:7,8,11 430:12	regardless 262:6
reasonable 339:5	377:21,24 378:18	434:3 445:12	regimen 429:3
400:14 447:13	393:14 397:25	455:21 456:12	regimens 309:20
482:4	399:8,13,17,23	476:7	region 251:21,23
reasonably 286:15	413:17 414:2	referenced 278:21	255:12 335:3
reasoning 383:5	415:3,21,22 422:6	298:22 302:23	406:12 409:25
reasons 277:15	422:9,11,18,19,21	321:13 326:18	420:2 429:2
341:5 373:5,14	425:10 430:8	401:4 429:11	regions 371:15
400:17 433:15	451:8 462:14,17	495:6	467:14 470:12
recall 263:1,2,12	480:3 493:10,19	references 297:1	474:5
264:21 310:13	494:9	302:6 316:14	registering 306:3
411:3 475:18	recorded 494:7	352:21 362:22	registrant 282:5,9
receipt 495:18	recover 394:17	416:21 429:10	284:9,13,21,22
receive 284:21	red 483:4	446:15	286:13,15
received 343:20	redirect 292:5	referred 267:15	registrants 281:23
376:4 474:19	369:4,11 493:11	281:10,14 316:23	284:6
recognize 287:5	493:12,14	368:16 370:16	registration
335:22 430:18	redox 255:8	429:6 433:5	286:19,20 305:10
recognized 336:5	reduced 352:17	referring 265:25	485:25 488:20
367:1	387:7	308:22 342:19	registrations
recognizes 367:2	reducing 259:22	345:7 368:3,17	284:8
recommendation	reduction 302:24	389:21 423:14,15	regularly 424:17
265:21 296:12	334:10,13 338:11	441:7 455:18	

regulated 285:4	relatively 337:2	458:25 487:12	332:7,21 333:10
regulation 283:22	412:14 436:3	491:20	338:25 340:21
286:12 466:14	relevance 385:12	repeatability	344:22 345:8,10
490:7,13	386:1,6 387:25	325:18	346:17,19 347:11
regulations 284:5	413:11 415:1	repeatable 277:21	348:2,12,24 349:8
284:24 285:12,13	458:15	309:17 310:5	349:11 358:8,13
404:3	relevant 262:10	320:10,11 321:4	363:19,23,25
regulator 444:15	277:1 284:6,18	321:19,25	364:2 373:3
regulators 488:23	285:3 286:5	repeated 261:12	382:23 390:25
489:1	287:21 385:18	295:25 356:1	392:16 397:21,23
regulatory 266:5	387:2 414:22	375:23 420:25	398:1 399:3,4,20
279:10,12 280:11	426:2 431:25	repeating 320:22	400:9 403:16,17
280:19,21 324:23	434:2	replicability	403:20,23 404:23
364:5,8,14 365:4,6	reliability 408:12	389:23	405:23 429:5
365:18 397:17	reliable 418:17	replicable 253:23	441:13 443:8
405:14 429:17	reliance 337:1	320:17 327:24	481:6 489:7,11,16
434:20 451:4	rely 441:11	330:10 362:4	489:25 490:9
456:12 459:9	remains 425:19	398:22 414:9,10	reportable 285:14
462:3,5,10 467:25	432:24	replicate 273:9	286:5,13 358:10
478:7,16,23	remarkable	276:9,17 334:1	reported 268:11
480:14 481:6	274:25	339:23 340:8	276:2 280:11
486:14 487:23	remember 255:4	347:21 353:13	285:5 289:17
488:3,14 489:8,12	263:3,4 276:10	389:3 396:9,12	315:20 331:9
490:1,8	303:13 310:15	413:9 432:18	336:9 338:13
reinforce 375:2	356:20 399:6	457:16,18 458:7	340:15 346:7,10
reiterate 306:19	414:17 419:7	replicated 351:24	348:12 351:17,20
rejected 290:5,11	431:11 449:15	353:15 373:23	352:4 356:24
relate 381:3 465:5	460:20 462:21	388:12 404:25	360:1,19 362:20
related 252:1	463:16 465:13,18	412:19 455:8	363:14,15,20
425:21 433:4,20	476:1 478:18	457:10 458:3	365:9,21 370:11
465:8 479:20	484:14,16	replicates 362:5	380:13 383:23
relating 282:13	remembering	replicating 411:19	384:16 389:11,14
relationship	463:5	replication 320:14	392:15 395:23
249:24 270:21	remind 342:15	374:6	398:12,13,14,25
442:23 444:20	repair 270:9	report 248:15,21	398:25 399:25
446:1 451:23	repeat 284:1	248:23 249:5,8	400:2,13 401:1
452:2 453:6	287:13 316:17,22	267:10 279:11	403:3,4 404:1
471:11	317:4 322:4 331:5	281:2,23 284:6	405:18 413:10
relative 285:16	355:23 373:10	288:1,3,11,13	432:18,25 433:2
494:13,15	396:1,9 413:1	289:3,21 290:4	433:19 434:11
	439:21 440:6	296:17 331:3,5,14	436:19 454:6

478:23,25 487:23 488:3 reporter 245:18 251:7 317:22 345:23 384:5 487:13 494:1,3 reporting 279:8 280:14 281:9 303:5 338:25 339:7 459:21 468:6 reports 267:10 282:10 332:12 337:20 348:15 349:1 350:13 353:23 354:17 356:13 360:20,24 361:5,13 362:11 362:19 399:5 406:10,21 412:11 442:16 460:7 461:20,24 462:7 468:6 472:6,8,9,19 represent 297:4 343:13 457:15 475:4 representation 282:25 representative 367:20 reproduce 317:6 322:12 323:14 396:18 454:6 reproducibility 333:15 350:9 reproducible 295:13 308:14 322:19,23 323:3 386:24 453:20,24 459:2 475:7	request 486:6 requested 284:22 require 254:8 266:10 279:8 284:5 285:12 404:4 required 280:11 282:10 287:21 340:10,13 458:13 463:11 482:16 483:5 490:17 497:13 requirement 280:16 281:10,11 requirements 280:14 288:19 requires 281:22 rereviewing 486:18 research 248:12 248:15,21,23 249:5,8 254:13 256:22 264:24 265:16,22 266:2 268:20 270:12 274:13 277:19 292:19,22,23 298:15 302:1 308:3 312:21 313:1 316:13 317:4,5 319:21 320:1 323:18 325:12 327:20 328:15,25 331:3 331:22 345:18 347:11,24 350:19 360:11 373:4,13 376:3 383:13 406:16 410:16 417:6 430:19 431:24 454:1	456:20 457:5,22 458:7 461:24 465:25 469:7,8 474:16 479:15 487:8 488:8 researcher 340:24 researchers 263:22 295:18 309:14 316:23 325:13,16 339:10 341:15,21 353:8 362:23 376:15,24 377:3 388:6 395:23 396:2,13 396:15,19 398:4 413:22 427:10 438:23 439:2 450:5 454:19 488:15 reservation 344:23 reservations 345:14,20,23 346:9,10,17 reserve 369:10 reserving 422:15 resource 416:16 respect 260:25 263:10 278:16 354:2 427:18 432:3 478:15 responds 451:15 response 324:21 432:23 446:4 451:7,8,15 responses 450:16 responsibilities 372:5 476:20 responsibility 279:9 287:2 288:25 309:6	374:20 375:6 470:23 471:13 responsible 288:2 288:12 289:23 405:6 423:7 425:1 439:10 459:9 465:12 470:19 rest 279:1 472:10 473:14 restate 413:15 rested 393:12 restricted 429:22 434:24 result 350:17 351:8,11 356:6 361:9 362:5 366:22 389:11 407:12 412:14 435:2 438:16 469:2 475:8 resulted 268:5 334:9 361:5 resulting 451:20 results 251:25 292:10 320:20,22 321:9,17 322:12 322:13 323:14 342:7,13 346:13 351:2 352:16 353:23 355:19 356:2,18 357:3,9 361:4,14,23 362:14 363:3,6 365:18,21 366:14 373:20 378:16,21 379:13 380:21 384:22 388:5 389:18 391:9 395:4 396:1 397:7 401:1,5,17,18 402:10,21 406:25
---	---	--	---

407:17,18 408:5 428:12 435:14 436:5,9,16 439:4,6 439:10,15 441:14 443:1 450:3,4,14 451:11 453:20,23 454:6,19 458:4 464:4 489:11 resume 251:14 retained 284:21 285:3 475:4 retired 372:9 return 495:13,17 reverse 395:1 reversibility 392:9 392:23 393:17 reversible 394:25 review 317:11,14 317:23 379:11 431:21 495:7 reviewed 295:19 344:10 353:18 363:4 407:4 439:3 443:5,13 reviewer 332:7 348:13 360:13 reward 335:23 rfiorillo 246:8 right 255:18 257:7 257:10,17 267:16 269:2 270:14,17 270:24,25 271:12 271:14 272:2,3,16 272:17 273:20,24 274:5 275:14 276:12 278:11 279:11 281:11 282:8 283:10,18 283:22 284:14 285:25 286:20 289:7,20 290:15	293:16 295:12 296:15 297:15,22 298:23 299:16,22 300:3,10,23 301:5 301:17,22 302:4 302:14 303:24 304:3,6,13,25 305:4,14 306:11 306:12 307:2,12 307:23 308:7,11 308:17 309:23 310:2,16,22,24,25 312:2,11,15,20 314:14,24 315:12 315:20 316:5,19 317:2,19 319:1,4 320:13,24 321:10 322:7,14,15 323:6 323:16 324:16 325:22 326:1,8,13 327:12,19 328:8,9 329:9 331:15,20 331:25 332:10,20 332:24 333:19 335:9,15,18 336:6 336:11,17 337:4 339:4,7,17 340:16 341:12,19 344:2,7 344:21 345:12,12 345:13 347:3,16 347:17 350:25 351:1 352:8 353:3 353:6 355:4,8 356:5,11 357:17 357:25 360:4,11 360:22 362:3,11 362:23 364:20 367:4,19 368:10 369:10 371:19,22 372:20 373:22 375:1,4,9 376:15	379:9,23 381:10 382:11 383:20 388:21 389:16 391:6,14 392:12 392:14,18 393:1 394:20,24 395:8 398:14 399:12 400:4 402:10,12 403:1,2,7,8 407:9 407:17 408:5,10 408:16,21 409:8 409:18,19 410:2 410:17 411:16 412:5,8 413:12,14 413:24 414:10,12 415:18,23 416:7 416:12 417:12 418:2,4 419:4,21 427:3 429:7 430:21,25 431:9 431:21,22 436:8 436:11 439:8 441:23,23 443:23 444:17 445:6,20 446:2,7 447:23 448:2 449:1,8 450:23 454:3,22 458:20 460:2,11 461:9,21 462:8,13 464:11 465:6,24 466:3 467:8,10 468:18 471:20 472:3 473:18,18 477:3,5 479:22 480:15 481:13,16 481:20 484:5,7 487:19 491:18 rights 248:7 258:20 422:15 rim 469:19 484:18	rise 274:17 risk 252:19 253:4 269:5,8,11,25 270:2 282:1 374:22,24 375:13 375:15,20 386:17 387:11 388:1,2 389:19 421:4 423:8 424:5,23 425:2 426:6 485:19 risks 284:7,19 285:4 490:24 river 295:6,12,16 295:22 350:1 robust 324:20 453:23 rodent 412:25 413:10 role 252:7,11,13 262:13,17 263:9 265:4 328:16 371:24 375:18 460:20 roles 265:9 481:11 ros 461:9,16,17,18 461:23 rosemarie 246:8 rough 315:1 484:22 round 294:9 356:2 route 259:1 277:4 277:21 278:7,16 278:22 285:22 385:24 425:14 432:15 routes 261:19 row 396:12 rule 254:22 286:24 345:18
--	---	--	--

rules 287:11,16,22 rumblings 418:2 run 320:18 rutgers 302:7 s s 246:1 247:1,1 331:17 496:3 sacrifice 391:15 sacrificed 393:13 394:3 safe 261:1 305:25 327:22 418:8 safety 249:17,20 260:6 265:9 291:24 298:2,5 309:13 325:14 328:17 330:10 416:19 418:10 423:6,11,22 424:1 424:25 426:6,24 427:5,16,21,22,23 428:2,6 431:7 432:3,9 434:7 463:17,20,21 466:3,12 478:7 sake 296:11 sale 253:25 254:20 324:1 475:5 488:19 490:6,12 sales 330:8 421:12 469:5 470:6 samples 391:6 sampling 337:15 337:16 338:7,7 339:19,19 samson 461:12,13 461:14 san 246:10 248:19 343:3 sat 265:25	save 260:21 saw 359:4 363:19 363:19,21,22,23 363:23 386:14 460:9,25 487:7 saying 253:1 289:14,16 303:3 304:24 312:18,19 312:25 315:11 322:3,11 339:11 369:13 380:4,8 388:4 397:13 421:2,17 435:13 438:6,8 439:11 442:8,10,17 473:8 475:21 478:17 491:25 says 283:13 298:14 299:7,8 300:14 301:24 303:19 306:1,23 306:23 307:2,4,9 308:18 309:24 310:19,22 312:3,9 312:10,13,14 314:22,25 316:16 319:18 321:3,7,23 321:24 322:1 323:8,20 324:24 324:25 325:2,19 325:22 326:4 327:1,2,11,12 328:10,14,18,22 328:23 329:3,4,6 331:17 334:12 337:8,18,24 340:17 343:20,25 344:4 350:7,16 362:18 371:7,9 374:17 378:8 379:2,4 380:11	381:1 382:5,15,24 383:22 385:5 386:15 387:9,15 389:1 390:1,11 391:1 395:3 396:23 397:6 401:18,23 407:22 411:14,18 412:10 412:18,21,23 413:7 416:13 419:5 425:6 426:20 442:4 445:20 446:12 453:4,8,9,18 460:13 461:11,16 461:18,23 scared 421:20 scenario 260:17 scheme 282:24 schmidt 417:3 schoenberger 246:9 science 270:15,16 290:25 291:8 308:23 309:6 320:14,16 326:24 356:24 431:6 443:15 448:20 464:21,25 465:20 471:6,23 473:20 474:7 475:1,1,16 476:17 477:8,9,9 477:12,18 478:11 493:6 sciences 465:17 466:1 scientific 253:21 280:19 291:17,23 292:4,10,19,22 308:9 319:20 320:13,24,25	324:21 325:3,24 326:5 328:20 329:7,16 335:22 336:2 345:16 347:2 353:16 356:21 366:14,25 374:4,14,21 375:3 375:7,11,20 383:20 395:24 397:11 414:4 418:17 429:9 472:6 475:8 490:9 490:14,17 491:7 492:1,5 493:2 scientifically 324:20 409:22 438:15 scientifics 326:21 scientist 262:1 298:15 335:8 346:25 366:12,21 367:5,9,17 408:6 435:5 447:23 475:6 479:10 scientist's 352:25 scientists 263:11 264:22 265:3,11 265:17 270:20 271:15 291:1,2 300:16 301:13,15 309:5,8,9 325:8 326:7,22 351:25 377:10 380:6 442:20 472:20 473:15 479:16 481:22 488:22 490:16 scope 254:1 255:22 259:10 267:2 269:13 272:10 278:4
---	---	--	--

279:18 280:1 283:23 291:3 324:3 367:14 421:13 423:25 448:18 450:25 456:22 468:14 470:2,17 475:9 485:4 487:25 488:5 490:19 script 287:1 se 256:8 search 366:13 sec 290:17 469:2 469:10 490:3 second 273:13 308:13 329:3,6 347:15,23 348:7 352:24 355:18 356:2,19 359:14 372:16 374:17 378:11 385:25 390:1 428:21,21 451:17 secrecy 291:22 section 281:10,22 282:4 283:10 285:13 286:14 298:17 360:24 361:4 390:1 401:17 402:11 407:21,22 415:2 sections 282:8 436:7 secure 265:13 see 253:21 261:18 261:18 262:7,8 275:9 277:17,20 279:2 294:8 295:24 297:14 300:3 303:10 304:3 314:22,25	315:1 316:14 317:9 318:22,23 318:24 319:8,12 321:16 325:5 327:1,23 329:2,22 330:25 336:19 337:8 338:2,15 339:15 340:5 341:9,11 343:23 352:11,14 353:24 367:25 370:16 373:21 378:5,13 383:25 387:4,16 387:23 389:3,5 390:1,9,22 397:3 406:19 407:12 413:5 418:14 423:10 424:6,10 425:8 436:23 437:4,19,21 438:19 442:3,5 445:23 452:13 453:16 465:4 478:21 493:8 seeing 263:3,4 310:9 406:25 419:10 470:3,4,6 seek 264:19 266:8 268:9 307:15 317:11,14,22 seeking 307:20,23 seen 256:19,20 262:9 264:9 274:11 310:12 321:17,18,21 330:4 336:21,24 357:2 363:25 378:17 383:11 396:5,6 398:18,18 400:2 405:25 408:14,21 457:11	457:16 474:10 selective 328:21 409:15,23,24 selectively 421:1 sell 259:19,19 279:14 305:5,6 329:11 444:12 448:24 449:1 478:9 485:25 488:18 selling 259:7 283:21 323:25 374:24 448:13 487:16 sells 468:4 send 356:16 405:16,18 460:22 466:16 sends 460:17 461:6 479:11 483:3 senior 265:5,9,23 266:3,3,4,22 298:2 298:4 sense 267:11 294:22,23 346:19 346:20 425:4 468:24 sensitive 338:10 338:12 342:3 380:14 382:7 396:25 403:11 sensitivity 338:8 380:24 383:9,14 383:15 sent 401:5,8,15 405:21,22 431:15 460:23 461:3,8 463:19 480:14 495:14	sentence 338:2,17 338:18 374:17 428:23 433:17 452:17 separate 403:21 466:5,18 separately 415:12 september 248:22 249:19 348:16 392:18 427:16 sequence 348:9,10 series 302:1 349:1 392:7 406:10 serious 292:11 482:16 seriously 482:19 482:23 483:1 served 298:4 332:3,6 serves 399:12 set 339:13 340:14 393:14 414:2 463:9 466:12 seven 394:3 sex 285:22 share 366:8 429:13,16,20 434:16,19 490:14 490:17 shared 277:6 291:2 sharing 290:25 293:2,9 sheet 495:11 sheldon 461:9,16 461:17,18,23 shift 253:22 short 252:3 481:24 shorter 285:20,20 shorthand 275:25 388:2 494:7
--	---	--	---

show 285:17 447:5 451:19 showed 344:18 370:11 387:19 showing 277:18 314:10 398:23 419:14 436:6 437:14 439:4 450:9 shown 263:19 277:21 295:3 330:2 383:13 419:2,22 488:15 shows 257:9 313:1 343:11 352:16 399:9 413:18 shrinkage 390:6 sick 417:23 420:7 side 288:21 478:10 483:5 sign 251:12 495:12 signature 494:19 signed 494:20 495:20 significance 409:22 458:15 487:7 significant 251:24 275:7 334:13,16 344:2 351:8,12,17 361:6 362:14 383:15 394:8 426:25 441:17 467:21 469:8 470:15 silent 441:3 similar 253:4 265:8 278:11 285:15 336:13,15 351:22 394:15 401:5 467:16	477:14 simply 270:8 310:6 312:22 389:10 436:6 single 389:11,13 447:7 464:9 sir 258:23 261:15 296:24 311:4 312:13 315:5 318:17 319:12 321:23 325:20 330:25 342:20 354:7 357:23 359:23 361:20 378:3 379:6 387:21 392:4 396:21 400:7 406:4 423:1 449:2 453:15 455:19 468:22 490:5 493:9 sit 471:23 site 259:4 sitting 304:20 442:14,14 443:20 situation 301:6 305:16 430:24 473:6 six 272:16,21 273:7,14,18,22 sixteen 358:12 363:15 sixth 426:8,19 slechta 302:6 316:24 330:6 414:14 429:5 slide 308:2 320:5 321:13 330:16 411:14 412:2,7 447:6	slides 303:6 316:23 461:1 slightly 393:10 436:13 slow 374:22 small 312:5,17 315:17 334:9,12 338:20 419:23 smaller 268:2 449:5 smell 259:6 smith 246:24 249:1 264:19 265:7,17 370:24 371:3,21,22 372:11,21,23,24 373:4,13 378:21 379:11,12,19,25 380:3,5,7 390:4,24 391:3,14 406:22 411:7 society 248:18 291:17 292:14 343:2 345:5 356:23 software 337:12 354:14 355:2,10 sold 468:11,25 479:1 484:5,19 487:17 sole 440:20 solutions 495:23 somebody 323:7 somewhat 385:3 424:19 sorry 263:6 316:11 322:6,6 326:19 363:22 370:20 388:23 392:16 402:14 415:11	sort 265:15 275:9 294:7 345:17 391:19 401:14 407:3 sought 265:12 sound 291:4 298:6 308:9 319:20 336:2 374:3 sounds 482:4 source 362:25 south 469:18 470:14 span 273:16 speak 289:18 326:7 369:22 441:23 463:8 speaking 474:4,25 species 286:4,8 412:25 413:10 specific 264:17 266:14 270:13 284:7 288:18 309:4 313:21 315:5 369:22 371:11,14 377:17 383:24 401:16 405:19 418:21 439:9 462:7 474:6 specifically 262:24 280:18 292:14 309:12 318:4 331:4 333:9 334:23 337:5 365:14 370:13 396:4 409:4 423:14 specifics 251:22 439:20 speculate 290:7 speculative 358:25
--	--	--	---

spell 384:5	stated 346:3	391:24 422:6	315:15,25 326:19
spoke 376:9	367:15 402:18	426:13 452:15	334:19 345:21
474:13	statement 278:11	stillery 246:6	356:15 363:22
spoken 427:10	282:21,25 283:6	stockholm 307:15	365:20 385:7
sponsor 293:10	292:2,15 303:16	307:23	387:8 389:9
sporadic 269:18	320:9 365:24,25	stomach 260:11	449:11 459:4
271:18	384:20 415:3	stop 310:8 313:3	485:2 487:11
spring 326:18,20	420:17 422:2	313:22 421:23	strikes 274:25
327:12	428:16 447:9	452:15 479:22	strong 254:23
st 245:2,17 246:5	452:5,25 457:24	480:13	265:21 271:3
246:19 313:12	statements 282:20	straight 414:2	stronger 400:12
stack 468:18	357:7 419:6	476:19	strongly 389:22
stage 254:9,23	states 261:3	straightforward	structure 261:6,11
271:24 337:14	275:23 276:6	280:13 414:2	294:14 299:3
355:9 425:20	284:16 351:7	strain 285:22	studied 366:20
433:2,18	363:10 364:9	316:25 347:13	studies 253:17,18
stain 437:4	397:18 400:9	380:24 383:9,13	253:22 254:13
stained 435:19	429:14 434:17	383:15 406:14	262:21 263:17,21
staining 438:5	456:19 466:19	412:12 425:21	264:20 266:6
standard 320:15	478:24 480:22	433:4,20	268:5,6,15,23
323:1,2 424:3,23	statistically	strains 380:14,17	270:17 272:17
491:23	334:13,15 344:2	381:9 382:7,9,14	276:1,2,16 277:14
standing 281:19	351:12,17 361:6	382:17	278:1 285:15,15
standpoint 270:6	362:14 383:15	strands 254:12	285:16 289:1
start 251:10	394:8	strategic 267:3	292:4,21 293:6,18
269:20 271:19	statute 282:21	strategy 308:3	295:21 298:22
426:16 463:11	stayed 394:20	325:4,7,7,25	299:18 302:21
464:8,15 493:1	stephen 246:6	326:17,18,20	303:4,5 312:22
started 260:2	steps 254:5	327:13,15 328:12	315:21,25 316:1,3
272:18 392:14	stereology 302:14	470:19	316:8,13,22 318:1
438:20,22 465:15	334:21,24 336:10	street 246:4,10	318:4 319:3,4
477:12,12	336:19 337:6,12	stress 408:18,19	326:8 334:1 336:8
starting 319:2	337:13 340:14,19	striatal 300:12	337:21 342:9
428:22 432:16	340:25 350:11,24	333:23 349:22	346:5 347:18,19
487:6	354:13,21 355:1	361:16 393:20	349:2 352:10,21
starts 273:12	355:10,15 356:12	395:7 401:22	353:8 357:3 362:6
296:23 300:3	377:9,14 435:17	402:25	362:22 365:21
308:7 338:18	438:18	striatum 302:24	366:20 368:3,4,5,7
425:15	steve 251:10	strike 264:10	368:17 370:11
state 313:7 406:19	281:16 311:9	279:24 288:9	375:23 379:13
	313:3,22 368:2	307:21 311:6	381:2,3,8 388:5

392:7 394:1 395:5 395:13 396:7,18 399:24 400:13 401:5,14,20 402:23 403:21,24 404:24 405:18,24 406:11 408:12 410:24 411:15,19 411:19,24 412:20 413:1,3 418:19 419:1 420:25 425:11 427:9,9,9 429:6,7,11 432:12 432:17,22 433:5 434:11 435:24 437:22,24 441:2 446:1,4,22 447:3 447:10 448:9,12 449:3,18 450:3,17 451:19 453:23 454:18 455:3,14 456:2,3 458:3,14 458:17 459:13 460:23,24 464:4 465:13 466:7 474:20,21 479:14 482:18 487:18 488:13 study 248:21,21 248:23 249:5,8,9 250:2 262:25 263:1,4,8 273:22 276:14 277:1 291:12 293:10,11 293:11 300:9 331:14,22 332:3,7 332:12,21 333:14 333:19,21 334:18 334:20 336:5,21 336:24 337:2 338:3 339:9,17	340:14,19 342:7,7 342:8,11,14 344:18,20,23 345:3 346:18 347:6,11,15,20,23 348:13,15,24 349:7,16,20 350:8 350:9,12,13,14 351:2,11 352:5 353:15,24 354:13 354:14,17 355:9 355:14 356:3,19 356:24,25 357:4,6 357:13,14,22 359:25 360:8,10 360:13,15,23,24 361:14 362:10,12 363:3,6,12,13 364:4 365:9,17 370:6,13,16 383:1 383:20 385:20 386:11 387:19 388:17,18 389:11 389:13 390:13,16 391:15 392:7,16 392:22 393:16 394:5,11,23 395:17,21 396:1 397:8,16,19 398:3 398:9,10 401:1,4,8 401:12,18,18 402:1,11,14,15,18 406:20,22 407:23 409:9,11 431:25 432:1 433:7 435:2 441:7,11 451:11 455:18,18 459:11 460:7 461:6 study's 347:21 stuff 283:14 375:24 420:7	sturgess 248:6,8 257:15 297:22,23 297:25 298:9,25 299:17 301:24 303:1 306:23 307:2 309:25 310:18 311:21 315:21 320:3 332:6,9 335:11 336:4 348:12 360:13 371:3,5 378:12,15 379:19 380:3,12 385:1,6,8 411:2,7 424:11 431:8 476:8 sturgess's 372:22 sub 426:11,22 427:1 subchapter 282:11 subgroups 464:10 subject 250:2 254:15 258:4 397:19 410:13 438:19 490:6,12 493:6 subjectively 491:17 subjects 367:21 submission 461:25 462:2,3 submit 286:13 480:24 submitted 282:15 285:16 458:17 462:4,9 466:21 480:4,7 submitting 288:8 307:5 subpopulation 396:25 403:11	subscribed 497:14 subsequently 265:18 305:3 370:1 385:17 391:20 441:9 subset 476:16 substance 273:5 351:10 451:13 substantia 251:22 253:15 255:3 258:12 295:7 302:23 309:22 333:17,24 334:11 344:19 349:24 352:8,19 356:13 361:1,7 362:16 371:12,17 377:11 387:19 388:16 393:1,20 395:12 395:13 403:25 406:13 407:20 409:13,17 412:15 419:15,25 421:1 421:24 425:12 427:6,19 428:17 429:1 432:13 434:12 439:5 456:14 457:11 459:3 substantially 408:20 substitute 492:21 492:21 subtle 380:17 suddenly 358:11 sue 306:15 suffering 292:13 sufficient 407:10 sufficiently 419:11 suggest 277:22,23 277:25 278:6
--	---	--	--

330:4 343:18	401:19 402:22	263:4 264:15	417:9,11,13 418:4
371:13 380:16	444:20 477:13	265:4,11 266:12	422:14 424:18
395:14 409:12	supportive 396:24	266:19,21,22	428:24 429:13,16
412:11 421:23	403:10	267:8,10,10 268:4	429:19,23 432:2
450:11	sure 298:6 300:18	268:19,20,21,23	432:17 433:5
suggested 277:8	341:17 348:22	270:13 276:3	434:16 442:9,23
278:2 390:3,24	363:25 364:7	281:5,23 284:13	444:12 446:20
391:3,3,14 400:16	366:17 373:9	285:3,12 287:6,10	452:4 455:8,12
406:16,22 492:10	377:3 387:22	287:15,21 288:20	456:11,18 457:4,9
suggesting 373:16	397:12 401:10	289:8 296:12,13	457:15 462:25
388:7 449:23	414:4 422:8	296:23 297:8	463:4 467:12
487:5	443:13 446:23	298:2,15,19 299:9	468:4,12,20
suggests 269:7	464:16 479:2	300:15,17 301:13	469:12 470:5,16
304:22 305:1	surface 437:3	301:13,14 304:20	470:18,19 471:1,7
307:18 325:10	susceptibility	308:3,19 309:17	471:8 473:15,16
349:6 372:13	252:22 380:18	310:21 311:23	481:3,7,10,11,14
378:18 390:6	suspect 281:17	312:16,21,22	481:17 482:7
409:15 459:1	321:22	315:7,13,14,16,20	485:3,7,16,24
suing 305:22	sweden 306:4	316:5,8,13 317:10	487:23 488:3
306:10,20	swedish 305:22	317:14,22 318:1	489:10,24 490:16
suit 306:13,24	306:10	320:5 322:22	490:23 495:4
suite 246:4,19	swiss 381:16 382:9	323:23 326:21	496:1 497:1
summarize 279:2	switzerland	327:21,21 330:6	syngenta's 253:10
summary 249:12	469:14 483:14	331:3,4,19,23	253:24 276:25
298:10 351:4	sworn 251:2	333:11 335:9	297:7 324:13
410:6 412:7	497:14	340:19 342:7,9,13	325:12 326:6
415:25 416:3	symposium 344:7	344:18,22 345:2,2	329:10 367:20
432:11	344:12 397:11	345:9 346:2,14	374:8 410:16
sunnyvale 302:10	symptoms 251:25	347:16,25 356:16	427:16 428:6
335:17 355:7	252:2,14 259:6	356:18,23 357:11	446:4 451:25
356:16 366:24	319:22 418:22	360:11 363:3,10	453:10,11 469:14
superiors 365:22	446:6,9	364:4 368:6 370:6	synuclein 252:4,5
365:22 366:3	syng 248:10	370:6 373:23	252:7,11,17
367:23 369:18	249:15,16	374:3 375:6 378:5	262:14,17,22,24
372:22	syngenta 245:8	381:8,24 383:17	263:9,12,15,20,24
supplied 417:13	246:24 248:8,9,16	384:22 388:4	264:2,7,12 381:4
suppliers 380:16	249:7 253:21	389:18 395:17,21	381:15,17,18,20
support 249:24	254:8 255:6,20	396:1 397:7,10,16	system 248:18
255:24 361:14,23	257:12,13,21	400:23 410:10,22	288:7 294:2,4,15
361:25 362:2	258:8,15,20	411:9,15 412:19	294:17,19 331:12
395:4,14,16	259:24 262:21	412:25 413:17	337:12 338:21

339:1,10,11 343:8 344:23 425:19 433:1,10 435:3,11 441:23 461:15 systems 338:22 354:21	314:2 332:10 338:2 350:15 367:21 371:22 379:22 387:24 391:13 398:5 420:22 430:11 435:17 456:15 457:23 459:13 464:3 466:6 470:7 476:6 484:3 486:17 492:2 targeted 421:1 task 467:16 tasked 465:24 teaching 346:25 team 264:22 265:17,18,24 266:1,22 267:13 267:14 270:15,16 270:20 289:2 308:23 364:23 373:4,13 427:23 427:25 428:2,7 431:6,13 464:21 464:25 465:10,11 465:17,20 466:1 471:2,6,8,8,10,13 471:16 473:15,17 473:20 474:7 476:16,17,22,23 477:1,2,3,4,5,8,9,9 477:12,12,18,21 477:25 478:4,11 481:19,22,23 teams 470:22 471:10 476:18 teased 420:1,4 teaspoon 260:16 technical 249:17 249:20 298:1,2,4 335:25 345:19	423:22 427:15 428:19 433:15,15 435:6 438:22 476:16,22,23 477:12 technique 334:21 335:9 338:10 340:25 341:2,14 341:14,19,20 342:1,2 344:23 346:12,18,25 348:10 350:24 356:1,4 366:22,24 436:3 438:14,15 438:18 techniques 302:18 346:13 347:14 348:4 356:7 377:12,15,16 technology 320:19 336:13,14,15 357:8 376:5,6,7,11 376:14 377:9,10 teenage 273:24 teenager 273:19 teenagers 276:13 teens 274:2 tell 267:12 269:10 269:15 272:7 286:24 318:16 337:9 352:24 356:24 358:1,3 359:24 370:6,13 371:2 396:22 406:6 416:9 447:23 448:17 455:3 456:1 457:21 458:9 473:3,5,19 475:23 488:11	telling 278:3 303:1 389:6 400:1 421:5 440:22 447:10 472:20 475:16 488:10 489:4 tells 416:20 ten 469:11 486:17 tend 270:10 484:21 term 278:6 418:11 419:1 482:6,8 terminate 313:11 terminated 332:15 332:19 348:18,20 348:23 360:17 terminating 254:19 termination 392:17 terms 253:9 257:25 258:2 266:10 270:4,12 273:15,19,24 274:24 275:8 278:13 283:21 284:3 286:19 287:8 290:5 349:8 365:1,2 408:11,12 420:18 424:1 458:20 459:8 469:3 470:1,22 473:7,19 474:7,21 475:4 test 261:12 264:15 265:14 267:22 283:2 285:19,23 334:7 419:13,14 419:14 425:18 433:1,10 435:3,11 436:10,14,15 441:22
t			
t 245:22 247:1 248:1 496:3,3 take 254:16 257:3 265:22 273:22 276:18 324:4 354:4,8 359:11 373:9 393:6 400:20 409:2 415:9 418:4 424:4 430:5 436:8,16 448:1,5 462:12 482:18 483:1 taken 254:6 288:23 290:12 296:6 330:10 359:16 377:22 383:6 422:10 450:12 454:20 460:19 462:16 494:6 talk 255:11 273:13 333:7 397:11 406:6 456:15 464:12 471:18,20 476:1 483:12 talked 272:14 299:18 316:9 333:7 399:7 413:3 459:12 465:9 471:6 478:13 talking 264:1 272:4 277:10 286:1 308:24 309:12 310:4			

tested 276:8 338:8	380:20,23 389:7	484:24 486:10,16	262:12,20 267:6
testified 251:2	407:7 416:21	487:2 488:17,23	269:17 272:12
testify 367:21,23	424:4 438:20	488:25 489:1	278:9,15 279:20
testimony 494:4	447:20 473:7	490:3 491:1	279:23 280:3,8
494:10 495:9,18	474:23 478:8	492:11,23	281:4,20,21 283:3
497:8	487:3	thinking 380:1	283:24 286:10,23
testing 276:7	think 251:10	443:17 449:19	287:9,14,18
282:14 466:7	252:16 254:23	450:13 474:8	288:15 291:5,15
488:21	255:10 262:18	third 263:8,13	291:21 292:3,9,18
tests 263:15 268:9	264:6,9 269:4,6,21	325:2 395:17,21	293:5,23 295:20
375:17 454:16	271:20 291:4	396:1,12 417:12	296:2,9,22 301:4
textbook 294:7	292:2 293:22	425:14 429:9	301:11 304:9
th 338:11 407:19	298:7 301:6	432:15	305:18 306:22
436:21,25	302:13 314:8	thiruchelvam	311:11,15,18,20
thank 274:5	322:8,14 324:9	353:1,2,5	313:5,19,24 314:2
315:12 317:25	335:10,12,15,15	thomas 245:5	314:5,11,14,18,20
318:11 330:21	340:21 341:7,11	thought 303:17	314:24 315:4
343:1 349:15	342:3 351:2 358:2	335:24 357:23	318:6,14 324:6
369:16 373:11	359:6,9 365:14	431:24 437:25	326:16 327:8
402:8 415:14	366:15 368:18	478:5	328:2 330:22
426:17 445:16	371:25 373:19	thoughts 249:3	340:3 342:18
462:12	375:3 376:10,23	378:9	344:5 347:5
theories 291:11	383:3 385:11	threat 329:15	355:24 358:6,22
391:8	389:24 392:19	374:8,12	359:8,11,22
theory 258:23	393:9 400:14	threaten 330:7	364:19,24 367:10
thereabouts	404:12,14 405:9	threats 329:7,10	367:18 368:5,9,11
477:11	412:3 413:17	375:10	368:15,20,25
thick 436:6	419:9 420:3,6,9,17	three 261:2 275:2	369:8,13,16,17
thickness 438:20	421:11 424:7	275:16,16 297:16	370:4 378:2 392:1
thing 267:18	426:13,15 428:8	302:22 303:6,7	392:3 400:6 402:9
310:24 346:21	436:4,18 438:17	325:19 334:4	406:2 409:1 410:5
348:9 357:24	439:18 440:4,21	348:24 357:21	414:19 421:8,15
376:25 381:18	440:22 441:18	368:4 393:1,11	422:8,19,24
389:8 391:24	443:10,12 445:14	400:12 403:21,24	426:14,16,18
400:15 404:7	445:18 447:13,19	404:24 418:5	430:4 440:6,15
407:13 439:8	447:25 448:15	429:4	443:25 447:17
440:9,16,18	449:9,17 454:25	tillery 246:3,6,23	448:22 449:20
458:16,20 488:12	456:5,6,24 465:21	247:5 251:14,17	451:2 452:16,21
things 270:8	472:16,17 473:14	254:7,18,25	452:22 453:2
285:18 358:18	474:10,23 476:5	256:15,18 259:12	455:2 457:2
373:16 377:7	479:6 484:20,23	259:17 261:21,24	459:24 462:12,19

468:15,17,19 470:7,9,21 471:4 472:15,24 473:10 474:18 475:12,20 482:5 483:11 485:6 487:10 488:2,9 489:6 490:21 491:21 492:4,13,20 493:7 493:17 time 251:4 255:18 259:14 260:22 267:19 268:8,11 268:17,17 278:14 295:5 304:13,17 308:19 311:5,8,25 312:15 315:13,13 315:16,19,20,23 316:1,4,8 317:16 321:14 322:22 323:15 327:23 332:19 339:5 344:22 345:10 348:1 354:8 357:23 358:8,10 359:12 360:12 363:13 366:11 369:1,23,24 371:24 372:25 373:2,9 374:18 376:24 377:6 383:11 385:16 386:23 387:1,21 388:25 392:9,23 393:5,6,13,16 399:5 400:2 405:3 405:8,14 406:4 409:21 414:7,11 420:19 428:4 430:22,24 431:5 436:19 442:13	449:17,23 458:9 460:19 461:14 462:25 463:11 465:21 466:4 471:12,12 473:24 479:5 494:3 495:19 timeframe 495:8 times 275:16,18 287:21 290:4 307:20,22 347:2 379:23 459:16 489:2 timescale 395:1 tissue 285:19 294:17 355:13 356:11 390:5 391:6 437:24 tissues 438:21 title 283:7 331:5 343:6 370:22 372:13 379:2 392:8 430:11 444:19 476:15 titled 248:25 titles 250:2 today 251:3,7 279:1 310:13 311:2 367:22 433:13 448:9 451:25 452:5,6 453:10 477:25 487:17 493:16 today's 493:19 told 259:18 268:10 269:3 280:4 313:16,17 367:5 400:17 416:5 418:6 421:9 447:2 448:10 450:7,15 450:20 454:22	462:20 475:19 484:24 489:7,11 489:20 tolerated 432:20 446:16 top 307:9 329:3 352:11,14 378:8 398:8 411:13 415:16 445:8,20 453:16 463:12 477:24 topic 301:20 365:8 444:19,24 462:21 468:16,17 topics 468:15 470:6 total 338:9,23 347:19 totality 399:10 404:11 419:10 420:21 touch 279:3 toxic 304:1 406:15 406:15 407:7 toxicant 271:4 272:5,21 toxicity 249:11 294:4,12 295:24 339:23 340:8 350:11 361:16,19 371:11 380:14 383:23 395:7,10 397:2 401:22 402:25 403:13 406:23,24 407:10 408:1,12,16 409:14 485:14 toxicological 261:17 285:14 287:3 310:6 424:3 441:24	toxicologist 265:5 372:3 460:21 toxicology 265:6 272:25 276:1,1,16 298:17 319:16,19 319:25 323:18 360:3 372:1 386:11 traditional 271:15 training 355:3 transcribed 494:8 transcript 251:12 314:10 494:5,9 495:6,20 497:5,8 translate 273:14 transparency 293:14 326:23 413:25 458:13,19 478:6 transparent 413:18,21 416:4 418:6 420:17,18 443:11 458:21 459:8 travis 248:6 257:17 424:11 431:9 476:9,10 treat 482:21 treated 338:9 440:14 446:21 455:9 treatment 255:20 255:23,25 256:1,6 258:5,11 260:12 425:21 433:4,20 435:21 440:12 441:2 453:24 479:20 treatments 256:2 258:12
---	--	---	--

trial 315:7 trick 282:23 tried 255:20 260:4 260:9 trouble 366:23 true 264:6,9 279:13 292:2 293:18 316:7 322:14,15 345:25 353:17 382:2 413:4 419:6,8,9 472:2 475:11 494:9 497:8 truly 463:18 491:10 truth 421:22 454:22,24 truthful 490:7 try 313:6 314:19 314:20 347:20 380:20 464:9 trying 267:21 277:16 295:23 305:12 306:25 311:13 327:14,23 334:1 340:22 353:13 373:19 387:22 423:25 438:25 439:19,25 448:2 492:3 turn 283:17 321:2 323:16 411:13 412:2 445:8 turning 319:10 twentieth 245:1 twice 275:13 421:18 429:3 two 254:11 265:2 265:11 272:14,16 275:2 277:15 302:1 304:20	342:4 354:8 357:2 364:25 372:14 380:6 395:4 399:5 399:24 401:4,19 402:22 410:23 414:21,25 430:13 444:3 445:25 451:10 474:5 476:12 487:3 type 367:5 388:18 388:23 types 268:15 275:12 384:22 typical 258:19 271:2 typically 271:18 271:19 272:1,6,15 281:10 tyrosine 437:3,5	275:10 281:22 294:20 303:18 315:11 321:13,19 322:16 323:5 355:25 356:8 366:5 369:14 404:11 416:17 443:3 463:14 464:9 493:9,11 understanding 251:18 252:10 258:24 272:25 275:20 288:18 290:22 315:9 328:16 374:21,22 375:3,7,12 376:5 406:20 431:3 434:25 482:10,13 485:13 understood 271:2 271:9 281:5 293:4 325:15 341:17 375:22 undertaken 266:7 undertook 319:4 undoubtedly 374:10 unethical 367:13 491:9,14 union 304:15 306:10,17 484:1 unit 340:25 united 245:17,19 261:3 275:23 276:6 284:16 363:10 364:9 397:18 400:9 429:14 434:17 456:19 466:19 478:24 480:22	university 384:8 unlawful 282:9,21 unnecessary 292:6 unreasonable 282:1 293:22 404:13,14 409:21 447:25 489:3 unreliable 438:16 unresponsive 288:9 311:7 345:21 387:8 389:9 449:12 459:5 487:11 unsure 262:17 update 248:12 430:10 456:19 updates 457:5,9 upregulation 263:19,24 264:1 268:25 381:18,20 ups 390:3 usage 419:2 usc 283:10 use 249:24 255:24 256:2,3 258:3,6 272:8 273:6,7 290:12 294:9,24 320:10 328:17,19 328:21 335:9 340:24 348:4 350:23 369:1 370:7 376:12,18 377:10,13 386:12 389:18 407:7 416:18,18,24 429:22 434:24 442:11 443:19 444:21 492:18 493:13 useful 399:13
	u u 384:7,7 u.s. 246:4 uk 261:2 265:8 337:13 ultimate 329:13 329:15 ultimately 330:12 um 297:6 305:3 333:16 346:8 348:5 376:3 428:1 454:11 478:7 umbrella 467:12 uncertain 264:25 uncertainties 426:23 uncertainty 252:17 uncover 438:23 underlying 343:12 understand 257:25 258:25		

users 259:23 261:9 uses 283:1,4 416:20 usual 427:12 461:20 usually 263:25 utility 258:5 260:23 utilization 437:7	videographer 246:24 251:3,7 296:4,7 359:15,17 377:20,23 422:9 422:21 462:14,17 493:15,18 vietnam 484:15 view 254:17,19 264:23 277:6,9 305:9 315:19 328:21 330:10 482:13 489:2 viner 246:24 251:8 violates 282:6 virtually 415:1 436:9 439:4 450:4 469:17 visibility 478:18 visit 350:18 355:3 visited 302:15 vital 292:22 vivo 298:22 316:13,17 317:2 319:2 411:19 voluminous 414:20 voluntarily 305:10 volunteer 315:6 vomit 260:20 vulnerable 397:1 403:12 409:16	341:9 342:21 347:7 352:11 358:17,19,25 359:10 365:22 368:19,20,24 369:5,6 375:21 402:5 404:17 414:24,25 415:2 416:7,9 417:20 443:13 460:2,4 464:8,8,12 475:1 475:15 483:6 wanted 259:19 261:12 278:25 304:25 341:17 366:3 367:24 369:18 373:4,13 376:23 379:16 389:8 414:1,4 445:5 wanting 414:15 wants 383:18 washington 246:15 water 274:6,9,12 way 252:14 254:23 260:24 262:23 264:16 268:1 273:4 277:12 288:2,12 293:3 294:17 310:3 313:12 324:5 336:15 344:2 358:25 366:15 367:16 369:3 380:2 385:23 388:2 391:9 400:2 408:19 428:5 435:7,18 437:14 438:15 442:9 443:2 468:24	470:15 482:24 489:14 494:16 ways 373:19 379:20 we've 263:12 330:23 406:3 457:22 477:14 website 418:5 422:3 428:16 webster 381:16 382:9 week 272:16 273:14,18 333:11 334:4 349:3 359:5 429:3 460:1,15 weekly 331:12 362:13 393:11,18 weeks 272:22 273:7,22,23 334:4 418:5 429:4 weight 334:7 448:21,23,24 449:2,2,5,18 450:11 452:7,8 454:25 459:1 487:9 489:4 492:2 492:9 went 442:1 486:4 wheelhouse 289:18 whilst 253:13 widely 265:10 354:20 willing 368:22 422:12 wise 478:5 wish 341:10 387:25 415:6 491:11 wistar 384:10	
v	v 245:7 495:4 496:1 497:1 v2 495:5 496:2,24 497:2,12 validate 411:20 validity 325:16 valine 384:12,13 values 338:8 variability 438:19 438:23 439:14 variety 382:8 various 309:20 431:18 438:20 venture 259:13 358:25 verbatim 494:9 verified 454:18 verify 264:16 495:9 veritext 246:24 251:8 495:14,23 veritext.com. 495:15 version 430:14 versus 357:8 440:13 vetting 291:11 victims 292:13 video 245:15 422:19	w	w 247:1 wait 358:2 404:14 walkup 246:9 walkuplawoffic... 246:12 want 262:5,7 273:21 275:9 290:7,8 313:12,20 314:5 315:6,9	

withdraw 311:18 348:7 453:1,3 withdrew 305:10 305:24 306:1 withhold 283:15 491:11 withholding 280:19 491:2,7 492:5 493:2 withstanding 285:15 witness 246:13 247:3 251:16 315:7 345:22 368:13 493:11 494:4 495:8,10,12 495:19 wondered 441:5 wonderful 488:12 word 253:7 258:3 290:11,11 320:10 387:15 426:15 430:16 438:13 442:11 443:19 447:7,14 452:12 452:13 492:19,21 492:22,24 words 252:14 261:25 287:1 310:25 322:3 335:6,23 386:12 394:17 408:18 433:16 449:8,15 482:21 work 252:18 253:5 257:13,19 258:8 318:25 326:24 330:6 336:2,5 340:23 384:8 388:11 396:13 435:9 456:15	474:10 worked 257:19 298:19 376:5 working 259:13 431:13 442:19 works 257:12 478:20 world 276:9 277:2 279:11 321:19 325:9 326:7,22 364:5 365:18 376:7,8 377:3 397:17 429:17 436:11 466:9 469:16,17,25 470:12 473:20,22 474:2 worried 420:6 write 304:23 writing 283:4 304:13 349:8 405:6 writings 405:19 written 304:17 322:8,9,15 324:5 325:10 349:2 wrong 258:7 323:7 356:25 357:4,6 438:3,5,6,7,8,12 452:11 wrote 304:24 359:2 385:22	402:23 429:5 461:3 xm7371 248:23 360:8 395:5 401:20 402:23 461:3 xm7480 249:5 392:12 401:2,18 461:3 xm7552 461:4 xm7570 249:8 461:4 xr7641 461:4	z zachary 248:8 zeiss 337:14 zeneca 289:8	
		y		
			y 384:7 year 289:14,15 333:13 358:24 469:13 years 255:9 261:2 261:13 271:6 272:14,16 273:24 277:13 283:7 289:12 332:21 333:2 348:25 358:3,3,7,13 363:15 398:12 404:15 410:23 419:13 430:13,16 478:2 486:17 492:3 yesterday 251:11 252:9 272:14 298:1 299:12,19 302:12 376:10 463:1 465:9 474:13 young 272:9 276:22	
		x		
		x 247:1 248:1,1 390:17 xm7229 342:11 350:13 353:24 354:13 461:3 xm7258 248:21 354:19 361:1,14 395:5 401:20		

Illinois Code of Civil Procedure

Article II, Part E

Rule 207, Signing and Filing Depositions

Signing and Filing Depositions

(a) Submission to Deponent; Changes; Signing.

Unless signature is waived by the deponent, the officer shall instruct the deponent that if the testimony is transcribed the deponent will be afforded an opportunity to examine the deposition at the office of the officer or reporter, or elsewhere, by reasonable arrangement at the deponent's expense, and that corrections based on errors in reporting or transcription which the deponent desires to make will be entered upon the deposition with a statement by the deponent that the reporter erred in reporting or transcribing the answer or answers involved. The deponent may not otherwise change either the form or substance of his or her answers. The deponent shall provide the officer with an electronic or physical address to which notice is to be sent when the transcript is available for examination and signing. When the deposition is fully transcribed, the officer shall deliver to the deponent, at the address supplied,

notice that it is available and may be examined at a stated place at stated times, or pursuant to arrangement. After the deponent has examined the deposition, the officer shall enter upon it any changes the deponent desires to make, with the reasons the deponent gives for making them. If the deponent does not appear at the place specified in the notice within 28 days after the mailing of the notice, or within the same 28 days make other arrangements for examination of the deposition, or after examining the deposition refuses to sign it, or after it has been made available to the deponent by arrangement it remains unsigned for 28 days, the officer's certificate shall state the reason for the omission of the signature, including any reason given by the deponent for a refusal to sign. The deposition may then be used as fully as though signed, unless on a motion to suppress under Rule 211(d) the court holds that the reasons given by the deponent for a refusal to sign require rejection of the deposition in whole or in part.

(b) Certification, Filing, and Notice of Filing.

(1) If the testimony is transcribed, the officer

shall certify within the deposition transcript that the deponent was duly sworn by the officer and that the deposition is a true record of the testimony given by the deponent. A deposition so certified requires no further proof of authenticity

(2) Deposition transcripts shall not be filed with the clerk of the court as a matter of course. The party filing a deposition shall promptly serve notice thereof on the other parties and shall file the transcript and any exhibits in the form and manner specified by local rule.

DISCLAIMER: THE FOREGOING CIVIL PROCEDURE RULES ARE PROVIDED FOR INFORMATIONAL PURPOSES ONLY. THE ABOVE RULES ARE CURRENT AS OF APRIL 1, 2019. PLEASE REFER TO THE APPLICABLE STATE RULES OF CIVIL PROCEDURE FOR UP-TO-DATE INFORMATION.

VERITEXT LEGAL SOLUTIONS
COMPANY CERTIFICATE AND DISCLOSURE STATEMENT

Veritext Legal Solutions represents that the foregoing transcript is a true, correct and complete transcript of the colloquies, questions and answers as submitted by the court reporter. Veritext Legal Solutions further represents that the attached exhibits, if any, are true, correct and complete documents as submitted by the court reporter and/or attorneys in relation to this deposition and that the documents were processed in accordance with our litigation support and production standards.

Veritext Legal Solutions is committed to maintaining the confidentiality of client and witness information, in accordance with the regulations promulgated under the Health Insurance Portability and Accountability Act (HIPAA), as amended with respect to protected health information and the Gramm-Leach-Bliley Act, as amended, with respect to Personally Identifiable Information (PII). Physical transcripts and exhibits are managed under strict facility and personnel access controls. Electronic files of documents are stored in encrypted form and are transmitted in an encrypted fashion to authenticated parties who are permitted to access the material. Our data is hosted in a Tier 4 SSAE 16 certified facility.

Veritext Legal Solutions complies with all federal and State regulations with respect to the provision of court reporting services, and maintains its neutrality and independence regardless of relationship or the financial outcome of any litigation. Veritext requires adherence to the foregoing professional and ethical standards from all of its subcontractors in their independent contractor agreements.

Inquiries about Veritext Legal Solutions' confidentiality and security policies and practices should be directed to Veritext's Client Services Associates indicated on the cover of this document or at www.veritext.com.